

**DEIDENTIFIED DEPOSITION
SURGICAL ONCOLOGY FELLOW TESTIFIES IN PRE-TRIAL HEARING
IN FAILURE TO DIAGNOSE SEPSIS CASE RESULTING IN DEATH OF PATIENT**

1

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPREME COURT OF THE STATE OF NEW YORK
COUNTY OF

, AS ADMINISTRATOR OF THE
ESTATE OF , Deceased, and
individually,

Plaintiffs,

-against-

Defendants.

Index No.

May 4,
11:06 a.m.

EXAMINATION BEFORE TRIAL of
, taken by Plaintiffs, pursuant to
Court Order, held at the offices of
,
before , a
Notary Public of the State of New York.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S :

THE LAW OFFICE OF GERALD M. OGINSKI, LLC
Attorneys for Plaintiffs
25 Great Neck Road
Great Neck, New York 11021

BY: GERALD M. OGINSKI, ESQ.

LLP
Attorneys for Defendants

BY: , ESQ.

, LLP
Attorneys for Defendant

BY: , ESQ.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S: (Continued)

Attorneys for Defendant

BY: _____, ESQ.

* * *

1
2 IT IS HEREBY STIPULATED AND AGREED by and
3 between the attorneys for the respective
4 parties hereto that all rights provided by
5 the CPLR, and Part 221 of the Uniform
6 Rules for the Conduct of Depositions,
7 including the right to object to any
8 question, except as to the form, or to
9 move to strike any testimony at this
10 examination, are reserved; and, in
11 addition, the failure to object to any
12 question or to move to strike any
13 testimony at this examination shall not be
14 a bar or waiver to make such motion at,
15 and is reserved for, the trial or this
16 action.

17 IT IS FURTHER STIPULATED AND
18 AGREED that this examination may be signed
19 and sworn to, by the witness being
20 examined, before any Notary Public other
21 than the Notary Public before whom the
22 examination was begun, but the failure to
23 do so, or to return the original of this
24 examination, shall not be deemed a waiver
25 of rights provided by Rules 3116 and 3117

1
2 of the CPLR and shall be controlled
3 thereby.

4 IT IS FURTHER STIPULATED AND
5 AGREED that the filing of the original of
6 this examination shall be and the same
7 hereby is waived.

8 * * *
9 , having
10 been first duly sworn by a Notary Public
11 of the State of New York, upon being
12 examined, testified as follows:

13 EXAMINATION BY

14 MR. OGINSKI:

15 Q. Please state your full name.

16 A. .

17 Q. What is your current address?

18 A.

19 .

20 Q. Good morning, Doctor.

21 What is an incidental
22 enterotomy?

23 A. An enterotomy that's not
24 planned.

25 Q. And what is an enterotomy?

1

2 A. An incision or a hole within the
3 colon or small bowel.

4 Q. What is sepsis?

5 MR. : You're asking about
6 a broad definition obviously?

7 MR. OGINSKI: Yes.

8 A. Sepsis is an inflammation or an
9 infection that's usually overwhelming.

10 Q. What is peritonitis?

11 A. Inflammation of the peritoneal
12 cavity.

13 Q. How do you recognize an
14 incidental enterotomy?

15 MR. : During surgery?

16 MR. OGINSKI: Yes.

17 A. Usually you see small bowel
18 contents or large bowel contents coming
19 from the hole.

20 Q. And what type of contents would
21 you expect to see?

22 A. Small bowel contents or large
23 bowel contents.

24 Q. Which would be what? What type
25 of contents would you expect to see?

1

2 MR. : You mean what's --

3 Q. What are the contents?

4 A. They're just small bowel or
5 large bowel contents. I mean, you're not
6 going to see food particles.

7 Q. Are you talking about fecal
8 contents, are you talking about liquid?
9 Be specific, if you can.

10 A. It's just small bowel, large
11 bowel. There's nothing specific about it.
12 Fecal contents is of the large bowel.
13 Small bowel contents would be small bowel
14 contents, biliary in nature, liquid.

15 Q. What happens -- I'm asking a
16 general question.

17 What happens if an incidental
18 enterotomy is made during surgery, not
19 recognized, and the patient is closed?

20 MR. : What happens or what
21 can happen? He's not going to go
22 through every parameter.

23 Q. What can happen with the
24 patient?

25 A. There are a thousand different

1
2 things that can happen. Nothing can
3 happen. The patient can be fine and
4 recover on course. The patient could
5 develop an underlying infection in the
6 abdominal cavity. A patient could develop
7 a fistula or bowel contents either in the
8 abdomen or coming through into the skin.
9 The patient can become more sick. There
10 are a million possible permutations for a
11 missed inadvertent enterotomy.

12 Q. If a patient has an enterotomy
13 during surgery that is not recognized, can
14 you tell me the symptoms that you would
15 expect the patient to have
16 post-operatively?

17 MR. : Objection.

18 Q. How do you diagnose a perforated
19 bowel post-operatively?

20 MR. : Objection.

21 MR. OGINSKI: What's the
22 objection?

23 MR. : You haven't
24 established a foundation for this
25 witness to answer those questions in

1
2 terms of the care and the treatment in
3 this case.

4 MR. OGINSKI: I'm asking a
5 general question.

6 MR. : It doesn't matter if
7 it's a general question. He's not
8 going to sit here as the diagnostic
9 expert on the care and treatment that
10 was rendered at a point in time that
11 he was not involved. He's here
12 clearly to answer anything related to
13 his care and treatment at the time of
14 care. Once you've established, I
15 assume you will, what his time frame
16 is, that's fair. But to ask him how
17 does one go about diagnosing or what
18 are the signs and symptoms of a
19 perforation, et cetera, et cetera
20 post-operatively is plainly beyond his
21 role in this case.

22 MR. OGINSKI: He's a defendant
23 and he's also an expert as a physician
24 in his specialty so I'm entitled to
25 ask him those general questions just

1
2 to establish his knowledge and
3 expertise.

4 MR. : I would disagree
5 with that. Just because you say he's
6 a defendant and expert doesn't mean
7 you can ask him questions having to do
8 with issues and places and points in
9 time that have no role in his care and
10 treatment. Yes, he's an expert in
11 terms of whatever he assisted and did
12 at the time of surgery and he would
13 have to answer fully and fairly for
14 that. But in terms of events that
15 occurred where he no longer had
16 participation in the care, that seems
17 to be exactly what you're trying to
18 do.

19 He's not going to sit here and
20 answer questions about what may or may
21 not happen to a patient after the
22 point in time that he's no longer
23 involved.

24 Q. Doctor, are you -- you are a GYN
25 oncologist?

1

2 A. No.

3 Q. What is your specialty?

4 A. I'm a surgical oncologist.

5 Q. And in November and December of

6 , you were a fellow at

7 correct?

8 A. Correct.

9 Q. And what year fellowship were

10 you in?

11 A. First year fellowship.

12 Q. In what field of medicine?

13 A. Surgical oncology.

14 Q. And what was your residency

15 training in?

16 A. General surgery.

17 Q. How many years training of

18 general surgery had you done prior to

19 beginning your first year fellowship?

20 A. Seven years total.

21 Q. In your experience, Doctor, up

22 until you began your fellowship at ,

23 had you performed primary hernia repairs?

24 A. Yes.

25 Q. Had you operated on patients who

1

2 had problems with their bowel?

3 MR. : Objection as to --

4 MR. OGINSKI: I'll rephrase.

5 Q. Had you encountered patients who

6 suffered some type of bowel injury?

7 MR. : In the course of

8 surgery?

9 MR. OGINSKI: Yes.

10 A. In what way?

11 MR. OGINSKI: I'll rephrase it.

12 Q. Had you performed bowel

13 resections?

14 A. Yes.

15 Q. Had you treated any patients who

16 suffered a bowel perforation during the

17 course of surgery?

18 A. When?

19 Q. At any time in your residency.

20 A. Intraoperatively or

21 post-operatively?

22 Q. Intraoperatively.

23 A. Yes.

24 Q. And the same question as it

25 relates to post-operatively, had you

1
2 treated any patients that suffered a bowel
3 perforation that was recognized in the
4 post-operative period?

5 A. Yes.

6 Q. How do you diagnose a perforated
7 bowel?

8 MR. : Objection. The same
9 objection, please, counsel.

10 MR. OGINSKI: Are you going to
11 let him answer?

12 MR. : No.

13 MR. OGINSKI: You can't direct
14 him not to answer.

15 MR. : I will advise him
16 not to answer. It has nothing to do
17 with his care and treatment in the
18 case. You can't sue somebody and make
19 them an expert as against other
20 individuals in the case when he has
21 nothing to do with that role.

22 It's very clear that you can ask
23 him about his care and treatment, what
24 he did intraoperatively, whatever his
25 role and management in the case, I'm

1
2 not objecting to any of that. But to
3 then say, well, he has had training in
4 the treatment of perforated bowels and
5 therefore I can question him about
6 anything having to do with that topic
7 generally is inappropriate.

8 MR. OGINSKI: I disagree, I
9 disagree. I don't want to have to
10 bring him back. He came from
11 I'm entitled to ask him --

12 MR. : I recognize that.
13 But he's not going to sit here and
14 give you a dissertation on how one
15 diagnoses and treats perforated
16 bowels.

17 MR. OGINSKI: That's all part of
18 my claim and it's part of the
19 allegations against this physician as
20 well as others in the case.

21 MR. : If you're making an
22 allegation against this physician,
23 fine, but you haven't established
24 anything in the course of this
25 deposition yet that he was involved in

1

2 the role or in any way the care and
3 treatment of this patient.

4 MR. OGINSKI: But I don't need
5 to.

6 MR. : Yes, you do, you do
7 have to establish some foundation and
8 I've been at enough of these
9 depositions to know that naming
10 someone as a defendant does not permit
11 you to ask them any question on any
12 topic having to do with anything in
13 the case.

14 MR. OGINSKI: Again, I disagree.

15 Q. Doctor, if you suspect a
16 perforated bowel post-operatively, what
17 diagnostic tests are available to you to
18 evaluate the patient?

19 MR. : Objection.

20 I advise him not to answer the
21 question.

22 Q. Are you going to take your
23 attorney's advice or are you going to
24 answer the question?

25 A. Yeah.

1

2 MR. OGINSKI: Mark it for a

3 ruling.

4 Q. As a first year fellow, what was

5 your role in the performing surgeries at

6 in November of ?

7 A. Every case was different.

8 Q. What were your general roles and

9 responsibilities as a first year fellow?

10 A. In the operating room or outside

11 the operating room?

12 Q. In the OR.

13 A. Every case was different.

14 Q. How many year fellowship was

15 this program?

16 A. Two years.

17 Q. And did you complete those two

18 years?

19 A. Correct.

20 Q. And after completing those two

21 years, what -- were you awarded a

22 certificate or some advanced degree or

23 something else?

24 A. It's a certificate.

25 Q. In what?

1

2 A. Surgical oncology.

3 Q. And after completing that, what
4 did you do?

5 A. I'm currently an assistant
6 professor of surgery at

7 .

8 Q. In what field?

9 A. Surgical oncology.

10 Q. How long have you done that?

11 A. Since July of .

12 MR. : Sorry, where was
13 that?

14 THE WITNESS:

15 .

16 Q. Have you published in the field
17 of your specialty?

18 A. Yes.

19 Q. Approximately how many things
20 have you published?

21 A. Roughly twenty.

22 Q. Do any of those publications
23 have to do with the diagnosis and
24 treatment of bowel perforation?

25 A. No.

1

2 Q. Do you have an independent
3 memory of this particular surgery in this
4 patient?

5 A. As far as what?

6 MR. : Any, anything.

7 A. Yeah.

8 Q. I'm sorry?

9 A. I do.

10 Q. Did you ever have any
11 conversations with this patient's family
12 members?

13 A. I don't remember.

14 Q. Do you have any specific memory
15 of having any conversations with this
16 patient?

17 A. I don't remember, but it's
18 usually my custom to meet the patient
19 before the operating room.

20 Q. Did you ever treat this patient
21 before surgery?

22 A. No.

23 Q. After surgery on November 30,
24 , did you ever care and treat her
25 again?

1

2 A. No.

3 Q. Did you have conversations with
4 certain physicians who were caring for her
5 while she remained at ?

6 A. Yeah.

7 MR. : While she was still
8 at or after?

9 MR. OGINSKI: I'm asking while
10 she was still there.

11 A. Yes. I don't remember while she
12 was either at or .

13 Q. Do you know Dr. ?

14 A. I do.

15 Q. How do you know him?

16 A. He was a fellow and is still a
17 fellow at .

18 Q. And what year fellow was he at
19 the time that you were a first year
20 fellow?

21 A. I don't remember.

22 Q. Were you a few years ahead of
23 him?

24 A. We're in different -- totally
25 different tracks. It's not comparable.

1

2 Q. Did you participate in this
3 patient's surgery?

4 A. Yes.

5 Q. And this was a primary ventral
6 hernia repair?

7 A. This was an incisional hernia
8 repair.

9 Q. Are they the same?

10 A. It's yes and no. It's
11 semantics.

12 Q. Explain the difference, please.

13 A. You can have a ventral hernia
14 repair without having an incision or a
15 ventral hernia without having an incision.
16 An incisional hernia could also be called
17 a ventral hernia as well.

18 Q. In this patient's case, how
19 would you describe the surgery that you
20 intended to perform?

21 A. Repair of an incisional hernia.

22 Q. Did you ever see this patient in
23 consultation for pre-op evaluation?

24 A. No.

25 Q. Did you discuss this patient's

1
2 case with Dr. before seeing the
3 patient in the operating room on
4 November 30?

5 A. No.

6 Q. Had you reviewed the patient's
7 charts or medical findings before
8 performing surgery on November 30, ?

9 A. Yes.

10 Q. And what was the purpose of
11 that?

12 A. To determine what operation we
13 were doing.

14 Q. And what exactly did you review?

15 A. Past medical history.

16 Q. And what did you learn from that
17 review?

18 A. That she had a past medical
19 history of ovarian cancer, she's had an
20 exploratory laparotomy in the past, and
21 she's developed an incisional hernia that
22 was symptomatic and that was the reason
23 for the repair.

24 Q. Was it your understanding that
25 this patient had no evidence of recurrence

1

2 of cancer at the time of her surgery?

3 MR. : Objection to the

4 form.

5 A. Repeat the question?

6 Q. What is it your understanding

7 that this patient had no recurrence of

8 cancer at the time of her scheduled

9 surgery?

10 A. I don't know.

11 MR. : Note my objection.

12 Q. Is it you don't know because you

13 don't remember as you sit here now or it

14 wasn't clear and evident from the records

15 you reviewed?

16 A. I don't remember that I had

17 reviewed those records that it indicated

18 one way or the other.

19 Q. In preparation for today, did

20 you review this patient's medical chart?

21 A. I reviewed the operative report

22 and all the involvement that I had in the

23 case.

24 Q. Did you review Dr. 's

25 deposition testimony?

1

2 A. No.

3 Q. Did you speak with Dr. ?

4 A. No.

5 Q. Have you ever spoken with Dr.

6 after you left to

7 go work at about this

8 patient?

9 A. About this patient, no.

10 Q. Have you spoken to Dr.

11 since you left ?

12 A. About this patient? No.

13 Q. Do you know Dr. ?

14 A. I do.

15 Q. And who is Dr. ?

16 A. Dr. was a GYN resident on my

17 service.

18 Q. Do you recall what year she was

19 in?

20 A. I believe it was her second

21 year.

22 Q. Have you had any discussions

23 with Dr. about this patient?

24 A. No.

25 Q. Do you know Dr. ?

1

2 A. No.

3 Q. Do you know Dr. ?

4 A. No.

5 Q. Did you ever speak with any --

6 MR. OGINSKI: Withdrawn.

7 Q. Did you ever speak with any

8 physician at , which is

9 , about this patient after she

10 was transferred from I

11 believe on December 1, ?

12 A. No.

13 Q. Do you recall what your schedule

14 was like in November, ? In other

15 words, did you work days, evenings, how

16 often you were on call? What was your

17 schedule like?

18 A. I was on the GYN oncology

19 service as their fellow. We don't have a

20 -- you're responsible for your service

21 twenty-four hours a day.

22 Q. How many people were on your

23 particular team?

24 A. I don't remember at that time.

25 As far as patients or

1

2 physicians?

3 Q. I'm sorry, let me be clear.

4 MR. : What do you mean by

5 team?

6 Q. On the GYN oncology service, how

7 long a period of time did you remain on

8 that service?

9 A. Thirty days.

10 Q. And how many other fellows were

11 also on that service at about the same

12 time?

13 A. I believe there were three

14 fellows.

15 Q. And how many residents were part

16 of that service?

17 A. There was one resident on my

18 service.

19 Q. Would that be Dr. ?

20 A. Yeah, correct.

21 Q. Was there an attending assigned

22 to the GYN oncology service for that

23 period of time?

24 A. There's three different

25 services, three different teams within the

1
2 service. Each team had a different
3 attendant on two or more attendings
4 associated or assigned to each team.

5 Q. Besides GYN oncology, who were
6 the other services?

7 A. GYN oncology.

8 MR. : So there are three
9 teams --

10 A. Three teams, one service.

11 Q. And can you just tell me whether
12 one team would be responsible for days,
13 one would be nights, or something else?

14 A. You were responsible for your
15 own patient roster.

16 Q. Was Dr. one of the
17 attendings on the service that you were
18 on?

19 A. Yes.

20 Q. And had you worked with Dr.
21 before?

22 MR. : Before when?

23 Q. Before this surgery of
24 November 30, .

25 A. Yes.

1

2 Q. Did you have any conversation
3 with him specifically about this patient
4 prior to beginning surgery?

5 A. Prior to the incision, yes.

6 Q. Tell me what you discussed.

7 A. We discussed what our plans were
8 to do for this patient.

9 Q. Can you be specific, please.

10 A. How we were going to repair the
11 incisional hernia.

12 Q. Instead of telling me about the
13 -- generally what you talked about, are
14 you able to tell me specifically?

15 A. No.

16 Q. Do you have any memory, as you
17 sit here now, about any specifics that you
18 discussed with Dr. prior to
19 beginning surgery?

20 A. No.

21 Q. Dr. participated in the
22 surgery; correct?

23 A. Define participation.

24 Q. Was she present?

25 A. She was present.

1

2 Q. And what was her function in the
3 operating room?

4 A. She watched.

5 Q. And what was your function
6 during the surgery?

7 A. I assisted Dr. .

8 Q. Who was the primary surgeon?

9 A. All the attendings are the
10 primary surgeons.

11 Q. And who performed the majority
12 of the surgery?

13 A. I don't remember that particular
14 surgery. It's custom to be a give and
15 take between the attending and the fellow.

16 Q. Did Dr. participate and
17 actually perform any of the surgery?

18 A. No.

19 Q. Was the patient bowel prepped
20 prior to the surgery?

21 A. I don't know.

22 Q. Is it customary that, when
23 performing a ventral -- an incisional
24 hernia repair, that the patient be bowel
25 prepped?

1

2 A. Customary for who?

3 Q. You.

4 A. No.

5 Q. Are you aware of whether it was
6 Dr. 's custom and practice to have a
7 patient bowel prepped for an incisional
8 hernia repair?

9 A. I don't know.

10 Q. Under what circumstances would
11 you have a patient bowel prepped for an
12 incisional hernia repair?

13 MR. : I have to object to
14 that. Now you're far afield from this
15 situation and the case at hand. Now
16 you're asking him what he does in his
17 independent practice.

18 MR. OGINSKI: I'm asking about
19 the time --

20 MR. : First of all, he
21 didn't have a role or participation --

22 MR. OGINSKI: I don't know that.

23 MR. : Did you have a role
24 or participation as to whether the
25 patient was bowel prepped prior to the

1

2 surgery?

3 THE WITNESS: No. I never saw
4 the patient preoperatively.

5 Q. Did you ever ask Dr.
6 whether the patient had been bowel
7 prepped?

8 A. I don't remember. But it's
9 custom that I wouldn't have asked that.

10 Q. In your review of the patient's
11 medical records, did you learn that the
12 patient had been bowel prepped?

13 A. No.

14 Q. Is it important for a patient --

15 MR. OGINSKI: Withdrawn.

16 Q. In a patient with prior
17 surgeries, do you expect to find adhesions
18 when you go in and operate?

19 MR. : Expect or can you?

20 Q. Do you have an expectation that
21 the patient will have adhesions?

22 A. In this patient?

23 Q. Yes.

24 A. Yes.

25 Q. Why?

1

2 A. She's had multiple surgeries in
3 the past.

4 Q. And how does that affect your
5 strategy in planning this particular
6 surgery?

7 A. It doesn't.

8 Q. Tell me why.

9 A. You don't know --

10 MR. : He's the assistant.

11 MR. OGINSKI: I want to know.

12 MR. : How does it affect
13 you as the assistant?

14 THE WITNESS: It doesn't.

15 MR. OGINSKI: Well, he also
16 indicated there was a give and take so
17 at some point he may be the operator
18 as well.

19 MR. : I guess we're losing
20 the drift of what you're doing.

21 Q. This surgery was an open
22 laparotomy?

23 A. Correct.

24 Q. Who noticed the incidental
25 enterotomy that occurred in this patient's

1

2 surgery?

3 A. I don't recall.

4 MR. : Does he need to

5 refer to anything?

6 MR. OGINSKI: Not yet.

7 Q. Did you observe any leakage of

8 bowel contents as a result of the

9 enterotomy?

10 A. I don't recall.

11 Q. Did you dictate this patient's

12 operative note?

13 A. I did not.

14 Q. If you participated in the

15 particular surgery, was it customary for

16 you to perform -- for you to dictate the

17 operative note?

18 A. No.

19 Q. Who would typically dictate the

20 operative note?

21 A. The attending surgeon.

22 Q. And did you ever have an

23 opportunity to review that operative note

24 before it was signed by the attending?

25 A. No.

1

2 Q. I'd like you to turn, please, to
3 this patient's operative note.

4 In the middle of the first full
5 paragraph under operative procedure it
6 says, "while checking the bowel."

7 Do you see that?

8 A. Yes.

9 Q. I'm just going to read the
10 sentence. "While checking the bowel, it
11 was noted that the patient had sustained
12 an enterotomy."

13 Do you have any memory as to who
14 recognized that enterotomy?

15 A. As I mentioned previously, no.

16 Q. Does this note indicate who
17 recognized it?

18 A. No.

19 Q. It continues saying, "given the
20 size of the defect and appearance of the
21 adjacent bowel, we decided to resect a
22 small portion of the bowel."

23 Do you have a memory, as you sit
24 here now, as to the size of that
25 particular defect?

1

2 A. No.

3 Q. And is it your understanding
4 that the defect that Dr. refers to
5 is the enterotomy?

6 A. That the defect --

7 MR. : Does the defect mean
8 enterotomy, is that what you means by
9 defect?

10 THE WITNESS: I'm assuming. I
11 don't know.

12 Q. And he refers to the appearance
13 of the adjacent bowel.

14 Do you know what he is referring
15 to?

16 A. No.

17 Q. Do you have any memory about the
18 appearance of the adjacent bowel?

19 A. I do not.

20 Q. Whose decision was this to
21 resect a small portion of the bowel?

22 A. I don't remember. It's
23 customary again to be a give and take.

24 It's a discussion in the operating room.

25 Q. A bowel resection was performed

1

2 on the small bowel; correct?

3 A. Correct.

4 Q. Does this particular report

5 reflect how much of the small bowel was

6 removed?

7 A. This particular bowel does not.

8 Q. Is there anything in any of the

9 records that you have seen to reflect how

10 much of the small bowel was removed?

11 A. Yes.

12 Q. What is it that you're referring

13 to that would tell you that?

14 A. The pathology report.

15 Q. And do you have a memory of the

16 size of the bowel that was removed?

17 A. Seven centimeters, according to

18 the pathology report.

19 Q. Now, once the defect is removed,

20 you then have two ends that must be

21 reattached together?

22 A. No.

23 Q. Tell me how that works once you

24 remove the defect.

25 A. The defect, as mentioned in the

1
2 operative report, the anastomosis was
3 performed in continuity.

4 Q. Tell me what that means.

5 A. There's no way I could explain
6 it to you.

7 MR. : Do what you can.

8 A. It's essentially isolating the
9 mesentery from the small bowel to remove
10 the blood supply to that particular part
11 of the mesentery and then you apply --
12 make an enterotomy in both limbs of the
13 small bowel with a Bovie electrocautery,
14 both limbs of the GIA with the blue load
15 are placed, one in each limb. A staple
16 fire is placed. And then your final
17 staple fire at the end of the anastomosis
18 is a TA90 blue load and that would resect
19 the defect within the loop of bowel that
20 you removed, that seven centimeter
21 section.

22 Q. Thank you, Doctor. I just want
23 to see if I can clarify that.

24 MR. : It's perfectly clear
25 to me.

1

2 Q. When you have the small bowel
3 and there is a defect observed as a result
4 of the enterotomy and you're now removing
5 that portion of the small bowel, what are
6 your options as to fix that enterotomy?

7 A. The options are to remove it or
8 to repair it primarily.

9 Q. And that would be either
10 oversewing it?

11 A. It would be oversewing it.

12 Q. Can you tell me why this
13 particular defect was not oversewn?

14 A. I can't. I don't remember the
15 defect.

16 Q. Do you have any memory of the
17 discussion that you had with Dr. --

18 A. No.

19 Q. -- about whether or not to
20 oversee this defect?

21 A. No.

22 Q. I'm sorry, you said other than
23 oversewing, what was the other option?

24 A. Resecting.

25 Q. And when you resect it, do you

1
2 literally cut out the defective part or
3 the part that has the defect?

4 A. Yeah, as I mentioned.

5 Q. And how do you reconnect those
6 pieces that now the ends have been cut?

7 MR. : That's what he
8 described before.

9 A. That's what I described before.
10 You never are cutting those ends. You do
11 it in continuity. It's two staple fires
12 versus three staple fires.

13 Q. Before beginning the resection,
14 was this patient irrigated and any fecal
15 contents drained?

16 A. I don't remember.

17 Q. Is there anything in the
18 operative note to reflect that the patient
19 had irrigation prior to beginning the
20 resection?

21 A. Prior to beginning the
22 resection, no.

23 Q. When you do irrigate --

24 MR. OGINSKI: Withdrawn.

25 Q. In a situation where there's an

1
2 enterotomy and fecal contents are now in
3 the belly, what is available to you to
4 irrigate that area?

5 MR. : What do you use to
6 irrigate?

7 A. Your options are multiple. You
8 can just use saline, water. That's
9 usually the most standard.

10 Q. And why would you use something
11 like that?

12 A. If you were concerned that there
13 was overall contamination within the
14 intra-abdominal cavity.

15 Q. If there is no irrigation after
16 observing fecal contents come out of an
17 enterotomy, is there a higher risk of
18 infection, peritoneal or intra-abdominal
19 infection?

20 A. If there's no irrigation?

21 Q. Yes.

22 A. No.

23 Q. How do you know whether the
24 tissue surrounding the defect that you've
25 now removed is viable?

1

2 A. In her case?

3 Q. Yes.

4 A. I don't remember. I don't
5 remember the tissue in this particular
6 case.

7 Q. In general, when you have now
8 removed an enterotomy, the defect portion
9 where there's been a hole and you're now
10 connecting the remaining tissues together,
11 how do you know that those remaining
12 tissues are viable?

13 A. Color of the tissue.

14 Q. What color would you see to tell
15 you that the tissue is viable?

16 A. The color would be anything but
17 dark.

18 Q. And what would dark color
19 signify to you?

20 A. Ischemia.

21 Q. Which means lack of blood flow?

22 A. Exactly.

23 Q. And if the color is pink or
24 white, what does that indicate to you?

25 A. Usually there's good blood flow.

1

2 We also look at the cut edges of the
3 bowel. Usually there's bleeding.

4 Q. And that tells you what?

5 A. That the bowel is getting enough
6 blood supply.

7 Q. And if, for whatever reason, you
8 feel that the edges that you have
9 initially are not satisfactory, what do
10 you then do in order to get satisfactory
11 edges?

12 A. You perform another resection.

13 Q. And how do you know how far to
14 keep going until you reach that point?

15 A. You resect until you get -- the
16 bowel looks viable.

17 Q. In this particular case, when
18 you were joining together those tissues,
19 as you mentioned, in continuity, were the
20 edges of those tissues viable?

21 A. I don't remember. But according
22 to the operative report, yes.

23 Q. And can you point to me where
24 you're referring to?

25 A. "The anastomosis was inspected

1
2 and good tissue viability was noted with
3 adequate lumen size."

4 Q. Now, Doctor, if you attached
5 viable tissue to non-viable tissue, would
6 you expect that anastomosis to break down?

7 A. Not necessarily, no.

8 Q. Is there a higher incidence or a
9 higher likelihood that there would be a
10 breakdown of the anastomosis if one part
11 of it was viable and the other part was
12 not?

13 A. That's an impossible question to
14 answer.

15 Q. Tell me why it's impossible to
16 answer.

17 A. Because that means
18 scientifically you would have to
19 investigate every single anastomosis that
20 you ever did with a second look operation
21 and that has never been done in the
22 history of surgical research in the human
23 being so your denominator would never be
24 known.

25 Q. Does the operative report

1
2 indicate whether you had to remove more
3 small bowel --

4 MR. OGINSKI: Withdrawn.

5 Q. Does the operative report
6 indicate that, after removing the initial
7 defect, that additional small bowel had to
8 be removed because those tissues were not
9 viable for the anastomosis?

10 A. It doesn't indicate that.

11 Q. Who performed the anastomosis?

12 A. I don't recall.

13 Q. Does the operative report
14 specifically indicate who performed the
15 anastomosis?

16 A. It does not.

17 Q. In order to connect those
18 tissues together that you mentioned in
19 continuity using the stapler and the
20 various devices that you talked about, is
21 that done by one person or more than one?

22 A. One person fires the stapler.

23 Q. And who fired it in this case?

24 A. I don't recall.

25 Q. Now, once the tissues are

1
2 connected together using the stapling
3 devices that you described, how do you
4 test the integrity of that anastomosis?

5 A. In her case?

6 Q. In general.

7 A. General meaning small bowel,
8 general meaning stomach?

9 Q. Yes.

10 A. Just visual inspection.

11 Q. In this patient's case, did you
12 test the integrity of her anastomosis?

13 A. I don't recall. But the
14 operative report seems to -- indicates
15 that.

16 Q. And can you point out to me
17 where it does, please.

18 A. "The anastomosis was inspected
19 and good tissue viability was noted with
20 adequate lumen size."

21 Q. And what is lumen size?

22 A. Lumen size is just the
23 connection between the two limbs of the
24 bowel.

25 MR. : Just a second.

1

2 (Whereupon a break was taken)

3 THE WITNESS: There's something I
4 want to clarify.

5 I do remember flipping the small
6 bowel over and looking at it. That's
7 my custom and I do that regardless of
8 who the attending surgeon is.

9 Q. And is there anything in the
10 operative note to reflect that that was
11 done?

12 A. Yeah.

13 Q. Where?

14 A. "The anastomosis was inspected
15 and good tissue viability was noted with
16 adequate lumen size."

17 Q. If there was some sort of
18 problem with the anastomosis, how would
19 you recognize it intraoperatively?

20 A. You may or may not recognize it
21 intraoperatively.

22 Q. Are there ever instances where
23 you will instill some type of liquid in
24 order to test the integrity?

25 A. In her case or --

1

2 Q. In general?

3 A. In general, not with small bowel
4 anastomosis.

5 Q. And other than visually looking
6 to determine whether things look good, is
7 there anything else that you as a surgeon
8 are able to do to make sure that the
9 integrity of the anastomosis is adequate?

10 A. With a small bowel anastomosis,
11 there is no other way.

12 Q. When did you learn that this
13 patient had died?

14 A. Approximately a week after the
15 operation.

16 Q. And who did you learn that
17 information from?

18 A. I'm not sure. It was either Dr.
19 or Dr. .

20 Q. And what did you learn?

21 A. That the patient expired at
22 .

23 Q. And did you learn how or why?

24 A. Later on down the road I learned
25 how. I had a brief passing conversation

1
2 with Dr. in the hallway
3 approximately a week after the operation
4 and he had mentioned the second operation
5 that was done at that he was
6 present at. There was a -- essentially
7 the back wall of the anastomosis was wide
8 open where the TA stapler had fired.

9 Q. Now, the TA stapler, you
10 mentioned that there were two different
11 stapling devices, correct, the GIA and the
12 TA?

13 A. Correct.

14 Q. What is the difference between
15 the two?

16 A. The GIA is a stapler and it has
17 a cutting device between the rows of
18 staples. The TA stapler does not.

19 Q. And who fired the TA stapler in
20 this patient's case?

21 A. As I previously mentioned, I
22 don't recall.

23 Q. Did Dr. have any further
24 comment with you other than what you've
25 told me about how this patient died?

1

2 A. After she had died?

3 Q. Yes.

4 A. He had mentioned to me that he
5 had originally wanted to take the patient
6 to the operating room when the patient was
7 at , although he didn't have
8 privileges to do so.

9 MS. : Can you repeat that
10 question and answer.

11 (Whereupon the requested portion
12 was read back by the reporter)

13 Q. When Dr. told you that
14 the back wall of the anastomosis was open
15 where the TA stapler had fired, did you
16 have any comment as a result of that?

17 A. I don't remember.

18 Q. Did the two of you discuss how
19 that could have occurred?

20 A. I don't remember.

21 Q. Did Dr. offer any opinion
22 or thoughts as to how that could have
23 occurred?

24 A. I don't remember if he did one
25 way or the other.

1

2 Q. In your experience up until that
3 point had you ever encountered an
4 anastomosis that broke down?

5 A. Broke down in general? Yes.

6 Q. Yes.

7 A. Yes.

8 Q. Had you ever encountered an
9 anastomosis that broke down in the area
10 where the TA stapler had been fired?

11 A. An anastomosis, no. But I did
12 have recollection of having previous
13 staple misfires with the TA stapler, just
14 not a bowel anastomosis.

15 Q. If there was a misfiring of the
16 stapler, is it like a regular stapler
17 where you simply don't see the staple come
18 out?

19 A. I don't understand the question.

20 Q. If the device, the stapler that
21 you're using, is not working properly and
22 is not firing the staple into the tissue,
23 am I correct that you would see that
24 there's no staple in there?

25 A. You may or may not. It's not

1
2 the only way that you would have a staple
3 misfire, is not to place staples. It's
4 similar to an office stapler where you
5 have to place the staples and you also
6 have to have the clasp underneath the
7 bowel. If you don't have that clasp, the
8 staples just, similar to an office staple,
9 the staple itself will just come out.

10 Q. Is there any indication in this
11 particular patient's case whether the TA
12 stapler was not working properly?

13 A. I don't recall. And I wasn't
14 present at the second operation.

15 Q. During the surgery that you
16 participated in, did you have any
17 indication that the GIA stapler was not
18 functioning properly?

19 A. I don't recall if there were
20 there was any staple malfunction during
21 the original case.

22 Q. Did the TA stapler work properly
23 as it was intended to during this
24 patient's surgery on November 30?

25 MR. : That he could

1

2 observe?

3 MR. OGINSKI: Yes.

4 A. That I could observe, yes.

5 Q. And am I correct, there's
6 nothing in Dr. 's operative report
7 to indicate that those two staple devices
8 were not working properly?

9 A. Correct.

10 Q. Did you ever have any discussion
11 with any other physician about the reason
12 why this patient had died?

13 A. No.

14 Q. And what was it about the back
15 wall of the anastomosis being wide open
16 that caused or contributed to the
17 patient's death?

18 A. Enteric contents were freely
19 flowing into the abdomen.

20 Q. When you say, "enteric," tell me
21 what you mean.

22 A. Contents from the small bowel.

23 Q. Fecal contents?

24 A. No, enteric contents. Fecal
25 contents would be from the large bowel.

1

2 Q. Did you have a conversation with
3 any other physician about this patient's
4 death?

5 A. After the death?

6 Q. Yes.

7 A. No.

8 Q. Were you ever present for any
9 discussion with anyone at
10 who discussed this patient's death after
11 she had died?

12 A. No.

13 Q. Were you ever asked to give any
14 type of written statement about your
15 involvement in this patient's care and
16 treatment during the surgery of
17 November 30?

18 A. I was not.

19 Q. After Dr. informed you
20 about the back wall of the anastomosis
21 being wide open, did you ever form an
22 opinion as to why this occurred?

23 A. It's something I couldn't really
24 form an opinion on. I wasn't there at the
25 second surgery. I would have had to have

1
2 seen what it looked like in the operating
3 room. Just based on what he said, it's
4 impossible to say.

5 Q. I'm sorry if I asked you this.

6 Did Dr. ever offer an
7 opinion as to why this occurred?

8 A. I don't remember if he did.

9 Q. Would you agree, Doctor, that in
10 an instance where the anastomosis has
11 opened up, would you agree that the sooner
12 this condition is recognized and treated
13 the better chances for the patient there
14 are?

15 MR. : I'll object to the
16 form.

17 You mean in a hypothetical
18 sense?

19 MR. OGINSKI: Yes.

20 A. Yes.

21 Q. Tell me why. Why is it better?

22 THE WITNESS: Doesn't this go
23 back to the original objection?

24 MR. : I mean, again,
25 you're going beyond the scope here.

1

2 MR. OGINSKI: I need to know.

3 MR. : The patient can live

4 or die.

5 Isn't that obvious?

6 MR. OGINSKI: I need him to

7 answer.

8 MR. : Just in a very

9 general sense.

10 A. Can you repeat the question?

11 Q. In other words, why is it

12 preferable for a patient's anastomosis

13 opening to be treated sooner rather than

14 later?

15 A. They have more chance to develop

16 enteric contents in the abdomen.

17 Q. And am I correct that with

18 enteric contents being in the abdomen,

19 they have a greater likelihood of

20 developing sepsis?

21 A. Yes and no.

22 Q. Tell me what you mean.

23 A. Sometimes yes. Sometimes

24 patients who are immuno sufficient will

25 have enteric contents in the abdomen and

1
2 never develop any form of overwhelming
3 sepsis and will do fine from the operation
4 or fine from an anastomotic leak. Other
5 times patients will develop signs of
6 overwhelming sepsis and multi-organ
7 dysfunction. Every patient is different.
8 There's no general statement to make.

9 Q. Did you have an opinion, on
10 November 30, when performing surgery,
11 whether this patient was
12 immunocompromised?

13 A. No, I did not.

14 Q. I'd like you to, please, look at
15 the hematology labs for this patient,
16 please.

17 The lab values -- the white
18 blood count for the patient on November 30
19 right after surgery was done shows 11.3.

20 A. Correct.

21 Q. And how would you describe that
22 particular finding, Doctor?

23 A. Normal.

24 Q. And the following day, the first
25 reading of December 1 shows 2.6.

1

2 What does that represent in
3 terms of and in relation to the prior
4 reading from the day before?

5 MR. : I don't understand
6 what you're asking.

7 Was it normal or abnormal; is
8 that what you want know?

9 Q. Is the 2.6 a normal reading?

10 A. By the hemogram from
11 , it's not normal.

12 Q. And is the 3.6 normal?

13 A. Again, it's not normal by the
14 hemogram or the normal function.

15 Q. Does the drop in white blood
16 count from the eleven thousand three
17 hundred to the 2.6, is that significant in
18 any regard?

19 MR. : I have to object.
20 That again is after he's involved in
21 the care.

22 MR. OGINSKI: I'm asking his
23 knowledge of this patient and these
24 lab results.

25 MR. : He has no knowledge

1
2 of this patient and these labs at the
3 time. You haven't established that.

4 MR. OGINSKI: I'm asking as a
5 general question.

6 Q. Is a post-operative drop from
7 eleven thousand to --

8 MR. OGINSKI: Let me rephrase it.

9 Q. A white blood count of 11.3 and
10 the following day 2.6, what, if anything,
11 does that indicate to you?

12 MR. : Objection.

13 Again, I'm advising him not to
14 answer that with a total lack of
15 foundation.

16 MR. OGINSKI: I'm asking --

17 MR. : I understand what
18 you're asking. I'm objecting because
19 there's no foundation.

20 MR. OGINSKI: I don't have to lay
21 a foundation.

22 MR. : Well, you do have to
23 lay some foundation to start showing
24 him records or care and treatment that
25 that's associated. If that were the

1
2 case, you could take out the
3 Hospital chart and start asking him
4 questions about that chart. You could
5 take out any chart and start asking
6 questions. You have to lay a
7 foundation, so I'm objecting.

8 MR. OGINSKI: That goes to
9 relevance. You can't direct him not
10 to answer.

11 MR. : No, no, no, it would
12 be palpably improper at trial, you
13 know that. You have no basis to ask
14 him that at trial.

15 MR. OGINSKI: I'll rephrase.

16 Q. Doctor, under what circumstances
17 does an anastomosis break down?

18 A. There are hundreds of reasons
19 for an anastomosis to break down, one
20 including staple misfire or malfunction to
21 including tissue ischemia. There are some
22 indications that use of steroids leads to
23 intestinal anastomosis compromise,
24 hypotension. Again, that's more related
25 to ischemia. Those are the big ones.

1

2 Q. After you spoke to Dr.
3 about a week later and learned that the
4 patient had died, did Dr. ever
5 mention that the two stapling instruments
6 were going to be rechecked to evaluate
7 whether or not they were functioning?

8 A. He did not.

9 Q. Did you have any contact with
10 this patient in the post-operative period
11 while she was still at ?

12 A. My contact with the patient just
13 was based on one visit to the recovery
14 room as my custom in the post-operative
15 period. I was off service at 5:00 or 6:00
16 that day and the patient left -- it looks
17 like the surgery was over around 1:00 p.m.
18 so my contact with the patient was less
19 than five hours after the surgery.

20 Q. And you then gave signoff to Dr.
21 who was covering?

22 A. Correct, he was taking over the
23 service.

24 Q. Did you have any notes for this
25 patient post-operatively?

1

2 A. In the chart, I did.

3 Q. And that would be something in
4 the surgical --

5 A. It was, it was an operative
6 report.

7 Q. That's a handwritten op note?

8 A. Correct.

9 Q. Do you have any notes for seeing
10 the patient in the recovery room?

11 A. I do not.

12 Q. Was it your custom and practice
13 that one or more of the residents would
14 accompany you when you saw the patient in
15 the recovery room?

16 A. It wasn't a custom. Sometimes
17 it happened. But usually I saw most of
18 the patients by myself.

19 Q. Let's turn, please, to your
20 handwritten operative note.

21 MR. : Is it more than one
22 page?

23 Q. And this is a form where you
24 filled out certain portions of it;
25 correct?

1

2 A. Correct.

3 Q. Were parts of that form filled
4 out before the surgery began?

5 A. No.

6 Q. And what was the indication for
7 surgery, according to your note?

8 A. Ventral hernia.

9 Q. And what were the findings
10 intraoperatively?

11 A. Large ventral hernia without
12 evidence of intra-abdominal disease, small
13 bowel resection for enterotomy, closure
14 with AlloDerm.

15 Q. What is AlloDerm?

16 A. AlloDerm is a synthetic -- it's
17 from human cadavers used for fascial
18 closure.

19 Q. Was there any mesh used in this
20 surgery?

21 A. No.

22 MR. : Off the record.

23 (Discussion held off the record)

24 Q. When you brought the patient
25 back --

1

2 MR. OGINSKI: Withdrawn.

3 Q. When you saw the patient in the
4 recovery room, was there any evidence of
5 infection or sepsis at that time?

6 A. Not that I recall.

7 Q. Did you ever form an opinion as
8 to when it was that the anastomosis opened
9 up following the surgery of November 30?

10 MR. : Do you have an
11 opinion, in your mind, when you think
12 the anastomosis opened up.

13 A. Based on --

14 MR. : Whatever you know.

15 A. Based on what I know, it
16 happened within -- from the operating room
17 to the second operation.

18 Q. And is it your understanding
19 that the second operation occurred on
20 December 3?

21 A. I don't know the particular
22 date, no.

23 Q. Do you have any additional
24 opinions about --

25 MR. OGINSKI: Withdrawn.

1

2 Q. Do you have any more specific
3 opinion as to when the anastomosis opened
4 up?

5 A. No. It happened between the
6 operation and the second operation.

7 Q. Do you have any opinion as to
8 whether this patient exhibited symptoms of
9 sepsis following your --

10 MR. OGINSKI: Withdrawn.

11 Q. In any of the discussions you
12 had with any physicians caring for this
13 patient, did you learn whether this
14 patient had any symptoms of sepsis?

15 A. Can you repeat the question?

16 Q. You told me the last time you
17 saw this patient was in the recovery room;
18 correct?

19 A. Yes.

20 Q. After that period of time, did
21 you learn from anybody at
22 that this patient had symptoms of either
23 an infection or sepsis?

24 A. No, not infection or sepsis, I
25 never learned that until Dr. had

1
2 mentioned it after the patient had died.

3 Q. Did Dr. tell you when
4 those symptoms became evident?

5 A. He did not tell me the specifics
6 of when the symptoms became evident.

7 Q. And did you inquire of him as to
8 when those symptoms had been present in
9 terms of the infections or sepsis?

10 A. I did not.

11 Q. Did you have any conversations
12 with the patient's husband at any time
13 after learning that the patient had died?

14 A. No.

15 Q. Did Dr. tell you that he
16 had spoken with the patient's family
17 members following her death?

18 A. He didn't mention it one way or
19 the other.

20 Q. After this patient's surgery of
21 November 30, , did you speak to the
22 patient's family members?

23 A. I don't remember but it's not my
24 custom to speak to the patient's family
25 members.

1

2 Q. Is it your custom to be present
3 when the attending speaks to the family
4 members?

5 A. It is not.

6 Q. Have you ever testified before?

7 A. In court? No.

8 Q. Or in a deposition setting?

9 A. Yes.

10 Q. How many times?

11 A. Once.

12 Q. And how long ago was that,
13 approximately?

14 A. Probably four or five years ago.

15 Q. And was that in relation to a
16 case in which you were being sued?

17 A. The hospital was.

18 Q. Which you participated in the
19 care and treatment?

20 A. Which I participated in.

21 Q. And the hospital you're
22 referring to is ?

23 A. No.

24 Q. Which hospital?

25 A.

1
2 Q. Where did you go to medical
3 school, Doctor?
4 A. .
5 Q. Which is where?
6 A. .
7 Q. From when to when?
8 A.
9 Q. Where did you go to college?
10 A. .
11 Q. And when did you graduate?
12 A.
13 Q. What, if anything, did you do
14 between ?
15 A. I worked.
16 Q. After
17 you said in ?
18 A. Yeah.
19 Q. What do you do?
20 A. I entered a surgical residency.
21 Q. Where?
22 A. .
23 Q. For how long?
24 A. Seven years. The seven-year
25 time was interrupted by two years of

1

2 research. It was a total of seven years.

3 Q. And the two years occurred

4 during what phase?

5 A. Between the second and the third

6 year of residency.

7 Q. When did you finish that?

8 A. June, .

9 Q. And after that you began your

10 fellowship at ?

11 A. Correct.

12 Q. And as of November, , were

13 you Board certified in any field of

14 medicine?

15 A. Board eligible but not

16 certified.

17 Q. And you completed your surgical

18 oncology fellowship in May of ' or June?

19 A. .

20 Q. And did you go directly to the

21 ?

22 A. No.

23 Q. What did you do?

24 A. I started August, .

25 Q. And are you Board certified in

1

2 any field of medicine?

3 A. Yes.

4 Q. In what?

5 A. Surgery.

6 Q. When did you become Board
7 certified?

8 A. .

9 Q. Are you certified in any other
10 field of medicine?

11 A. No.

12 Q. Do you hold any subspecialty
13 within the field of general surgery?

14 A. No.

15 Q. Is there any subspecialty or
16 certification for surgical oncology?

17 A. There is no Boards, it's just
18 certification.

19 Q. And you have that; correct?

20 A. Yeah.

21 Q. Have you published or edited any
22 portions of any textbooks?

23 A. No.

24 Q. Other than the patient's
25 hospital record, did you review any other

1

2 records before coming here today?

3 A. No, just the hospital records.

4 Q. Were you ever present at

5 for any discussion about

6 this patient's care and treatment after

7 she had died?

8 A. No, as I mentioned before.

9 Q. Did you ever have a conversation

10 with Dr. about this patient's care and

11 treatment being discussed at any time

12 after she had died?

13 A. No.

14 Q. Did you ever learn from any

15 physician as to why she was being

16 transferred from to

17 ?

18 A. Yes.

19 Q. Who did you learn that

20 information from?

21 A. .

22 Q. And how did you learn that?

23 A. I had a brief conversation with

24 Dr. , it was either late Saturday

25 night or early Sunday morning when I saw

1
2 him in our version of the ER, the urgent
3 care center in passing just asking how the
4 service was doing and he had briefly
5 mentioned at that time that the patient
6 was having a cardiac event and was either
7 transferred or being transferred to
8 . It was less than a two or
9 three-minute conversation, it was more of
10 a passing, more interested in talking
11 about other things.

12 Q. Did you ask him any details
13 about what particular cardiac issues the
14 patient was experiencing that necessitated
15 the transfer?

16 A. I don't remember.

17 Q. Did you form any opinion at that
18 time as to the possible causes or reasons
19 for this patient's cardiac issues?

20 A. At that time he had mentioned
21 that the patient was having a cardiac
22 event. I found it strange because she
23 really had no history, that I remember, of
24 her having a cardiac event. And I
25 explained or just briefly mentioned that I

1
2 was concerned that any patient with a new
3 onset cardiac event you have to be
4 concerned about an anastomotic leak.

5 Q. Why?

6 A. Because it was a newfound event.

7 Q. It was what, I'm sorry?

8 A. A newfound event. She didn't
9 have, at least to my knowledge at that
10 point, she had no history of cardiac
11 events.

12 Q. And why or how could an
13 anastomotic leak trigger or contribute to
14 a patient's cardiac events?

15 MR. : Note my objection.

16 A. It could contribute to a patient
17 having increased tachycardia or increased
18 heart rate from tachycardia. She could
19 have a respiratory compromise.

20 Q. And what is it about an
21 anastomotic leak that would
22 physiologically cause those things to
23 occur?

24 A. Physiologically it would be just
25 the enteric contents in the abdomen

1
2 leading to cytokine release leading to an
3 increased heart rate. This is one sign of
4 it. There are multiple other signs in
5 that. That would probably not be my first
6 sign.

7 Q. If there is an anastomotic leak,
8 would you also see any problem with kidney
9 function?

10 MR. : Objection.

11 MR. OGINSKI: I'll rephrase it.

12 MR. : Now we're on -- he
13 told you what his conversation was and
14 what his thinking was. Now you're in
15 a whole different realm of expert
16 testimony.

17 MR. OGINSKI: Not a problem.

18 I'll rephrase it.

19 Q. And when you told this
20 information to Dr. , what, if
21 anything, did he say or respond?

22 A. I don't remember. It was a very
23 brief conversation and it was mentioned
24 just in passing.

25 Q. Did you have any similar

1
2 conversation with Dr. after
3 learning that the patient had died?

4 A. After the learning the patient
5 died, no, I never had a conversation with
6 him with that.

7 Q. Did you speak to any other
8 fellow who may have treated this patient
9 other than Dr. ?

10 A. No.

11 Q. Just go back with me, Doctor, I
12 just want to clarify.

13 This conversation that you had
14 with Dr. occurred in the emergent
15 area of the hospital?

16 A. Yeah, our version of the
17 emergency room.

18 Q. And you said that was either a
19 Saturday night or a Sunday?

20 A. It was either late Saturday
21 night or early Sunday. I don't remember.

22 Q. In relation to when the patient
23 was transferred, had the patient already
24 been transferred or she was about to be?

25 A. I don't know. I don't know the

1
2 specifics of when the patient was
3 transferred.

4 Q. Did you ever have any further
5 discussion with Dr. about that, about
6 this patient?

7 A. No.

8 Q. After learning --

9 A. Let me take that back. I don't
10 know if I did or not. I learned the
11 patient had died either from Dr. or
12 Dr. but I don't know which one.

13 Q. After you learned that the
14 patient had died, did you have a
15 discussion with Dr. referring back to
16 that conversation that you had with him in
17 the emergent care area?

18 A. No.

19 Q. Did you learn whether Dr.
20 had been present for the reoperation on
21 December 3?

22 A. I have no idea. I don't know.

23 MR. OGINSKI: Thank you.

24 MR. : Is that it?

25 MR. OGINSKI: Yes.

1

2 MR. : I just have one or

3 two questions.

4 EXAMINATION BY

5 MR. :

6 Q. I represent Dr. in this

7 case.

8 Do you know who Dr. is?

9 A. No.

10 Q. Did you ever have any

11 conversations with Dr. in regard to

12 this patient?

13 A. I don't know who he is.

14 Q. So the answer's no?

15 A. Yes, correct.

16 MR. : Thank you for your

17 time.

18 MS. : I have no questions.

19 (TIME NOTED: 12:13 p.m.)

20 _____ (Signature of witness)

21 Subscribed and sworn to

22 before me this _____

23 day of _____,

24

25 _____

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

* * *

I N D E X

WITNESS	EXAMINED BY	PAGE
	Mr. Oginski	5
	Mr.	75

INSERTIONS

Page Line

(NONE)

REQUESTS

Page Line

(NONE)

RULINGS

Page Line

15 15

* * *

1

2

CERTIFICATION BY REPORTER

3

4

I, _____, a Notary Public of the

5

State of New York, do hereby certify:

6

That the testimony in the within

7

proceeding was held before me at the

8

aforesaid time and place;

9

That said witness was duly sworn

10

before the commencement of the testimony,

11

and that the testimony was taken

12

stenographically by me, then transcribed

13

under my supervision, and that the within

14

transcript is a true record of the

15

testimony of said witness.

16

I further certify that I am not

17

related to any of the parties to this

18

action by blood or marriage, that I am not

19

interested directly or indirectly in the

20

matter in controversy, nor am I in the

21

employ of any of the counsel.

22

IN WITNESS WHEREOF, I have hereunto

23

set my hand this _____ day of

24

, .

25
