

****DE-IDENTIFIED DEPOSITION OF A UROLOGIST IN A DEATH CASE
INVOLVING A FAILURE TO TIMELY TREAT AND DIAGNOSE A PULMONARY
EMBOLISM****

1

1

2 SUPREME COURT OF THE STATE OF
3 COUNTY OF

3 -----X
4 as Administratrix of the
4 Estate of

5 Plaintiff,

6 -against-

7

8

9

Defendants.

10

-----X

11

12

13

February 11,
14 10:40 a.m.

15

16 EXAMINATION BEFORE TRIAL of the

17 Defendant,

18

19

20

21

22

23 TOMMER REPORTING, INC.

192 Lexington Avenue

24 Suite 802

, 10016

25 (212) 684-2448

2

1

2 A P P E A R A N C E S :

3

4 THE LAW OFFICE OF GERALD M. OGINSKI, L.L.C.

Attorneys for Plaintiff

5 150 Great Neck Road, Suite 304

Great Neck, 11021

6

BY: GERALD M. OGINSKI, ESQ.

7

8

9 Attorneys for Defendants

10

11 BY:

12

13

14

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212)684-2448

3

1

2 S T I P U L A T I O N S

3 It is hereby stipulated and agreed

4 by and between counsel for the respective

5 parties hereto that all rights provided by

6 the C.P.L.R., including the right to object
7 to all questions except as to form, or to
8 move to strike any testimony at this
9 examination, are reserved, and, in addition,
10 the failure to object to any question or to
11 move to strike any testimony at this
12 examination shall not be a bar or a waiver
13 to doing so at, and is reserved for, the
14 trial of this action;

15 It is further stipulated and agreed by
16 and between counsel for the respective
17 parties hereto that this examination may be
18 sworn to by the witness being examined
19 before a Notary Public other than the Notary
20 Public before whom this examination was
21 begun, but the failure to do so, or to
22 return the original of this examination to
23 counsel, shall not be deemed a waiver of the
24 rights provided by Rules 3116 and 3117 of
25 the C.P.L.R., and shall be controlled

TOMMER REPORTING, INC. (212)684-2448

1

2 thereby;

3 It is further stipulated and agreed by

4 and between counsel for the respective

5 parties hereto that this examination may be

6 utilized for all purposes as provided by the

7 C.P.L.R.;

8 It is further stipulated and agreed by

9 and between counsel for the respective

10 parties hereto that the filing and

11 certification of the original of this

12 examination shall be and the same hereby are

13 waived;

14 It is further stipulated and agreed by

15 and between counsel for the respective

16 parties hereto that a copy of the within

17 examination shall be furnished to counsel

18 representing the witness testifying without

19 charge.

20

21

22

23

24

25

TOMMER REPORTING, INC. (212)684-2448

5

1

2

, a witness

3

herein, stated his office address as

4

, ,

5

, after having been first duly

6

sworn by a Notary Public of the State

7

of , testified as follows:

8

EXAMINATION BY MR. OGINSKI:

9

MR. OGINSKI: Please mark this

10

Plaintiff's 1. It is plaintiff's

11

inpatient medical records.

12

(Inpatient medical records for

13 January 16, admission was marked
14 as Plaintiff's Exhibit 1 for
15 identification, as of this date.)

16 MR. OGINSKI: This is the January
17 16th, admission.

18 Q Good morning, Doctor.

19 I would like you to look at
20 records that have been put before you which
21 have been marked Plaintiff's 1 for
22 identification, which is, according to your
23 counsel, the records from
24 inpatient admission for the
25 admission of January 16, .

TOMMER REPORTING, INC. (212)684-2448

6

1 , M.D.

2 On the first page is a
3 Certificate of Death. To the bottom of
4 the page under section 26 where it says

5 "cause of death", immediate cause is listed

6 "bladder carcinoma".

7 Do you see that?

8 A Yes.

9 Q Is that an accurate description

10 of this patient's cause of death, to your

11 knowledge?

12 A No.

13 Q What was this patient's cause of

14 death?

15 A Most likely pulmonary embolism.

16 Q What is a pulmonary embolism?

17 A It's a blood clot in the lungs.

18 Q Are you familiar with a

19 medication known as fragmen?

20 A Yes.

21 Q What is fragmen?

22 A It's classified as a low

23 molecular weight heparin.

24 Q How does that differ from

25 Coumadin?

1 , M.D.

2 A The mechanism of anticoagulation

3 is different. It affects different

4 pathways.

5 Q Can you tell me why Mr.

6 was on long term Coumadin therapy as of

7 October of ?

8 A Subsequent to his bladder surgery

9 he suffered a deep venous thrombosis of his

10 right leg and was treated appropriately for

11 that and then maintained on Coumadin, which

12 is anticoagulation.

13 Q During the January 16,

14 admission did you learn why Mr. 's

15 Coumadin therapy was changed or substituted

16 with fragmen?

17 MR. : I object to the form.

18 Q Did you learn at some point

19 during this hospital admission -- and again,
20 all my questions are going to relate to the
21 January 16th admission unless I indicate
22 otherwise.

23 Did you learn at some point that
24 Mr. was going to have a
25 bronchoscopy or an endoscopy?

TOMMER REPORTING, INC. (212)684-2448

8

1 , M.D.

2 A Yes. He was going to have an
3 endoscopy.

4 Q Did you also learn that prior to
5 the endoscopy his Coumadin therapy would be
6 changed?

7 A Yes.

8 Q From whom did you learn that
9 information?

10 A From the Gastroenterology fellow.

11 Q What information were you told as

12 to why the Coumadin therapy would be changed
13 prior to the endoscopy?

14 A They wished the patient's
15 Coumadin withheld to avoid bleeding during
16 the endoscopy.

17 Q As far as you knew, Doctor, were
18 biopsies going to be obtained during the
19 endoscopy?

20 A I don't believe so. There was a
21 possibility that a small biopsy may have
22 been done, but I don't believe it was
23 planned.

24 Q In the event that biopsies were
25 not planned to be done was there anything

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 associated with the endoscopy procedure that

3 might make the patient more susceptible to

4 bleeding?

5 A Not in my opinion, no.

6 Q Before coming here this morning

7 did you review this patient's medical

8 record?

9 A Yes.

10 Q The medical records consist of

11 the inpatient as well as the outpatient

12 records?

13 A Yes.

14 Q Did you review any other

15 documents as far as this patient's care and

16 treatment prior to coming here today?

17 A No.

18 Q Did you review any textbooks or

19 medical literature in preparation for

20 today's deposition?

21 A I'm familiar with the literature.

22 Q Let me rephrase the question.

23 Solely for the purposes of this

24 deposition did you go back to review any

25 particular literature just for the sole

TOMMER REPORTING, INC. (212)684-2448

10

1 , M.D.

2 purposes of today's deposition?

3 A No.

4 Q Did you learn that prior to the

5 endoscopy Mr. 's Coumadin was

6 withheld?

7 A Yes.

8 Q And that at some point after that

9 fragmen was ordered and given?

10 A Yes.

11 Q Did you also learn that after the

12 endoscopy procedure had been completed Mr.

13 received one dose of fragmen?

14 A Yes.

15 Q Can you tell me, Doctor,

16 generally what are the clinical signs of a

17 pulmonary embolism?

18 A Shortness of breath

19 predominantly.

20 Q Are there any other clinical

21 signs?

22 A The patient may have chest pain.

23 He may feel faint.

24 Q How do you as a physician

25 diagnose a pulmonary embolism?

TOMMER REPORTING, INC. (212)684-2448

11

1 , M.D.

2 A Other than clinical symptoms and

3 signs?

4 Q In any fashion that you can tell

5 me.

6 A Again, the patient presents the

7 symptoms that I mentioned. Uh, the

8 diagnosis is established based on a CT scan.

9 Q Is that the standard in which you

10 can make the diagnosis?

11 A That's one of the standards, yes.

12 Q Are there any other diagnostic

13 tests that you can use to confirm a

14 diagnosis of a pulmonary embolism?

15 MR. : Beyond a CAT scan?

16 MR. OGINSKI: Beyond a CAT scan.

17 A Yes, but the CAT scan is

18 generally preferred.

19 Q Can you just briefly tell me what

20 are some of the other diagnostic tests that

21 are available?

22 A Pulmonary angiogram.

23 Q Is the pulmonary angiogram an

24 invasive procedure?

25 A Yes.

TOMMER REPORTING, INC. (212)684-2448

12

1 , M.D.

2 Q How do you treat a pulmonary

3 embolism?

4 A With anticoagulation.

5 Q In the year were you

6 familiar with the types of anticoagulation

7 that would be effective in treating a

8 pulmonary embolism?

9 A Yes.

10 Q What were they?

11 A Unfractionated heparin or low

12 molecular weight heparin.

13 Q How are the two heparins that you

14 just mentioned distinct from each other?

15 A Again, mechanism of action.

16 Q Is one type of heparin preferred

17 over the other in terms of treating a

18 pulmonary embolism?

19 A No.

20 Q Is there any particular reason as

21 to why you might use one particular type of

22 heparin as opposed to the other?

23 A Yes.

24 Q What would that reason be?

25 A Low molecular weight heparin is

TOMMER REPORTING, INC. (212)684-2448

13

1 , M.D.

2 easier to give and requires less monitoring

3 than the unfractionated heparin.

4 Q Is there a particular method of

5 administration of this heparin, whether

6 injection, IV or some other route, that you

7 would use to give unfractionated heparin to

8 treat a pulmonary embolism?

9 A Well, heparin is given

10 intravenously. It can be given

11 subcutaneously.

12 Q Under what circumstances would it

13 be given subcutaneously?

14 A If it were given for prophylaxis.

15 Q Would you administer subcutaneous

16 heparin for an acute pulmonary embolism?

17 A No.

18 Q Why?

19 A It's generally felt that it's
20 quicker, more effective given intravenously.

21 Q I want to direct your attention,
22 going back to the October admission for a
23 little bit and about why this patient came
24 into the hospital in January of ,
25 specifically about his failure to thrive.

TOMMER REPORTING, INC. (212)684-2448

14

1 , M.D.

2 MR. : Are you talking the
3 first admission in or the second?

4 MR. OGINSKI: The second.

5 MR. : The January 16th
6 admission?

7 MR. OGINSKI: Correct.

8 Q Am I correct that from October
9 until his admission in of January 16,

10 , that the patient lost a considerable

11 amount of weight over that period of time?

12 A Yes.

13 Q Did you make any determination

14 during the time that you were caring for

15 this patient as to why he was experiencing

16 this weight loss?

17 MR. : Did he make efforts to

18 determine or did he actually

19 determine?

20 Q At any point while you were

21 caring for this patient while he was alive

22 did you come to any opinion or any

23 impression as to why this patient was losing

24 the weight that he was losing?

25 A No.

TOMMER REPORTING, INC. (212)684-2448

2 Q Was there any medical
3 significance to you as a physician who was
4 caring for the patient as to what his weight
5 loss meant?

6 A No.

7 Q During the January admission, and
8 I don't recall if it was the first hospital
9 admission or the January 16th admission, did
10 you become aware that the patient became
11 hospitalized with hiccups?

12 A Yes.

13 Q And that he also had loss of
14 appetite and generally was unable to keep
15 any food down?

16 A Yes.

17 Q Initially when he presented did
18 you make any determination as to the cause
19 of that particular condition?

20 A No.

21 Q Did you obtain various
22 consultations by other specialities to

23 evaluate those presenting complaints?

24 A Yes.

25 Q Would that include the GI

TOMMER REPORTING, INC. (212)684-2448

16

1 , M.D.

2 service?

3 A Yes.

4 Q As well as the Infectious Disease

5 service?

6 A Yes.

7 Q Prior to Mr. undergoing

8 the endoscopy to the end of his January

9 16th admission had you consulted with any of

10 the specialists who had seen and treated Mr.

11 as to the cause of his loss of

12 appetite and his hiccuping?

13 A That's a broad question.

14 Q I'll rephrase it, then.

15 Was there any type of consensus

16 between you and the other treating
17 physicians as to what was causing this
18 patient's hiccuping?

19 A No.

20 Q Was there any consensus among you
21 and the other treating physicians as to the
22 reason this patient was experiencing his
23 loss of appetite?

24 A No.

25 Q In your practice do you use the

TOMMER REPORTING, INC. (212)684-2448

17

1 , M.D.

2 term failure to thrive?

3 A Yes.

4 Q Tell me what that means, Doctor.

5 A Some patients after surgery for
6 reasons unclear simply don't rebound and
7 improve and it's manifested by loss of

8 appetite, fatigue, sometimes depression.

9 The normal recovery is delayed. The reasons
10 are unclear.

11 Q Before January 16, had you
12 formed any impression or any opinion as to
13 any pathologic reason as to why this patient
14 was experiencing his loss of appetite
15 following his October surgery?

16 A Somewhat.

17 Q What was your opinion or
18 impression?

19 A Again for reasons unclear, he had
20 and suffered from intermittent chronic
21 infection in his urinary diversion.

22 Q When you say "urinary diversion",
23 can you be more specific?

24 A He had an orthotopic urinary
25 diversion after his radical cystectomy.

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 Q Can you tell me what that means

3 when you say an "orthotopic urinary

4 diversion"?

5 A That's a neo bladder, as it were,

6 made from his intestine and sutured on the

7 inside so that he could urinate normally

8 through the urethra without having a stoma

9 or an external urinary collection device.

10 Q Generally after a procedure, a

11 cystotomy that you mentioned, would you

12 expect the patient to heal up and to have

13 that diversion closed?

14 A It is closed.

15 Q What was it about this particular

16 condition that you felt might be

17 contributing to his inability to gain

18 weight, or just the opposite, to continue to

19 lose weight?

20 A Some of these become infected and

21 the infection is generally easy to treat and

22 short lived, but his became chronic.

23 Q Following the procedure that Mr.

24 had with you in October of

25 did he continue to follow-up with you on a

TOMMER REPORTING, INC. (212)684-2448

19

1 , M.D.

2 regular basis?

3 A Yes.

4 Q Did you treat the condition that

5 he had that you observed, the chronic

6 infection that you mentioned?

7 A Yes.

8 Q Did you generally treat it with

9 various types of antibiotics?

10 A Yes.

11 Q Did you see any type of

12 improvement with the use of antibiotic

13 therapy?

14 A Yes.

15 Q Do you have an independent memory
16 of Mr. as to what he looks like
17 and conversations you may have had with him?

18 A Very well.

19 Q Do you recall his wife,
20 , as well?

21 A I do.

22 Q On each of the visits that Mr.
23 came to your office for follow-up
24 after the October surgery, did Mrs.
25 accompany him on virtually each

TOMMER REPORTING, INC. (212)684-2448

20

1 , M.D.

2 visit, if you recall?

3 A I believe so, yes.

4 Q Did any other family member
5 accompany him?

6 A To the outpatient visits I don't

7 know.

8 Q Now, the outpatient visits were
9 in your private office within the hospital?

10 A No.

11 Q Where were they?

12 A They're in the computer.

13 Q Did you have another office that
14 was outside of the hospital?

15 A No.

16 Q Where was your office, if you had
17 one?

18 A Within the hospital.

19 Q When Mr. would come to
20 see you, would it always be within the
21 hospital?

22 A Yes.

23 Q Did you learn that after Mr.
24 's endoscopy that was done during
25 the January 16th admission -- to be precise,

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 it was done on January 22nd -- that a
3 diagnosis of candida was made and found in
4 the esophagus?

5 A Yes.

6 Q From whom did you learn that
7 information?

8 A From the attending.

9 Q That would be the GI attending?

10 A Yes.

11 Q Do you recall his name?

12 A Dr. .

13 Q Did you have a conversation with
14 Dr. about what plan of treatment
15 he intended to start Mr. on as a
16 result of that candida finding?

17 A I don't recall, but I know how
18 you treat it.

19 Q How do you treat it?

20 A With an antifungal agent.

21 Q The hiccuping that Mr.
22 initially presented with to the hospital was
23 treated with a medication. I believe it was
24 baclofen.

25 A That's correct.

TOMMER REPORTING, INC. (212)684-2448

22

1 , M.D.

2 Q Did the medication resolve the
3 hiccuping symptoms initially?

4 A Yes.

5 Q Did Dr. tell you
6 whether or not he had an opinion as to
7 whether the candida infection that Mr.
8 had was responsible for his loss
9 of appetite?

10 A No.

11 Q As of January 22, after the
12 endoscopy had been completed was there any
13 pathologic diagnosis made by any physician

14 that you're aware of as to the reason as to

15 why Mr. was failing to thrive?

16 A No.

17 Q In a patient who is losing weight

18 such as Mr. for unknown pathologic

19 reasons are there other means and methods

20 that are available to you as a physician to

21 provide nutrition to the patient other than

22 orally?

23 A Yes.

24 Q What are those means?

25 A Intravenous nutrition,

TOMMER REPORTING, INC. (212)684-2448

23

1 , M.D.

2 supplements.

3 Q Are there other types of means in

4 which to provide nutrition to the patient

5 other than by IV?

6 A There was high caloric oral
7 intake medication, supplements.

8 Q Is there also something known as
9 parenteral nutrition?

10 A That's what I meant by
11 intravenous.

12 Q Is a gastrostomy also a method by
13 which patients can receive additional
14 nutrition?

15 A If they can't eat, yes.

16 Q Would the IV nutrition, the
17 parenteral nutrition, allow a patient such
18 as Mr. to gain back a lot of the
19 weight that he had lost over a period of
20 months?

21 A It's unlikely.

22 Q Why is that?

23 A You can't really provide accurate
24 caloric intake long-term for a patient.

25 Q Is it simply a maintenance

1 , M.D.

2 caloric intake or something else, the

3 parenteral nutrition?

4 A It's a supplemental oral intake.

5 Q The surgery that you performed in

6 October of , the cystoprostatectomy, at

7 the completion of that procedure clinically

8 and pathologically were Mr. 's

9 margins free of any remaining cancer, to the

10 best of your knowledge?

11 A Yes.

12 Q What were the statistics for this

13 patient's survival?

14 MR. : I object to the form.

15 MR. OGINSKI: I didn't finish the

16 question.

17 Q Is there something known as a

18 five year survival rate that you're familiar

19 with?

20 A Well, we don't use that term.

21 Q What term do you use to discuss

22 or evaluate a patient's survivability after

23 undergoing cancer surgery?

24 A I guess we re-evaluate that every

25 year.

TOMMER REPORTING, INC. (212)684-2448

25

1 , M.D.

2 Q But are there some generally

3 known statistics in the literature for

4 patients that undergo the type of procedure

5 that Mr. had in October of as

6 to whether or not he can or he may expect to

7 continue living without a recurrence?

8 A No.

9 Q Is there any literature that

10 you're aware of that discusses survival rate

11 of patients who had the type of cancer that

12 Mr. had?

13 A Yes.

14 Q Does any of that literature

15 discuss the rate of recurrence?

16 A Yes.

17 Q Can you tell me what those rates

18 generally are?

19 MR. : I object to the form.

20 You can answer, if you can.

21 A That's too broad of a question.

22 Q Did you have any expectation for

23 Mr. after his cancer surgery was

24 completed in October as to whether he

25 would have survived for a five year period

TOMMER REPORTING, INC. (212)684-2448

26

1 , M.D.

2 without having a recurrence of this type of

3 cancer?

4 A We hoped he would.

5 Q Were there any statistics or
6 medical literature to support your
7 expectation that this patient once he's had
8 the surgery and was free and clear of any
9 margins would likely survive past a five
10 year survival period without a recurrence?

11 MR. : Based upon the cancer
12 status alone? Not if anything else
13 that might happen to him?

14 MR. OGINSKI: Correct.

15 A We hoped he would.

16 Q I am asking specifically: Is
17 there anything within the literature as to
18 the percentage of patients with this type of
19 cancer who will go on beyond a five year
20 period of time who will go on without having
21 a recurrence?

22 A You can't predict what's going to
23 happen in an individual patient.

24 Q But generally, in the worldwide
25 medical literature that you may be familiar

TOMMER REPORTING, INC. (212)684-2448

27

1 , M.D.

2 with are there any general statistics that

3 you were aware of as of as to his

4 expectancy, as to what he could expect to

5 happen to him over the next few years?

6 A Again, we hoped he would survive.

7 Q I understand that, but are there

8 any medical literature to support any

9 information about the statistics?

10 A His pathology had a favorable

11 prognosis.

12 Q What type of cancer was Mr.

13 diagnosed with in October?

14 A Bladder cancer.

15 Q Was there a specific subset of

16 bladder cancer that he was diagnosed with?

17 A He had transitional cell

18 carcinoma.

19 Q By the way, is that a treatable
20 type of cancer?

21 A In most cases, yes.

22 Q Does surgery typically, assuming
23 you've obtained all the cancer, typically
24 cure the patient of this type of cancer?

25 A It often does, yes.

TOMMER REPORTING, INC. (212)684-2448

28

1 , M.D.

2 Q Jumping for now to January of
3 .

4 Was there anything to suggest
5 during Mr. 's last hospital
6 admission to that there was any
7 recurrence of the type of bladder cancer
8 that he was treated for back in October?

9 A No.

10 Q Let me return for a moment to the

11 endoscopy that we talked a little bit about.

12 Did any of the GI physicians who

13 were taking care of Mr. suggest to

14 you why they were requesting an endoscopy?

15 A I requested it.

16 Q Why did you request an endoscopy?

17 A To investigate the patient's

18 hiccups and why he was having trouble

19 swallowing and eating.

20 Q Had you formed any opinion prior

21 to the endoscopy as to what was going on

22 with him, as to what was causing those

23 particular complaints?

24 A No.

25 Q In preparation for the endoscopy

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 you had mentioned to me the Coumadin was to

3 be withheld, correct?

4 A Correct.

5 Q Once you take a patient off the
6 anticoagulation therapy such as Coumadin
7 does the patient become or is the patient at
8 risk for a pulmonary embolism?

9 MR. : I object to form. I
10 think that's a little broad.

11 Q Once a patient is taken off of
12 Coumadin therapy is there a period of time
13 by which the Coumadin still will have some
14 effectiveness?

15 A Yes.

16 Q What is that period of time?

17 A At least four to five days.

18 Q After that period of time what
19 happens to the patient in terms of the risk
20 to him or her about having a pulmonary
21 embolism, assuming that he or she does not
22 get any additional anticoagulation medicine?

23 MR. : I object to the form.

24 You can answer, if you can.

25 A I don't know.

TOMMER REPORTING, INC. (212)684-2448

30

1 , M.D.

2 Q Does the patient's risk for a
3 pulmonary embolism increase if the patient
4 is not re-started on any other type of
5 similar anticoagulation therapy?

6 MR. : You mean in this case,
7 in this patient?

8 MR. OGINSKI: Generally.

9 MR. : Then you're taking
10 into account millions of different
11 patients with millions of different
12 circumstances. I don't know that he
13 can answer that.

14 MR. OGINSKI: Let me rephrase the
15 question.

16 MR. : It's a lot easier if
17 you talk about this patient.

18 Q Once Mr. 's Coumadin was
19 stopped, assuming only for the purposes of
20 this question that he did not receive any
21 anticoagulation medicine after four or five
22 days, would he then be at higher risk for
23 developing pulmonary embolism?

24 A Not likely in four or five days.

25 Q After that period of time. In

TOMMER REPORTING, INC. (212)684-2448

31

1 , M.D.

2 other words, after those four or five days
3 have completed and you're on day six, seven
4 and eight and so on. In that instance.

5 A I can't answer that.

6 Q By the way, are you aware that
7 this patient's endoscopy took place on a
8 Tuesday, on January 22nd?

9 A Yes.

10 Q And Mr. 's Coumadin was
11 withheld as of Friday, the weekend before?

12 Are you aware of that?

13 A Yes.

14 Q And on Saturday and on Sunday,
15 the days preceding the endoscopy, he did not
16 receive any Coumadin during those days,
17 correct?

18 A Yes.

19 Q Did Mr. receive any
20 fragmen, the low molecular weight heparin,
21 on Saturday or Sunday?

22 A No.

23 Q Who made the decision as to when
24 Mr. would receive fragmen?

25 MR. : At what point in time?

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 Q From the time that the Coumadin

3 is stopped as of Friday the weekend before
4 his procedure, at that point do you know who
5 made a decision as to whether or not he
6 would be receiving fragmen over the weekend
7 on Saturday and Sunday?

8 A No.

9 Q Did you have any input into
10 determining when this patient should receive
11 fragmen?

12 A Yes.

13 Q What was your opinion and what
14 was your input at that time?

15 A Well, it was appropriate to
16 withhold the Coumadin in preparation for his
17 endoscopy. We then had to determine when to
18 start the low molecular weight heparin
19 pending the timing of the endoscopy. The
20 endoscopy was scheduled for Monday morning.
21 It was cancelled because of emergency. The
22 patient was then covered with low molecular
23 weight heparin in preparation for the

24 endoscopy rescheduled Tuesday morning. The

25 decision when to do that juggled the timing

TOMMER REPORTING, INC. (212)684-2448

33

1 , M.D.

2 of the endoscopy and was made by myself and

3 a fellow.

4 Q Which fellow was that?

5 A Dr. .

6 Q What service was he on back in

7 ?

8 A Urology.

9 Q You had mentioned that the

10 endoscopy did not go for on Monday

11 because of an emergency.

12 That was an emergency related to

13 other patients, correct?

14 A That was a decision of the

15 Gastroenterology service.

16 Q It had nothing to do with Mr.

17 's condition as to why he did not
18 have the procedure on Monday; is that
19 correct?

20 A That's right.

21 Q As far as you know, on Monday
22 when he received the fragmen that was a
23 subcutaneous injection?

24 A Yes.

25 Q That was 5,000 units?

TOMMER REPORTING, INC. (212)684-2448

34

1 , M.D.

2 A Yes.

3 Q If you had learned that the GI
4 physicians did not plan on obtaining any
5 biopsies during the procedure, would it
6 still have been your opinion to replace the
7 Coumadin with the fragmen?

8 A Yes.

9 Q By the end of the weekend, by the
10 end of Sunday night had the Coumadin's
11 effect worn off?

12 A No.

13 Q Did Mr. 's risk for
14 developing a pulmonary embolism increase by
15 the end of the weekend as a result of his
16 not being on any type of anticoagulation
17 therapy as of Sunday night?

18 A No.

19 Q Do you have an opinion as to
20 whether Mr. should have received
21 any fragmen over the weekend, on Saturday or
22 Sunday?

23 MR. : I object to the form.

24 You can answer.

25 MR. OGINSKI: I'll withdraw the

TOMMER REPORTING, INC. (212)684-2448

2 question.

3 Q Would it have been appropriate

4 for Mr. to receive some type of

5 fragmen over the weekend prior to his

6 anticipated endoscopy?

7 A Not necessarily, no.

8 Q When you say "not necessarily",

9 can you be any more specific?

10 A No.

11 Q Was the one dose of fragmen that

12 was given to this patient on Monday, the

13 5,000 units of the fragmen, sufficient to

14 anticoagulate?

15 A Yes.

16 Q How do you know that?

17 A Well, he had been on Coumadin for

18 a number of months previous to his admission

19 and the Coumadin was withdrawn only for a

20 few days. So to supplement that with a

21 standard dose of fragmen at that point, it

22 seems reasonable that he would maintain his

23 anticoagulation.

24 Q When changing anticoagulation

25 therapies on a patient and withholding it

TOMMER REPORTING, INC. (212)684-2448

36

1 , M.D.

2 and then re-starting a substitute therapy,

3 is it often times appropriate to obtain

4 patient's PT and PTT levels?

5 A Yes.

6 Q What information do those

7 particular tests tell you?

8 A In a patient on Coumadin, uh,

9 they tell you the level of anticoagulation.

10 Q As far as you know, were those

11 levels obtained at some point after the

12 Coumadin was stopped but before the fragmen

13 was administered?

14 A Yes.

15 Q Were those levels normal?

16 A No.

17 Q What were the results of those

18 levels?

19 MR. : You can look at the

20 chart if you want.

21 Counsel, you have no problem with

22 him looking at the chart?

23 MR. OGINSKI: Not at all.

24 Off the record.

25 (Discussion was held off the

TOMMER REPORTING, INC. (212)684-2448

37

1 , M.D.

2 record.)

3 A You're talking about the 18th and

4 19th?

5 Q Yes.

6 A Maybe it's the 19th and 20th.

7 MR. : I think it's on this

8 one actually (indicating).

9 Q Saturday and Sunday, the 19th and
10 the 20th.

11 A On 1/19 the INR was 1.88 and on
12 1/20 the INR was 1.60.

13 Q What did those results signify to
14 you, Doctor?

15 A They were above normal.

16 Q What do you do to address those
17 particular results?

18 MR. : I object to the form
19 of the question. That was the intent
20 of the results.

21 Q Were additional PT/PTT tests
22 obtained on Monday the 21st?

23 A Yes.

24 Q What were the results of that?

25 A 1.47.

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 MR. : He is talking about

3 PT/PTT. You're talking about INR.

4 MR. OGINSKI: I'll go through it
5 again.

6 Q The INR on January 21 was 1.47,
7 correct?

8 A Yes.

9 Q What does INR tell you?

10 A The INR is the ratio of the PT
11 and the standard in the laboratory and
12 that's the value we use to monitor
13 anticoagulation.

14 Q The 1.47 value on January 21,
15 that was within normal limits?

16 A No. That's elevated.

17 Q Was that the result that you
18 intended?

19 A That's acceptable, yes.

20 Q Was another INR obtained on
21 January 22nd before the endoscopy was

22 performed?

23 A I don't recall.

24 Q Is there another INR result noted

25 for January 22nd?

TOMMER REPORTING, INC. (212)684-2448

39

1 , M.D.

2 A Yes.

3 Q What time is listed under the

4 result?

5 MR. : Is there a time?

6 Q Is that the 1710, Doctor?

7 A 1710.

8 Q That would represent 5:10 p.m.?

9 A Yes.

10 Q That would be after the endoscopy

11 had been performed, correct?

12 A Yes, but he's receiving fragmen.

13 Q Are you aware that Mr.

14 did not receive another dose of fragmen on
15 Tuesday, which was January 22nd, prior to
16 his undergoing the endoscopy?

17 MR. : Let's just make it
18 clear. Are you asking him how many
19 doses he received on Tuesday?

20 MR. OGINSKI: No. I'll rephrase
21 the question.

22 Q You've already indicated that on
23 Monday the 21st of January he received one
24 dose of fragmen, correct?

25 A Yes.

TOMMER REPORTING, INC. (212)684-2448

40

1 , M.D.

2 Q Did Mr. receive another
3 dose of fragmen on Tuesday, January 22nd
4 before his endoscopy?

5 A No.

6 Q Would it have been acceptable for
7 Mr. to have received another dose
8 of fragmen on Tuesday, January 22nd prior to
9 his endoscopy?

10 MR. : I object to form.

11 You can answer.

12 A I don't know that.

13 Q Would there have been any reason
14 not to administer another dose of fragmen to
15 Mr. prior to his endoscopy on
16 Tuesday, January 22nd?

17 A I don't know that.

18 Q Are there certain patients who
19 are or have certain risk factors for
20 developing pulmonary embolism?

21 A Yes.

22 Q Does a patient's age affect
23 whether or not they may be at risk for a
24 pulmonary embolism?

25 A I am unaware of that.

1 , M.D.

2 Q Does a patient's past medical or
3 surgical history have any affect on their
4 risk factor for developing a pulmonary
5 embolism?

6 A I don't believe so.

7 Q If a patient is immobile for a
8 period of time, does that increase their
9 risk factor for developing a pulmonary
10 embolism?

11 A Yes.

12 Q Why?

13 A Immobility applies sluggish
14 circulation and that can precipitate clots,
15 venous clots.

16 Q If a patient has a history of a
17 DVT, would that place them at an increased
18 risk for developing a pulmonary embolism?

19 A I'm not sure of that.

20 Q Did you learn from Dr.
21 that during the endoscopy procedure there
22 were no biopsies obtained?

23 A I'm not sure if I learned from
24 him or subsequently, but I realized no
25 biopsies were done.

TOMMER REPORTING, INC. (212)684-2448

42

1 , M.D.

2 Q Is there anything associated with
3 the endobronchial brushings that were done
4 during the endoscopy that would cause
5 bleeding?

6 A I am not a gastroenterologist,
7 but --

8 Q Just to your knowledge, Doctor.

9 A No.

10 Q Since no biopsies were done
11 during the endoscopy on January 22nd is

12 there any reason that you are aware of
13 medically as to why this patient could not
14 get an additional dose of fragmen on the
15 morning of January 22nd before his
16 endoscopy?

17 MR. : Objection. You're now
18 going back and saying why didn't they
19 give him a dose. They didn't know
20 that a biopsy wasn't going to be done.
21 So that's not a fair question.

22 MR. OGINSKI: I'll rephrase the
23 question.

24 Q You had mentioned earlier that
25 you and the fellow were deciding when to

TOMMER REPORTING, INC. (212)684-2448

43

1 , M.D.
2 give this patient fragmen based on when he
3 would have the endoscopy, correct?

4 A Yes.

5 Q And that he was originally
6 scheduled for the procedure on Monday and he
7 did receive a dose on Monday, correct?

8 A Yes.

9 Q Knowing that his procedure was
10 pushed off until Tuesday, was there any
11 discussion that you had with any physician
12 as to whether the patient should receive
13 another dose of fragmen on Tuesday before
14 his endoscopy?

15 A Not that I recall.

16 Q Did you learn from any source, a
17 review of the records or any doctor, that
18 during the endoscopy procedure the patient's
19 blood pressure dropped to the end of his
20 procedure?

21 A I don't believe it did.

22 Q Were there any discussions that
23 you learned of or participated in with any
24 of the physicians that performed the
25 endoscopy that Mr. might have had

TOMMER REPORTING, INC. (212)684-2448

44

1 , M.D.

2 a pulmonary embolism at the conclusion of

3 his endoscopy?

4 A No.

5 Q Did you learn that Mr.

6 had passed out on January 22nd at about five

7 p.m., about five hours after his endoscopy

8 procedure?

9 A Yes.

10 Q How did you learn that?

11 A I believe I became aware of it

12 the following day.

13 Q Do you know who or do you recall

14 who informed you of that information?

15 A I don't know.

16 Q During the hours when you are not

17 physically within the hospital are there

18 various fellows or residents that are on
19 your service who will care for your patients
20 in the evening hours and the early morning
21 hours?

22 A Yes.

23 Q You were an attending in urology;
24 am I correct?

25 A Yes.

TOMMER REPORTING, INC. (212)684-2448

45

1 , M.D.

2 Q And you still are?

3 A Yes.

4 Q Were there urology residents who
5 rotated through your service?

6 A Yes.

7 Q Were there also fellows who were
8 training, doing additional training, in the
9 field of urology that also rotated through
10 your field of service?

11 A Yes.

12 Q Were there occasions back in the
13 year where the resident or fellow who
14 was caring for a patient would from time to
15 time call you after you had left the
16 hospital to advise you about what was going
17 on with one or more of your patients?

18 A Yes.

19 Q Did you ever receive a call from
20 any doctor at the hospital about Mr.
21 's diagnosis of a pulmonary
22 embolism on CT scan on January 22nd?

23 A I don't recall.

24 Q Did you have a custom and
25 practice back in January of whereby if

TOMMER REPORTING, INC. (212)684-2448

46

1 , M.D.

2 a doctor, whether it be a resident, a fellow

3 or an attending, called you when you were
4 out of the hospital, whether you were at
5 home or elsewhere, that you make notes of
6 conversations about a particular patient and
7 then at some later time put that information
8 into the patient's chart?

9 A No.

10 Q Is there anything in your review
11 of this patient's medical records to
12 indicate whether or not you were called on
13 January 22nd in the evening to let you know
14 about the patient's condition in the evening
15 hours?

16 A No.

17 Q The following day, January 23rd,
18 when you learned that Mr. had
19 passed out at about five p.m. the day
20 before, what other information were you
21 provided at that time about this patient's
22 condition?

23 A The events and results of the CT
24 scan.

25 Q Can you tell me more specifically

TOMMER REPORTING, INC. (212)684-2448

47

1 , M.D.

2 what it was?

3 A I don't recall the exact details.

4 Q Did you have any conversation

5 with Mr. 's daughter on January

6 23rd at whatever time it was that you

7 learned of the events of the day before?

8 A I don't recall.

9 Q Did you learn that it was Mr.

10 's daughter who was on the

11 phone with him at about five p.m. when he

12 was no longer responsive and that she had

13 hung up and then contacted the nurse's

14 station to alert them to a problem?

15 A Yes.

16 Q If a patient experiences an acute

17 pulmonary embolism, are there instances
18 where the effects of that pulmonary embolism
19 will not appear for a period of time?

20 A I am not aware of that.

21 Q The shortness of breath that you
22 mentioned initially when we started as being
23 a sign of pulmonary embolism, does that
24 appear immediately with an acute pulmonary
25 embolism?

TOMMER REPORTING, INC. (212)684-2448

48

1 , M.D.

2 A Usually, yes.

3 Q Are there occasions when it will
4 take a period of time, minutes, hours, or
5 some other period, where you would expect to
6 see or you would see shortness of breath?

7 A I am not sure how to answer that
8 question.

9 Q I would like you to turn, please,

10 to the note for January 22nd, I think it's a
11 nurse's note, timed at approximately five
12 p.m.

13 Doctor, can you read that note,
14 please? I understand it's not your note,
15 but if you can read it as best you can, that
16 would be helpful.

17 MR. : If there is anything
18 you can't read, just say can't read or
19 illegible.

20 A "1/22/ five p.m. Called to
21 room by patient's daughter. Patient passed
22 out in bed. Found patient clammy. BP
23 98/60. HR 173. O2 Sat 83-percent."

24 Q Let me stop you for a moment,
25 Doctor.

TOMMER REPORTING, INC. (212)684-2448

2 What is the medical significance
3 of an oxygen saturation of 83-percent, if
4 any?

5 A He is getting less than
6 sufficient.

7 Illegible. "Temperature 36.
8 Patient complaining of SOB", shortness of
9 breath, "O2 3-4 liters applied."

10 Q Does that indicate whether it was
11 nasal cannula or face mask or some other
12 method?

13 A No.

14 Q Go ahead, please.

15 A " , PA in to see
16 patient. EKG done. Bloods drawn. Patient
17 to go for CT scan."

18 Q In your review of this patient's
19 records is there any note by this PA
20 about what this person observed and
21 did?

22 A No.

23 Q Were there PAs that were

24 affiliated with the Urology service in

25 January of ?

TOMMER REPORTING, INC. (212)684-2448

50

1 , M.D.

2 A Yes.

3 Q Was this one of the

4 PA's on the Urology service?

5 A Yes.

6 Q Was it customary that when a

7 medical provider saw a particular patient,

8 made observations and rendered treatment,

9 that they make notes in the patient's chart

10 about what they did and what they saw?

11 A No.

12 Q Can you explain to me why that

13 was?

14 Let me ask it this way. Was

15 there a hospital policy that you were aware

16 of that any time a health care provider,
17 whether it be a nurse, a physician,
18 resident, fellow, attending, PA, any time
19 they saw and examined a patient, that they
20 make a note of their findings and
21 observations in the patient's chart?

22 A I am unaware of that.

23 Q Was there any commonly accepted
24 practice that if a health care provider saw
25 and treated a patient, that they make an

TOMMER REPORTING, INC. (212)684-2448

51

1 , M.D.

2 entry in the patient's chart for the benefit
3 of the entire medical team that was caring
4 for the patient?

5 A Not in each case, no.

6 Q Is there any reason that you know
7 of now why this particular PA did not make
8 an entry in this particular chart about what

9 she did or observed at that time?

10 A No.

11 Q Have you spoken with this

12 physician's assistant about what she did on

13 January 22, in relation to this

14 patient?

15 A No.

16 Q Is there any note from any

17 physician between the five p.m. nurse's note

18 on January 22nd and until the CAT scan was

19 done at around seven or 7:30 p.m.?

20 A No.

21 Q If a physician had come in to

22 examine the patient and did in fact examine

23 the patient, would you expect to see a note

24 in the chart by that particular physician?

25 A I may or may not.

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 Q Under what circumstances would
3 you not expect to see a note by a physician
4 who examined a patient?

5 A It would depend on the
6 circumstances.

7 Q Were there any unusual
8 circumstances that you learned of or were
9 aware of on January 22, that would have
10 prevented any of the health care providers
11 from making any entries in this patient's
12 chart, assuming they saw and examined him?

13 A No.

14 Q Can you make an assumption as you
15 sit here now that since there is no
16 physician's note between five p.m. and eight
17 p.m. for January 22nd that no physician
18 examined this patient during that time
19 frame?

20 A No.

21 Q Is there anything within this
22 entire hospital record which would suggest

23 to you that any physician, whether it be a
24 resident, a fellow or an attending, saw and
25 examined Mr. between five p.m. and

TOMMER REPORTING, INC. (212)684-2448

53

1 , M.D.

2 eight p.m. on January 22nd?

3 MR. : Solely within the

4 chart you mean?

5 MR. OGINSKI: Solely within the

6 chart.

7 A No.

8 Q Have you had any discussions with

9 any doctors who cared for Mr. that

10 they saw and examined Mr. between

11 five p.m. and eight p.m. on January 22nd?

12 A Rephrase that, please.

13 Q We know that Mr. was

14 noted to have passed out, according to his

15 daughter, at around five p.m. and we know
16 that the nurse came in to evaluate him at
17 that time according to this note.

18 Let me rephrase the question.

19 This five p.m. note, was that a
20 nurse's note?

21 A Yes.

22 Q Based upon this note we know that
23 a physician's assistant, , saw
24 the patient.

25 Do we know what time based upon

TOMMER REPORTING, INC. (212)684-2448

54

1 , M.D.

2 this note?

3 A Well, somewhere around five p.m.
4 or shortly thereafter.

5 Q Is there anything in the record
6 to suggest that any doctor saw this patient
7 between five p.m., when this condition was

8 observed, until the CAT scan was reported
9 and the results were reported at
10 approximately eight p.m.?

11 A Not in the record, no.

12 Q Is there any information that you
13 have about any doctor who saw and examined
14 Mr. at any time between five p.m.
15 and eight p.m. on January 22nd?

16 A Well, I believe Dr. saw the
17 patient.

18 Q What information do you base that
19 upon?

20 A He was, I believe, notified of
21 this event and generally would make rounds
22 in the evening and see the patients.

23 Q What do you base that conclusion
24 on, Doctor, that he saw the patient?

25 A He told me.

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 Q When did he give you that
3 information?

4 A I believe that was the following
5 day.

6 Q Dr. is the Urology fellow?

7 A Yes.

8 Q Are you familiar with a Dr. ?

9 A No.

10 Q What is Dr. 's first name?

11 A .

12 Q Can you spell that?

13 MR. : It's on the

14 Stipulation.

15 Q Where does Dr. work
16 currently, if you know?

17 A Hospital.

18 Q What is his position there
19 currently?

20 A It is a fellow in Urology.

21 Q Is the fellowship a two year

22 program or three?

23 A Three.

24 Q Do you know what year he is in

25 now?

TOMMER REPORTING, INC. (212)684-2448

56

1 , M.D.

2 A His second.

3 Q When did he normally make rounds?

4 A They would make rounds early in

5 the morning and at some point in the

6 afternoon or early evening.

7 Q When you say "they", who do you

8 mean?

9 A The fellows.

10 Q Was there more than one Urology

11 fellow at any given time?

12 MR. : On his service?

13 Q On your service.

14 MR. : Covering his patients?

15 That's a very broad question.

16 Q When Dr. spoke to you the

17 following day on January 23rd, did he tell

18 you who accompanied him to Mr. 's

19 room?

20 A I don't recall that.

21 Q What specifically did Dr.

22 tell you?

23 A I don't recall that.

24 Q Did Dr. tell you that he

25 had examined the patient at some point in

TOMMER REPORTING, INC. (212)684-2448

57

1 , M.D.

2 the evening January 22nd?

3 A At some point I was made aware of

4 that, yes.

5 Q At any point after that

6 conversation did you ever look in the chart
7 to see what Dr. 's findings were with
8 regard to any examination that he may have
9 performed the evening before on January
10 22nd?

11 A I don't recall.

12 Q Is there anything in this
13 hospital chart to indicate that Dr.
14 made a note or an entry in this patient's
15 chart for any examination he may have done
16 on January 22nd in the early evening?

17 A Not in the record, no.

18 Q Have you spoken with Dr.
19 since this patient died on January 23rd up
20 until today about any examination he may
21 have performed on January 22nd?

22 A No.

23 Q When the fellow would make rounds
24 in the morning, would you usually accompany
25 him?

1 , M.D.

2 A On some occasions, yes.

3 Q On those occasions when you would
4 accompany the fellow would there also be a
5 resident that would be with you, as well, in
6 the morning?

7 A There may or may not be.

8 Q If you saw and examined a patient
9 together with a fellow in the morning
10 rounds, did you have a custom and practice
11 as to whether you would make a note in the
12 chart about your findings and your
13 examination?

14 A No.

15 Q Would you expect a resident to
16 make a note in the chart about the
17 examination and the findings?

18 A Yes.

19 Q If the fellow did not make an

20 entry in the patient's chart after you and
21 he examined the patient together, would you
22 inquire of that particular fellow as to why
23 they didn't make an entry in the chart about
24 that particular examination?

25 A No.

TOMMER REPORTING, INC. (212)684-2448

59

1 , M.D.

2 Q Is there a particular reason that
3 you're aware of as to why physicians make
4 notes in the patient's chart when they see
5 and examine the patient?

6 MR. : I object to the form.

7 You can answer.

8 In a general way?

9 MR. OGINSKI: In a general way.

10 MR. : Under any possible
11 circumstance?

12 MR. OGINSKI: Yes.

13 A Why we make notes?

14 Q Yes.

15 A Well, it's a general recording of

16 what happens at that particular time

17 regarding the events of a patient.

18 Q In the event that other health

19 care members who are caring for a patient

20 need information about what was done for the

21 patient hours before or days before and they

22 cannot contact the physician who is caring

23 for the patient, does the hospital record

24 provide that information so that the next

25 health care provider can look at it and see

TOMMER REPORTING, INC. (212)684-2448

60

1 , M.D.

2 what was done for the patient at any given

3 time?

4 MR. : I object to the form.

5 You can answer.

6 A Well, in general, yes.

7 Q Did Dr. tell you on January

8 23rd what his findings were about any

9 examination he may have done on Mr.

10 on January 22nd?

11 A I don't recall specifics.

12 Q Did Dr. tell you what time

13 he saw Mr. ?

14 A No, not that I recall.

15 Q Did he tell you why he went to

16 see Mr. ? Whether it was routine

17 or for a specific reason or anything else?

18 A He told me what happened.

19 Q What was it specifically that he

20 told you?

21 A We reviewed this event.

22 Q The event, you're referring to

23 the five p.m. note --

24 A Yes.

25 Q -- or the episode where he passed

1 , M.D.

2 out?

3 A Yes. The subsequent CT scan, the
4 results of the CT scan and then we were just
5 gonna talk about what to do.

6 Q What did he tell you were the
7 results of the CT scan?

8 A Well, I went and looked at the CT
9 scan.

10 Q Before we get to your actual
11 observation, what did Dr. tell you the
12 CT scan showed? Was that a bilateral
13 pulmonary embolism?

14 A Yes.

15 Q Did he categorize the size of
16 that bilateral pulmonary embolism?

17 A I don't know.

18 Q Did Dr. tell you he had

19 reviewed the films with a radiologist?

20 A I don't remember that.

21 Q Did Dr. tell you what

22 treatment was rendered patient upon

23 the results of the CAT scan coming back

24 showing that there was a pulmonary embolism?

25 A Yes.

TOMMER REPORTING, INC. (212)684-2448

62

1 , M.D.

2 Q What did he tell you was done at

3 the time that the CAT scan confirmed the PE?

4 A He was given fragmen.

5 Q Did he order the fragmen?

6 A I believe so, yes.

7 Q What method was the fragmen

8 administered?

9 A Subcutaneously.

10 Q Did you ask Dr. whether or

11 why the patient did not receive IV heparin

12 to treat the PE?

13 A I don't recall that.

14 Q Did you ask Dr. whether the
15 patient was given oxygen once the PE was
16 confirmed by CAT scan?

17 A I don't recall asking him, but it
18 was.

19 Q The record indicates that he was
20 given oxygen by nasal cannula, correct?

21 A Yes.

22 Q That was two liters per minute?

23 A Yes.

24 Q Did you ask Dr. why or how
25 it was that only two liters per minute nasal

TOMMER REPORTING, INC. (212)684-2448

63

1 , M.D.

2 cannula was administered as opposed to any

3 other route of administration of oxygen?

4 A I don't remember that.

5 Q Did you ask Dr. whether he
6 made a note after seeing this patient in the
7 early evening of January 22nd?

8 A I don't recall.

9 Q Did you learn from Dr.
10 whether any other doctor saw Mr.
11 at any time from five p.m. on January 22nd
12 until the CAT scan results came back at
13 approximately eight p.m.?

14 A I don't remember.

15 Q Is there anything within the
16 hospital record to indicate that any
17 physician saw this patient from eight p.m.
18 on January 22nd until the early morning
19 hours of the next day, January 23rd, before
20 six o'clock in the morning?

21 A Not in the records.

22 Q Do you have any knowledge,
23 independent knowledge, as to whether any
24 doctor did in fact see this patient at any
25 time between eight p.m. on January 22nd and

TOMMER REPORTING, INC. (212)684-2448

64

1 , M.D.

2 six a.m. on January 23rd?

3 A I can't recall specifically.

4 Q Does the record indicate what

5 time the fragmen was administered to the

6 patient on January 22nd?

7 A Well, at some time between --

8 MR. : Look at the actual

9 administration record.

10 Q Doctor, I am going to withdraw

11 the question.

12 I am going to ask you to look at

13 the physicians order sheet from January 21

14 through January 23rd (handing).

15 On January 21st do you see that

16 there is a verbal order by Dr. to an

17 , nurse, for fragmen 5,000 units

18 subcutaneously, one dose?

19 A Yes.

20 Q That's timed at nine a.m. on

21 January 21st, correct?

22 A Yes.

23 Q If I remember correctly, you

24 indicated that was the Monday in

25 anticipation of the endoscopy?

TOMMER REPORTING, INC. (212)684-2448

65

1 , M.D.

2 A Yes. No. This is Monday.

3 Q January 21st.

4 A Endoscopy was cancelled.

5 Therefore, the fragmen was started.

6 Q Okay. By nine a.m. you already

7 knew that the endoscopy was not going

8 for?

9 A I believe so, yes.

10 Q In the middle of the page there
11 is a note to the bottom, an order,
12 saying "withhold fragmen tonight and in
13 a.m."; is that correct?

14 A Yes.

15 Q Can you tell who wrote that
16 order?

17 A I don't know for sure.

18 Q Regardless, there appears to be
19 some note or some signature timed at three
20 a.m. on January 22nd.

21 Would this appear to be a nurse's
22 note that's co-signing the order?

23 MR. : Don't guess. If you
24 know.

25 A I don't know that, to be honest

TOMMER REPORTING, INC. (212)684-2448

66

1 , M.D.

2 with you.

3 Q Okay. Can you turn the page,

4 please?

5 Looking at January 22,

6 physicians order sheet, there is an order

7 number three in the middle of the page which

8 says "fragmen 5,000 units subcutaneous, one

9 dose"; is that correct?

10 A Yes.

11 Q Can you tell as to when that

12 medication was carried out or ordered?

13 MR. : When was it

14 administered?

15 MR. OGINSKI: Yes.

16 MR. : Turn to the other

17 records. Not the orders sheet, but

18 the actual administration records. I

19 know it appears on two pages. Do you

20 have it in front of you?

21 I can go get my annotated copy

22 and find it on my copy.

23 MR. OGINSKI: Let's do that.

24 (A brief recess was taken.)

25 Q Doctor, your attorney has

TOMMER REPORTING, INC. (212)684-2448

67

1 , M.D.

2 provided you with various documents from the

3 chart regarding the medication dose.

4 What is the name of that sheet

5 that you're looking at, if it has a name?

6 A This is the medication

7 administration record.

8 Q Is there a note on there that

9 indicates what time the patient received

10 fragmen on January 22nd?

11 A Yes, but I can't read it.

12 Q Doctor, let's go back for a

13 moment to the physicians order sheet.

14 The order on January 22nd, number

15 two, prescribes Diflucan.

16 Am I correct that that was

17 ordered for the candida infection in the
18 esophagus?

19 MR. : If you know.

20 A Yes.

21 Q And also, the note presumably as
22 to when this order was carried out, there is
23 a signature and a time there of three p.m.,
24 correct?

25 A "3 P".

TOMMER REPORTING, INC. (212)684-2448

68

1 , M.D.

2 Q Can we assume that this order was
3 written after the endoscopy done on January
4 22nd?

5 MR. : Do you know? I don't
6 know if you can assume anything.

7 Q Was Diflucan ordered before the
8 endoscopy?

9 A I don't know.

10 Q Can you tell from this physicians
11 order sheet on January 22nd whether this
12 order was written after the endoscopy?

13 A I don't know exactly.

14 Q Looking at another page of the
15 physicians order sheet dated January 23,
16 , there is an order for fragmen 5,000
17 units.

18 Is that twice a day?

19 A Bid. Yes.

20 Q Can you tell from this note as to
21 when this order was carried out?

22 A No.

23 Q Does this signature that appears
24 next to the note indicate a counter
25 signature by a nurse that the order was then

TOMMER REPORTING, INC. (212)684-2448

2 addressed?

3 A This is when the order was taken

4 off. It doesn't mean when it was given.

5 Q When you say "taken off", what do

6 you mean?

7 A Order recorded.

8 Q At some point after that you

9 would expect the medication to be

10 administered, correct?

11 A Yes.

12 Q The time listed here is what?

13 A Ten something a.m. when this was

14 noted.

15 Q Is there anything in the record

16 that you mentioned earlier as to whether Mr.

17 received fragmen on January 23rd

18 prior to his death?

19 MR. : Can I hear the

20 question back?

21 (The requested portion was read

22 back by this reporter.)

23 A Well, it's listed.

24 Q Does that listing in the

25 administration record tell you what time you

TOMMER REPORTING, INC. (212)684-2448

70

1 , M.D.

2 received the fragmen?

3 A I'm not sure.

4 Q How many times did he receive

5 fragmen on January 23rd?

6 A I'm not sure. I believe once.

7 Q How much weight had Mr.

8 lost from October until January ,

9 approximately?

10 A Forty, fifty pounds.

11 Q When Mr. was in the

12 hospital during the last admission January

13 16th to the 23rd, was he bedridden during

14 that admission?

15 A No.

16 Q Did he have bathroom privileges?

17 A Yes.

18 Q Was he able to walk the hall or

19 walk as needed?

20 A Yes.

21 Q Was he receiving an IV?

22 A I believe so, yes.

23 Q Do you recall any discussions

24 that you had with on January 22nd

25 before the endoscopy procedure?

TOMMER REPORTING, INC. (212)684-2448

71

1 , M.D.

2 A No, not on the 22nd.

3 Q Did you have any discussions with

4 him the day before on January 21st?

5 A No.

6 Q Did you have any conversations

7 with him over the weekend on the 20th or the

8 19th of January?

9 A No.

10 Q Did you have any conversations

11 with him on the 18th, the Friday?

12 A Yes.

13 Q Tell me what it was you said to

14 him and what he said to you.

15 A I don't recall specifically.

16 Q In substance what was it that you

17 said to him and what did he say to you?

18 A Can I refer to my note?

19 Q Sure.

20 MR. : You can always refer

21 to the notes.

22 A This is on the 18th?

23 Q Yes.

24 A It's Friday afternoon. I just

25 reviewed the clinical course.

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 Q Doctor, can you read your note in
3 its entirety and if there are abbreviations,
4 just tell me what they represent.

5 A "As above".

6 Q Starting with the date and time,
7 if there is one.

8 A "1/18/ . As above." Referring
9 to events previously. "Condition stable.
10 Workup continues with support of care.
11 Discussed plans with patient."

12 Q Is that your signature that
13 appears after that?

14 A It is.

15 Q Do you have any other notes for
16 that day?

17 A No.

18 Q Did you examine Mr. on
19 that day?

20 A Yes.

21 Q What were your findings?

22 A I don't recall, except that he

23 was stable.

24 Q What type of examination did you

25 conduct on that day?

TOMMER REPORTING, INC. (212)684-2448

73

1 , M.D.

2 A I would generally examine his

3 abdomen.

4 Q I am sorry, let me ask it a

5 different way.

6 Do you have a specific memory as

7 you sit here now as to the precise

8 examination that you conducted of him on

9 January 18th?

10 A No.

11 Q Continue with your explanation as

12 to what you would do.

13 A What I did?

14 Q You mentioned you would have

15 examined his abdomen.

16 Anything else?

17 A Well, he still had tubes in
18 place. We would have examined that, his
19 abdomen, his general condition.

20 Q Did Mr. make any
21 complaints to you on the 18th?

22 A No.

23 Q If he had made any complaints,
24 would you have recorded them?

25 A I may or may not.

TOMMER REPORTING, INC. (212)684-2448

74

1 , M.D.

2 Q Is there any way for you to know
3 if he made any complaints if it's not
4 recorded in your note of January 18th?

5 A Not specifically.

6 Q Did any resident or fellow

7 accompany you on the 18th during your
8 examination?

9 A I don't recall.

10 Q If a resident or a fellow had
11 been present with you, would you have
12 expected them to make their own note of the
13 examination and the findings?

14 A No.

15 Q Is there a note by anyone on the
16 Urology service for the 19th of January,
17 Saturday?

18 A Yes.

19 Q Who saw him on that Saturday?

20 A I believe it was Dr. .

21 Q Did Dr. examine Mr.
22 on the 19th?

23 A Yes.

24 Q What were his findings according
25 to the note that appears in the chart?

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 A Well, his abdomen was benign.

3 There was no tenderness of his ankles.

4 Q Are there any complaints noted in

5 this note?

6 A No. It seems the patient is

7 doing better.

8 Q The hiccups had resolved as the

9 of the 19th?

10 A That's what it says.

11 Q And his appetite had improved?

12 A Yes.

13 Q He was afebrile?

14 A Yes.

15 Q And the plan was what? Is that

16 "Renal service recommendations"?

17 A Yes.

18 Q Do you know what that refers to?

19 A I think just continued support of

20 care, hydration.

21 Q Did anyone from the Urology

22 service see Mr. on the 20th,

23 Sunday?

24 A Yes.

25 Q Who saw him on Sunday?

TOMMER REPORTING, INC. (212)684-2448

76

1 , M.D.

2 A Dr. .

3 Q Who is Dr. ?

4 A She's a resident.

5 Q Do you know what year?

6 A I don't recall.

7 Q A urology resident?

8 A Yes.

9 Q Is she still at the hospital?

10 A No.

11 Q She completed her training?

12 A No.

13 Q Do you know where she is working

14 now?

15 A Yes.

16 Q Where?

17 A Hospital.

18 Q Do you know why she left

19 ?

20 A She completed her rotation.

21 Q Can you read Dr. 's note,

22 please? To the bottom half of her note

23 where it says "patient".

24 A "Patient without complaints.

25 Continues to hiccup, but improved.

TOMMER REPORTING, INC. (212)684-2448

77

1 , M.D.

2 Persistent low grade temperature. Continue

3 present management. Chest x-ray today."

4 Q Did you have any conversations

5 with either Dr. or Dr.

6 over that weekend about this patient?

7 A I don't remember that.

8 Q Did you have conversations with

9 any physician at about Mr.

10 's care during the weekend of

11 January 19th and 20th?

12 A I don't recall.

13 Q Can you turn, please, to the

14 January 20th/21st note?

15 Tos the bottom half of the

16 page is that Dr. 's note?

17 A Yes.

18 Q He's on the GU service?

19 A Yes.

20 Q Besides the patient's vital signs

21 and -- what does the U/O represent? Is that

22 urinary output?

23 A Yes.

24 Q Underneath that is written

25 "abdomen" -- is that "soft"?

1 , M.D.

2 A Yes.

3 Q Does that indicate to you that he

4 did some sort of examination?

5 A Yes.

6 Q What was his plan?

7 A "Upper GI series", but that

8 refers to a gastroscopy, and then "continue

9 regular diet".

10 Q Is Dr. 's note dated or

11 timed?

12 A I don't see that.

13 Q Was there any rule that you knew

14 of at the hospital that required notes to be

15 dated and timed?

16 A No.

17 Q Was it good medical practice to

18 date and time your notes?

19 A It's generally done.

20 Q Did anyone from the Urology
21 service see the patient on January 21st?

22 A Well, I believe that Dr. 's
23 note refers to the 21st.

24 Q What makes you believe that?

25 A Because he assumed the service.

TOMMER REPORTING, INC. (212)684-2448

79

1 , M.D.

2 Q He what?

3 A He assumed my service Monday
4 morning.

5 Q Is there anything other than that
6 assumption to indicate that someone else
7 from the service saw them that day?

8 A Not from the record, no.

9 Q Let me ask you to take a look at
10 the January 21st note timed at 7:45 by the
11 GI physician.

12 Do you recognize the signature

13 that appears after this first note?

14 A No.

15 Q I am going to read the first two

16 lines, which says "stable through weekend.

17 Hiccups ceased with baclofen. Improved oral

18 intake. Did not receive low molecular

19 weight heparin over weekend."

20 Did I read that correctly?

21 A Yes.

22 Q Did you have any conversations

23 with this GI physician as to why or whether

24 this patient should have received low

25 molecular weight heparin over the weekend?

TOMMER REPORTING, INC. (212)684-2448

80

1 , M.D.

2 A No.

3 Q Underneath the "plans" in the

4 same note it says "for EGD today depending

5 upon add-on case load" and then following

6 "EGD" with an arrow "re-start

7 anticoagulation".

8 Do you see that?

9 A Yes.

10 Q What was the reason for

11 re-starting --

12 A That was the plan all along, to

13 maintain him on some sort of anticoagulation

14 medicine.

15 Q Was there any plan for or

16 preference for re-starting him on the

17 Coumadin after the endoscopy surgery?

18 A No.

19 Q Why was he going to be continued

20 or re-started on the low molecular weight

21 heparin as opposed to any other type of

22 therapy?

23 A Well, surrounding the endoscopy

24 he would be on the low molecular weight

25 heparin, but would be re-started on the

TOMMER REPORTING, INC. (212)684-2448

81

1 , M.D.

2 Coumadin after he were discharged.

3 Q Turn, please, to the January 22nd

4 procedure note.

5 The attending during the

6 endoscopy was Dr. , correct?

7 A Yes.

8 Q And his assistant was Dr. ?

9 A I believe so, yes.

10 Q According to the note?

11 A Yes.

12 Q To the bottom of the page,

13 the fourth line from the bottom, it says

14 "re-start anticoagulation. Watch

15 warfarin."

16 Did I read that right?

17 A Yes.

18 Q What is warfarin?

19 A Coumadin.

20 Q Do you know why warfarin was
21 going to be started as opposed to the low
22 molecular weight heparin?

23 MR. : I object to the form
24 of the question.

25 Q What does this note mean to you,

TOMMER REPORTING, INC. (212)684-2448

82

1 , M.D.

2 Doctor, the sentence that I just read to
3 you?

4 A I don't know.

5 Q Did you have any conversations
6 with Dr. or Dr. after the
7 endoscopy was done as to what type of
8 anticoagulation therapy the patient should
9 receive?

10 A No.

11 Q Did you see the patient on

12 January 22nd?

13 A Yes, at some point.

14 Q Do you have a note that reflects

15 any examination you made on that date?

16 A I don't believe so, no.

17 Q What is it that you recall that

18 suggests to you that you saw the patient on

19 January 22nd?

20 A Only that I was in town and it

21 was a Tuesday.

22 Q Did you examine the patient on

23 the 22nd?

24 A I am sure I did.

25 Q Is there anything contained

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 within the records to reveal what your

3 examination consisted of and what your

4 findings were?

5 A I didn't write a note.

6 Q Is there any resident or fellow

7 note about any examination or findings for

8 January 22nd?

9 A Again, I believe this note from

10 Dr. is on the 22nd and there is a note

11 on the 22nd by Dr. . I'm sorry, I

12 got the dates mixed up. The 22nd is a

13 Tuesday.

14 Q Where do you see Dr. 's

15 note?

16 A On 1/22/ 6:40 a.m.

17 MR. : It's this

18 (indicating).

19 Q Is there any note by any Urology

20 physician who saw the patient after the

21 endoscopy was done but before five p.m.?

22 A Yes.

23 Q Who was that?

24 A It says "GU". I don't exactly

25 know who wrote it.

TOMMER REPORTING, INC. (212)684-2448

84

1 , M.D.

2 Q Is there a signature that appears
3 next to that particular note?

4 A There is an abbreviated
5 signature.

6 Q Do you recognize that abbreviated
7 signature?

8 A It looks like Dr. , but I'm
9 not exactly sure.

10 Q There is a number that appears
11 next to that, correct?

12 A Yes.

13 Q Are you familiar with that
14 particular number?

15 A It looks like a beeper number.

16 Q Were each of the fellows or

17 residents supplied with a number that they

18 can be contacted by?

19 A Yes, but it varied.

20 Q What time was this note written

21 on January 22nd by the GU physician?

22 A Uh, I don't know.

23 Q After reading the note does it

24 suggest to you that it was written at some

25 point after the endoscopy had been

TOMMER REPORTING, INC. (212)684-2448

85

1 , M.D.

2 performed?

3 A Yes.

4 Q Is there anything in that note to

5 indicate that that physician performed a

6 physical examination of Mr. after

7 the endoscopy?

8 A It's not mentioned here.

9 Q The note refers only to a

10 conversation with Infectious Disease,

11 correct?

12 A That's correct.

13 Q When you learned on January 23rd

14 that Mr. had suffered a bilateral

15 pulmonary embolism, did you ask any of the

16 physicians caring for him why he was not

17 taken to the Intensive Care Unit?

18 A I don't recall that.

19 Q Is it good medical practice to

20 put a patient into the ICU when they are

21 suspected or confirmed as having a bilateral

22 pulmonary embolism?

23 A Not in every case, no.

24 Q In this patient's case would it

25 have been preferable to have him in the ICU

TOMMER REPORTING, INC. (212)684-2448

2 once the diagnosis of a bilateral PE was

3 made?

4 A No.

5 Q Why?

6 A He was stable. He was monitored.

7 He was anticoagulated. He was given the

8 proper care for what he had at the time.

9 Q Do you have an opinion as to

10 whether the failure to take him to ICU once

11 the diagnosis of a PE was made represented a

12 departure from good medical care?

13 MR. : Objection to form.

14 You can answer it.

15 A Do I have an opinion? Yes.

16 Q What was that opinion?

17 A That it did not represent a

18 departure from good medical care.

19 Q You had mentioned earlier when we

20 first started the deposition that once a

21 diagnosis of a pulmonary embolism was made

22 the preferred method of administration of

23 anticoagulation would be IV heparin,

24 correct?

25 A No. That's not what I said.

TOMMER REPORTING, INC. (212)684-2448

87

1 , M.D.

2 Q When I had asked you earlier how

3 you treat a pulmonary embolism, you advised

4 me or I recall you mentioning that you would

5 administer heparin by intravenous and

6 another method would be subcutaneous for

7 prophylaxis; is that correct?

8 A No.

9 Q How do you treat a pulmonary

10 embolism, Doctor?

11 MR. : Asked and answered.

12 He gave a prior answer. You're

13 disagreeing with what he said before.

14 MR. OGINSKI: No. My notes

15 indicate that those were the answers

16 to that question. Since that's not
17 what the doctor indicated, I would
18 like to know what the proper treatment
19 is for pulmonary embolism.

20 Q In terms of anticoagulation
21 therapy.

22 MR. : I object to form.

23 You can answer.

24 A There are two alternatives. One
25 is IV heparin. The other is low molecular

TOMMER REPORTING, INC. (212)684-2448

88

1 , M.D.

2 weight heparin.

3 Q How is low molecular weight
4 heparin given?

5 MR. : We've been through
6 this. Asked and answered. The whole
7 beginning of your exam was about this

8 topic. We're not going to go over it

9 all again.

10 Q Is there any way for you to

11 ascertain from the administration sheet of

12 medications as to what time or approximately

13 what time this patient was given fragmen on

14 January 22nd?

15 MR. : Didn't we go through

16 this?

17 MR. OGINSKI: I had asked him

18 specifically what time is indicated

19 that he received it. I am asking him

20 now, since he couldn't tell or read

21 that time, whether he can ascertain or

22 estimate from the notes that are above

23 and below the fragmen note as to when

24 this patient received the medication.

25 MR. : Can you infer it by

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 anything else in the chart?

3 A I can't read the exact time.

4 Q Is there any other source that

5 you could go to that would tell you

6 precisely when this patient received fragmen

7 on January 22nd?

8 A Yes, the nurse's note.

9 Q Do you have that nurse's note?

10 MR. : On the 22nd?

11 MR. OGINSKI: Yes.

12 A Yes, I have it.

13 Q What note are you referring to,

14 Doctor?

15 A The nurse's note from seven p.m.

16 to seven a.m. 1/22 to 1/23/ .

17 Q Can I see what the page looks

18 like?

19 A We talked about this before

20 (indicating).

21 Q What is it within this note that
22 tells you when this patient received
23 fragmen?

24 A Well, the sequence of how it's
25 written.

TOMMER REPORTING, INC. (212)684-2448

90

1 , M.D.

2 Q Specifically what is contained
3 within that nurse's note that tells you when
4 this patient received fragmen?

5 A Well, the nurse reports "patient
6 went for spiral CT scan and received fragmen
7 sub q as per order."

8 Q Does it tell you whether the
9 patient received fragmen after the CT
10 confirmed pulmonary embolism or before the
11 CT was done?

12 A Not specifically, no.

13 Q Is there any other source that
14 you can turn to that would give you that
15 precise information as to when this patient
16 received fragmen?

17 A You're asking me to interpret the
18 medications sheet and I can't read it.

19 Q As best you can tell me, other
20 than the medication administration sheet and
21 the nurse's note, is there any other
22 information in these records that would tell
23 you when the patient received fragmen on
24 January 22nd?

25 A I can't answer that.

TOMMER REPORTING, INC. (212)684-2448

91

1 , M.D.

2 Q Why can't you answer it?

3 A I can't infer from the records.

4 Q Do you know where the original
5 recorded notes are for this particular page,

6 referring to the administration of

7 medication sheet for January 22?

8 A No.

9 Q Do you personally know what

10 happens to the original handwritten sheet?

11 A No.

12 Q Just to clarify, Doctor. Before

13 Mr. had his endoscopy he was to

14 receive one dose of fragmen, correct?

15 MR. : Which day are we

16 talking about?

17 MR. OGINSKI: That's what I want

18 to clear up.

19 Q On Monday, the 21st.

20 A The fragmen was given after the

21 endoscopy was cancelled Monday morning.

22 Q Would you expect to have an

23 administration note reflecting that the

24 patient got fragmen at that time or that

25 day?

1 , M.D.

2 MR. : You mean a doctor's

3 note? What do you mean?

4 Q If the patient had been given

5 fragmen on Monday, January 21st, would you

6 expect to see a written entry in the

7 administration record sheet?

8 A I don't know.

9 Q What is the purpose of the

10 administration record sheet?

11 MR. : Give it to him and let

12 him look at it.

13 MR. OGINSKI: I will be happy to,

14 but I will follow-up at some point.

15 A At some point, at somewhere you

16 would expect to see that medication had been

17 given.

18 Q Is there anything in this

19 administration record sheet to reflect that

20 the patient received fragmen on January 21?

21 A There is this sheet. There is

22 other sheets.

23 MR. : That's not the only

24 sheet.

25 MR. OGINSKI: I know that.

TOMMER REPORTING, INC. (212)684-2448

93

1 , M.D.

2 Q I am just asking this sheet

3 alone.

4 A This isn't the whole record.

5 Q I am going to ask you about the

6 others.

7 Is there anything on this page

8 that you've been referring to for the last

9 few questions to indicate that this patient

10 received any fragmen on January 21?

11 A Not on this specific sheet.

12 Q I am going to get to any other

13 notes in a moment about January 21.

14 Again, looking at the medication

15 dosage administration sheet, on the left

16 side that says "initials" and then there

17 appears to be dates, these lines or

18 notations that appear on various dates, can

19 you assume that these are initials?

20 A Yes.

21 Q Then there is a space for the

22 medication and the dosage; am I correct?

23 A Yes.

24 Q And then there is a column that

25 says "HR".

TOMMER REPORTING, INC. (212)684-2448

94

1 , M.D.

2 To your knowledge, what does that

3 represent?

4 A Hours.

5 Q Does that indicate to you the
6 hour upon which the patient was administered
7 various medication?

8 A I don't know that.

9 MR. : This is really a
10 nursing document, not a physician
11 document.

12 MR. OGINSKI: I know. I just
13 want to clarify this. I am going to
14 move from this in a moment or two.

15 Q The bottom of the page where it
16 relates to fragmen dosage for January --
17 what is that, 22nd, Doctor?

18 A 23rd.

19 Q Under the HR heading column is
20 the number ten written in there?

21 A Yes.

22 Q Can you infer from that whether
23 that represents a ten o'clock administration
24 of the medication or not? Is there any way
25 for you to determine whether that represents

TOMMER REPORTING, INC. (212)684-2448

95

1 , M.D.

2 ten o'clock? Again, I don't want you to

3 guess.

4 A I know what this means. This

5 means to give it twice a day twelve hours

6 apart.

7 Q That would be the one zero?

8 A Well, it's ten o'clock in the

9 morning and ten o'clock at night.

10 Q Can you tell from this note

11 whether the patient actually received any of

12 those doses of fragmen on the 23rd?

13 A I don't know that.

14 Q Let me go --

15 MR. : The initial on that

16 date (indicating).

17 Q Does that suggest anything to

18 you, Doctor, that there is an initial at the

19 end of that row?

20 A Yes. We know he received one
21 dose on that day.

22 Q Now let me go back a few steps
23 and ask if there are any other notes in the
24 chart that indicate to you that the patient
25 received fragmen on January 21st.

TOMMER REPORTING, INC. (212)684-2448

96

1 , M.D.

2 A You're talking about the hospital
3 record or this sheet?

4 Q I am going to withdraw the
5 question.

6 MR. : We've been through the
7 fact that he got three doses, one dose
8 each day, 21, 22 and 23. What is it
9 you're after now?

10 MR. OGINSKI: I want to see where

11 there is confirmation of the January
12 23rd fragmen dose, that it was
13 administered. We know that there was
14 an order. Is there confirmation
15 anywhere to indicate the patient
16 received the dose on that date?

17 MR. : It's about six pages
18 further back.

19 A "1/21 fragmen sub q ten a.m."

20 Q Is there anything to confirm that
21 the patient received fragmen on January
22 22nd?

23 A Yes.

24 Q What time is that noted?

25 A That's what I can't read.

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 MR. : We've been over this

3 in some detail.

4 Q How long does it take for low
5 molecular weight to work from the time it's
6 injected?

7 MR. : I object to the form.

8 You can answer, if you can.

9 A I don't know that.

10 Q How long does it take for the
11 patient to achieve optimal efficacy of the
12 low molecular weight heparin after an
13 injection?

14 A It's pretty quick.

15 Q Can you give me a time frame,
16 please?

17 A No, because it's not monitored.
18 It doesn't require monitoring, so we don't
19 have a biochemical record of that.

20 Q When you mentioned that "it's
21 pretty quick", can you give me some idea in
22 terms of time, whether minutes, hours or
23 some other time frame, that you can tell me
24 as to when you would expect the patient to

25 receive the optimum results from an

TOMMER REPORTING, INC. (212)684-2448

98

1 , M.D.

2 injection, subcutaneous injection, of low

3 molecular weight heparin?

4 A Not really.

5 Q Is there any range of time that

6 you can tell me that you're aware of?

7 A Well, the doses are given once or

8 twice a day. So it's relatively rapid and

9 lasts for that period of time.

10 MR. : You're kind of out of

11 his field of expertise here. He is

12 not a hematologist.

13 Q Once the diagnosis of a bilateral

14 pulmonary embolism was made in Mr.

15 , was there any reason as to why he

16 did not receive intravenous heparin at that

17 time?

18 MR. : I object to form.

19 You can answer.

20 A Well, he is receiving fragmen.

21 Q Am I correct that IV heparin is a

22 quicker, faster route than subcutaneous

23 injection?

24 A The patient is being

25 anticoagulated.

TOMMER REPORTING, INC. (212)684-2448

99

1 , M.D.

2 Q To achieve optimal treatment for

3 a patient with a diagnosed pulmonary

4 embolism would you agree that the accepted

5 treatment of choice would be the

6 administration of IV heparin?

7 A No.

8 Q Is it your opinion that since the

9 patient was already receiving a single dose

10 of fragmen, that that was sufficient to
11 anticoagulate him?

12 MR. : He wasn't receiving a
13 single dose. It was twice a day.

14 That was the order.

15 Q On January 22nd he had received
16 only one dose, correct?

17 A Correct, but the diagnosis of
18 pulmonary embolism was not made until later
19 on the 22nd.

20 Q As of now we cannot tell when
21 this patient actually received the fragmen,
22 whether it was after the diagnosis or
23 before, correct?

24 A He is still anticoagulated.

25 Q As of January 22nd in the evening

TOMMER REPORTING, INC. (212)684-2448

2 is he still receiving the benefits of the
3 Coumadin that had been discontinued as of
4 Friday, January 18th?

5 A I am sure he is.

6 Q Is it your opinion that this
7 patient did not need to have IV heparin
8 because he was receiving some form of a low
9 molecular weight heparin?

10 MR. : Objection. Asked and
11 answered. He gave his reasons.

12 Q Is there any situation under
13 which a patient with a pulmonary embolism
14 you would recommend receiving IV heparin as
15 opposed to fragmen?

16 A No.

17 Q From the time that the nurse came
18 in to evaluate the patient at approximately,
19 or at least the note is timed at five p.m.,
20 is there any other pulse oximetry taken at
21 any time after five p.m. to tell you whether
22 the patient is responding to oxygen therapy?

23 A A pulse oximeter is continuous.

24 Q Is there any recorded note

25 anywhere in the chart to tell us what the

TOMMER REPORTING, INC. (212)684-2448

101

1 , M.D.

2 patient's oxygen saturation level was at any

3 time after five p.m.?

4 A On what date?

5 Q January 22nd.

6 A Well, there is the note that the

7 patient is receiving three to four liters of

8 oxygen.

9 Q Again, the note doesn't reflect

10 whether that was by nasal cannula or face

11 mask.

12 Is there a difference, Doctor?

13 A I don't believe so, no.

14 Q Other than that note, is there

15 any other indication as to whether the

16 patient's saturation level improved as a

17 result of that oxygen therapy?

18 A No, but this is adequate oxygen.

19 Q How do you know that?

20 A It's three to four liters.

21 Q How do you know that the patient

22 is perfusing the oxygen that they're

23 receiving by whatever route it's being

24 given?

25 A Well, this patient passed out and

TOMMER REPORTING, INC. (212)684-2448

102

1 , M.D.

2 then quickly came to.

3 Q Let me rephrase the question.

4 Without an oxygen saturation

5 level by pulse ox or by drawing blood, is

6 there any way for you to know how well the

7 patient is perfusing oxygen?

8 MR. : He said the pulse
9 oximeter was continuous. There is
10 just not a note of it. That's a big
11 difference.

12 Q Without a recording of what the
13 patient's oxygen saturation level was, is
14 there any way for you to determine how well
15 the patient is perfusing the oxygen?

16 A Yeah.

17 Q How well?

18 A I'm talking to you right now. I
19 am perfusing very well.

20 Q Is there any such indication or
21 suggestion of something similar that the
22 patient was conversing with the nurse at
23 some point after the oxygen was given?

24 A We can infer that he in fact went
25 for his CT scan, in fact came to the floor

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 stable. He is not an extremist now, so I
3 assume he is oxygenated. You don't have to
4 have a note to say that.

5 Q Would you agree that there are
6 different levels in which a patient can have
7 different oxygenation levels?

8 A Yes.

9 Q Even though a patient may be able
10 to converse with you, they still may not be
11 saturating as well as they should be; is
12 that fair?

13 A No, that's not fair.

14 Q Other than making the various
15 inferences that you did, is there anything
16 recorded in any of the notes in the chart to
17 confirm what the patient's oxygen levels
18 were from five p.m. until the time the CAT
19 scan was done?

20 A No.

21 Q What time did the patient have

22 the CAT scan?

23 A I don't recall.

24 Q Is there anything to suggest that

25 there was a delay associated with obtaining

TOMMER REPORTING, INC. (212)684-2448

104

1 , M.D.

2 the CAT scan?

3 A I don't know that.

4 Q Who ordered the CAT scan?

5 A I believe it was the fellow.

6 Q Which fellow?

7 A Dr. .

8 Q Did Dr. review the films

9 himself?

10 A I don't know that.

11 Q You had mentioned that on January

12 23rd you learned of the events that had

13 happened before you personally looked at the

14 films yourself, right?

15 A Yes.

16 Q What did you interpret those

17 films to show?

18 A Well, I am not a radiologist, but

19 it appeared to be a bilateral pulmonary

20 embolus.

21 Q Knowing that you're not a

22 radiologist, from time to time are you

23 called up to review CAT scans and interpret

24 them?

25 A Yes.

TOMMER REPORTING, INC. (212)684-2448

105

1 , M.D.

2 Q If you have questions about what

3 you see, you will speak with a radiologist,

4 correct?

5 A Yes.

6 Q Did you speak to any radiologist

7 about what you observed?

8 A No.

9 Q At any time after you looked at
10 the films did you ever learn that a
11 radiologist confirmed those findings?

12 A Oh, yes.

13 Q Is a pulmonary embolus
14 preventable?

15 MR. : Objection. That's
16 extremely broad. I object to the form
17 of the question.

18 Q Why wasn't an echocardiogram
19 ordered for this patient at some point after
20 five p.m. on January 22nd?

21 A Well, since his surgery he had
22 suffered these chronic urinary tract
23 infections and there was a consideration of
24 bacterid endocarditis and in fact,
25 echocardiograms have been done before with a

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 suspicion of valve abnormalities and there
3 was some concern that this may have caused
4 an arrhythmia or somehow been involved in
5 his episode of passing out.

6 Q When you mention irregularity of
7 the valve, are you referring to some type of
8 vegetation?

9 A Yes.

10 Q Was that ever confirmed or ruled
11 out as a result of any echocardiogram done?

12 A The echocardiograms were always
13 indeterminate.

14 Q Was an echocardiogram performed
15 on January 22nd or January 23rd?

16 A I don't believe so, no.

17 Q Are you familiar with something
18 known as a Greenfield filter?

19 A Yes.

20 Q What is a Greenfield filter?

21 A That's a filter that's placed in
22 the inferior vena cava to prevent migration
23 of blood clots.

24 Q Was there any discussion amongst
25 the physicians caring for Mr. as

TOMMER REPORTING, INC. (212)684-2448

107

1 , M.D.

2 to whether he should be receiving a
3 Greenfield filter in light of the diagnosis
4 of a bilateral pulmonary embolism?

5 A Yes.

6 Q What was the consensus, if there
7 was one, about that topic?

8 A Well, we had considered that
9 previously when he was hospitalized for his
10 infection and the antibiotic induced renal
11 failure and the fact that he suffered a DVT,
12 that in the face of requiring tube drainage
13 for his kidneys that a filter would be

14 placed so that we could stop the oral
15 anticoagulation and that was not done. In
16 a similar fashion, he is being
17 anticoagulated, so we felt a filter offered
18 no significant advantage over what he was
19 receiving at the current time.

20 Q The anticoagulation you mentioned
21 is the fragmen?

22 A Yes. He had been on Coumadin.
23 He was hospitalized. The only reason the
24 Coumadin was stopped, withheld, it was a
25 temporary order, covered with fragmen. The

TOMMER REPORTING, INC. (212)684-2448

108

1 , M.D.

2 plan was then to re-start the Coumadin after
3 the endoscopy. So the plan all along was to
4 continue his anticoagulation upon discharge.

5 Q Was there any discussion after he

6 had been diagnosed with a pulmonary embolism

7 of placing a Greenfield filter?

8 MR. : Asked and answered.

9 He just answered that question.

10 MR. OGINSKI: Not specific to the

11 timing. We talked about admissions

12 previous.

13 MR. : He answered your

14 question.

15 MR. OGINSKI: I am just trying to

16 find out whether there was any

17 specific discussion after he had been

18 diagnosed with the PE, whether there

19 was any discussion about putting in a

20 Greenfield filter at that time.

21 A We considered it, yes, and we

22 elected to continue his anticoagulation.

23 Q Was there any discussion as to

24 whether IV heparin should be administered

25 rather than fragmen?

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 A No.

3 Q Is it your opinion, Doctor, with
4 a reasonable degree of medical probability
5 that once the pulmonary embolism was
6 suspected and ultimately confirmed that the
7 standard of care did not require IV heparin
8 to be administered?

9 MR. : He's answered that a
10 couple of times now.

11 MR. OGINSKI: It's a different
12 form. I have one more follow-up
13 question on that and then I am going
14 to move on.

15 MR. : He's answered it
16 already. You've been around this in
17 every way conceivable.

18 MR. OGINSKI: Are you going to
19 let him answer?

20 MR. : He's given you all of
21 his reasons for that in the past in
22 some detail. You've really covered
23 it.

24 Q Doctor, would you agree that IV
25 heparin is a better and faster agent than a

TOMMER REPORTING, INC. (212)684-2448

110

1 , M.D.

2 subcutaneous fragmen injection for treating
3 an acute pulmonary embolism?

4 A No.

5 Q Did Mr. continue to
6 receive the oxygen therapy at three to four
7 liters per minute from the time that that's
8 recorded at five p.m. on January 22nd?

9 A I believe he did, but I can't say
10 for sure.

11 Q On January 23rd was Mr.
12 receiving oxygen therapy?

13 A I do not recall.

14 Q Is there anything in any of the
15 notes that would suggest to you that he was
16 receiving oxygen therapy on January 23rd?

17 A Yes.

18 Q Which note are you referring to,
19 Doctor?

20 A Dr. 's note on 1/23 at
21 6:40 a.m.

22 Q Can you read that note, please?

23 A "Patient without complaints.
24 Negative sign shortness of breath. Heart
25 rate 118 over 98. Blood pressure is 115

TOMMER REPORTING, INC. (212)684-2448

111

1 , M.D.

2 over 90. Expiratory rate 20. Saturation
3 98-percent. Two liters." That refers to
4 oxygen.

5 Q Underneath where it says "chest
6 CT scan, pulmonary bilateral PE", underneath
7 that, can you read that, please?

8 A "Patient without respiratory
9 problems today. Fragmen started. ID and GI
10 input."

11 Q Does it say "started yesterday"?

12 A Yes. Well, I believe so. I'm
13 not sure.

14 Q Okay. Go ahead.

15 A "ID and GI input appreciated.
16 Cardiology consult. Echo for pericardial,
17 fusion. Diflucan for thrush. Duplex
18 Doppler."

19 Q Do you know why a duplex Doppler
20 was requested?

21 A We were still uncertain as to the
22 source of his pulmonary embolus.

23 Q At any time did you determine the
24 source of his pulmonary embolus?

25 A I did not.

1 , M.D.

2 Q Did you review the patient's

3 autopsy report?

4 A Yes.

5 Q Is there anything indicated in

6 the patient's autopsy report to indicate the

7 source of the patient's pulmonary embolism?

8 A No.

9 Q At the time of Mr. 's

10 death was he free of any bladder cancer?

11 A There was no bladder cancer

12 found.

13 Q When Dr. refers to

14 "fragmen started yesterday", is there any

15 indication as to what time the patient

16 received fragmen?

17 A It's not mentioned, no.

18 Q Did you have any discussion with

19 Dr. on January 23rd?

20 A I don't recall.

21 Q Did you have any conversation

22 with Mr. on January 23rd?

23 A I did.

24 Q When did you speak to him?

25 A I saw and examined him about

TOMMER REPORTING, INC. (212)684-2448

113

1 , M.D.

2 11:30.

3 Q A.m.?

4 A Yes.

5 Q Who was with you at the time of

6 your examination?

7 A Myself.

8 Q Was anyone with Mr. at

9 that time?

10 A He was alone.

11 Q Mr. , was he able to

12 converse with you?

13 A Yes. He appeared fine. In fact,

14 I remember that day very specifically, that

15 he felt the best he'd felt in days.

16 Q What did your examination consist

17 of?

18 A It was more of a general

19 assessment and discussion with the patient.

20 He appeared fine.

21 Q Other than his general

22 appearance, did you conduct a physical

23 examination of him?

24 A Just a cursory exam of his tubes.

25 He appeared stable. I did not see any

TOMMER REPORTING, INC. (212)684-2448

114

1 , M.D.

2 significant change.

3 Q Did you put your hands on his

4 belly to assess his abdomen?

5 A Yes.

6 Q Did you listen to his chest?

7 A No.

8 Q Was he in an ICU setting at the

9 time that you saw him on January 23rd?

10 A He was on the eighth floor in

11 Hospital.

12 Q Which is what?

13 A It's a surgical floor.

14 Q How does that differ from an

15 Intensive Care Unit facility?

16 A Well, --

17 Q Is there a difference between a

18 patient being in an ICU and being on the

19 eighth surgical floor?

20 A Yes.

21 Q What is the difference?

22 A Well, patients in the ICU are

23 sicker. They're often intubated. They

24 require active support. They're not

25 ambulatory.

TOMMER REPORTING, INC. (212)684-2448

115

1 , M.D.

2 Q How many nurses are assigned to

3 patients on the eighth floor?

4 A Per patient?

5 Q Yes.

6 A I'm not sure.

7 Q How many patients are assigned to

8 nurses in the ICU?

9 A I am not sure of that.

10 Q Is there a closer ratio of nurse

11 to patient ratio in the ICU than on the

12 eighth floor?

13 A I am not sure of that.

14 Q The surgical floor, the eighth

15 floor that you mentioned, is that similar

16 to, for lack of a better word, a regular

17 floor?

18 A It's a hospital floor.

19 Q In January of how many

20 patients were assigned to each individual

21 nurse on any given shift?

22 A I don't know that.

23 Q When you saw Mr. at

24 11:30 a.m., other than telling you that he

25 felt the best he felt in days, did he say

TOMMER REPORTING, INC. (212)684-2448

116

1 , M.D.

2 anything else to you?

3 A I don't recall.

4 Q What did you tell him? What did

5 you say to him?

6 A Basically, I reviewed with him

7 what had happened the day before.

8 Q If you can be specific, it would

9 be helpful.

10 A I can't be very specific.

11 Q Did you tell him that he had a

12 pulmonary embolism?

13 A He knew that already.

14 Q Did he ask any questions about it

15 when you saw him?

16 A I don't recall.

17 Q Did he ask what treatment he

18 would be receiving for the pulmonary

19 embolism?

20 A I don't recall that.

21 Q Did he ask you when he could

22 expect to leave the hospital?

23 A I don't recall.

24 Q Was Mr. receiving any

25 form of oxygen when you saw him at 11:30

TOMMER REPORTING, INC. (212)684-2448

2 a.m. on January 23rd?

3 A I don't remember.

4 Q Did Mr. have any

5 shortness of breath when you saw him at

6 11:30 in the morning?

7 A No, he did not appear to have it.

8 Q You used the word cachectic in

9 your notes.

10 Do you recall that?

11 A (Witness nods.)

12 Q Are you familiar with the term

13 cachectic?

14 A Yes.

15 Q What does that mean, Doctor?

16 A It's a general sign of weight

17 loss.

18 Q How did Mr. appear to

19 you physically when you saw him on January

20 23rd at 11:30 a.m.?

21 A He appeared as he always had

22 appeared in the past few months, and that

23 was chronically ill.

24 Q What specifically was it about

25 him that appeared chronically ill?

TOMMER REPORTING, INC. (212)684-2448

118

1 , M.D.

2 A He showed signs of weight loss,

3 pale skin. He didn't have a lot of energy.

4 Q Did he still have bathroom

5 privileges as of January 23rd?

6 A I don't remember.

7 Q Had you observed him walking

8 around his room when you saw him that

9 morning?

10 A No. He was in bed.

11 Q Did Mr. ask you any

12 questions when you saw him on the 23rd?

13 A I am sure he did, but I don't

14 recall specifically.

15 Q Do you have a note in the chart

16 that reflects your seeing the patient on

17 January 23rd?

18 A No. I did not write a note.

19 Q If a doctor who was caring for

20 Mr. wanted to know who had seen

21 the patient that day, how would they learn

22 other than speaking to you directly that you

23 had been there and examined him at that

24 time?

25 A They would ask me.

TOMMER REPORTING, INC. (212)684-2448

119

1 , M.D.

2 Q How would they know that you had

3 examined him if there is nothing to indicate

4 that you did so in the chart? How would

5 they know to ask you?

6 A Because I'm the attending of

7 record, my name is on the chart, nurses

8 often refer to physician's visits without a

9 physician writing them.

10 Q Is there anything that you've
11 seen in this chart in the nurse's notes that
12 reflects confirmation that you were present
13 at a given time on January 23rd?

14 A No, I didn't see any.

15 Q Did you have any conversation
16 with any of Mr. 's family after
17 11:30 a.m. but before he coded?

18 A I don't recall.

19 Q Did you learn at some point after
20 11:30 a.m. that there was a problem?

21 A Yes.

22 Q When did you learn it and how did
23 you learn it?

24 A I went back to the operating room
25 to speak with Dr. to formulate a plan

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 about what to do with Mr. 's recent

3 diagnosis of pulmonary embolus and we heard

4 the page of a code on the eighth floor and,

5 um, called the floor, was told it was Mr.

6 . We went immediately to the

7 bedside.

8 Q What did you observe when you got

9 there?

10 A There was a code in progress.

11 Q Do you know who was running the

12 code or in charge of the code?

13 A I don't.

14 Q Did you participate in the code?

15 A No.

16 Q Did you remain present for the

17 duration of the code?

18 A Yes.

19 Q At some point was Mr.

20 pronounced dead?

21 A Yes.

22 Q Did you have any conversations
23 with any of the doctors who were
24 participating in the code after he was
25 pronounced?

TOMMER REPORTING, INC. (212)684-2448

121

1 , M.D.

2 A I don't recall.

3 Q Did you write any note about any
4 conversations you had with any of the
5 doctors after the code? I am not talking
6 about a discharge note. I am talking about
7 any handwritten note.

8 A No. I don't think so, no.

9 Q Did you participate in any
10 conversation with the doctors participating
11 in the code as to whether or not the patient
12 should be anticoagulated during the code?

13 A I don't recall.

14 Q Did you see that there was a note

15 by at least one physician debating whether
16 or not anticoagulation therapies should be
17 administered during a code?

18 A Yes.

19 Q And ultimately a decision was
20 made not to give additional anticoagulation
21 medicine?

22 A No. He is talking about
23 plasminogen activators. That's not the same
24 thing.

25 Q Why did that doctor choose not to

TOMMER REPORTING, INC. (212)684-2448

122

1 , M.D.

2 give plasminogen activators during the code?

3 A Because of the risk of stroke.

4 Q Was there also a suggestion that
5 by doing chest compressions if there were
6 fractures associated with a compression,

7 that the patient could experience

8 significant bleeding?

9 A It's possible.

10 Q Did you have any conversation

11 with anyone from Mr. 's family

12 after he was pronounced dead?

13 A Yes.

14 Q Was that on January 23rd?

15 A Yes.

16 Q Who did you speak with?

17 A The patient's wife and, um, his

18 daughter.

19 Q Do you recall how long the code

20 lasted?

21 A I have a rough idea. I don't

22 know specifically.

23 Q Roughly what is your

24 understanding?

25 A I think twenty, thirty minutes,

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 something like that.

3 Q Did Mr. 's pulmonary
4 embolism cause his cardiopulmonary arrest?

5 A Yes.

6 Q What was the mechanism in which
7 it caused it?

8 A The clots travel to the heart and
9 block the pulmonary artery, so there is no
10 blood flow to the lungs, leading to
11 respiratory, followed by cardiac arrest.

12 MR. OGINSKI: Could I get the
13 answer read back?

14 (The requested portion was read
15 back by this reporter.)

16 Q How does one prevent the
17 migration of a clot from traveling to the
18 heart in someone who has been confirmed as
19 having a pulmonary embolism?

20 A Well, a pulmonary embolism by

21 definition has already occurred.

22 Q How does one prevent the
23 migration of those clots from traveling
24 elsewhere in the body?

25 A You can't.

TOMMER REPORTING, INC. (212)684-2448

124

1 , M.D.

2 Q Would a Greenfield filter help in
3 preventing further clots from disbursing in
4 the body?

5 A No.

6 Q Would additional types of
7 anticoagulation therapy help in preventing
8 formation of additional clots?

9 A No.

10 Q Does heparin have any effect upon
11 a clot that is already present within the
12 body?

13 A Yes.

14 Q What is the effect?

15 A It helps dissolve it.

16 Q Are you familiar with something

17 known as a VQ scan?

18 A Yes.

19 Q Is that also known as a

20 ventilation-perfusion scan?

21 A Yes.

22 Q Under what circumstances would

23 you as a physician request such a scan in a

24 patient with a suspected pulmonary embolism?

25 A Well, it's -- it's, um, one of

TOMMER REPORTING, INC. (212)684-2448

125

1 , M.D.

2 the methods for diagnosis of pulmonary

3 embolism, along with a CT scan.

4 Q Is a CT scan a better or more

5 reliable diagnosis test for diagnosing a PE

6 than a VQ scan?

7 A I'm not sure of that.

8 Q Can you state with any reasonable

9 degree of medical probability whether this

10 patient's bilateral pulmonary embolisms were

11 preventable?

12 A No.

13 Q Do you have an opinion with a

14 reasonable degree of medical probability

15 whether if Mr. had received IV

16 heparin after the embolisms had been

17 diagnosed, whether that would have altered

18 or affected the outcome?

19 A Yes.

20 Q What is your opinion?

21 A It would have unlikely affected

22 the outcome.

23 Q What do you base that conclusion

24 on?

25 A The fact that he's currently

1 , M.D.

2 undergoing anticoagulation.

3 Q In your opinion was that

4 anticoagulation sufficient enough to address

5 the reason as to why he was receiving the

6 anticoagulation?

7 A Yes.

8 Q Do you have an opinion, again

9 with a reasonable degree of medical

10 probability, whether if he had received a

11 Greenfield filter shortly after being

12 diagnosed with the bilateral pulmonary

13 embolisms, whether that in and of itself

14 would have affected his outcome?

15 A Yes.

16 Q What is your opinion?

17 A It's unlikely to have affected

18 the outcome.

19 Q Again, what do you base that

20 conclusion on?

21 A Well, we know that Greenfield

22 filters don't prevent pulmonary emboli.

23 Q Does it have any affect or

24 assistance on an embolism that is already

25 present from migrating to other parts of the

TOMMER REPORTING, INC. (212)684-2448

127

1 , M.D.

2 body?

3 A No.

4 Q Where is the Greenfield filter

5 usually placed?

6 A In the inferior vena cava.

7 Q From the time that the CAT scan

8 confirmed his bilateral pulmonary embolisms

9 at around 7:30 or eight p.m. on January 22nd

10 until he coded at around twelve p.m. on

11 January 23rd are there any notes by any

12 doctor to indicate that they were aware that

13 he had a confirmed pulmonary embolism?

14 A We know that they were aware.

15 Q I am asking: Are there any notes

16 recording that fact in the chart?

17 A Not specifically, no.

18 Q Did you ever ask Dr. why he

19 did not make a note about his observations

20 and examination of the patient on January

21 22nd?

22 A No, I haven't.

23 Q Tell me about the conversation

24 that occurred between you and Mr.

25 's daughter and his wife after the

TOMMER REPORTING, INC. (212)684-2448

128

1 , M.D.

2 patient died.

3 A Well, I don't recall the details.

4 It was simply informing them of the death

5 and consoling them.

6 Q Did they ask any questions?

7 A Um, I don't recall specifically.

8 Q Was there some discussion about

9 an autopsy being requested?

10 A Yes. I discussed an autopsy with

11 Mrs. .

12 Q Am I correct that initially it

13 was refused and then --

14 A Initially she did not want an

15 autopsy.

16 Q And then at some point afters

17 they agreed to it, correct?

18 A Yes.

19 Q Did anyone, to your knowledge,

20 notify any family member that Mr.

21 had been diagnosed with pulmonary embolism

22 at any time before he coded on January 23rd?

23 A I don't recall.

24 Q Did Dr. tell you whether he

25 saw the patient at any time from midnight on

TOMMER REPORTING, INC. (212)684-2448

129

1 , M.D.

2 January 23rd up until the time that Dr.

3 saw the patient and has a note

4 timed at 6:40 a.m.?

5 A I don't recall the specifics.

6 Q Is there anything in the notes to

7 indicate that any physician saw this patient

8 from the time after Dr. may have seen

9 him on January 22nd until the morning of

10 January 23rd?

11 MR. : Asked and answered.

12 You went through this before.

13 MR. OGINSKI: His answer was no,

14 correct?

15 MR. : Correct.

16 Q I am going to return back to the

17 failure to thrive, Doctor.

18 At any time before January 22nd,
19 in other words, from January 16th to January
20 22, , had you formed any opinion with a
21 reasonable degree of medical probability
22 about Mr. 's life expectancy?

23 MR. : Can I hear that
24 question back, please?

25 (The requested portion was read

TOMMER REPORTING, INC. (212)684-2448

130

1 , M.D.
2 back by this reporter.)
3 A Well, we were concerned about his
4 chronic illness and the fact that he had not
5 rebounded from his surgery and we were
6 grappling with that problem and I was very
7 distinct about that. I don't remember
8 specifically whether we addressed longevity.
9 His chronic illness was certainly of
10 considerable concern.

11 Q Other than the concern that you
12 mentioned, had you formulated any type of
13 opinion as to, you mentioned longevity, how
14 much longer he could be expected to live,
15 assuming his condition does not improve?

16 A I cannot recall specifically.

17 Q Did you form any opinion during
18 this same time frame, January 16th up until
19 January 22nd, as to the patient's, you used
20 the term longevity, or his life expectancy
21 assuming his condition improved?

22 A No.

23 Q Would you agree, Doctor, that a
24 failure to thrive without a pathologic
25 diagnosis does not mean that his life

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 expectancy would be less than it ordinarily

3 will be? Would you agree with that?

4 A No.

5 Q Why not?

6 A Well, it's unusual to have a

7 failure to thrive in someone who presumably

8 undergoes, a, quote, successful operation,

9 and I have certainly seen those patients not

10 do well and have, I believe, shortened life

11 expectancies for reasons that are unclear.

12 I can't explain it.

13 Q Is there any literature that

14 you're aware of that addresses this

15 particular issue regarding patients who fail

16 to thrive after cancer surgery?

17 A I am not aware of specific

18 literature. Every cancer surgeon has seen

19 it.

20 Q Did you have any discussions with

21 either the patient or the patient's family

22 members about the concern involving his

23 chronic illness and what it might mean for

24 him in the long-term?

25 A We had discussions about his

TOMMER REPORTING, INC. (212)684-2448

132

1 , M.D.

2 failure to thrive. I don't believe we

3 addressed the issue of survival.

4 Q Any survival issue, would that

5 relate not only to his inability to thrive,

6 but would that also relate to chances of

7 recurrence of bladder cancer?

8 A Well, there is a whole host of

9 variables.

10 Q Such as what? Give me an idea.

11 A His failure to thrive, his

12 urinary infections, his urinary diversion,

13 which is not a normal bladder, his prior

14 history of DVTs, his diabetes, his general

15 -- general condition, all of which

16 collectively would impact his life

17 expectancy.

18 Q Since Mr. 's death on

19 January 23, up until the present time

20 have you formulated an opinion as to whether

21 his life expectancy would have been

22 decreased had he continued to fail to

23 thrive, assuming that he did not die of the

24 pulmonary embolism?

25 A Yeah.

TOMMER REPORTING, INC. (212)684-2448

133

1 , M.D.

2 Q What is that current opinion?

3 A He would have suffered a

4 premature death had he continued to fail to

5 thrive.

6 Q What do you base that conclusion

7 on, Doctor?

8 A My experience.

9 Q Assuming the pulmonary embolism
10 did not cause his death, what is it about
11 the failure to thrive that you feel would
12 have caused premature death?

13 A Again, it's one of these
14 diagnoses that you can't explain, but his
15 chronic infection, his inability to eat, his
16 weight loss. The whole syndrome. I've seen
17 it before.

18 Q How many times?

19 A Fortunately it's uncommon.

20 Q The infection, as of the last
21 hospital admission was that being treated
22 adequately in your opinion?

23 A Yes.

24 Q Addressing the inability to eat
25 issue. When we talked about the methods in

TOMMER REPORTING, INC. (212)684-2448

2 which to provide the patient with nutrition,
3 assuming the other methods were employed to
4 give the patient nutrition, would your
5 answer be the same, that he would still have
6 continued to fail to thrive?

7 A Yes. He could eat.

8 Q What else about urinary diversion
9 would have contributed in your opinion to
10 his premature death, assuming he went on his
11 way without any effect of the pulmonary
12 embolism?

13 A He had an insult to his kidneys
14 previously. He had these chronic infections
15 in his urinary pouch. There was no sign
16 that these would not recur. He had never
17 properly learned to urinate and use his
18 urinary diversion well, like most patients.
19 Things were not going well.

20 Q Were there alternative treatments
21 that would have been available to him
22 assuming he had progressed along the route

23 you just mentioned to address those things,
24 whether it be a kidney transplant or other
25 methods, to take care of those things?

TOMMER REPORTING, INC. (212)684-2448

135

1 , M.D.

2 A Yes, but that's speculation.

3 Q Would it be fair to say, Doctor,
4 that the conclusion you reached --

5 A He would have never received a
6 kidney transplant.

7 Q Since you bring it up, tell me
8 why.

9 A He has a history of cancer. He
10 has a urinary diversion that would make it
11 difficult. He has a history of infection.
12 His general condition. It's very unlikely
13 he would be accepted on a transplant list.

14 Q What was the cause for the
15 chronic infections?

16 A I don't know.

17 Q All the cultures came back

18 negative, right?

19 A That's not true.

20 Q I'm sorry. I shouldn't say that.

21 I'll rephrase the question.

22 The antibiotic therapy he was
23 receiving to address the urinary infections,
24 am I correct that that did not prevent the
25 infections from recurring?

TOMMER REPORTING, INC. (212)684-2448

136

1 , M.D.

2 A Correct.

3 Q Did you at any time determine why
4 he was getting these recurrent infections?

5 A No.

6 Q Was there any suggestion by any
7 of the other doctors who cared for him as to

8 why he was getting these recurrent

9 infections?

10 A No.

11 Q How would the recurrent

12 infections have affected his overall general

13 health, assuming everything else to be the

14 same?

15 A Well, a chronic infection makes

16 one ill, it causes one to lose weight, it

17 causes one not to have a good appetite, it

18 diminishes one's energy, it makes one

19 depressed.

20 Q If we assume that for each

21 urinary infection that he had, that he was

22 treated appropriately for that, can you also

23 then assume that the infection would go away

24 for a period of time?

25 A I did not see the infection go

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 away for any significant length of time

3 since the man's operation.

4 Q When you say significant period

5 of time, what period or range of time are

6 you referring to?

7 A When we cure an infection, we

8 would like it not to come back at all.

9 Certainly a number of months. That was

10 never achieved.

11 Q What was the longest period of

12 time for which Mr. was free of any

13 type of recurrent infection?

14 A I don't recall specifically.

15 Q Can you approximate?

16 A Well, it's a little bit more than

17 a month.

18 Q If each of his infections were

19 treated appropriately, assuming again

20 embolisms did not cause his death, would you

21 agree that your conclusion that he would

22 suffer premature death, would you agree that
23 that would be different or that it would not
24 apply? Assuming he were appropriately
25 treated for each of the infections.

TOMMER REPORTING, INC. (212)684-2448

138

1 , M.D.

2 A No.

3 Q Can you give me a time frame or a
4 time estimate as to how premature you would
5 expect to see his death assuming the fact
6 that he would fail to thrive?

7 MR. : I object to form.

8 MR. OGINSKI: I'll rephrase it.

9 Q You told me a few moments ago
10 that assuming Mr. continued to
11 fail to thrive and assuming he did not die
12 of his pulmonary embolism, that you expected
13 that he would suffer premature death.

14 Can you give me any type of time
15 frame in which you would expect to see that
16 premature death?

17 A No.

18 Q Would you agree that giving such
19 a time frame would be speculation?

20 A Yes.

21 Q Did you speak with Dr.
22 after this patient died?

23 A No.

24 Q Did you speak with Dr. after
25 the patient died to discuss the course of

TOMMER REPORTING, INC. (212)684-2448

139

1 , M.D.

2 events leading to his death?

3 A I never spoke to Dr. that I
4 recall.

5 Q Did you speak to Dr. after
6 the patient died about the events leading to

7 his death?

8 A No.

9 Q Was Dr. present for the

10 code?

11 A Yes.

12 Q Did you ever speak to him about

13 the cause of this patient's death after the

14 code was performed?

15 A I don't recall.

16 Q Did you ever speak to the

17 pathologist who performed the autopsy on

18 this patient?

19 A I did not.

20 Q Are you familiar with a procedure

21 known as an embolectomy?

22 A Yes.

23 Q What is an embolectomy?

24 A It's a surgical procedure to

25 remove blood clots from the pulmonary

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 artery.

3 Q Was there any discussion by any

4 of the doctors caring for Mr.

5 after he had been diagnosed with a pulmonary

6 embolism that he undergo an embolectomy?

7 A I don't recall.

8 Q Is there anything in the hospital

9 record to confirm or indicate or suggest

10 that an embolectomy was considered after the

11 diagnosis of bilateral pulmonary embolisms

12 were made?

13 A I don't believe so.

14 Q Do you have an opinion as you sit

15 here now as to whether an embolectomy would

16 have helped this patient in terms of

17 treating and addressing the pulmonary

18 embolism he was diagnosed with?

19 A Yes.

20 Q What is that opinion?

21 A It would have been of no help at
22 all.

23 Q Why is that?

24 A It rarely is.

25 Q What is that information based

TOMMER REPORTING, INC. (212)684-2448

141

1 , M.D.

2 upon?

3 A Experience.

4 Q Did any doctor to your knowledge

5 have any conversations with Mr. 's

6 family members to discuss the treatment

7 options for his pulmonary embolism once it

8 had been diagnosed on January 22nd?

9 A I don't recall specifically.

10 Q Did any doctor to your knowledge

11 have any conversation with Mr.

12 about the treatment options to treat the

13 pulmonary embolism?

14 A I don't recall that specifically.

15 Q Is there anything in the hospital

16 record that would tell you that a doctor did

17 in fact have a conversation with the patient

18 and discuss the various options available to

19 treat the pulmonary embolism?

20 A No, but that's what we were

21 planning when he coded.

22 Q I am only asking about anything

23 recorded in the record from the time that he

24 was diagnosed with the pulmonary embolism

25 until he died.

TOMMER REPORTING, INC. (212)684-2448

142

1 , M.D.

2 A No.

3 Q Based upon your knowledge of Dr.

4 's experience, was it your

5 understanding that Dr. was familiar
6 with the various treatment options of
7 treating a patient with a pulmonary
8 embolism?

9 A Yes.

10 Q The diabetes that you mentioned,
11 am I correct that this patient was a
12 non-insuline dependent diabetic?

13 A That's correct.

14 Q His diabetes was controlled by
15 medication and/or food?

16 A It was controlled by medication.

17 Q Doctor, I am going to show you a
18 final page of the autopsy report, which is
19 the heading "final comment".

20 I ask you to look at the last
21 paragraph, please (handing).

22 A (Witness perusing document.)

23 Q Can you read the last paragraph?

24 MR. : Out loud?

25 MR. OGINSKI: Yes.

TOMMER REPORTING, INC. (212)684-2448

143

1 , M.D.

2 MR. : There is no reason for

3 him to read it out loud. It's typed.

4 Q Does the note in the last

5 paragraph indicate that "there was no

6 evidence of residual carcinoma"?

7 A Yes.

8 Q It also makes mention that "the

9 lungs were congested and heart showed

10 biventricular dilatation".

11 What does that mean to you?

12 A That means the heart acutely

13 failed.

14 Q And there is also a description

15 of the pulmonary embolus. It's described as

16 "a large saddle-shaped pulmonary embolus".

17 What is the significance of that?

18 A That it blocked both branches of
19 the pulmonary artery.

20 Q Can you turn, please, to your
21 discharge summary, which is typed?

22 Specifically, Doctor, this is a
23 three page typed report, correct?

24 A Yes.

25 Q Did you dictate this report?

TOMMER REPORTING, INC. (212)684-2448

144

1 , M.D.

2 A I did not.

3 Q Who dictated this report?

4 A Generally it's a clerk.

5 Q A clerk dictates the report?

6 A Well, I am not sure of that.

7 Q Looking at this three page note,
8 Doctor, the last page has your name listed
9 there.

10 A Yes.

11 Q What does that tell you? It
12 gives you a date of February 1, , time
13 four p.m.

14 What does that tell you?

15 A It doesn't tell me anything.

16 Q How would this note get into this
17 patient's record?

18 A It's dictated.

19 Q Who dictates it?

20 A A clerk.

21 Q How does a clerk obtain the
22 information that's contained within this
23 note that has your name on it?

24 A From the medical chart.

25 Q Did you dictate this note?

TOMMER REPORTING, INC. (212)684-2448

145

1 , M.D.

2 A I did not.

3 Q If you had dictated it, how would
4 you know that you had dictated it?

5 A Well, for instance, operative
6 reports, you will see who dictates it. It
7 will say dictated by.

8 Q Let me ask the question
9 differently.

10 From time to time do you dictate
11 discharge notes on patients that you
12 discharge from the hospital?

13 A No.

14 Q Do the fellows or residents
15 dictate discharge notes?

16 A Usually not.

17 MR. : Off the record.

18 (Discussion was held off the
19 record.)

20 Q The individual or clerk that
21 generates this discharge note, do they speak
22 with the doctor who has treated the patient
23 during this admission?

24 A I doubt it.

25 Q At any time before this lawsuit

TOMMER REPORTING, INC. (212)684-2448

146

1 , M.D.

2 was started did you ever receive a copy of
3 this patient's discharge report?

4 A Well, I read it when he was
5 discharged, after the event. I had to sign
6 it.

7 Q The copy that you sign, where
8 does that go?

9 A It's done on computer.

10 Q You sign it on the computer or
11 indicate by some method that you read it and
12 agreed with it?

13 A I signed it.

14 Q Does your electronic signature
15 appear on this discharge note?

16 A I'm not sure of what an

17 electronic signature is.

18 Q When you click sign --

19 A Let me.

20 Q Go ahead, Doctor.

21 A It can't get into the chart

22 unless I sign it.

23 Q Turn, please, to the second page

24 of the discharge note.

25 The paragraph titled

TOMMER REPORTING, INC. (212)684-2448

147

1 , M.D.

2 "course/other treatment", on the third line

3 down it says "on 1/22 patient had passed out

4 in bed and was found to be clammy, with

5 blood pressure 98 over 60."

6 Do you know where this individual

7 obtained the information that the patient

8 was clammy at that time?

9 A That's recorded in the nurse's
10 note.

11 Q Which nurse's note are you
12 referring to? The five p.m. note?

13 A Yes.

14 MR. : That word specifically
15 is in there.

16 Q Can you turn, please, to that
17 January 22, five p.m. nurse's note?

18 Just leave that page aside
19 because I am going to address that after.

20 A All right.

21 Q Four lines down, the first word,
22 do you see that?

23 A Yes.

24 Q Going back to the discharge note,
25 Doctor, the second paragraph again, where it

TOMMER REPORTING, INC. (212)684-2448

2 continues "he recovered rapidly, however,
3 and remained alert and without dyspnea,
4 chest pain, cough or tachycardia until his
5 subsequent fatal acute episode the following
6 day."

7 Do you know where this individual
8 obtained that information?

9 A I can't say specifically.

10 Q Do you know whether this clerk
11 who prepared this discharge note consulted
12 with any doctor who had been caring for Mr.
13 during the last few days before
14 his death?

15 A I don't know.

16 Q A few sentences further on in the
17 same paragraph it states "CT scan identified
18 bilateral pulmonary emboli. Patient's
19 condition suddenly deteriorated early
20 afternoon of 1/23. He became unconscious,
21 with full cardiopulmonary arrest. Attempts
22 at resuscitation were unsuccessful and the

23 patient expired on 1/12/ at 1:13 p.m.
24 Consent for autopsy was obtained personally
25 by me."

TOMMER REPORTING, INC. (212)684-2448

149

1 , M.D.

2 Do you see that?

3 A Uh-huh.

4 Q That last sentence, Doctor, does

5 that indicate that someone other than a

6 clerk was putting this information in this

7 note?

8 A I -- I don't know.

9 Q When the note reflects that "the

10 consent for autopsy was obtained personally

11 by me", does that refer to the clerk having

12 obtained the autopsy consent or something

13 else?

14 A It -- it -- it refers to the fact

15 that I requested an autopsy.

16 Q But the grammar would not be
17 correct for the clerk?

18 A Correct.

19 Q I am going to show you, Doctor,
20 the CAT scan result dated January 22,
21 which concluded that the patient had
22 bilateral pulmonary embolism had (handing).

23 Is there a time on that report as
24 to when that's reported?

25 A Do you have the next page?

TOMMER REPORTING, INC. (212)684-2448

150

1 , M.D.

2 Q Not in my copy I don't have. You
3 might have it in your chart.

4 MR. : Just for the use of
5 finding it, use my copy.

6 MR. OGINSKI: That's the second
7 page?

8 MR. : Yes.

9 A I don't see a time. It's
10 dictated on the 23rd, so I know it's done
11 after the scan.

12 Q Page 2 of the CAT scan report,
13 there is also a note here indicating that
14 there is a phone call with Dr. on
15 January 22nd, correct?

16 A Yes.

17 Q Does that note indicate what time
18 the phone call was made to Dr. ?

19 A Not specifically, no.

20 MR. OGINSKI: Off the record.

21 (Discussion was held off the
22 record.)

23 Q To your knowledge, Doctor, had
24 Mr. had an inferior vena cava
25 filter previously inserted during a prior

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 hospital admission?

3 A He did not.

4 Q I am going to show you a

5 discharge summary for Mr. 's

6 discharge from the hospital during his

7 admission from November 16, to December

8 4, . It's a three page note.

9 If you look at the second page of

10 that report, on my copy it's actually

11 highlighted, there is an indication in that

12 note that states that "the patient did

13 undergo placement of an inferior vena cava

14 filter."

15 Do you see that?

16 A Yes.

17 Q Is that an inaccurate statement?

18 A Yes. That's incorrect. He went

19 to have the procedure done and it was

20 cancelled by the radiologist (handing).

21 Q At any time after January 23,

22 did you have any additional
23 conversations with Mrs. at any
24 time up until the present day?

25 A You know, I believe so, but I

TOMMER REPORTING, INC. (212)684-2448

152

1 , M.D.

2 can't recall specifically. I believe we
3 discussed the autopsy findings.

4 Q Was that by telephone or she came
5 to the office or something else?

6 A I don't recall.

7 Q Do you have any independent
8 memory as to what it was you told her and
9 what she said to you during this
10 conversation?

11 A No.

12 Q Do you recall when that
13 conversation took place?

14 A No, not specifically.

15 Q Was there any discussion with Dr.

16 prior to performing the endoscopy

17 as to whether he would obtain biopsies

18 during the procedure?

19 A No, I don't recall that.

20 Q I am going to show you a note

21 which appears to be a three page note by a

22 physician on the GI service dated January

23 17th and ask you to look at that (handing).

24 I am going to specifically ask

25 you questions about the last paragraph on

TOMMER REPORTING, INC. (212)684-2448

153

1 , M.D.

2 the third page.

3 A (Witness perusing document.)

4 Q Have you had a chance to look at

5 that?

6 A Yes.

7 Q Is that a Dr. who wrote that

8 note, if you can tell?

9 A It appears to be, yes.

10 Q Does Dr. indicate in the

11 last part of the note that the patient was

12 going to be removed from the Coumadin

13 specifically because biopsies were

14 anticipated being done during the endoscopy?

15 A That's what he writes.

16 Q Thank you.

17 A (Handing.)

18 Q To your knowledge, Doctor, any

19 time a medication is ordered for the patient

20 does that get charged against their hospital

21 bill or to their hospital bill?

22 MR. : If you know.

23 MR. OGINSKI: I am going to

24 rephrase the question.

25 Q Any time a medication is

TOMMER REPORTING, INC. (212)684-2448

1 , M.D.

2 administered to the patient does that

3 medication then get charged to the patient?

4 A Not in every case, no.

5 Q Under what circumstances would

6 medication not be charged to the patient?

7 A Well, I believe there are some

8 global fees. For instance, in the operating

9 room. So there is an operating room fee

10 that will incorporate all of the

11 medications. The individual requirements in

12 the hospital I don't know.

13 Q Assuming there is no such global

14 fee for particular sets of medications, in

15 other words, unrelated to any surgery, would

16 you expect the hospital to bill or charge

17 for various medications?

18 A I don't know that.

19 Q Have you had on occasion

20 opportunities to review bills for patients

21 for their hospital admissions?

22 A I never have.

23 MR. OGINSKI: Off the record.

24 (Discussion was held off the

25 record.)

TOMMER REPORTING, INC. (212)684-2448

155

1 , M.D.

2 Q Doctor, I had asked you before

3 what would have happened to Mr.

4 had he continued to fail to thrive. I am

5 going to ask a slightly different question

6 now.

7 Had his failure to thrive

8 improved would you agree or can you

9 determine or render an opinion whether or

10 not he still would have had a premature

11 death?

12 A I don't know.

13 Q Thank you, Doctor.

14 (Time noted: 2:00 p.m.)

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212)684-2448

156

1

2 ACKNOWLEDGEMENT

3

4 STATE OF)

5 : SS

6 COUNTY OF)

7

8 I, , hereby certify that

9 I have read the transcript of my testimony

10 taken under oath in my deposition of

11 February 11, ; that the transcript is a

12 true, complete and correct record of my

13 testimony, and that the answers on the

14 record as given by me are true and correct.

15

16

17 _____

18

19

20 Signed and Subscribed to

21 before me, this ____ day

22 of _____, .

23

24

25 _____
Notary Public, State of

TOMMER REPORTING, INC. (212)684-2448

1

2 INDEX

3 WITNESS

4

5 EXAMINATION BY PAGE

6 MR. OGINSKI 5

7 oOo

8 INDEX OF EXHIBITS

9 PLAINTIFF'S DESCRIPTION PAGE

10 1 Inpatient medical records
11 for January 16,
admission 5

12 oOo

13

14

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212)684-2448

171

1

2 CERTIFICATE

3

4 STATE OF)

5 : SS

6 COUNTY OF)

7

8 I, , a Shorthand

9 Reporter and Notary Public within and for

10 the State of do hereby certify:

11 That , the witness

12 whose examination is hereinbefore set forth,
13 was duly sworn by me and that this
14 transcript of such examination is a true
15 record of the testimony given by such
16 witness.

17 I further certify that I am not
18 related to any of the parties to this action
19 by blood or marriage and that I am in no way
20 interested in the outcome of this matter.

21 IN WITNESS WHEREOF, I have hereunto
22 set my hand this 14th day of February, .

23

24

25

TOMMER REPORTING, INC. (212)684-2448