

0001

1
2
3
4
5
6
7
8
9
10
11

SUPREME COURT OF THE STATE OF NEW YORK
COUNTY OF QUEENS
- - - - -x
and
Plaintiff,
-against-
, M.D.,
, PLLC,
and HOSPITAL,
Defendants.
- - - - -x

12
13

New York, New York
October 3, 2007
11:15 A.M.

14
15

16
17
18
19
20
21
22

EXAMINATION BEFORE TRIAL of
, M.D., one of the Defendants in the
above-entitled action, held at the above
time and place, taken before Gretchen A.
Milton, a Notary Public of the State of
New York, pursuant to Order and
stipulations between Counsel.

23
24

* * *

0002

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16

APPEARANCES:

LAW OFFICES OF GERALD M. OGINSKI,
L.L.C.
Attorneys for Plaintiff
25 Great Neck Road
Great Neck, New York 11021

BY: GERALD M. OGINSKI, ESQ.

, LLP
Attorneys for Defendants
, M.D.
, PLLC

New York, New York 1007
BY: , ESQ.

LAW OFFICES OF , LLP
Attorneys for Defendant
Hospital

18

BY: _____, ESQ.

19

20

21

22

* * *

23

24

25

0003

1

2

STIPULATIONS

3

4

IT IS HEREBY STIPULATED, by and among the attorneys for the respective parties hereto, that:

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0004

1

2

by Rule 3116, C.P.L.R., and shall be controlled thereby.

4

5

The filing of the original of this deposition is waived.

6

7

8

9

10

11

12

13

14

15

16

17

18

19

MR. OGINSKI: Please mark these as Plaintiff's Exhibits 1 and 2. (The office record of Dr.

20
was

for the patient

21 hereby marked as Plaintiff's Exhibit 1
22 for identification, as of this date.)

23 (The Hospital chart was
24 hereby marked as Plaintiff's Exhibit 2
25 for identification, as of this date.)

00

1
2

3 one of the Defendants herein, having first
4 been duly sworn by the Notary Public, was
5 examined and testified as follows:

6 EXAMINATION BY

7 MR. OGINSKI:

8 MR. OGINSKI: Counsel for the
9 Defendant, Dr. , has
10 indicated, off the record, he will
11 accept service for Dr. at the
12 appropriate time.

13 MR. : So stipulated.

14 Q. Please state your name for the
15 record.

16 A.

17 Q. Please state your address for the
18 record.

19 A. , New
20 York .

21 Q. Good morning, doctor.

22 A. Good morning.

23 Q. Do you perform urethroplasty?

24 A. Yes.

25 Q. In your career, since you have

00

1 , M.D.
2 been in private practice, can you estimate
3 how many urethroplasties you have
4 performed up until the present time?

5 A. A dozen.

6 Q. What is a urethroplasty?

7 A. A urethroplasty is a repair of a
8 urethral stricture.

9 Q. Are you familiar with the term
10 known as buccal mucosa urethroplasty?

11 A. Yes.

12 Q. What is that?

13 A. That is when they use a graft of
14 skin from the mouth to put in the urethra
15 to replace the strictured area.

16 Q. Is there a subspecialty of
17 urology that would actually perform
18 urethroplasties on a regular ongoing
19 basis?

20 MR. : Objection to the
21 form.

22 You can answer over objection, if
23 you know, doctor.

24 MR. OGINSKI: Everything is only
25 if you know.

0007

1 , M.D.

2 A. It can't be answered the way it
3 was asked.

4 Q. If a patient of yours needed to
5 be sent to a specialist for reconstructive
6 urethroplasty, and for whatever the reason
7 you chose not to perform it, who would you
8 typically send the patient to?

9 MR. : In 20 ?

10 MR. OGINSKI: Yes.

11 MR. : Over objection, you
12 can answer.

13 A. There are certain people that
14 have a particular expertise in the area.

15 Q. Can you tell us who? If you
16 recall, can you tell me the names of some
17 of those people that you would send such a
18 patient to?

19 A. Sure.

20 Q. Go ahead.

21 A. If I were to refer a patient, I
22 would probably use Dr. ,

23 .

24 Q. Do you know where Dr.
25 practice is?

0008

1 , M.D.

2 A. Yes.

3 Q. Where?

4 A. Hospital.

5 Q. Are there any other physicians
6 with similar qualifications or similar
7 credentials who you would feel comfortable
8 sending a patient to, to perform a
9 urethroplasty?

10 MR. : In 20 ?

11 MR. OGINSKI: Correct.

12 Q. Doctor, all these questions today
13 are going to be related to the treatment
14 time period of 20 until and unless I
15 indicate otherwise.

16 A. Okay.

17 Q. So going back to the previous
18 question, can you give me any other names
19 of physicians that you would feel
20 comfortable referring to with the same
21 type of qualifications or credentials as
22 Dr. has?

23 MR. : He is not asking
24 about the procedure. He is asking
25 about the relationship.

0009

1 , M.D.

2 A. I like Dr. 's approach,

3 his ability to do this type of a
4 procedure.
5 Q. Am I correct that when you
6 treated _____, you never referred
7 him to the type of specialist like
8 Dr. _____ at any time while he was
9 under your care?

10 A. Yes. Correct.

11 Q. Can you tell me, from the time
12 that you went into private practice, after
13 completing your medical training, up until
14 the present time, how many UroLume stent
15 insertions you would say you have done?

16 A. Several dozen.

17 Q. Can you be any more specific than
18 "several dozen"?

19 A. If 12 is a dozen, several dozen
20 would be more than 30 or 40.

21 Q. Less than 50?

22 A. Perhaps.

23 Q. Are you familiar with the term,
24 "urethral stricture"?

25 A. Sure.

0010

1 _____, M.D.

2 Q. Can you tell what that term
3 means?

4 A. It's a stricture of the urethra.

5 Q. Can you be any more specific as
6 to what a stricture is?

7 A. A stricture is a narrowing.

8 Q. Can you tell me generally what
9 the etiology is of a urethral stricture?

10 A. No.

11 Q. Are there common etiologies that
12 you are familiar with, from most common to
13 least common, that you would be able to
14 describe for me?

15 A. There are many known etiologies.

16 Q. Can you tell me what you would
17 consider to be the most common cause for
18 urethral stricture?

19 MR. _____ : That is a general
20 question?

21 MR. OGINSKI: Yes.

22 MR. _____ : Objection, but if
23 you can, answer it.

24 A. There are many common causes of
25 stricture.

0011

1 _____, M.D.

2 Q. Can you give me examples of the
3 most common ones?

4 A. Some of the more common ones
5 would be trauma or infection.

6 Q. At any time while you were
7 treating _____, did you ever come

8 to have the opinion, or did you come to
9 the conclusion, that Mr. had
10 suffered some type of trauma to his penis
11 and his urethra?

12 A. In his case, what the cause of
13 the urethral stricture was, I don't
14 recall.

15 Q. Can you make a conclusion as to
16 its etiology?

17 MR. : Objection.

18 MR. OGINSKI: Let me ask the
19 question a different way.

20 Q. At any time while you were caring
21 for Mr. , did you ever determine that
22 the reason for his urethral stricture
23 disease was infectious in origin?

24 A. As I previously stated, I did not
25 come to the conclusion as to the precise

0012

1 , M.D.
2 etiology of his stricture.

3 Q. Am I correct, doctor, that in the
4 course of your career, you have had
5 occasion to treat many urethral
6 strictures?

7 A. Correct.

8 Q. Would you feel comfortable --

9 MR. OGINSKI: Withdrawn.

10 Q. And over the course of your
11 career, doctor, have you become familiar
12 with the treatment methods available to
13 treat urethral strictures?

14 MR. : Objection to the
15 form.

16 A. Yes.

17 Q. Would it be fair to say that in
18 your medical career, and medical school,
19 and during your residency, and in your
20 postgraduate training, that you learned
21 how to treat urethral strictures?

22 A. Yes.

23 Q. What is a urethrotomy?

24 A. A urethrotomy is the term used to
25 describe opening the stricture.

0013

1 , M.D.

2 Q. Is it a surgical procedure?

3 A. It is a surgical procedure.

4 Q. How many urethrotomies have you
5 done in your career?

6 A. Well over a thousand.

7 Q. Doctor, do you have an opinion,
8 to a reasonable degree of medical
9 probability, as to how many urethrotomies
10 a 35-year-old male with recurrent
11 stricture disease would need before being
12 sent to specialist for a urethroplasty?

13 MR. : Objection to the
14 form. Rephrase.
15 Q. Do you have an opinion, doctor,
16 within a reasonable degree of medical
17 probability -- and by the way, all of my
18 questions whenever they are asking for
19 your opinion, are always to within a
20 reasonable degree of medical
21 probability -- with respect to a
22 35-year-old male, how many recurrent
23 urethrotomies or repeat urethrotomies such
24 a patient can have, before he needs to be
25 sent to the specialist for a

0014

1 , M.D.
2 urethroplasty?
3 MR. : Objection to the
4 form. Over the objection, if you want
5 to stand on the question, he can
6 answer.
7 You are presuming that there
8 comes a point where the patient does
9 need have to a urethroplasty after
10 repeat urethrotomies.

11 MR. OGINSKI: Correct.
12 Q. Is there -- let's go to this
13 specific patient and talk about treating
14 strictures.

15 MR. OGINSKI: Withdrawn.
16 Q. What is a UroLume stent, doctor?
17 A. A UroLume stent is a device
18 designed to prevent recurrence of the
19 stricture.

20 Q. How does it do that?
21 A. It basically prevents the
22 stricture from restricting.
23 Q. Before placing a UroLume stent,
24 are there certain indications, that you
25 must be aware of, that the patient must

0015

1 , M.D.
2 have in order to determine whether this
3 particular patient is an appropriate
4 candidate for this particular stent?

5 A. Yes.
6 Q. Tell me what those indications
7 are.

8 A. The indications are variable
9 depending on the particular case.
10 Q. Can you give me generally what
11 the indications are for the use of the
12 UroLume stent?

13 A. In general, when a patient has
14 several recurrent strictures in a
15 particular position of the urethra where
16 it would be worth placing such a stent.

17 Q. Are there other indications that

18 would require the use of or, which could
19 recommend the use of, a UroLume stent?

20 A. Where there are cases, for
21 instance, of urinary retention secondary
22 to prostatic hypertrophy.

23 Q. Are there any other indications?

24 A. Those would be it for many cases.

25 Q. Did Mr. _____ have evidence of

0016

1 _____, M.D.

2 urinary retention secondary to prostatic
3 hypertrophy?

4 A. No.

5 Q. Doctor, in learning how to use
6 the UroLume stent, did you have occasion
7 to read the manufacturer's instruction
8 manual at some point?

9 A. It was many years ago, but, sure
10 there are some instructions involved prior
11 to using the device.

12 Q. Were there other types of stents
13 similar to the UroLume stent that were
14 available to you in 20 _____ ?

15 A. Not that I'm aware of.

16 Q. In the course of your training
17 and during your career, have you been
18 exposed or had experience using other
19 types of stents, other than the UroLume
20 stent?

21 MR. _____ : In the same time
22 frame?

23 A. Not personally.

24 MR. OGINSKI: Yes.

25 Q. Where did you learn how to you

0017

1 _____, M.D.

2 use the UroLume stent?

3 A. I can't tell you where I was at
4 the time when I learned to use it, but it
5 wasn't in my residency, but in some
6 manner, somewhere or other, somehow that
7 came about. I did learn how to use the
8 stent. When there is a device in urology
9 that is commonly used, one is obligated to
10 learn how to use these things when they
11 are available.

12 Q. Let me narrow the question.

13 MR. _____ : Listen to the
14 question. He's asking for a time
15 frame now.

16 Q. While doing your residency, did
17 you learn to use the UroLume stent?

18 A. I don't think they were available
19 while I was in residency.

20 Q. After you were in private
21 practice, at some point, did you learn
22 these stents were now being used; is that

23 correct?

24 A. Yes.

25 Q. In order to learn how to use it,

0018

1 , M.D.

2 did you take a seminar, a continuing
3 medical education seminar? Did you see an
4 exhibit? Did a company rep come to you
5 and explain to you that it was now
6 available and tell you about it? How was
7 it that you came to learn how to use this
8 device?

9 A. I can't recollect specifically
10 the circumstances under which I learned to
11 use it.

12 Q. Have there have been occasions
13 when --

14 MR. OGINSKI: Withdrawn.

15 Q. Have there been times, during the
16 time you have used the UroLume stent, that
17 you have had a company rep present in the
18 room with you at the time you have used
19 it?

20 A. Yes.

21 Q. What was the purpose of your
22 having a company rep present at the time
23 you used that device?

24 A. I mean there are several reasons
25 that someone might be present.

0019

1 , M.D.

2 Q. Can you tell us how many times a
3 rep has been present when you used the
4 UroLume stent?

5 A. No.

6 Q. Was it more than ten?

7 A. I don't know.

8 Q. More than 20?

9 A. I don't know.

10 Q. Can you give me any sort of
11 estimate?

12 A. I don't keep track of that;
13 however, the reps are there --

14 Q. However often they are there, are
15 there occasions that they are there when
16 there is a particular reason for them
17 being present?

18 A. Yes, sure.

19 Q. What is the reason --

20 A. There are several reasons. One
21 might be, for instance, if a stent device
22 doesn't work properly, they could provide
23 a new one for the hospital.

24 Q. Have you ever had an occasion
25 when you have encountered a stent that

0020

1 , M.D.

2 didn't work properly?
3 A. All devices --
4 Q. I'm talking about a UroLume
5 stent.
6 A. I can't tell you that it has ever
7 happened with a UroLume.
8 Q. Have you ever had a company rep
9 assist you, guiding you, in the placement
10 of a UroLume stent?
11 A. No. The rep is not there to
12 assist in surgery. Reps aren't there to
13 assist in surgery.
14 MR. : Off the record.
15 (Discussion held off the record.)
16 Q. Are there times when you have
17 asked for assistance, either as a verbal
18 assist or written assistance, from a
19 manufacturer's rep in the insertion and
20 placement of a UroLume stent?
21 MR. : Over objection to
22 the form, it is asked and answered,
23 but, doctor, you can answer.
24 A. I think it's asked and answered.
25 MR. : Off the record.
0021
1 , M.D.
2 (Discussion held off the record.)
3 (A recess was taken.)
4 MR. : What is the answer,
5 doctor?
6 THE WITNESS: Please read the
7 question back.
8 (The requested portion of the
9 record was read.)
10 Q. Do you want to answer the
11 question?
12 MR. : Over objection, you
13 can answer.
14 A. When the rep is in the room, they
15 often offer advice, sure.
16 Q. Other than offering advice, have
17 you specifically asked for assistance or
18 information from the company rep during
19 the placement of a UroLume stent?
20 MR. : Let me make an
21 objection to the form, to the word,
22 "assistance." Are you asking did the
23 doctor ask the rep to physically
24 participate in the operation?
25 MR. OGINSKI: In any fashion.
0022
1 , M.D.
2 MR. : I am not sure what
3 you are at getting with this, but if
4 you want to stand on the question, you
5 can answer over objection.
6 A. There is, as I said, conversation

7 going on sometimes. I can't give you a
8 specific incident or time where I asked
9 for anything specific.
10 MR. : Listen to the
11 question. He's not asking about
12 conversations. He's asking if you
13 ever asked the rep to participate in
14 the procedure. That's the question.

15 THE WITNESS: No.
16 Q. What is Dr. 's
17 speciality?

18 A. He's a urologist.

19 Q. What is a cystoscope?

20 A. A cystoscope is the device used
21 when you look inside the bladder with a
22 scope.

23 Q. Can that procedure be done in
24 your office?

25 A. Yes.

0023

1 , M.D.

2 Q. Am I correct that it can also be
3 done in a hospital setting?

4 A. It can be done in the hospital
5 setting.

6 Q. In a urethrotomy, typically, is
7 the stent placed through the urethra and,
8 a after period of time, the skin overgrows
9 the actual stent?

10 A. No.

11 Q. How does the stent actually stay
12 in place?

13 A. Well, after the stent is placed,
14 there is a reepithelization over the
15 stent.

16 Q. What is reepithelization? Can
17 you tell me what that means?

18 A. The layer lining the urethra has
19 to grow over the stent.

20 Q. So what is to prevent the stent
21 from moving?

22 A. The union of the lining.

23 Q. In of 20 , did you
have a
24 choice of using any other type of stent to
25 place in Mr. , other than the UroLume

0024

1 , M.D.

2 stent?

3 A. No.

4 Q. On 10, 20 , when you
placed

5 one or more UroLume stents into Mr.

6 at Hospital, was a company rep
7 in the room at that time?

8 A. I don't recall.

9 Q. If a company rep had been present

10 in the room, would you customarily have
11 made a note of that person's presence in
12 the room?

13 A. No.

14 Q. Would you, in general, make a
15 note of that somewhere in the written
16 portion of patient's hospital record, an
17 indication that a rep was present in the
18 room?

19 A. No.

20 Q. Is there anything that might
21 assist you in refreshing your recollection
22 as whether to or not the company rep was
23 present in the operating room on 10,
24 20 , when you were putting in the UroLume
25 stent?

0025

1 , M.D.

2 A. I don't know.

3 Q. Is there anything -- any notes,
4 or a diary, or a calendar that you use on
5 a regular basis -- in which there may be a
6 notation that was made about a particular
7 individual from the company being present
8 in the room during the procedure?

9 A. No.

10 Q. To your knowledge, does anyone at
11 Hospital keep track of company
12 reps that enter the operating room?

13 A. In what sense?

14 Q. If they come in --

15 MR. OGINSKI: Withdrawn.

16 Q. Do you know of anyone who keeps
17 track of other participants to the
18 surgery, such as a company rep, who may be
19 present in the operating room?

20 A. I'm not aware.

21 Q. In the course of your review of
22 the patient's chart from
23 Hospital, did you see any notation about a
24 company rep being present in the operating
25 room on 10th?

0026

1 , M.D.

2 MR. : Look at the chart in
3 order to answer the question.

4 A. I don't recall any such notation.

5 Q. Did you have a particular
6 individual from the company that made the
7 UroLume stent who you were familiar with?

8 MR. : Like the rep who got
9 him the stents?

10 MR. OGINSKI: Yes.

11 A. We deal with different reps.

12 Q. In 20 , who did you deal with?

13 A. There were several different reps
14 we dealt with.

15 Q. Do you remember any of their
16 names?
17 A. Their names? Sure.
18 Q. Who?
19 A. .
20 Q. What company did Mr. work
21 for?
22 A. American Medical Systems.
23 Q. Is he still a rep for American
24 Medical Systems, as far as you know?
25 A. As far as I know.

0027

1 , M.D.
2 Q. Does he still come to your office
3 periodically?
4 MR. : Over objection to
5 the form.
6 You can answer.
7 A. Sure.
8 Q. Was Mr. ever present in
9 the operating room when -- during the
10 insertion of a UroLume stent?
11 MR. : Objection to the
12 form. You are not talking about the
13 case?
14 MR. OGINSKI: No. This is in
15 general.
16 A. Yes.
17 Q. Were there any other company reps
18 whose names you recall who were ever
19 present in the operating room during a
20 UroLume stent insertion?
21 A. I don't recall.
22 MR. OGINSKI: I may ask for the
23 rep's contact information at a later
24 time.
25 MR. : We will take all

0028

1 , M.D.
2 requests under advisement. I will
3 certainly ask you to put it in
4 writing.
5 MR. OGINSKI: Sure.
6 Q. Doctor, during the 20, 20
7 procedure that you performed on Mr.
8 at Hospital, was a company rep
9 present during the insertion of the
10 UroLume stent?
11 A. I don't know.
12 Q. Is there anything in your notes,
13 or anywhere else, that would refresh your
14 m as to whether or not someone was
15 present from the company?
16 A. Nothing --
17 MR. : On 20th?
18 MR. OGINSKI: Correct.
19 A. (Continuing) Nothing that I saw.

20 Q. Do you have an independent m
21 of Mr. as you sit here now?

22 A. Yes.

23 Q. Do you remember what he looks
24 like?

25 A. Generally.

0029

1 , M.D.

2 Q. Do you remember certain
3 conversations you had with him during the
4 course of the time he was under your care?

5 A. Not specifics, but I do remember
6 talking to him, sure.

7 Q. Did you ever meet Mr. 's
8 wife --

9 A. I don't know.

10 Q. -- ?

11 A. I don't remember.

12 Q. Did you ever meet with any other
13 friends or family member of Mr. ,
14 other than himself and, possibly, his
15 wife?

16 A. Not that I recall.

17 Q. When you would examine a patient
18 in your office, did you have a particular
19 assistant or someone in there with you,
20 other than yourself?

21 A. Not typically.

22 Q. When you would perform a
23 procedure in the office, would you
24 typically have an assistant present in the
25 room to either help you, or to observe, or

0030

1 , M.D.

2 just to be there?

3 A. It's possible.

4 Q. If you had an assistant helping
5 you, would you expect to make a note of
6 that in your notes about who was present
7 for the procedure?

8 A. No.

9 MR. : You are talking
10 about an in-office procedure?

11 MR. OGINSKI: Yes.

12 Q. Let's talk about the UroLume
13 stent, doctor.

14 What are the indications for use
15 of the UroLume stent?

16 MR. : Objection to the
17 form.

18 Q. When you are using a UroLume
19 stent, is it important to measure the size
20 of the patient's stricture in order to
21 determine whether or not the UroLume stent
22 is appropriate for that particular
23 patient?

24 A. Yes.

25 Q. Why?

0031

1 , M.D.

2 A. Because there are different
3 stents and -- I am sorry -- there are
4 different sized stents that might be
5 appropriate.

6 Q. Are you aware of the
7 manufacturer's instructions or guidelines
8 that tell you the indications for the size
9 of the stricture in which the UroLume
10 stent can be used?

11 MR. : Objection to the
12 form of the question.

13 You can answer over my objection,
14 if you want to stand on the question,
15 Jerry.

16 MR. OGINSKI: Okay.

17 A. Is the question you are asking me
18 am I aware how large a stent you need for
19 a particular sized stricture?

20 Q. Yes.

21 MR. OGINSKI: Let me rephrase the
22 question.

23 Q. Does the manufacturer put out
24 information that says: In order to use
25 the stent, the stricture may be no longer

0032

1 , M.D.

2 than "X" centimeters?

3 A. You would have to show me the
4 literature for me to make a comment on it.

5 Q. Are you aware of any literature
6 or documents that are put out by the
7 manufacturer of the UroLume stent that
8 say: In order to use the stent, you
9 should use it only for patients whose
10 strictures are of this size or less?

11 MR. : I want to place on
12 the record a continuing objection to
13 this line of questions concerning
14 literature put out by the
15 manufacturer, just for admissibility
16 purposes.

17 Go ahead, if you know, if you
18 familiar with the literature, you can
19 answer.

20 A. Well, at the time that the
21 UroLume stent came out, there was lots of
22 discussion about it. So it was like we
23 were all aware at the time of which size
24 stent needs to used for which size
25 stricture.

0033

1 , M.D.

2 Q. Let me ask you this in a
3 different way, and I am sorry if I wasn't

4 clear: When the manufacturer comes out
5 with a product -- in this case it was
6 American Medical Systems --
7 A. Yes.
8 Q. -- they make the UroLume stent?
9 A. Yes.
10 Q. Is there certain information that
11 is put out for the benefit of doctors who
12 are going to use the product?
13 MR. : Objection to the
14 form.
15 A. Correct.
16 Q. Did you ever become familiar
17 with, or learn from the manufacturer,
18 their requirements for their use, their
19 indications for using this particular
20 stent?
21 To be specific, did you ever
22 learn or become aware of the manufacturer
23 saying: Look, if you want to use this
24 stent, this stent can only be used on a
25 patient whose stricture is of this size or

0034

1 , M.D.
2 less?
3 MR. : Over objection to
4 the form, doctor, you can answer.
5 A. Yes. The indications are
6 available.
7 Q. What is your understanding as to
8 what the manufacturer was indicating the
9 intended use for the UroLume stent was as
10 far as size of stricture?
11 A. The manufacturer's indications
12 are relayed in various manners, not always
13 written.
14 Q. I'm asking you only about written
15 material that you learned of or were you
16 made aware of.
17 A. Physicians don't use written
18 materials when making these
19 determinations, so I can't answer the
20 question the way it is being asked.
21 Q. I will ask the question in a
22 different way, doctor.
23 A. Okay.
24 Q. In the materials that the
25 manufacturer did put out, were you aware

0035

1 , M.D.
2 of anything in that material that said:
3 If you want to use this device, this
4 device is only to be used for patients
5 with a stricture of the following size,
6 and then they go ahead and list the sizes
7 they felt appropriate it to use the stent
8 for?

9 I'm now asking specifically about
10 the manufacturer's written documents.

11 MR. : Note my objection to
12 the question.

13 A. You can't separate out what
14 information they disseminate verbally from
15 what's in written form, so I can't answer
16 it.

17 Q. I'm not asking about verbal
18 communications.

19 I am asking: At any time when
20 you learned about the UroLume stent, did
21 you read anything written -- did you learn
22 from any written material put out by the
23 manufacturer about this device -- did you
24 ever learn what size strictures they were
25 telling you, meaning doctors, to use this

0036

1 , M.D.

2 device for in terms of the size of the
3 stricture?

4 MR. : Objection to the
5 form.

6 If you understand it, you can
7 answer.

8 A. I'm trying to explain this to
9 you. Written material is used in
10 conjunction with things learned from other
11 sources, so the question can't be answered
12 specifically.

13 MR. : Listen to the
14 question. All he is asking you now
15 is: Did you ever read something from
16 the manufacturer -- forget verbal
17 advice or your clinical experience --
18 he's asking you: Did you actually
19 read something that said: Don't use
20 the stent if the stricture is "X"
21 centimeters long, or only use the
22 stent with strictures this number or
23 numbers of centimeters or less?

24 MR. OGINSKI: Exactly.

25 MR. : I object to the

0037

1 , M.D.

2 question for a number of reasons.

3 If you can answer it in such a
4 form, you can tell him.

5 A. I can't remember everything I've
6 read. It's over ten years ago that the
7 stent was first introduced.

8 Q. What was your understanding as to
9 the size of the stricture that the patient
10 would need in order for you to use the
11 UroLume stent?

12 MR. : Objection to the
13 question, only because of the form.

14 You are assuming that there is a size
15 where it is indicated or
16 contraindicated.

17 Over objection, doctor, you can
18 answer.

19 A. Everything has to be
20 individualized for the particular patient.
21 People's urethras are different lengths.
22 Strictures are different lengths. If a
23 proper size stent couldn't be used over
24 the stricture, you may not want use it.
25 For instance, if the stricture is over

0038

1 , M.D.

2 four centimeters. But certainly
3 everything is individualized to a
4 particular case.

5 Q. Why wouldn't you use a stent for
6 a stricture over four centimeters?

7 MR. : Over my objection, I
8 think the response was that you may
9 not want to use it.

10 A. One example, one reason, might be
11 that the re-stricture rate might be too
12 high.

13 Q. Did you ever learn from reading
14 any material from the manufacturer of the
15 UroLume stent that the stent was not to be
16 used for strictures greater than three
17 centimeters?

18 A. No. I never read such material.

19 Q. Did you ever read any literature,
20 medical literature, published papers, or
21 medical texts, indicating that, with
22 respect to the use of the UroLume stent
23 for strictures greater three centimeters,
24 they were not to be used?

25 A. No.

0039

1 , M.D.

2 Q. In Mr. 's case, on

10,

3 20 , am I correct, that you actually
4 measured the length of the stricture?

5 A. Yes.

6 Q. You estimated its length to be
7 between three and a half to four
8 centimeters; correct?

9 A. Correct.

10 Q. You did that by visually
11 observing the stricture?

12 A. Yes.

13 Q. Did you have any tools or devices
14 available to you with which you could
15 measure the length of the stricture
16 accurately?

17 A. Yes.

18 Q. What device was available to you
19 to actually measure the length of the
20 stricture accurately?

21 A. The markings on the cystoscope.

22 Q. Did you in fact use that
23 particular device to measure Mr. 's
24 stricture on 10th?

25 A. Yes.

0040

1 , M.D.

2 Q. Can you tell me how you came to
3 that estimation instead of a more precise
4 number as to the length of the stricture?

5 MR. : Objection to the
6 form.

7 A. Yes.

8 Q. Please tell me.

9 A. There is no way to do it
10 absolutely precisely. It's always an
11 estimate.

12 Q. Were you aware of the
13 manufacturer's --

14 MR. OGINSKI: Withdrawn.

15 Q. Were you aware of any materials
16 that were put out by the manufacturer of
17 the UroLume stent regarding the age at
18 which a UroLume stent should not be used?

19 MR. : Over continuing
20 objection.

21 Over objection, you can answer.

22 A. No.

23 Q. Did you learn from the
24 manufacture's written materials that, with
25 respect to the use of a UroLume stent in

0041

1 , M.D.

2 patients under age 30, they are not be
3 used?

4 MR. : Again over
5 objection.

6 A. No.

7 MR. : Do you have the
8 particular year for the manufacturer's
9 material you are referring to, or are
10 you just asking generally?

11 MR. OGINSKI: Just in general.

12 MR. : Over my objection.

13 Q. Did you ever learn that the
14 manufacturer, when they came out with the
15 UroLume stent, did not have sufficient
16 data to support the use of the UroLume
17 stent in otherwise healthy males that were
18 under the age of 30?

19 MR. : Over objection.

20 A. Not that I recall.

21 Q. When was the last time that you
22 reviewed the instruction manual for the

23 UroLume stent?

24 A. I can't tell you that. I just
25 don't recall.

0042

1 , M.D.

2 Q. I'm asking going from the present
3 time all the way back to when you began
4 your private practice.

5 A. Well, probably ten years ago or
6 longer.

7 Q. What was your reason for
8 reviewing or reading the instruction
9 manual at that time?

10 A. It was around the time that I
11 first used the device.

12 Q. When you first used the device,
13 was it in an operating room setting, or
14 was it at some type of seminar where you
15 were learning to use the device?

16 A. The first time I used it was in
17 the operating room.

18 Q. Before actually using the UroLume
19 stent in the operating room, in terms of
20 actually learning how to use it, was that
21 done in a seminar setting where someone
22 was teaching, or was the company rep in
23 the room watching throughout the time you
24 were in operating room using it?

25 MR. : Or something else?

0043

1 , M.D.

2 A. Something else.

3 Q. What was it?

4 A. It involved reviewing the
5 materials to learn the indications and
6 gaining familiarity with how the device
7 works. This is usually done in the office
8 or clinic setting.

9 Q. What was your understanding,
10 doctor, of the size of the stricture the
11 patient needed to have in order to use a
12 UroLume stent?

13 MR. : Again, over
14 objection.

15 A. In general, if it was well
16 contained within the bulbar urethra and
17 less than four centimeters, it was an
18 appropriate choice for the patient with
19 recurrent stricture.

20 Q. Now, you raised an issue when you
21 answered a question a few minutes ago --

22 MR. OGINSKI: Withdrawn.

23 Q. If the patient's condition
24 exceeded the manufacturer's requirement --

25 MR. : Are you talking

0044

1 , M.D.

2 about stricture length?

3 MR. OGINSKI: Yes.

4 Q. -- about whether or not you could
5 use a UroLume stent, were there still
6 instances where you felt it would be
7 appropriate to use a UroLume stent,
8 despite anything the manufacturer may have
9 had said in their instruction manual or
10 guidelines?

11 MR. : I have an objection
12 to the form, but go ahead and answer.

13 A. It's always possible that the
14 representatives have information that is
15 not available in printed company
16 literature.

17 Q. Was there anything you learned
18 about in the year 2004 or 20 that
19 changed your knowledge about the use or
20 indications for use of the UroLume stent?

21 A. Sure.

22 Q. What did you learn in that time
23 period that changed your knowledge about
24 the UroLume stent and indications for its
25 use?

0045

1 , M.D.

2 A. As with all surgical procedures,
3 always, the more familiarity you have with
4 certain procedures, the greater the
5 knowledge you have about the particular
6 procedure and how the device should be
7 used.

8 Q. I am asking specifically: If you
9 can tell me, what specific knowledge did
10 you learn in the year 2004 or 20 that
11 you didn't know in the year 2002 or 2003
12 or earlier?

13 A. I don't know specifically. It's
14 just the knowledge I gained over time.

15 Q. Did the size of the stricture --

16 MR. OGINSKI: Withdrawn.

17 Q. Did the manufacturer's
18 recommendations about the size of the --
19 about the size of the patient's
20 stricture -- change in the year 2004 or
21 20 , before you performed the procedure
22 on Mr. in of 20

?

23 MR. : Over objection, you
24 can answer.

25 You are asking about the

0046

1 , M.D.

2 manufacturer's recommendations?

3 MR. OGINSKI: Right.

4 A. I can't --

5 MR. : Over objection.

6 A. (Continuing) I can't answer that.
7 I'm not at liberty to comment on whatever
8 the manufacturer's particular suggestion
9 was at that time.

10 Q. I am only asking if you knew or
11 if you were told that this manufacturer's
12 recommendations had changed, based on
13 anything the company reps had told you in
14 the year 2004 or 20 .

15 A. No. I was not told about
16 anything.

17 Q. Did you have an understanding
18 from any published literature --

19 A. No.

20 Q. -- that the indications for using
21 the UroLume stent had changed in the year
22 2004 or 20 compared to years earlier?

23 A. Not that I'm aware.

24 Q. How about a patient's age, how
25 did that affect the use or your decision

0047

1 , M.D.

2 to use a UroLume stent, if at all?

3 A. It may have affected it.

4 Q. How?

5 A. In very young patients, you may,
6 when putting in an implantable device,
7 have to adjust for how much longer it has
8 to stay in there.

9 Q. Was that something of concern to
10 you such that you may not want to put it
11 in a young patient?

12 A. Well, there have been reports of
13 particularly young patients and pain and
14 discomfort or...

15 Q. When you say, "particularly young
16 patients," can you be specific as to the
17 age you are referring to?

18 A. For instance, I would never put
19 one in a teenager.

20 Q. Can you tell me any more
21 specifically a range of ages of patients
22 that you consider to be young patients?

23 MR. : In terms of the use
24 of a UroLume stent?

25 MR. OGINSKI: Correct.

0048

1 , M.D.

2 A. Every case has to be judged on an
3 individual basis, but in the teens and
4 twenties, you want to may consider other
5 options.

6 Q. Such as what?

7 A. Such as, well, there is a whole
8 paradigm when you are presented with
9 stricture. For instance, depending on the
10 size and position of the stricture, the

11 treatment maybe something as simple as
12 dilation.

13 Q. Are you familiar with the term
14 known as "an off-label use"?

15 A. Yes.

16 Q. What does that mean to you?

17 A. The FDA will generally set the
18 guidelines for the particular medication,
19 for instance. Yet, in many instances,
20 there are desirable effects that aren't
21 particularly outlined in their packaging
22 inserts, and many medications are used for
23 other purposes based on the other effects
24 they might have.

25 Q. Are there instances where you

0049

1 , M.D.

2 have used the UroLume stent in a patient
3 where you felt there were indications for
4 using it, and it did not exactly correlate
5 with the manufacturer's requirements, if
6 you felt a patient would benefit from the
7 stent?

8 MR. : Over objection, you
9 can answer.

10 A. No.

11 Q. Have you ever used the UroLume
12 stent in an off-label manner?

13 A. No.

14 Q. Is it your opinion, doctor, that
15 on each occasion you have used the UroLume
16 stent, you have done so, using it,
17 according to the manufacturer's
18 recommendations and the FDA guidelines?

19 MR. : Over objection to
20 the form.

21 A. To my knowledge, yes.

22 Q. When you insert a UroLume stent,
23 is it your intention, and hope, and desire
24 that the stent does not move and does not
25 migrate?

0 0

1 , M.D.

2 A. Yes.

3 Q. Are there occasions when the
4 stent has been known to move or migrate?

5 MR. : As a general
6 proposition now?

7 MR. OGINSKI: Yes.

8 A. Yes, I have seen... I have heard
9 of that happening, yes.

10 Q. How does that happen?

11 A. There are several proposed
12 mechanisms. It's thought that prior to
13 epithelialization, it's possible that the
14 stent could move or migrate. There are
15 cases where the patient has been

16 catheterized and that has moved the stent.

17 Q. Before epithelization?

18 A. Prior to -- prior to
19 epithelization.

20 Q. How long does it take for
21 epithelization to occur after placement of
22 the stent?

23 A. Epithelization may take up to
24 several weeks.

25 Q. Once there is epithelization, do

0 1 , M.D.

2 you know, either in your own practice or
3 from practice in the community, or from
4 the published literature, of instances
5 where a stent has migrated even though
6 there has been epithelization?

7 A. I don't know of such a specific
8 case after epithelization.

9 Q. In your experience, doctor, in
10 the number of times that you have inserted
11 UroLume stent, which you noted was may be
12 30 to 40 times, have you ever observed the
13 UroLume stent to move after you have
14 placed it?

15 A. Not to my knowledge.

16 Q. Let's talk about how you place a
17 UroLume stent.

18 Can you explain to me how the
19 process is accomplished and what you do?

20 A. Not every case is the same.
21 Would you like --

22 Q. In general.

23 A. In general, you visualize the
24 stricture. And often the stricture has to
25 be opened. Sometimes the stricture has

0 2 , M.D.

2 been previously opened. That's either
3 done prior to the procedure, or at the
4 time of the procedure.

5 Then the length of the stricture
6 is measured. And the appropriate size
7 stent is placed with a particular device
8 that comes from the manufacturer to place
9 the stent in the particular position under
10 direct vision.

11 Q. How do you know where to place
12 the stent?

13 A. The stent is placed across the
14 stricture.

15 Q. And the device that is used to
16 actually put the stent into the urethra,
17 is that when -- are you familiar with the
18 term, "discharging the stent"?

19 A. Yes.

20 Q. What is that? How does that

21 happen?

22 A. Basically that's the device
23 that's housing the stent. A scope is used
24 to place it. Using the scope, you to
25 place it directly through the device, and

0 3

1 , M.D.

2 there is a handle that is pressed that
3 discharges the device.

4 Q. When this stent is actually
5 loaded onto this device, it's coiled
6 tight; is that right?

7 A. It comes preloaded.

8 Q. Once it is discharged, then it
9 releases and springs out, opens up?

10 A. Yes.

11 Q. Is it ever acceptable, doctor, to
12 place this stent in any part of the penis?

13 A. I can't answer the question the
14 way it was phrased.

15 MR. OGINSKI: I will rephrase.

16 Q. You told me earlier that you
17 typically place the stent in the bulbar
18 urethra?

19 A. Correct.

20 Q. Anatomically, when going into the
21 penis from the head, there is a length of
22 tube or -- what you call that part that
23 you enter into the penis?

24 A. Meatus.

25 Q. Generally that's the length

0 4

1 , M.D.

2 before you reach the end of the penis;
3 correct?

4 A. Before, before you reach the
5 beginning of the penis, it's not the end,
6 the meatus.

7 MR. : Starting with the
8 distal end going back?

9 THE WITNESS: Right.

10 Q. Where does the urethra begin?

11 A. At the bladder.

12 Q. Where does it end?

13 A. The meatus.

14 Q. Where in the actual penis itself
15 is it appropriate to place a stent within
16 the length of the actual penis?

17 A. If you are referring to the part
18 of the urethra, if you are referring to
19 the pendulous or penile urethra, the
20 answer is: No, it would be never placed
21 in that position.

22 Q. If a doctor such as yourself
23 placed a UroLume stent within that
24 particular portion of the penis, would you
25 consider that to be a departure from good

0

5

1

, M.D.

2

and accepted medical cure?

3

A. No one would do that.

4

Q. To follow up, it would be a departure to put it there; correct?

6

A. If somebody did it, theoretically, yes.

8

MR.

: You are asking if a

9

doctor knowingly, okay, knowingly

10

placed it there intentionally, would

11

it be a departure?

12

MR. OGINSKI: Yes.

13

Q. Let's talk about treating strictures.

14

What are the methods that are commonly acceptable to treat a urethral stricture?

17

A. There are volumes written about this. It all depends on the size of the stricture, the position of the stricture, and there are many other factors involved. No general...

22

Q. Does the age of the patient play a role?

24

A. That may play a role.

25

6

1

, M.D.

2

Q. In treating a patient with a stricture, do you use any type of algorithm, or text, or literature to tell you, or a decision-making tree that says, if the patient does this, you do X, Y, Z; if he does that, you do A, B, C?

7

MR.

: Are you asking about

8

something in particular?

9

MR. OGINSKI: We can have it read

10

back.

11

(The requested portion of the record was read.)

12

Q. Do you have an algorithm you use to decide on what treatment to give to the patient who is suffering from urethral stricture?

17

A. There are algorithms that give you general guidelines. Depending on the actual circumstances, you make the appropriate adjustments.

21

Q. Where do you have that algorithm that you use?

22

A. In my brain.

23

Q. Are there any written algorithms

24

7

1

, M.D.

2

that you use, other than your own knowledge and experience?

3

A. No.

4

5 Q. What is the most common type of
6 treatment that is used to treat a urethral
7 stricture?

8 A. Dilation.

9 Q. That's something that you can do
10 in the office; correct?

11 A. Or the patient sometimes
12 self-dilates at home.

13 Q. Is that something you would teach
14 the patient to do?

15 A. That is possible, yes.

16 Q. After dilation, what is the most
17 common or most commonly accepted method
18 used treat a stricture?

19 A. Again, it depends on the actual
20 circumstances of the particular case.

21 Q. Let's start with medical
22 treatment.

23 What are the available treatments
24 for urethral stricture; nonsurgical
25 treatments?

0 8

1 , M.D.

2 A. There are, to my knowledge, no
3 highly effective medical treatments or
4 medications that treat strictures.

5 Q. What surgical procedures are
6 available to treat urethral strictures?

7 A. Dilation, urethrotomy, placement
8 of a urethral stent, and open
9 urethroplasty.

10 Q. In deciding which choice of
11 procedure to recommend to a particular
12 patient, is it important for you to know
13 whether or not this patient has a
14 recurrent stricture that you have treated
15 in the past?

16 A. Yes.

17 Q. Why is that important?

18 A. Because if one particular method
19 doesn't seem to work, you might want to
20 try something different.

21 Q. After stricture occurs the first
22 time, is the acceptable treatment, the
23 first acceptable medical treatment to
24 treat a stricture a urethrotomy?

25 A. Yes.

0 9

1 , M.D.

2 Q. If the stricture occurs a second
3 time, is it acceptable to do a repeat
4 urethrotomy?

5 MR. : Again, you are
6 speaking in general terms?

7 MR. OGINSKI: In general.

8 A. Yes.

9 Q. If a stricture recurs a third

10 time, is it appropriate to do a repeat
11 urethrotomy?

12 A. It may be.

13 Q. If there is a recurrence of the
14 stricture a fourth time, is it acceptable
15 to perform another, a repeat urethrotomy?

16 A. It may be.

17 Q. When is it no longer acceptable
18 to perform a repeat urethrotomy instead of
19 a different procedure?

20 MR. : If ever.

21 A. It's not general. It's --
22 there's no absolute on when not to do a
23 repeat urethrotomy.

24 Q. When is it appropriate to refer
25 to a specialist --

0 0

1 , M.D.

2 A. There is no absolute.

3 Q. Did Mr. need a urethrotomy
4 to cure his condition?

5 MR. : When?

6 Q. At any time when he was under
7 your care in 20 , did he ever --

8 MR. OGINSKI: Withdrawn.

9 Q. Am I correct that urethrotomy is
10 a temporary cure used for recurrent
11 stricture disease?

12 A. Not necessarily.

13 Q. In your experience, is it your
14 opinion that urethrotomies can be
15 permanent, can permanently cure recurrent
16 stricture disease?

17 A. I have seen many cases.
18 Absolutely.

19 Q. Are you also familiar with
20 occasions when repeat urethrotomies do not
21 cure recurrent stricture?

22 A. I'm familiar with those as well.

23 Q. At any time while caring for
24 Mr. , did you ever feel that
25 performing a uroplasty for his condition

0 1

1 , M.D.

2 would cure his physical condition?

3 A. Not at the time I was seeing him.

4 MR. : Note my objection to
5 form of the last question.

6 Q. In a 35-year old man, such as
7 Mr. , and I am asking a general
8 question, is it acceptable to place a
9 UroLume stent in order to treat a
10 recurrent stricture?

11 A. Yes.

12 Q. In a young man with good
13 erections, and good erectile function, is
14 it acceptable to place a UroLume stent to

15 treat recurrent stricture?
16 MR. : Define "young" in
17 that question.
18 Q. In a 30-year male with good
19 erections and good erectile capacity, is
20 it acceptable to place a UroLume stent in
21 order to treat recurrent stricture?
22 A. There is no absolute
23 contraindication.
24 Q. Is the answer yes?
25 A. The answer is there no absolute

0 2
1 , M.D.
2 contraindication.

3 Q. In an otherwise healthy male who
4 is 35 years of age, the fact that a
5 patient --

6 MR. OGINSKI: Withdrawn.

7 Q. When placing a UroLume stent,
8 what effect, if any, will it have on an
9 individual with good erections and with
10 good erectile capacity?

11 MR. : Objection to the
12 form. Again you are asking these
13 questions in a vacuum? You were not
14 referring specifically to Mr. ?

15 MR. OGINSKI: I am asking in
16 general sense, if he can tell me.

17 MR. : Rephrase.

18 Q. Is it necessary to have good
19 erectile capacity --

20 MR. : Are you repeating
21 the question?

22 MR. OGINSKI: I'm rephrasing.

23 Q. Does the insertion of a UroLume
24 stent in a 30-year-old male have an effect
25 on a person's ability to have an erection?

0 3
1 , M.D.

2 A. Not absolutely.

3 Q. Have you seen instances, have you
4 ever seen instances where it does?

5 A. No.

6 Q. Are there instances in the
7 literature that you are aware of where
8 there is an erectile problem as a result
9 of a stent placement?

10 A. I have seen patients who did not
11 have the ability to achieve an erection.

12 Q. Are you familiar with the phrase,
13 "the gold standard"?

14 A. Yes.

15 Q. What does that phrase mean to
16 you?

17 A. It is the generally accepted
18 practice.

19 Q. What is the gold standard for

20 treatment of recurrent stricture disease?

21 A. Urethrotomy.

22 Q. After a failed urethrotomy, what
23 is the next gold-standard treatment for
24 recurrent urethral strictures?

25 MR. : Over objection to

0 4

1 , M.D.

2 the form, you can answer, doctor.

3 A. There are several options.

4 Q. What are they?

5 A. Repeat urethrotomy, or
6 urethrotomy via a different method, or
7 urethrotomy followed by self-dilation, or
8 periodic dilation, or placement of a
9 UroLume stent, or an open urethroplasty.
10 There are many options available at this
11 time.

12 Q. At what point do you say: We are
13 going to do an open urethroplasty?

14 A. It all depends on the particular
15 case.

16 Q. Is there any particular reason --
17 MR. OGINSKI: Withdrawn.

18 Q. When treating Mr. , am I

19 correct, that you performed more than one
20 urethrotomy for his recurrent stricture?

21 A. Yes.

22 Q. After the second time you
23 performed a urethrotomy, when he came back
24 with additional complaints, is there any
25 particular reason why you did not send him

0 5

1 , M.D.

2 out to a specialist to treat his ongoing
3 condition?

4 A. Yes.

5 Q. What was the reason?

6 A. I have treated many cases, a
7 multitude of similar cases, and a second
8 urethrotomy often takes care of the
9 problem.

10 Q. When the second urethrotomy did
11 not take care of the problem and he
12 required a third procedure, is there a
13 reason why you did refer him out to
14 specialist to address the issue?

15 MR. : A specialist in

16 what?

17 MR. OGINSKI: In the field of
18 urology.

19 Q. Either a colleague of yours or
20 someone who has an additional specialty
21 such as Dr. .

22 MR. : Jerry, a specialist

23 for what? He has performed an open
24 urethrotomy. He does the stent

25 placement. He does the urethrotomy.

0 6

1 , M.D.

2 Why would he need to send him to a
3 specialist?

4 Q. After the second failed
5 urethrotomy for Mr. , did you perform
6 another urethrotomy, or did you perform an
7 open urethroplasty?

8 A. After the second failed and I had
9 urethrotomy, I believe Mr. a discussion in the office about various
10 options. At that point, the options
11 included another urethrotomy, performed by
12 a different methodology, placement of a
13 stent versus an open urethroplasty.

14 Q. When did you have that
15 conversation?

16 A. May I refer to the chart?

17 Q. Of course, and tell me the date
18 of that note, please.

19 A. May 12, 20 .

20 Q. What is in that note that you are
21 looking at or reading that refreshes your
22 recollection?

23 A. This was after the second
24 urethrotomy. Visually the patient had a

0 7 , M.D.

1 very slow stream.

2 Q. What did that suggest to you, if
3 anything?

4 A. That perhaps the second
5 urethrotomy had failed and the stricture
6 had recurred. At that point I discussed
7 options with him as I have outlined
8 before.

9 Q. Is there anything within your
10 note that indicates that you had a
11 discussion with Mr. about the
12 options?

13 A. Yes. Because we came up with a
14 plan.

15 Q. Other than writing, "Plan," and
16 actual words, "UroLume stent PG," is there
17 anything else in your notes that indicates
18 that you had such a conversation with
19 Mr. ?

20 MR. : Objection to the
21 form. He also told you what was
22 indicated to him by the patient.

23 MR. OGINSKI: I am asking if he
24 specifically wrote down anything about
25

0 8 , M.D.

1 the conversation.

2 MR. : Are you asking if
3

4 there's something in the note that
5 say: I had a conversation with him?

6 MR. OGINSKI: Yes.

7 MR. : That's a different
8 question.

9 Q. Is there anything in your note to
10 say that you actually had a conversation
11 with him about his options?

12 A. Well, when the plan is written.
13 The plan cannot be made without discussing
14 with the patient what the plan is. The
15 plan is not created in a vacuum. So this
16 was absolutely discussed, as is my custom
17 and practice. I discussed procedures, to
18 come up with a plan, in conjunction with
19 the patient.

20 Q. Is there anything in the note to
21 indicate what the actual options were that
22 you discussed with him, other than the
23 UroLume stent option?

24 A. They are not outlined.

25 Q. Is there anything in the note

0 9
1 , M.D.

2 that would indicate specifically that the
3 risks and benefits were discussed
4 regarding the treatment options?

5 A. As I previously explained, when a
6 plan is come up with, the risks of all
7 options and the benefits of all options
8 are discussed with the patient.

9 Q. Is there anything in your note to
10 indicate that you discussed the specific
11 risks and benefits with this patient?

12 MR. : Are you asking him
13 if he listed them in his note?

14 MR. OGINSKI: Or noted that he
15 discussed them.

16 MR. : Is there a note on
17 the risks and benefits? Do you have a
18 list that you wrote out?

19 THE WITNESS: No, there is
20 nothing listed here.

21 Q. Do you have an independent
22 m , as you sit here now, of that
23 conversation you had with him on May 12,
24 20 , regarding his options?

25 A. On that specific date, no.

0070
1 , M.D.

2 Q. Other than the plan to try the
3 UroLume stent, do you recall what you said
4 to him about the performance of open
5 uroplasty, of what you said and what he
6 said?

7 MR. : He is asking for an
8 independent recollection.

9 Q. I am not asking about custom and
10 practice. I'm asking if you have a
11 specific recollection --

12 A. No.

13 Q. Was Mr. alone or did he
14 have anybody with him at the time you had
15 this discussion?

16 A. I don't recall.

17 Q. Was anyone from your office in
18 the room at the time you had this
19 discussion?

20 A. I don't recall specifically.

21 Q. Did you customarily record your
22 discussions with patients concerning risks
23 and benefits or plans in any form, either
24 by audio recording, or video, or something
25 else?

0071

1 , M.D.

2 A. No.

3 MR. : Objection to the
4 form. He already told you what he
5 discussed in those areas.

6 Q. Regarding use of the UroLume
7 stent, did you record the conversation by
8 any means, audio or video, that you had
9 with the patient regarding use of the
10 UroLume stent?

11 A. No.

12 Q. Have you lectured to any
13 physicians about the use of UroLume
14 stents?

15 A. No.

16 Q. Have you ever contributed to any
17 portion of any textbooks regarding the use
18 of UroLume stents?

19 A. No.

20 Q. Have you done any research on
21 behalf of the company that manufactures
22 the device for them?

23 A. No.

24 MR. : Please read that
25 back.

0072

1 , M.D.

2 (The requested portion of the
3 record was read.)

4 MR. OGINSKI: Strike that.

5 Q. Have you ever performed research
6 on behalf of a manufacturer of some type
7 of device you use in your practice, over
8 the course of your career?

9 A. A device, no.

10 Q. Is there any type of product
11 where you have done research on behalf of
12 the manufacturer that made a product that
13 you use in your practice?

14 A. No.
15 Q. Doctor, when you were learning
16 how to use the UroLume stent, was there a
17 requirement by the manufacturer that you
18 take a course of study in order to learn
19 how to use the device?
20 MR. : Over objection, you
21 can answer.
22 A. I don't recall.
23 Q. Did you watch any videos made by
24 the company before using the device?
25 A. I don't recall.

0073

1 , M.D.
2 Q. Whenever it was that you learned
3 to use the device, did you see any videos
4 regarding use of the device?
5 A. I don't recall.
6 Q. When you actually started --
7 MR. OGINSKI: Withdrawn.
8 Q. What is prostatitis?
9 A. Prostatitis is --
10 Q. Again we are starting with in
11 general.
12 A. -- inflammation, pain in the
13 prostate, in some cases possibly more
14 serious than others.
15 Q. Turning to the notes on
16 Mr. , specifically, in 2003, you made
17 a diagnosis that Mr. was suffering
18 from prostatitis. If you would look at
19 the consult report dated December 2, 2003
20 please.
21 (Witness complies.)
22 A. Yes.
23 Q. The impression is that he had
24 prostatitis?
25 A. Yes.

0074

1 , M.D.
2 Q. What led you to conclude that he
3 had prostatitis at that time?
4 A. Because the prostate was, quote,
5 very tender.
6 Q. Was a urinalysis done before
7 coming to your conclusion on December 2,
8 2003?
9 A. Urinalysis is not necessary to
10 make that diagnosis.
11 MR. : He asked you whether
12 one was done: "Yes" or "no"?
13 THE WITNESS: I don't know.
14 Q. Is there anything in your notes
15 to suggest that a urinalysis was done
16 before coming to the conclusion that
17 Mr. was suffering from prostatitis
18 in December of 2003?

19 A. No.
20 Q. Where did you go to medical
21 school, doctor?
22 A. .
23 Q. From when to when?
24 A. I graduated in the class of
25 2000 -- sorry -- graduating class of .
0075
1 , M.D.
2 Q. You started medical school when?
3 A. I started medical school in 1981.
4 Q. What did you do after graduating
5 from ?
6 A. I did my residency.
7 Q. Where?
8 A. Two years of general surgery at
9 , followed by three
10 years of urology at Hospital
11 in New York.
12 Q. When did you complete your
13 residency training in urology?
14 A. .
15 Q. Did you do any type of fellowship
16 after completing your urology residency?
17 A. No.
18 Q. Did you do any additional
19 postgraduate training after completion of
20 your urology residency?
21 A. Are you talking about conferences
22 and --
23 Q. No.
24 MR. : Any further formal
25 training?
0076
1 , M.D.
2 MR. OGINSKI: Yes.
3 Q. I am asking about any further
4 formal training done in a hospital
5 setting, in accredited, approved programs,
6 other than a fellowship. I'm not talking
7 about CME training.
8 A. No.
9 Q. After completing your residency
10 in urology, did you go into private
11 practice?
12 A. Yes.
13 Q. Where did you do your
14 undergraduate studies?
15 A. .
16 Q. From when to when?
17 A. Graduating class of .
18 Q. You started what year?
19 A. .
20 Q. Now, going back to ,
21 did you go to for all four
22 years?
23 A. No.

24 Q. Tell me where you went for the
25 first couple of years before graduating

0077

1 , M.D.

2 from .

3 A. I went to school at

4 .

5 Q. When did you attend medical

6 school in ?

7 A. 19 to 19.

8 Q. After 19 you then transferred

9 to

10 A. Yes.

11 Q. -- where you continued from

12 to ?

13 A. Yes.

14 Q. When you graduated from medical

15 school, it was with a degree from

16 ?

17 A. Correct.

18 Q. Are you licensed to practice

19 medicine in the State of New York?

20 A. Yes.

21 Q. When did you become licensed?

22 A. I believe 19 .

23 Q. Are you board certified in any

24 field of medicine?

25 A. Yes. Urology.

0078

1 , M.D.

2 Q. When did you become board

3 certified in urology?

4 A. 19 .

5 Q. When you took the boards, what

6 board organization certified you?

7 A. American Board of Urologists.

8 Q. Am I correct that that board

9 examination consisted of a written and an

10 oral examination?

11 A. At separate times, yes.

12 Q. When you took the board's oral

13 examination, did you have to take it more

14 than once?

15 A. No.

16 Q. When you took the written part of

17 the examination, did you take that part

18 more than once?

19 A. No.

20 Q. Are you required to become

21 recertified in urology?

22 A. Yes.

23 Q. After how many years?

24 A. After ten years.

25 Q. Did you become recertified in

0079

1 , M.D.

2 20 ?

3 A. Yes.
4 Q. How long is that recertification
5 good for?

6 A. Until 20 .

7 Q. Are you board certified in any
8 other field other than urology?

9 A. No.

10 Q. Are you licensed to practice
11 medicine in any state besides New York?

12 A. No.

13 Q. Has your license to practice
14 medicine ever been suspended?

15 A. No.

16 Q. Has your license to practice
17 medicine ever been revoked?

18 A. No.

19 Q. Tell me the hospitals you are
20 currently affiliated with.

21 A. All of them?

22 Q. Yes.

23 A.

24 Hospital, ; Hospital, New
25 York; Hospital in .

0080

1 , M.D.

2 I think that's recently changed its name
3 to . It's
4 possible. Hospitals frequently change
5 names... , it's now

6 called Hospital in .
7 Hospital in .

8 Hospital in . Those
9 are the ones I can recall off the top of
10 my head.

11 Q. In 20 , when you were treating
12 Mr. , were your hospital affiliations
13 the same?

14 A. Yes.

15 Q. Currently, what is your hospital
16 affiliation with each of these facilities?
17 What is the nature of it?

18 A. I'm affiliated. I have
19 privileges.

20 Q. Are you an attending physician --

21 A. Yes.

22 Q. Are you an attending in the
23 Department of Urology?

24 A. Yes.

25 Q. Do you have privileges to perform

0081

1 , M.D.

2 surgery at any of these particular
3 hospitals?

4 A. Yes.

5 Q. At any time before the year 20 ,
6 were your privileges at any one of those
7 hospitals ever suspended, other than for

8 medical-record issues?

9 A. No.

10 Q. Were your privileges to admit and
11 treat patients at any of these hospitals
12 ever revoked for any reasons related to
13 patient care?

14 A. No.

15 Q. In the course of your career,
16 doctor, have you ever published anything
17 under your name?

18 MR. : Peer review
19 journals?

20 MR. OGINSKI: Correct.

21 A. Yes.

22 Q. How many articles have you
23 authored or coauthored?

24 A. I think it's .

25 Q. Were they done while in your

0082

1 , M.D.

2 residency?

3 A. Yes.

4 Q. Can you tell me, can you recall
5 the topic of either of those articles?

6 A. It's been so long, I can't, I
7 can't remember. I am sorry.

8 Q. Are they listed in your CV? Does
9 your CV list those two articles?

10 A. It may or may not.

11 Q. Does either of those articles
12 relate to the treatment of patients with
13 recurrent urethral stricture disease?

14 A. No.

15 Q. Have you published, as an author
16 or coauthor, any portions of textbooks in
17 the field of urology?

18 A. No.

19 Q. Have you given any lectures to
20 any national bodies of urologists at any
21 national conferences?

22 A. No.

23 Q. Have you ever testified before?

24 A. Yes.

25 Q. How many times?

0083

1 , M.D.

2 A. A few.

3 Q. Can you tell me what you mean by,
4 "a few"?

5 A. I have been asked to be an expert
6 on several occasions.

7 Q. On those occasions when you asked
8 to be an expert, have you --

9 MR. : When he has been
10 asked to be an expert?

11 MR. OGINSKI: When he has been
12 asked.

13 Q. -- have you testified in court as
14 an expert?

15 A. Yes.

16 Q. How many times?

17 A. Several times. It's not anything
18 I keep track of.

19 Q. You can tell me an estimate?

20 MR. : Can you approximate
21 how many times?

22 THE WITNESS: Five.

23 Q. When you testified as an expert,
24 was it on behalf of the patient, the
25 doctor, or someone else?

0084

1 , M.D.

2 A. Both.

3 Q. Can you give me breakdown on
4 that, if you remember, how many times you
5 testified for a patient, how many times
6 for the doctor or the hospital, or someone
7 else?

8 A. Well, I suppose more for a
9 patient with a complaint against the
10 hospital, but that wasn't always the case.

11 Q. Let me rephrase the question.

12 On any of the occasions that you
13 testified as an expert, was it testifying
14 in a medical malpractice matter?

15 MR. : Whether for a
16 patient or for the defense?

17 MR. OGINSKI: Yes.

18 A. Yes.

19 Q. In those medical malpractice
20 matters where you have testified as an
21 expert, how many times did you testify on
22 behalf of the patient or the plaintiff?

23 A. Twice I believe.

24 Q. And how many times on behalf of,
25 other than medical malpractice --

0085

1 , M.D.

2 MR. OGINSKI: Withdrawn.

3 Q. Have you ever testified on behalf
4 of another doctor or a hospital in a
5 malpractice matter?

6 A. Yes.

7 Q. How many times?

8 A. Once or twice.

9 Q. For those times that you have
10 testified on behalf of another doctor or
11 hospital, what types of cases were those?

12 A. Not urethral stricture.

13 MR. : Off the record.

14 (Discussion held off the record.)

15 A. (Continuing) Accident, medical
16 malpractice; that would cover all those.

17 Q. Other than the times when you

18 testified as an expert on behalf of one
19 side or another, have you had occasion to
20 testify as a defendant, similar to what we
21 are doing here today --

22 MR. : Over objection, you
23 can answer these questions.

24 Q. -- where you have been sued?

25 A. I have been to EBTs, yes.

0086

1 , M.D.

2 Q. Can you tell me how many times
3 you have been to an EBT in a case where
4 you have been involved as a person who has
5 been sued?

6 MR. : Again, over
7 objection.

8 A. I don't know.

9 Q. More than ten times or less than
10 ten time?

11 MR. : Over objection, you
12 can answer.

13 A. Less than ten.

14 Q. More five or less than five?

15 A. Less than five.

16 Q. When did Mr. first come to
17 see you for the very first time?

18 A. I believe it was December 2,
19 2003.

20 Q. What office did he see you in?

21 A. I believe it was the office at
22 .

23 Q. That is in ?

24 A. Yes.

25 Q. Over the course of time, did you

0087

1 , M.D.

2 continue to see him at that office?

3 A. To best of my recollection, yes.

4 Q. Can you tell me how it was that
5 you came to perform surgery on at

6 Hospital in or
of

7 20 , as opposed to any of the other
8 hospitals you were affiliated with at that
9 time?

10 A. Yes. I operate there commonly.

11 Q. What was it about
12 Hospital that made you choose to perform
13 the procedure there as to opposed to any
14 other hospital?

15 A. I can't tell you what went into
16 the specific decision-making process on
17 that particular occasion, but I perform
18 most of my surgeries at that hospital.

19 Q. Do you have any title at
20 Hospital, other than attending?

21 A. Yes.

22 Q. Any administrative title?

23 A. Yes.

24 Q. What is that?

25 A. I am .

0088

1 , M.D.

2 Q. When did you get that title?

3 A. Years ago.

4 Q. How many urologists are in the
5 department?

6 MR. OGINSKI: Withdrawn.

7 Q. In the year 20 , did you hold
8 that title?

9 A. Yes.

10 Q. What does that title confer upon
11 you upon in terms of administrative duties
12 and other things you are required to do as
13 ?

14 A. I sit on the medical board of the
15 hospital. I'm on certain committees. I'm
16 involved in the credentialing or
17 recredentialing of urologists applying for
18 privileges.

19 Q. How many urologists were
20 affiliated with Hospital in the
21 year 20 ?

22 A. Approximately .

23 Q. How long had you been the
24 as of 20 ?

25 A. Several years before that.

0089

1 , M.D.

2 Q. Do you hold that title --

3 MR. OGINSKI: Strike that.

4 Q. Do you have a similar title at
5 any of the other hospitals you are
6 affiliated with?

7 A. I have other titles.

8 Q. Are you at any
9 other hospital?

10 A. No.

11 Q. Are you , or
12 of any urology
13 department at any of the other hospitals
14 you are affiliated with?

15 A. No.

16 Q. Do you recall having a
17 conversation --

18 MR. OGINSKI: Withdrawn.

19 Q. Did you have a conversation with
20 in the year 20 that's not
21 concerned with your records?

22 MR. : After your last care
23 and treatment.

24 A. I don't recall the specific date.

25 Q. At some point after a request for

0090

1 records had been made to your office, did
2 you contact Mr. to see how he was
3 doing and what was happening with him?
4 MR. : Did he contact
5 Mr. as opposed to Mr.
6 contacting him?
7 MR. OGINSKI: Correct.
8 MR. : In the year 20
9 ?
10 MR. OGINSKI: Correct.
11 A. Again, I don't recall
12 specifically when, but I did have a
13 conversation with Mr. after my last
14 visit with him on .
15 Q. About how long did that
16 conversation last --
17 MR. OGINSKI: Withdrawn.
18 Q. Was it a conversation in person,
19 by telephone, or by some other means?
20 A. By telephone.
21 Q. Tell me how that conversation
22 came about.
23 A. Mr. had called the office
24 and I returned his call.
25 Q. Did you learn from him why he was

0091

1 , M.D.
2 calling your office?
3 A. Yes.
4 Q. What did he tell you?
5 A. He said he had called the office
6 to bring me up to date on what was going
7 on with him and to talk.
8 Q. Did he call and ask for copies of
9 his medical records?
10 A. I don't recall discussing
11 records.
12 Q. Did he advise you that someone on
13 his behalf had requested medical records
14 from your office?
15 A. I don't remember.
16 Q. Did he tell you why the medical
17 records had been requested from your
18 office?
19 A. No, not that I recall.
20 Q. Do you recall what season this
21 conversation was in? Was it winter,
22 spring, summer, fall?
23 A. I don't recall the particular
24 season.
25 Q. Were you in your office at the

0092

1 , M.D.
2 time you spoke to Mr. ?
3 A. Yes.
4 Q. Was it during normal working

5 hours?

6 A. I would think so.

7 Q. As a result of your conversation
8 with him, did you make notes of that
9 conversation?

10 A. No.

11 Q. When you have a conversation with
12 a patient, do you typically make a note in
13 the patient's chart indicating you have
14 spoken to the patient? Do you make a note
15 of what you spoke about?

16 MR. : Over objection. In
17 the circumstances when the patient is
18 no longer seeing him, or as a matter
19 of custom and practice?

20 MR. OGINSKI: I will rephrase.

21 Q. In general, when a patient under
22 your care calls you to discuss a
23 particular problem, when you speak to the
24 patient during office hours, would you
25 make a note in the chart of patient Jones

0093

1 , M.D.

2 called, that you spoke to him, and note
3 that this is what we said?

4 A. Not always.

5 Q. If a patient called to speak to
6 you outside of office hours, whether at
7 home or somewhere else, on the weekend,
8 are there times or instances when you
9 will, at a later date, make an entry in
10 the patient's chart saying that you spoke
11 to him and this is what you spoke to him
12 about?

13 A. Not necessarily.

14 Q. When you reviewed Mr. 's
15 office chart, did you see any notations
16 you made about a conversation with him
17 after of 20 ?

18 A. There is no note in the chart.

19 Q. Tell me what specifically
20 Mr. said to you about his condition
21 at the time of your conversation.

22 A. He told he had moved to ,
23 and that he had undergone some sort of
24 extensive surgery that took six or eight
25 hours. He couldn't give me more details.

0094

1 , M.D.

2 Q. Was there anything else that he
3 told you?

4 A. That was most of what we talked
5 about.

6 Q. Did he inform you that the
7 surgery involved, that the surgery was
8 something involving his urethra?

9 A. Yes.

10 Q. What, if anything, did you ask
11 him based on the information he gave you?

12 A. I asked him if -- there were
13 several things I asked him -- if the
14 doctor wanted to have any information from
15 me, or if he wanted his doctor to give me
16 a call so I could tell him what my
17 findings were.

18 I talked to him about, if he were
19 in New York, that I would happy to
20 continue on being his physician.

21 Q. What was Mr. _____ 's response
22 when you made these suggestions to him?

23 A. At the time he said, you know,
24 that's a good idea. I think you should
25 talk to the doctor. I will talk to you

0095

1 _____, M.D.
2 when I'm in New York. Yes, I would like
3 to see you.

4 Q. After that specific conversation,
5 did you speak to any of Mr. _____ 's
6 doctors who were treating him in _____ ?

7 A. No.

8 Q. Do you know who Dr. _____
9 is?

10 A. No.

11 Q. Have you seen records from a
12 urologist named Dr. _____ ?

13 A. No.

14 Q. Do you know a Dr. _____
15 at _____ ?

16 A. No.

17 Q. Have you seen or read anything
18 about him in the literature in the field
19 of urology?

20 A. No.

21 Q. Did you ever have any
22 communications, written or otherwise, with
23 Dr. _____ regarding Mr. _____ ?

24 A. Not that I recall.

25 Q. Did any physician ever request

0096

1 _____, M.D.
2 copies of Mr. _____ 's medical records
3 before you had this conversation with
4 Mr. _____ ?

5 A. I don't recall.

6 Q. After that conversation --
7 MR. OGINSKI: Withdrawn.

8 Q. I want to be clear, doctor: Did
9 that conversation with Mr. _____ take
10 place in the year 20 _____ ?

11 A. It was sometime after _____ 20,
12 .

13 Q. As you sit here now, do you
14 recall if that conversation took place in

15 20 --

16 A. I can't tell you the exact date.

17 Q. If I told you that that

18 conversation took place in ,

19 would that refresh your m ?

20 A. Again, I can't give you an exact
21 date. I just remember speaking to him one
22 time after the last time I had seen him.

23 Q. Did you ever talk to Mr.

24 again after that conversation?

25 A. Not after the conversation.

0097

1 , M.D.

2 Q. Did you receive any written

3 correspondence from Mr.

about his

4 ongoing condition after that conversation?

5 A. No.

6 MR. OGINSKI: We can take a break
7 here.

8 (A recess was taken.)

9 Q. Doctor, I want to talk about the
10 insertion of the UroLume stent in regard
11 to its placement in the bulbar urethra.

12 Does that cause pain or can it
13 cause pain?

14 MR. : Objection to the

15 form. Are you asking as a general
16 proposition? Is that what you are
17 asking?

18 Q. Generally, is it one of the side
19 effects of placement of the UroLume stent
20 in the bulbar urethra --

21 A. Yes.

22 Q. -- that it causes pain?

23 A. It could. There are many
24 different reasons for pain. Some patients
25 just, you know, have temporary pain after

0098

1 , M.D.

2 placement of a stent which gets better
3 over time.

4 Q. Are there occasions, that you are
5 aware of, where a patient who has had
6 UroLume stent placement in the bulbar
7 urethra experiences pain when they have
8 erections? Does that cause them pain?

9 MR. : Over objection to
10 the form, you can answer.

11 A. Yes.

12 Q. Is that type of pain any
13 different than the pain they might
14 experience after the insertion of the
15 stent?

16 A. No. The patient complains of the
17 same type of pain.

18 Q. You told me earlier that you did
19 not insert a stent in young man typically,

20 you said, in his teens or early twenties.
21 Are there --

22 MR. OGINSKI: Withdrawn.

23 Q. Of the 30 to 40 times you have
24 used the UroLume stent, how many times
25 have you inserted a UroLume stent in a

0099

1 , M.D.

2 male less than age 35?

3 A. I can't recall off the top of my
4 head.

5 Q. Are there other --

6 MR. OGINSKI: Withdrawn.

7 Q. In the times that you have
8 inserted a UroLume stent, have you ever
9 inserted a UroLume stent in a patient who
10 has been 35 years old, other than
11 Mr. ?

12 MR. : He was 37.

13 Q. Or about 35?

14 A. Yes.

15 Q. Are you aware of any literature
16 that supports the use of a stent for
17 recurrent urethral stricture --

18 MR. OGINSKI: Withdrawn.

19 Q. Are you aware of any literature
20 supporting the use of stents for recurrent
21 urethral strictures?

22 A. The actual indication for use of
23 the UroLume stent is specifically for
24 urethral stricture.

25 Q. Are you aware of literature that
0100

1 , M.D.

2 supports the use of a stent to treat
3 recurrent urethral stricture?

4 MR. : Objection. Any
5 literature?

6 MR. OGINSKI: Any article or
7 text.

8 MR. : Over my objection,
9 can you cite any particular article?

10 THE WITNESS: No.

11 Q. From the time --

12 MR. OGINSKI: Withdrawn.

13 Q. Do you have various textbooks on
14 the treatment of the urethra that you keep
15 either at home or in your office that you
16 consult and look at from time to time?

17 A. Yes.

18 Q. In addition to your textbooks, do
19 you also receive publications and medical
20 journals, such as The Journal of Urology
21 and other journals --

22 A. Sure.

23 Q. -- related to your field of
24 practice?

25 A. Yes.

0101

1 , M.D.

2 Q. Doctor, are there any specific
3 textbooks that you consider to be
4 authoritative in the field of urology?

5 MR. : Over objection to
6 the form, you can answer.

7 A. "Authoritative," is too general a
8 term.

9 Q. Are there textbooks that you
10 consult with, with regard to the use of --
11 with regard to the treatment of urethral
12 stricture that you deem to be
13 authoritative?

14 A. There's no one volume that is
15 authoritative on this particular subject
16 matter.

17 Q. Are there certain chapters of
18 certain textbooks that you consider to be
19 the standard textbooks or portions of
20 textbooks that you have used over the
21 course of your career, that you use from
22 time to time to review or consult with?

23 MR. : Over objection to
24 the form, you can answer. Do you have
25 portions, or specific areas, or

0102

1 , M.D.

2 chapters of textbooks that you use
3 that you consider to be authoritative
4 is what he's asking you.

5 This is a discovery deposition
6 so, over objection, you can answer.

7 A. There are textbooks in my office
8 that I consult from time to time.

9 Q. Are there specific textbooks that
10 you consult with that you consider to be
11 authoritative on the issue of treatment of
12 recurrent urethral stricture disease?

13 MR. : Over objection.

14 A. I don't recall considering any
15 one text to be authoritative on any
16 subject.

17 Q. Are there specific journals or
18 specific articles that you would consider
19 to be authoritative that deal with the
20 issue of treatment of urethral stricture
21 disease?

22 MR. : Over my objection,
23 you can answer.

24 A. There could be.

25 Q. When treating Mr. in the

0103

1 , M.D.

2 year 20 , did you consult any textbooks
3 for purposes of treating Mr. ?

4 A. Not that I recall now.
5 Q. Did you consult with or use any
6 journals or articles for purposes of
7 treating Mr. ?
8 A. For his specific treatment, not
9 that I recall.
10 MR. : Off the record.
11 (Discussion held off the record.)
12 Q. Are you aware of any medical
13 literature, doctor, indicating that a
14 second dilation and urethrotomy for
15 recurrent stricture disease has limited
16 value?
17 MR. : Objection to the
18 form.
19 Q. Are you aware of any medical
20 literature that says a second dilation and
21 urethrotomy has no long-term effect?
22 A. No.
23 MR. : Over objection, you
24 can answer. He's asking you if
25 somebody, somewhere, somehow published
0104
1 , M.D.
2 in the literature an article that
3 showed that stricture may recur after
4 a second urethrotomy.
5 MR. OGINSKI: That's not the
6 question.
7 MR. : Then rephrase.
8 Q. I'm asking whether you are
9 specifically aware of any literature that
10 addresses that issue.
11 A. Who knows? I may have read
12 something that showed that over the years.
13 It's possible.
14 Q. I'm not asking if it's possible.
15 Do you know --
16 A. I do not.
17 MR. : Can you cite any
18 specific literature that says that?
19 THE WITNESS: (Indicating).
20 Q. Are you aware of any medical
21 literature that indicates that a third
22 repeat dilation and urethrotomy has no
23 value?
24 A. I can't cite anything specific.
25 Q. Can the UroLume stent irritate
01
1 , M.D.
2 the bladder?
3 A. It shouldn't.
4 Q. Can it?
5 A. If it migrates into the bladder,
6 then theoretically it could.
7 Q. If it stays within its location
8 in the bulbar urethra, can it cause

9 irritation to the bladder?

10 A. It should not.

11 Q. Can a stent be uncomfortable?

12 A. Yes, it can be.

13 Q. You have told me that it can
14 cause pain.

15 Can stent use cause urinary tract
16 infection?

17 A. With any patient undergoing any
18 urological procedure, that patient is at
19 risk for developing a urinary tract
20 infection, so that's correct.

21 Q. Once you place a stent into a
22 patient, how often do you tell them to
23 come back to the office for follow-up?

24 A. It depends on the case.

25 Q. Typically what do you tell the

01

1 , M.D.

2 patient?

3 A. Usually the patient is seen in a
4 one- to two-week period of time.

5 Q. What is the purpose of seeing
6 them postoperatively in that time frame?

7 A. Any patient postoperatively
8 should be seen to ask them about their
9 condition, how do you feel, first and
10 foremost.

11 Q. After that one to two-week
12 follow-up period, when do you ask them to
13 come back for you to monitor the stent?

14 MR. : This is a general
15 question? You are not asking about
16 Mr. ?

17 MR. OGINSKI: Correct.

18 A. It's individualized. If a
19 patient doesn't feel it's a faint stream,
20 if it's strong, if he doesn't have any
21 other complaints, I might see him two
22 weeks later, a month later. It depends on
23 the particular patient and the
24 circumstances.

25 Q. Are you familiar something called

0107

1 , M.D.

2 a --

3 A. No.

4 Q. Are you familiar with a product
5 called ? Is that anything that
6 you aware of or that you use in your
7 practice?

8 A. Not that I recall.

9 Q. Have you done any studies
10 concerning a product known as ?

11 A. This is what? It's a type of oil
12 that's used?

13 Q. Might be. I don't know.

14 A. It sounds vaguely familiar.

15 Q. Nothing you recall?

16 A. I can't give you any specific
17 details.

18 Q. When you perform an open
19 urethroplasty to treat recurrent stricture
20 disease, does that cure the disease?

21 A. Could it cure the problem of
22 recurrent stricture? It can.

23 Q. When you perform open
24 urethroplasty for recurrent strictures --

25 A. Yes.

0108

1 , M.D.

2 Q. -- does the procedure itself cure
3 the condition?

4 MR. : In other words, are
5 you asking does it completely cure it,
6 meaning that the patient never has
7 another recurrent stricture?

8 Q. That you are aware of, that's
9 what I am asking about.

10 A. No, of course not --

11 Q. Can you tell me --

12 A. -- there are many, many
13 complications associated with the
14 procedure.

15 Q. Other than complications which
16 occur, can an open urethroplasty, does it
17 cure the recurrent stricture that the
18 patient has?

19 A. One, you can't separate the
20 complications from the procedure --

21 MR. : That is not the
22 question. He didn't ask you that.

23 Q. Tell me, when you say that there
24 are complications that can occur from the
25 procedure, what you are referring to?

0109

1 , M.D.

2 MR. : Meaning with an open
3 urethroplasty?

4 MR. OGINSKI: Correct.

5 A. Yes. Potential complications
6 include infection, prolonged
7 catheterization, bleeding, necrosis of the
8 graft, recurrence.

9 MR. : Recurrence of what?

10 THE WITNESS: Of the stricture.

11 A. (Continuing) Then there are other
12 associated complications particular to
13 each type of procedure, of which there are
14 a plethora for open urethroplasty
15 procedure.

16 Q. What do you do in an open
17 urethroplasty?

18 And again this is only relating

19 to recurrent stricture disease.

20 A. You usually remove that portion
21 of the urethra, the area -- it all depends
22 on the particular procedure -- and then
23 typically you reconstruct the urethra.

24 Q. You are familiar with the type of
25 procedure that actually removes a section

0110

1 , M.D.

2 of the urethra that's involved in the
3 stricture and then re-anastomose two
4 sections together; is that one type of
5 technique?

6 A. Sure.

7 Q. You are familiar --

8 A. Yes.

9 Q. Are there occasions when you have
10 performed a urethroplasty that you have
11 used that particular technique at any time
12 in the procedures, in the dozen or so of
13 these procedures you have performed?

14 A. Sure. I suppose I have done
15 that.

16 Q. When you use that type of
17 technique, do you use, does it --

18 MR. OGINSKI: Withdrawn.

19 Q. When performing that particular
20 technique, where you cut out the area that
21 has the recurrent stricture and then
22 anastomose the two ends of the urethra
23 together, does that cure a recurrent
24 stricture?

25 MR. : Objection. For the

0111

1 , M.D.

2 rest of the patient's life?

3 A. There may be a risk of a
4 recurrent problem that I am not aware of.

5 Q. I'm not asking about any other
6 problems. I am asking specifically about
7 recurrent stricture.

8 A. They can form new stricture.
9 Absolutely they are at risk.

10 Q. I'm not talking about a new
11 stricture. All I am talking about is the
12 place in the urethra, the part you
13 removed, and then attached together again.

14 MR. : Note my objection.

15 Q. If you are removing a part of the
16 urethra, you have to replace that; is that
17 true?

18 MR. : Note my objection.

19 Are you asking if the portion that has
20 been removed -- which is no longer
21 there -- can be reanastomosed and used
22 to create a new urethra?

23 MR. OGINSKI: Let me rephrase.

24 Q. Is the performance of open
25 urethroplasty considered to be a cure for

0112

1 , M.D.

2 recurrent stricture disease?

3 A. No. It's treatment.

4 Q. Is that treatment -- does it have
5 longer or better cure rate for recurrence
6 than urethrotomy?

7 MR. : In terms of a
8 recurrent stricture?

9 MR. OGINSKI: Correct.

10 A. It all depends on the particular
11 case. Every patient's treatment is
12 planned for the individual. There are
13 risks and benefits associated with each of
14 these procedures.

15 Q. In terms of long-term treatment
16 of a recurrent stricture, is an open
17 urethroplasty the gold standard in order
18 to treat recurrent stricture?

19 A. Not always.

20 Q. In some cases, could it be?

21 MR. : Over objection to
22 the form.

23 A. It is not generally --

24 Q. Are you saying that that
25 statement is inaccurate?

0113

1 , M.D.

2 MR. : Objection.

3 Q. Are there some instances in which
4 the performance of an open urethroplasty
5 has greater benefit than a performing a
6 repeat urethrotomy?

7 A. It may be at a certain point
8 where that inevitably is achieved.

9 Q. How do you know when you reach
10 that point?

11 A. Again, every patient is treated
12 individually and --

13 MR. : Let him finish.

14 Q. Are you finished?

15 A. I was going to say every
16 patient's treatment is individualized.

17 Q. What indications would you need
18 to see in order to be able to make a
19 determination as to whether or not
20 urethroplasty is the procedure of choice
21 rather than a repeat urethrotomy?

22 MR. : Objection to the
23 form. You are ignoring all other
24 possible modalities of treatment, such
25 as dilation, stent placement?

0114

1 , M.D.

2 MR. OGINSKI: Correct.

3 MR. : If you want to stand
4 on that question, he can answer. But
5 I have an objection to the form.

6 Q. What is the basis for the
7 decision to perform a repeat urethrotomy,
8 doctor, to treat recurrent stricture
9 disease?

10 Do you always have to go in
11 lockstep fashion starting with dilation,
12 then urethrotomy, then stent insertion,
13 then self-catheterization, then open
14 urethroplasty? Is that the formula that
15 you use to treat patients with this type
16 of disease?

17 MR. : Objection.

18 A. No. The treatment plan is always
19 individualized to the particular patient.

20 Q. Are there occasions, when you are
21 treating a specific individual, that you
22 keep off a particular treatment option and
23 go straight to something is that maybe
24 more invasive or significantly medically?

25 A. Sure, that's possible.

0115

1 , M.D.

2 Q. What sizes does the UroLume stent
3 come in?

4 A. I don't think I have a list of
5 all the different available sizes.

6 MR. OGINSKI: Again I should
7 rephrase.

8 Q. As a rule, in --

9 MR. OGINSKI: Withdrawn.

10 Q. All my questions relate to in or
11 about 20 . At that time, what sizes did
12 the UroLume stents come in?

13 A. At one point, they were available
14 as a three-centimeter stent, I believe a
15 two- and a two-and-a-half centimeter stent
16 as well.

17 Q. Is the UroLume stent --

18 MR. OGINSKI: Withdrawn.

19 Q. Is the use of the UroLume
20 stent --

21 A. You keep using that term. What
22 do you mean by use of a UroLume stent?

23 Q. Is a UroLume stent intended to be
24 used relieve urinary obstruction?

25 A. Yes.

0116

1 , M.D.

2 Q. Is that obstruction typically --
3 in order to use the UroLume stent, does it
4 have to be determined to be in the bulbar
5 urethra?

6 A. No.

7 Q. Are there other places that you

8 can put the UroLume stent which are
9 considered acceptable, other areas than
10 the bulbar urethra?

11 A. Yes. We reviewed this before.
12 They are accepted for use in treatment of
13 benign prostatic hypertrophy.

14 Q. Is it proper for the UroLume
15 stent to be used for strictures that are
16 greater than three centimeters in length?

17 A. Sure.

18 MR. : Note my objection.

19 It's already been gone over in the
20 deposition, Jerry. No offense, but we
21 spent a great deal of time on this at
22 the beginning of the deposition.

23 MR. OGINSKI: Okay.

24 Q. Is the UroLume stent supposed to
25 be placed distal to the external

0117

1 , M.D.

2 sphincter?

3 A. Not always. We previously
4 discussed placing it elsewhere.

5 Q. Since Mr. did have the

6 condition, as you told me, when it's used
7 to treat recurrent stricture, was it
8 intended to be used distal to the external
9 sphincter --

10 A. Yes.

11 Q. -- and proximal to the bulbar
12 scrotal junction?

13 A. Yes.

14 Q. Is the UroLume stent intended as
15 a temporary stent? Is it considered to be
16 a temporary measure?

17 A. No.

18 Q. Is it intended to be used as
19 initial treatment for bulbar urethral
20 stricture?

21 A. Absolutely.

22 MR. : Please note my

23 objection as to this entire line of
24 questions.

25 Q. What about when the patient comes

0118

1 , M.D.

2 in with a bulbar urethral stricture?

3 MR. : Recurrent, not the

4 first stricture?

5 MR. OGINSKI: Correct.

6 A. No, that would not be a good use.

7 Q. Is the UroLume stent intended to
8 be used for treatment of strictures
9 outside the bulbar urethra, related to
10 recurrent strictures?

11 A. No.

12 Q. I would like to ask you some

13 questions about --

14 MR. OGINSKI: Withdrawn.

15 Q. When performing a -- is a
16 dilation the same thing as a urethrotomy?

17 A. No.

18 Q. How are they different?

19 A. A dilation -- well, there are
20 many different ways to do dilation, but
21 the simplest way to explain it is that you
22 have to insert a device into the penis and
23 you gradually dilate -- you gradually
24 dilate the strictured area.

25 Q. How do you do a urethrotomy?

0119

1 , M.D.

2 A. A urethrotomy is done under
3 direct vision.

4 Q. In order to perform stent
5 insertion, is it true that you have to be
6 able to open up the stricture to at least
7 a 24-French catheter as the way to do the
8 insertion of the stent?

9 A. That's the ideal way, yes.

10 Q. If you could not dilate the
11 stricture to 24-French, would insertion of
12 a UroLume stent be contraindicated?

13 A. Not contraindicated, but it might
14 not work as well.

15 Q. If the stricture developed
16 externally, would it be contraindicated to
17 use a UroLume stent?

18 A. Yes.

19 Q. If the patient has an active
20 urinary tract infection, would the use of
21 the UroLume stent be contraindicated?

22 A. Yes.

23 Q. Why?

24 A. Well, you don't do any urological
25 procedure on a patient with an active

0120

1 , M.D.

2 urinary tract infection.

3 Q. Why not?

4 A. Because if there is already the
5 presence of infection, the patient could
6 become septic postoperatively.

7 Q. Let's talk about the risks of the
8 UroLume stent. Is -- I think you said
9 discharge was one of the possible risks;
10 correct?

11 A. Yes.

12 Q. What about postvoid dribbling; is
13 that one of the risks?

14 A. Yes.

15 Q. What causes that to occur,
16 postvoid dribbling?

17 A. It's been theorized that there

18 might be pooling in the stent itself.
19 Ultimately it gets better by itself over
20 time.

21 Q. Hematuria, is that a risk of
22 stent placement?

23 A. Yes.

24 Q. What about urgency?

25 A. Yes.

0121

1 , M.D.

2 Q. How do you define "urgency"?

3 A. Urgency could be defined many
4 different ways.

5 Q. How do you define urgency?

6 A. The need to go to bathroom as
7 reported by a patient.

8 Q. Is nocturia a potential risk with
9 the UroLume stent?

10 A. Not particularly.

11 Q. The risks we have just been
12 discussing, do most of them resolve
13 spontaneously?

14 A. Yes.

15 Q. What happens if the UroLume stent
16 is actually placed in the external
17 sphincter when it's being used to create
18 an operative stricture?

19 A. If the stent were placed across
20 the sphincter, it may prohibit function of
21 the sphincter.

22 Q. Can it cause incontinence?

23 A. It could.

24 Q. Is that ever a problem with
25 placement of the UroLume stent when it is

0122

1 , M.D.

2 used to treat recurrent stricture of the
3 bulbar urethra?

4 MR. : Asked and answered.

5 Note my objection again. We have gone
6 over this.

7 You can answer it again.

8 A. No.

9 Q. If the stent had -- I asked the
10 question about the stent being placed in
11 the penis before, but what actually
12 happens to the penis if the stent is
13 actually placed there?

14 MR. : Again over objection

15 to form. We discussed placement in
16 the penis. Is it the penile urethra
17 you are referring to?

18 MR. OGINSKI: Yes, thank you.

19 A. The stent would not be placed in
20 the penile urethra.

21 Q. If for some reason it is placed
22 in that location, what typically happens?

23 What can happen?

24 A. This is all theoretical. I have
25 never seen it placed there.

0123

1 , M.D.

2 Q. Have you read any literature
3 about having placed a stent --

4 A. No. Most literature tells you it
5 shouldn't be done.

6 Q. Can a UroLume stent that's
7 inserted into the bulbar urethra shorten?

8 A. I don't know anything about
9 shortening of stents.

10 Q. Is there a risk in the placement
11 of the UroLume stent where it can shorten?

12 A. The stent is supposed to go
13 longer.

14 (Indicating).

15 Than the stricture.

16 Q. I am going to get into that, but
17 I am asking now, specifically, is it able
18 to, if has one to, actually shorten?

19 MR. : Over objection to

20 the form, you can answer. Are you
21 asking about care and treatment once
22 it's placed?

23 MR. OGINSKI: Yes.

24 A. Further in there I think it can
25 contract a little bit.

0124

1 , M.D.

2 Q. If the stent actually contracts,
3 what happens to the stricture that it is
4 designed to cover it? Can you get a
5 recurrence?

6 A. Probably not because we put in a
7 device that is longer than the stricture
8 to account for that.

9 Q. What happens there? Can you be
10 more specific?

11 MR. : Objection to the

12 form.

13 A. I previously answered the
14 question.

15 Q. I will rephrase it.

16 For instance, if the stricture is
17 four centimeters long, how much longer a
18 stent do you put in, in order to cover
19 that stricture?

20 A. Probably I wouldn't treat a
21 stricture that long.

22 Q. Why not?

23 A. Once they get too long, it
24 gets... you may run into problems with it.
25 You have to use it cover too great an

0125

1 , M.D.

2 area, and there is a greater risk a
3 recurrence.
4 Q. What size stricture do you feel
5 that could occur with?
6 A. The size, I think the standard is
7 four centimeters or less.
8 Q. That is a standard --
9 A. Yes.
10 Q. Is that something that you read
11 that is written somewhere?
12 MR. : Over objection. You
13 started the deposition by going
14 through all this with the doctor.
15 Over my objection.
16 A. Sure. The standard is
17 established by the standard of care that
18 everyone goes by.
19 Q. Is that written down somewhere?
20 Did somebody document that when you use
21 these stents on patients, when placement
22 is done on the stricture in the patient,
23 that the placement can be used for strictures
24 of four centimeters?
25 MR. : Objection to the
0126
1 , M.D.
2 form. "Four centimeters or less," is
3 what he said.
4 MR. OGINSKI: I am trying to find
5 out where the standards are described,
6 how he ascertained the standard.
7 MR. : Over objection.
8 A. The device is used in that
9 fashion in general by urologists. That is
10 how the standard is established.
11 Q. I'm asking: Is there any written
12 documentation, either in the literature,
13 or in the manufacturer's instruction, a
14 manual or guidelines, or FDA guidelines,
15 or anything else -- that you are aware
16 of -- that sets that standard down on
17 paper?
18 A. Again --
19 MR. : Listen to the
20 question. He's specifically asking
21 you: Is there anything that is
22 contained within your office that sets
23 the standard down somewhere in written
24 fashion?
25 A. I can't cite a specific article.
0127
1 , M.D.
2 Q. Once a stent has been placed, can
3 you reposition it?
4 A. It all depends.
5 MR. : Objection to the
6 form.

7 Q. Once you place a stent, finish
8 the procedure, and the patient is
9 experiencing problems and comes back to
10 you and tells you they are having
11 problems, can you go in -- at a later
12 time, can you go back in, and reposition
13 that stent?

14 MR. : Objection to the
15 form.

16 A. That depends on the situation and
17 how long it's been in.

18 Q. Tell me what you mean by that.

19 A. If it's been a long period of
20 time and the stent has reepithelized, it's
21 difficult to move now.

22 Q. If the stent has reepithelized,
23 if you are going in to take a look, what
24 would you now see?

25 A. You would see reepithelization --

0128

1 , M.D.

2 Q. Would you see skin?

3 A. There is no skin inside the
4 urethra.

5 Q. Would you actually be able to --
6 if there was reepithelization of the
7 stent, would you be able to visualize the
8 actual stent?

9 A. It is always, again, highly
10 variable.

11 Q. Are there times when you can see
12 it and other times you are not able to see
13 it?

14 A. There are times, after a long
15 period, that it may be completely
16 epithelialized, yes.

17 Q. Are you aware of
18 contraindications by the manufacturer with
19 respect to repositioning the stent during
20 the same setting you have placed it in?

21 MR. : Objection to the
22 form.

23 Q. In other words, just to be sure
24 my question was clear: You do the
25 procedure. You put the stent in. Before

0129

1 , M.D.

2 finishing the procedure, you don't like
3 the place it's in.

4 Can you then move the position of
5 the stent at that point?

6 MR. : Over objection to
7 the form.

8 A. At that point, you may be able to
9 move it slightly, but it's difficult. I
10 mean once it's discharged, it's usually
11 pretty much set in that position.

12 Q. What makes it difficult to move?

13 A. It's way it's designed. The
14 device is not designed to be moved.

15 Q. In the number of cases where you
16 have used or inserted the UroLume stents,
17 putting aside Mr. for the moment,
18 have you ever had to remove a UroLume
19 stent in a patient who had the stent
20 placed there for an --

21 A. No.

22 Q. -- extended period of time?

23 A. No.

24 Q. Did you ever remove a UroLume
25 stent in Mr. ?

0130

1 , M.D.

2 A. Yes.

3 Q. Why did you remove Mr. 's
4 UroLume stent?

5 A. After the placement of the first
6 stents, his complaints were such that I
7 was concerned about the stents and
8 possible migration. That's stents: That
9 should be plural.

10 MR. OGINSKI: Please read that
11 back.

12 (The requested portion of the
13 record was read.)

14 Q. What was it about Mr. 's
15 complaints which you caused you to be
16 concerned about possible migration?

17 If you are referring to your
18 note, please tell me what date you are
19 looking at.

20 A. On , it was approximately
21 two weeks after placement of the first
22 stents, he said that he was feeling much
23 better, although he did have a complaint
24 of some postvoid dribbling and a little
25 bit of incontinence.

0131

1 , M.D.

2 Q. What, if anything, did that
3 suggest to you?

4 A. It suggested several
5 possibilities. Most of all, postvoid
6 dribbling and incontinence, after stent
7 placement, you sometimes have incontinence
8 as I have described. Maybe I was a little
9 concerned that either the stents were
10 interfering with his normal urination or
11 that he had what's called gallbladder
12 instability.

13 Q. What does that mean?

14 A. That means that the bladder
15 muscle contracts at times and the patient
16 is now trying to urinate.

17 Q. Is it similar to spasm?

18 A. Yes.

19 Q. What is it in your note that
20 suggests to you that his kind of
21 incontinence was different than what you
22 would expect to see normally,
23 postoperatively, after the insertion of a
24 UroLume stent?

25 A. I started him on medication for

0132

1 , M.D.
2 treatment of bladder spasm and
3 instability.

4 Q. My question though relates to
5 what it was about his complaints -- what
6 did you write about his complaints
7 specifically that you made believe that
8 his complaints were different than what
9 you would expect to commonly see following
10 a UroLume stent insertion?

11 A. The type of incontinence he
12 complained of.

13 Q. What type of incontinence did you
14 note? Was it minimal incontinence --

15 A. I wrote specifically that he had
16 postvoid dribbling. It's a different type
17 of incontinence. Minimal incontinence is
18 different than a postvoid dribble. That's
19 why I prescribed medication. Because it
20 could be a case of bladder instability.

21 Q. What is the medication you
22 prescribed?

23 A. Ditropan, D-I-T-R-O-P-A-N, XL.

24 Q. What made you believe or what
25 raised the concern that possible migration

0133

1 , M.D.
2 of the stent was attributable to his
3 complaints?

4 A. Because the type of incontinence
5 he described was unusual.

6 Q. You write underneath in your
7 plan: Will try moving the UroLume stent
8 slightly distally; is that correct?

9 A. Actually what it says is: If
10 Ditropan NC, then we will try moving it
11 slightly -- Ditropan XL -- if no change --
12 meaning, if the patient's symptoms didn't
13 improve substantially with treatment, one
14 thing to do would be to try and move the
15 stent slightly distally, if it was found
16 to have migrated proximally.

17 Q. In your experience in putting in
18 UroLume stents, have you had occasion when
19 you would move a UroLume stent?

20 MR. : Prior to this case?

21 MR. OGINSKI: Yes.

22 A. No.
23 Q. Did you have an opinion for the
24 possible success of actually being able to
25 move the UroLume stent at some later time

0134

1 , M.D.

2 if his condition did not change?

3 A. Yes.

4 Q. What was your opinion?

5 A. I thought it would be difficult
6 or impossible.

7 Q. Did you tell Mr. that?

8 A. Yes.

9 Q. What, if anything, did you say?

10 A. When?

11 Q. When you discussed what you
12 intended to do for his condition and you
13 said you couldn't move it.

14 A. The answer is remove it and
15 replace it.

16 Q. How much time did you intend to
17 wait before coming to a decision as to
18 whether that would be needed?

19 A. Probably a few weeks.

20 Q. During that few-week period of
21 time, did you expect to see
22 reepithelization on the stent you inserted
23 on ?

24 A. Yes, well, that's why I only
25 waited a couple of weeks as opposed to

0135

1 , M.D.

2 longer period of time. The hope was that
3 maybe it wasn't completely epithelialized.

4 Q. Is there any way clinically to
5 determine, without taking the patient back
6 into the operating room, whether the stent
7 has migrated?

8 A. Sure.

9 Q. How?

10 A. If the stent has migrated
11 distally, it would be palpable or could be
12 palpable.

13 Q. If it moved proximally?

14 A. I can't feel that.

15 Q. On , 20 , did you
16 conduct an examination, a physical
17 examination, of Mr. ?

18 A. Which date are you referring to?

19 Q. The same one you told me about,
20 .

21 A. I don't recall if I did.

22 Q. Doctor, if the patient comes to
23 you with complaints, do you customarily,
24 in addition to talking to them, examine
25 them; correct?

0136

1 , M.D.

2 A. It all depends on the particular
3 circumstances of the visit.

4 Q. If they come in postoperatively
5 with a particular complaint, do you
6 typically examine them?

7 A. It all depends on the particulars
8 of the complaint and the particulars of
9 the situation.

10 Q. If in fact you did examine the
11 patient, would you agree it's customary,
12 after conducting an examination, to make
13 notes on the patient's chart about your
14 examination?

15 MR. : You are asking if it
16 was customary for him?

17 MR. OGINSKI: Yes.

18 A. If there was some finding.

19 Q. My question is: If you deem if
20 necessary to do a physical examination of
21 the patient, is it good and accepted
22 medical practice to make a note of that,
23 of the fact you had done an examination,
24 in the patient's chart?

25 MR. : Objection to form.

0137

1 , M.D.

2 Once again it's asked and answered.
3 He said if there was a finding.

4 A. I already said that in response
5 to that question. No. 2, record keeping
6 is different. It's not good medical
7 practice, necessarily. I could note it at
8 that time. I can dictate a note...

9 Q. Would you agree it's important to
10 keep good and accurate medical records of
11 a patient's complaints and of the
12 treatment rendered to them?

13 A. Again record keeping is a
14 separate issue from taking care of the
15 patient.

16 Q. Is it correct that in medical
17 school you learned to take notes about
18 your examination of a patient?

19 A. Yes.

20 Q. In your residency training, did
21 you learn that it was important for you to
22 make notes about the treatment that you
23 rendered to particular patients?

24 A. Yes.

25 Q. In the course of your private

0138

1 , M.D.

2 practice, do you keep patient records in
3 your office? When patients come to see
4 you, do you make notes in their charts?

5 A. Yes.

6 Q. What is the purpose of doing
7 that?

8 A. There are many purposes for that.

9 Q. What are they?

10 A. Just, for instance, in cases for
11 billing.

12 Q. What else?

13 A. To refresh my m .

14 Q. If information is not contained
15 within the record of the patient, if you
16 are a poor historian, how do you know how
17 you treated the patient, if you don't have
18 a history, on prior occasions?

19 A. Well, there is always a plan.

20 Q. If you don't record a plan or if
21 you don't report information about your
22 prior examinations of the patient, how
23 then do you know what the treatment should
24 be or what your findings were if it's not
25 recorded?

0139

1 , M.D.

2 A. You are not listening --

3 MR. : Over objection.

4 Objection to the form.

5 A. (Continuing) If no history were
6 recorded, it would be difficult to decide
7 what the next step would be.

8 Q. Doctor, as a general matter, is
9 it good practice to keep complete and
10 accurate records in order to know what
11 treatment you rendered to patients in your
12 office?

13 A. Again recording keeping and
14 taking care of patients are often very
15 different things.

16 Q. I'm asking you: Is it good
17 practice to keep accurate records of
18 whatever treatment you rendered to your
19 patients?

20 A. Again, it depends. We are
21 talking apples and oranges. You are
22 talking about keeping records and I am
23 talking about patient care, which are two
24 different arts.

25 Q. I'm now asking about standard

0140

1 , M.D.

2 practice, not treating a particular
3 condition. I'm talking now about making
4 notes in the patient's chart.

5 Is it good practice, when a
6 patient comes in to see you, that you
7 record their complaints?

8 A. It's not related to practice.

9 You are not talking about practice.

10 Q. How can that affect patient

11 care --

12 MR. : Objection.

13 Q. Is it good record-keeping
14 practice to record the patient's
15 complaints? Is it standard practice --

16 A. It's standard practice.

17 MR. : But you said that's
18 apart from the practice of medicine?

19 THE WITNESS: Medical record
20 keeping is aside from the practice of
21 medicine. That is different than
22 keeping records.

23 Q. Is it good practice to chart in
24 the patient's record whatever a patient
25 comes in complaining of? Do you make a

0141

1 , M.D.

2 note of that?

3 A. Complaints are very important to
4 know, absolutely.

5 Q. Why?

6 A. Because you want to compare your
7 treatment and see if the complaints have
8 improved.

9 Q. If in fact you perform a clinical
10 examination of a patient, is it important
11 for you to make a note of the fact that
12 you performed an examination, so that at a
13 later time, your partner or anybody else
14 who looks at the chart is going to be able
15 to look at the chart and say: Oh, yes, he
16 did an exam, and then to know what you
17 have done?

18 MR. : Objection. That is
19 a different thing than documenting
20 findings. Are you asking if it's good
21 practice to note the fact that he did
22 an examination?

23 MR. OGINSKI: Yes.

24 MR. : As opposed to
25 findings being recorded?

0142

1 , M.D.

2 MR. OGINSKI: Correct.

3 MR. : Over objection.

4 A. I think if I have findings, yes,
5 they should be noted.

6 Q. Why is it important to note
7 particular findings?

8 A. Again, when the patient comes
9 back you want to compare and see if your
10 treatment was effective.

11 Q. Please look at the 27, 20
12 note for Mr. .

13 (Witness complies.)

14 A. Yes.

15 Q. What is it in your note that

16 would tell you whether or not you
17 performed a clinical examination on that
18 date?

19 A. I'm looking at the note here, and
20 there specifically is a complaint -- a
21 specific complaint is addressed with the
22 plan. That's all I can see from looking
23 at the note.

24 MR. OGINSKI: Let me try to
25 rephrase because I wasn't clear.

0143

1 , M.D.

2 Q. Is there anything in the
3 27th note that you looked at that
4 tells you that you performed a clinical
5 examination of Mr. on that visit?

6 A. There is no documentation of a
7 clinical exam at that visit.

8 Q. If you had performed a clinical
9 examination, would you have expected to
10 bill for that exam?

11 A. Not strictly apart from the
12 visit, no.

13 Q. Is it your custom, when you
14 perform an examination, that you make a
15 note of that exam, whether or not you have
16 findings, in the patient's chart?

17 A. Not always.

18 Q. If there are any findings, do
19 you, in the regular course of your
20 examination -- are you supposed to make a
21 note of that in the patient's chart?

22 A. Yes.

23 Q. The fact that there is no
24 notation that appears about any findings
25 on the exam in 27, 20

note,

does

0144

1 , M.D.

2 that suggest to you, doctor, that you did
3 not perform a clinical examination of
4 Mr. on that date?

5 A. It's suggests one of two things:
6 Either there was no finding or he wasn't
7 examined that day.

8 Q. Is it there any way at this point
9 for you to determine from that note, or
10 from anything else that's in the record of
11 Mr. 's visit, whether a clinical
12 examination was done of this patient on
13 that date?

14 A. No.

15 Q. If the patient came in
16 complaining of pain in the area of the
17 penis or somewhere behind the penis, in
18 the bladder area, would you have expected
19 to make or conduct a clinical examination?

20 A. The patient had no such
21 complaint.
22 MR. : Objection to the
23 form. Rephrase.

24 Q. If he complained about pain
25 postoperatively, would you have made a

0145
1 , M.D.
2 note of that on that date?

3 A. Yes.

4 Q. And would you have expected to do
5 a clinical exam --

6 A. But the patient did not complain
7 of pain on that particular date.

8 MR. : He is asking a
9 hypothetical question now, doctor, and
10 it calls for a particular answer. To
11 your knowledge, if the patient
12 complained to you of pain on the date
13 of this entry, he is asking if you
14 would have undertaken a physical
15 examination. That is what he's asking
16 you.

17 MR. OGINSKI: Right.

18 A. Yes. If the patient complained
19 of pain.

20 Q. Did you see Mr. in your
21 office before re-operating on him on
22 20, 20 ?

23 MR. : After the
27th
24 visit?

25 MR. OGINSKI: Yes, thank you.

0146
1 , M.D.

2 A. No.

3 Q. You scheduled him for the repeat
4 surgery at the 27th visit, or you
5 asked him to contact your office to see
6 whether his condition had improved, stayed
7 the same, or was getting worse?

8 A. No, he was supposed to contact me
9 and let me know if the medication was
10 working.

11 Q. Did he contact you?

12 A. I believe so.

13 Q. Is that something you have a
14 m of?

15 A. (Indicating).

16 Q. And how is it that you recall he
17 did contact you to let you know whether
18 his condition had changed or improved?

19 MR. : He said he didn't
20 recall. He believes so.

21 Over my objection.

22 Q. Other than ultimately doing the
23 surgery on 20th, is there anything

24 else to help you refresh your m that
25 says: The patient called me, and I spoke

0147

1 , M.D.

2 to him, and we decided on the procedure;
3 something along those lines?

4 MR. : Something in the
5 chart?

6 MR. OGINSKI: Yes.

7 A. Yes. First of all, he would
8 never have been scheduled for surgery
9 without discussing exactly what was to be
10 done, No. 1.

11 No. 2, I recall several
12 conversations with him regarding his
13 problem.

14 Q. After 27, 20 ?

15 A. Yes.

16 Q. Can you tell me how many
17 conversations you had with him after that
18 visit before the 20th surgery?

19 A. At least one or two.

20 Q. Were those conversations with
21 Mr. initiated by him to you or did
22 you call him?

23 A. In all likelihood, he called me.

24 Q. Tell me about the first
25 conversation you had with him, after

0148

1 , M.D.

2 27, 20 , about his ongoing
3 condition.

4 A. Well, he must have not
5 improved --

6 MR. : He's asking if you
7 have a specific recollection.

8 A. (Continuing) There is no specific
9 recollection.

10 Q. As you sit here now, do you have
11 a specific m of speaking to Mr.
12 twice before the 20th surgery between

13 27th --

14 A. It could have been three.

15 Q. During any of those
16 conversations, do you remember
17 specifically what you said to Mr.
18 and Mr. said to you?

19 A. No, I don't recall specific
20 quotes now.

21 Q. Other than the fact that you did
22 the procedure on 20, did Mr.
23 tell you specifically that his condition
24 was not improved?

25 A. Yes.

0149

1 , M.D.

2 Q. Did he tell you whether he was

3 taking the medication you had prescribed?
4 A. Yes, he was.
5 Q. Did you increase the dosage of
6 the medication in order to see whether
7 that might help his condition?
8 A. I don't recall.
9 Q. If he had asked --
10 MR. OGINSKI: Withdrawn.
11 Q. If you had determined it was
12 necessary to increase the dosage of his
13 medication, how would you have increased
14 the dosage? How would you have actually
15 accomplished that in terms of calling the
16 pharmacy or Mr. going to the
17 pharmacy, would you call; how would that
18 actually be accomplished?
19 A. It could be done any number of
20 different ways, but generally the pharmacy
21 would be called.
22 Q. In your notes for Mr. , is
23 there anything in the chart to indicate to
24 you that you increased the dosage of the
25 Ditropan at any time between 27th and
0150
1 , M.D.
2 20?
3 MR. : Look through your
4 chart. Look at the chart.
5 (Witness complies.)
6 A. There doesn't appear to be
7 anything in the chart that documents a
8 change in the patient's dosage.
9 Q. In your opinion, doctor, was
10 Mr. a compliant patient?
11 A. Not always.
12 Q. Tell me what you mean.
13 A. He missed appointments.
14 Q. In the year 20 , was he
15 compliant?
16 MR. : In terms of
17 appointment keeping?
18 MR. OGINSKI: Yes.
19 A. No.
20 Q. Tell me why you say that.
21 A. Well, he never came for his
22 postoperative visit after the surgery of
23 20, 20 .
24 Q. From the beginning of
25 20 , up until his last procedure
0151
1 , M.D.
2 with you on 20th, was he compliant?
3 A. Yes.
4 Q. Do you deem a patient to be
5 compliant if they have come to all of the
6 visits that were scheduled?
7 A. That's a component of compliance.

8 That is one thing. There are other
9 things.
10 Q. Other than appointment keeping,
11 from 20 up until
20th 20 ,
12 was Mr. otherwise compliant with
13 your instructions?

14 A. As far as I could tell.
15 MR. OGINSKI: We can break for
16 lunch here.
17 [Whereupon, after a luncheon
18 recess was taken, the following was
19 had:]

20
21 A F T E R N O O N S E S S I O N
22

23 BY MR. OGINSKI:

24 Q. Doctor, are you aware of any
25 studies of males under the age of 40 with

0152
1 , M.D.
2 regard to the affects of the UroLume
3 stent?

4 A. I can't cite literature.
5 Q. When putting in two stents to
6 treat a particular stricture, how do you
7 prevent the first stent from dislodging
8 while putting the second one in?

9 A. There is no particular way that
10 you prevent it from happening.

11 Q. When you put two UroLume stents
12 in, are they supposed to overlap?

13 A. Yes.

14 Q. Why?

15 A. The company recommended it.

16 Q. What is the distance -- the
17 minimal distance -- that is required for
18 overlaps when putting in two UroLume
19 stents?

20 A. Approximately one and half
21 centimeters.

22 Q. When you place a UroLume stent --
23 and I am going back to talk about the
24 risks again of stent placement -- are you
25 aware of a percentage of patients who

0153
1 , M.D.
2 experience postvoid dribbling?

3 A. Actual percentages, not being --
4 I wouldn't know because I am not
5 necessarily a statistical person.

6 Q. That you are aware, regarding
7 complications and/or risks of placement of
8 a UroLume stent, what percentage of
9 patients experience pain?

10 A. In my personal experience, maybe
11 a handful.

12 Q. Can you be any more specific
13 statistically?
14 MR. : Are you asking for
15 national statistics or in his
16 experience?
17 MR. OGINSKI: I didn't make that
18 clear.
19 MR. : Objection.
20 Q. I'm making a distinction for the
21 purposes of these questions about
22 statistical likelihood regarding
23 experiencing certain risks or
24 complications --
25 MR. OGINSKI: Withdrawn.

0154

1 , M.D.
2 Q. Are you familiar with any
3 statistics, other than from your own
4 personal experience, for a percentage of
5 patients who have experienced pain
6 following the insertion of the UroLume
7 stent?
8 A. Yes. But I can't quote you a
9 number.
10 Q. Are you familiar with a
11 percentage of patients who experience
12 incontinence following UroLume stent
13 insertion?
14 A. Not that I can quote you as a
15 statistic.
16 Q. Are you familiar with the
17 percentage of patients who experience
18 testicular ingrowth following UroLume
19 insertion?
20 A. No.
21 Q. Or the percentage of patients who
22 experience hematuria?
23 A. No.
24 Q. Are you familiar with the
25 percentage of patients who experience a

0155

1 , M.D.
2 new stricture following UroLume stent
3 insertion?
4 A. I'm not familiar with the
5 statistics.
6 Q. Are you familiar with any
7 statistics regarding patients who
8 experience erectile problems following
9 insertion of the UroLume?
10 A. Other than that it's known to
11 occur on occasion, no.
12 Q. Or the percentage of patients who
13 experience pain during sexual activity
14 following UroLume insertion?
15 A. Not specific percentages.
16 Q. Are you familiar with percentages

17 related to additional insertion procedures
18 needed, a statistical percentage?

19 A. I don't have the statistical
20 percentage, no.

21 Q. Are you aware of any statistical
22 percentages for patients who experience
23 pain on ejaculation following the
24 insertion of the UroLume stent?

25 A. No, I don't know.

0156

1 , M.D.

2 Q. Do you know what the statistical
3 percentage is of patients who experience
4 positive urine culture following insertion
5 the UroLume stent?

6 A. No, I don't have the statistics.

7 Q. Do you know statistically --

8 MR. OGINSKI: Withdrawn.

9 Q. Do you know the statistical
10 indications for patients who experience
11 resection, surgical resection, following
12 of the insertion of UroLume?

13 A. No.

14 Q. Do you know the percentage of
15 patients who need catheterization
16 following the insertion of the UroLume
17 stent?

18 A. No.

19 Q. Or who experience retention
20 problems with the stent following the
21 placement?

22 A. Are you asking about the
23 possibility that it's expelled?

24 Q. Are you aware of that or have you
25 heard of instances where it has been

0157

1 , M.D.

2 expelled?

3 A. Expelled completely, no.

4 Q. Do you know the percentage of
5 patients who experience migration of the
6 UroLume stent after insertion?

7 A. Yes, I have heard of that.

8 Q. Other than hearing of it, do you
9 have the statistical percentage of that
10 event occurring?

11 A. No.

12 Q. What about the percentage of
13 patients who experience urine leaks with
14 migration following insertion of the
15 UroLume?

16 A. I don't know.

17 Q. How about the percentage of
18 patients that experience decreasing stream
19 following the insertion of the UroLume?

20 A. Not the specific percentage.

21 Q. Are you aware of the percentage

22 of patients who experience retrograde
23 ejaculation following insertion of the
24 UroLume stent?

25 A. No.

0158

1 , M.D.

2 Q. Or the percentage of patients who
3 experience hematospermia?

4 A. No.

5 Q. Or the percentage of patients who
6 have experienced erection changes
7 following the insertion of the UroLume
8 stent?

9 A. No.

10 Q. Do you know of any statistical
11 studies done involving patients with a
12 UroLume stent insertion that have
13 developed squamous metaplasia?

14 A. No.

15 Q. Are you familiar with the term,
16 "encrustation"?

17 A. Yes.

18 Q. What does that mean?

19 A. When something is covered.

20 Q. Is testicular pain a risk of the
21 UroLume stent insertion?

22 A. I've never heard of that.

23 Q. Is curvature of the penis a risk
24 of insertion of the UroLume stent?

25 A. Never seen it.

0159

1 , M.D.

2 Q. Let's talk about informed
3 consent.

4 What is the purpose of providing
5 a patient with information sufficient so
6 they can make a decision with respect to
7 their care and treatment?

8 A. It's mainly, of course, to
9 provide the patient with sufficient
10 information to make a decision about their
11 treatment plan and options.

12 Q. Would you agree, doctor, that it
13 is important to give patients sufficient
14 information so they can make an
15 intelligent decision on what their options
16 are?

17 A. Yes.

18 Q. If a doctor -- and this is a
19 general question -- if a physician did not
20 provide the patient with sufficient
21 information so that the patient could make
22 an intelligent decision on what their
23 treatment options were, is that a
24 departure from good care; is that correct?

25 MR. : Objection to the

0160

6 A. It all depends. In caring for
7 particular patients, sometimes that
8 particular item may be discussed and
9 sometimes it might not be discussed.

10 Q. Did you consider postvoid
11 dribbling following insertion of the
12 UroLume to be a particularly significant
13 risk that the patient should be told
14 about?

15 A. Actually it's not. I wouldn't
16 consider it a risk at all.

17 Q. Is it something that you would
18 tell the patient about, postvoid dribbling
19 they may experience, following insertion
20 of the stent?

21 A. Yes.

22 Q. Do you consider it a departure
23 from good and accepted practice to fail to
24 provide the patient with that information?

25 MR. : Objection, asked and

0163

1 , M.D.

2 answered.

3 You can answer it again.

4 A. Absolutely not.

5 Q. You told me that hematuria may
6 occur following insertion of the stent?

7 A. It can, yes.

8 Q. Is that something you tell the
9 patient about who is going to have a
10 UroLume inserted?

11 A. Usually.

12 Q. Would the failure to tell the
13 patient that he could experience hematuria
14 following insertion of the stent be a
15 departure from good care, in your opinion?

16 A. No.

17 Q. Why not?

18 A. Because they might not experience
19 it, and you would have gotten him worried
20 unnecessarily.

21 Q. Is it a significant --

22 MR. OGINSKI: Withdrawn.

23 Q. Are there instances where the
24 fact that the patient may develop
25 hematuria is something you feel should

0164

1 , M.D.

2 disclose to the patient?

3 A. Sure. Sometimes it's worthy of
4 discussion and sometimes it's not.

5 Q. How do you know when is it or
6 isn't?

7 A. When it's likely to occur in a
8 patient when I am going to insert a
9 UroLume. Every patient's case is
10 individualized, and every discussion with

11 the patient is an individualized
12 discussion.

13 Q. In a patient who is going to have
14 a UroLume stent inserted, are there
15 certain risks associated with the
16 procedure?

17 A. Correct.

18 Q. I will get into the specific
19 risks we are talking about in a little
20 while, but my question right now is: If
21 the patient is going to have a procedure,
22 would you agree, as a general principal,
23 that it is important to disclose those
24 risks to the patient?

25 A. Yes.

0165

1 , M.D.

2 Q. One of the reasons that is
3 important is so that patient can make an
4 informed decision about whether the
5 procedure is right for them?

6 A. Yes.

7 Q. If one or more those risks is not
8 disclosed to the patient -- and I'm asking
9 this as a general question -- would you
10 consider it to be a departure from good
11 care, if the patient is not told that they
12 could experience hematuria?

13 MR. : Objection, asked and
14 answered.

15 Q. Do you tell patients that,
16 following a UroLume stent insertion, they
17 might have to have a transurethral
18 catheter or other transurethral procedure
19 done for weeks until the reepithelization
20 covers the stent?

21 A. I don't necessarily describe it
22 in terms of a reepithelization. I might
23 say something else. I might explain it in
24 simpler terms, but we do explain to
25 patients, as with any urethral procedure,

0166

1 , M.D.

2 the risk of catheterization.

3 Q. What I am asking you is this: Is
4 that a significant thing for you to inform
5 the patient about?

6 A. Yes.

7 Q. Is it -- if you fail to advise
8 the patient of that particular risk
9 factor, would that be a departure from
10 urologic good care?

11 A. If somebody, theoretically,
12 didn't do that, yes.

13 Q. If that information is not
14 provided to the patient, would that
15 patient have sufficient information

16 necessary for him to make an intelligent
17 decision on whether to go ahead with the
18 procedure?

19 MR. : Objection to the
20 form. You are asking him to put
21 himself in the mind of the patient
22 that he is advising.

23 Q. Do you tell patients, following
24 the insertion of a UroLume stent, that
25 they are not to engage in sexual activity

0167

1 , M.D.

2 for a certain number of weeks after the
3 procedure?

4 A. No, I usually tell them that
5 beforehand.

6 MR. OGINSKI: Let me rephrase the
7 question. Thank you.

8 Q. Do you tell the patient -- at
9 some point when discussing the UroLume
10 stent insertion -- do you tell them they
11 are not to have sex for a period of time
12 following the procedure?

13 A. Yes.

14 Q. Why?

15 A. Well, because it could lead to
16 pain, discomfort.

17 Q. Could it cause the stent to
18 migrate?

19 A. It may.

20 Q. Would the failure to tell a
21 patient about this potential problem be a
22 departure from good urological care?

23 A. Yes.

24 Q. Can bleeding occur during the
25 insertion of the UroLume stent?

0168

1 , M.D.

2 A. Yes.

3 Q. Do you tell them that, if
4 bleeding occurs, they will need a
5 catheterization and possibly
6 hospitalization to address that issue?

7 A. Again these things are highly
8 individualized, and we try to avoid
9 catheterization after these types of
10 procedures unless there is no other
11 option.

12 Q. My question is: Do you discuss
13 with the patient the possibility that
14 bleeding can occur, and that they might
15 have to be catheterized and hospitalized,
16 and, at some later time, they will have to
17 come back to have the stent put in?

18 A. We discuss all these things with
19 the patient, sure.

20 Q. When deciding on what to discuss

21 with a patient regarding insertion of the
22 UroLume stent, would it be a departure
23 from good care not to discuss that
24 possibility with them?

25 MR. : Objection to the
0169

1 , M.D.
2 form.

3 You can answer.

4 A. Well, to start discussing with
5 patient the possibility that an outpatient
6 procedure that will take 15 minutes might
7 be needed somewhere down the line and,
8 theoretically, hospitalization, is usually
9 not part of the general discussion, if
10 that is what you are asking.

11 Q. You don't consider that to be a
12 significant risk, the likelihood of that
13 occurring down the line?

14 A. Correct. It's not highly likely
15 to occur.

16 Q. What about the possibility of
17 infection, do you discuss that with the
18 patient prior to a UroLume stent
19 insertion?

20 A. Yes.

21 Q. Is that a significant factor that
22 the patient needs to be aware of in
23 deciding whether or not to have the
24 procedure?

25 A. It is an important factor for the
0170

1 , M.D.
2 patient to know, yes.

3 Q. Is the failure to discuss the
4 fact that the patient might suffer an
5 infection a departure from good care?

6 MR. : Are you asking in a
7 UroLume stent insertion or for any
8 urethral procedure?

9 MR. OGINSKI: I am only asking
10 about the UroLume stent.

11 A. UroLume stent insertion would be
12 no different than any other transurethral
13 procedure. They all carry the risk of
14 infection, and yes, if the physician
15 failed to disclose, to discuss, that
16 possibility with the patient, I would
17 consider that wrong.

18 Q. After placing a UroLume stent, is
19 there a possibility that an obstruction
20 would cause the need to apply, at a later
21 time, a suprapubic tap to drain urine?

22 A. That's a remote possibility.

23 Q. But have you ever seen that
24 situation occur in your entire career with
25 an insertion of a UroLume stent?

0171

1 _____, M.D.

2 A. No.

3 Q. Do you ever discuss with the
4 patient the possibility of that occurring?

5 A. No, because that would be so
6 remote that it could happen. Any time
7 with a stricture we could see that, but I
8 have never seen it after a Urolome.

9 Q. Tell me specifically what you
10 told Mr. _____ regarding the risks of a
11 UroLume stent when the decision was made
12 that you were going to put in the stent.

13 A. What we discussed --

14 Q. I am sorry for interrupting. I'm
15 not asking in general. I am asking for
16 specifics.

17 MR. _____: You are asking for
18 his independent recollection?

19 MR. OGINSKI: Yes.

20 MR. _____: Do you have an
21 independent recollection of what you
22 told him as opposed to your custom and
23 practice?

24 THE WITNESS: No.

25 Q. What do you typically tell a

0172

1 _____, M.D.

2 patient when you discuss the risks of a
3 UroLume stent insertion?

4 A. Each patient's discussion is
5 individualized, but typically we discuss
6 recurrent strictures of the bulbar
7 urethra. And I explain to him there are
8 other options including another
9 urethrotomy, perhaps a more prolonged
10 period of catheterization. Perhaps I
11 discuss with them the need to catheterize
12 themselves for a period of time or
13 self-dilation, as we discussed previously.
14 We also discuss other options including
15 insertion of the UroLume stent, and open
16 urethroplasty.

17 Q. What do you tell them about
18 another urethrotomy's success rate?

19 MR. _____: Do you mean the
20 chance of the success of another
21 urethrotomy?

22 MR. OGINSKI: Yes.

23 MR. _____: In terms of, like
24 Mr. _____, a patient who has had two
25 urethrotomies?

0173

1 _____, M.D.

2 MR. OGINSKI: Yes.

3 A. A patient who has had several
4 urethrotomies is likely to have

5 recurrence. The risk can be very high.
6 Some patients are willing to take that
7 risk and just in come every few months for
8 dilation -- that's all right for some
9 people -- and some people say they want a
10 more permanent choice, and those people
11 would be offered either the UroLume stent
12 or a open urethroplasty.

13 Q. Is the placement of the UroLume
14 done as an outpatient procedure?

15 A. The patient is not subjected to
16 prolonged anesthesia. In fact we do it
17 very often under local with sedation. And
18 after that, if that doesn't work for them,
19 they have the option of another
20 urethroplasty, an open procedure.

21 Q. Do you disclose that --

22 A. I am going to state, with
23 urethroplasty, again, I would explain to
24 the patient that it's a more much involved
25 procedure, a procedure that is much more

0174

1 , M.D.

2 prolonged, and that it is a procedure with
3 greater risks and more potential
4 complications.

5 Q. Do you explain to the patient
6 that with an open urethroplasty there is a
7 greater success rate long-term than with a
8 repeat urethrotomy or a UroLume stent
9 insertion?

10 A. It depends.

11 MR. : Note my objection to
12 the form of the question. It carries
13 a presumption.

14 A. There is no presumption that it
15 is a better option for every patient in
16 the long term.

17 Q. In discussing risks with the
18 patient, do you say to the patient: Look,
19 we have a greater chance of curing your
20 condition by going in and doing a
21 urethroplasty than with the other
22 procedures that you talked about?

23 A. Sure.

24 Q. What are the risks of undergoing
25 repeat urethrotomy?

0175

1 , M.D.

2 A. Basically the greatest risk is
3 recurrence, theoretically, and the risks
4 of the procedure, the risks associated
5 with the procedure itself.

6 Q. What are the risks of
7 self-dilation?

8 A. Again if the patient is properly
9 taught, very minimal.

10 Q. What are they?

11 A. The patient may have the risk
12 that he would not be able to do this.
13 They don't have the manual dexterity for
14 this. And not doing it is a problem.
15 They could cause damage to the urethra.
16 But most patients I have taught the
17 procedure to do very well on that type of
18 regimen.

19 Q. Did you offer the opportunity to
20 Mr. to self-dilate or
21 self-catheterize?

22 A. No.

23 Q. Why not?

24 A. With it this proximal to the
25 bulbar layer, the stricture is a little

0176

1 , M.D.

2 tricky. Patients don't benefit from that.

3 Q. What risks of insertion of the
4 UroLume do you discuss with the patient?

5 A. In general, we talk about the
6 fact that they may have recurrent
7 stricture; that this device is made
8 specifically to prevent re-stricture from
9 recurring, but that re-stricture is always
10 a possibility.

11 We discuss with the patient the
12 risk factor of a urinary tract infection;
13 that it is a risk, as with any type of
14 urological procedure, and it can occur
15 with this device as well.

16 We talk with them about possible
17 migration, and possible problems with pain
18 after the procedure. With that and with
19 hematuria, I communicate that it's a
20 concern, but they shouldn't be worried
21 about it.

22 We tell them occasionally there
23 is a risk of incontinence occurring, but
24 that it is usually self-limited
25 incontinence.

0177

1 , M.D.

2 Q. Is there anything else you tell
3 the patient?

4 A. Again I tailor these discussions
5 to the patient's individual needs. But
6 that's the general outline of what we
7 would discuss.

8 Q. In such cases, are there any
9 other risks that you deem to be
10 significant enough that the patient should
11 know about that particular problem prior
12 to the UroLume stent insertion?

13 A. Often we discuss that if this
14 type of procedure doesn't work, that

15 either the stent has to be replaced or
16 they might be a candidate for open
17 urethroplasty at that point.

18 Q. Do you give them the statistical
19 information about the likelihood for the
20 need for stent replacement if it doesn't
21 work?

22 A. No. The general rule is I avoid
23 all statistical information. I don't
24 quote people statistics. People are
25 individuals. Events will occur. Your

0178

1 _____, M.D.

2 concern is the individual, regardless of
3 any type of published statistics.

4 Q. Did you tell Mr. _____ how many
5 UroLume stents you had previously inserted
6 when discussing with him the plan to use
7 the UroLume with him?

8 A. I don't generally go into how
9 many procedures I have done with patients.

10 Q. Did you disclose to Mr. _____ how
11 many urethroplasties you had done prior to
12 20 _____?

13 A. Like I said, it's not standard
14 practice to give patients that type of
15 statistical analysis when doing a
16 procedure.

17 Q. I'm only asking whether you did.

18 A. No.

19 Q. What are the -- did you mention
20 that an open urethroplasty is a more
21 involved procedure, a more prolonged
22 procedure, and that there are greater
23 risks of complications?

24 A. No.

25 Q. What are the risks associated

0179

1 _____, M.D.

2 with urethroplasty?

3 A. I believe that when we discussed
4 urethroplasty, I mentioned that those
5 risks include prolonged hospitalization,
6 those risks include prolonged anesthesia,
7 those risks include problems with the
8 graft, such as graft ischemia and graft
9 necrosis, long-term, the possibility for
10 the potential for incontinence. These are
11 the types of the things I would discuss
12 with the patient undergoing open
13 urethroplasty.

14 Q. Before Mr. _____ actually agreed
15 to go ahead with the UroLume procedure on
16 _____, tell me how you
17 came to

17 the conclusion or made the recommendation
18 that the UroLume stent was appropriate for

19
20
21
22
23
24
25
0180
1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
0181
1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

him.
A. He was a perfect candidate, with a three and a half-centimeter stricture of the proximal bulbar urethra with recurrent stricture.
Q. Before _____ 10th did you measure the length of his stricture?
_____, M.D.
MR. _____ : Are you asking him to refer to the chart?
MR. OGINSKI: Yes.
MR. _____ : Refer to the chart, doctor, if you want.
Q. In other words, before doing the first UroLume stent insertion or _____ 10, 20 --
A. Yes.
Q. -- had you ever measured the length of his stricture?
A. He had two previous urethrotomies. Yes. I scoped him twice before that. Specifically, in _____ and also _____ .
MR. _____ : Were those occasions in _____ you are referring to?
THE WITNESS: Yes.
MR. _____ : Also, in the record for the hospital in _____ , it says you scoped him previous to the _____ 31st procedure; correct?
THE WITNESS: Yes.
Q. During that procedure, did you _____ , M.D. make a note in the record as to the length of the patient's stricture?
A. Yes.
Q. What did you note regarding the length of the patient's stricture?
A. It says three and half centimeters mid to -- mid to -- proximal bulbar stricture.
Q. Is that in the information on the procedure you performed?
A. Yes.
Q. What is underneath that? You wrote greater than?
A. Thirty minutes. I think it's how long it took me to get it round.
Q. Were you able to dilate to an 18-French catheter?
A. Yes. It says an 18-French council catheter was placed.
Q. The next time you did the procedure was _____ 13, 20 _____ at Hospital; correct?

24 A. Yes.

25 Q. That was also a UroLume stent;

0182

1 , M.D.

2 correct?

3 A. Yes.

4 Q. What did you do on that day, on

5 that specific day, as opposed to in

6 when you did the previous

7 procedure?

8 MR. : Objection to the

9 form.

10 Q. Was this a different type of

11 procedure than the first procedure, or was

12 it a similar procedure?

13 A. When a procedure has failed, I
14 generally try to change techniques and see
15 if a different technique will work.

16 Q. What, if any reason, was the

17 particular reason that the urethrotomy on

18 3rd was going to take place at

19 Hospital as opposed to another

20 hospital?

21 A. No particular reason. I

22 scheduled the urethrotomy at the hospital

23 because I usually do my urethrotomies

24 there.

25 Q. During the course of that

0183

1 , M.D.

2 urethrotomy on 13, 20 , did you

3 measure Mr. 's stricture?

4 A. I don't know.

5 Q. Can you look at the record --

6 A. There is no notation of the size

7 of the stricture.

8 MR. : He didn't ask you

9 that.

10 Did you measure it?

11 THE WITNESS: Not that I

12 documented it.

13 A. (Continuing) I can't recall that

14 I measured it.

15 Q. Is there anything in the note to
16 indicates that you measured it?

17 A. No.

18 Q. Other than looking in the typed

19 operative report for Hospital,

20 would you have a note anywhere else in

21 chart, or anywhere else in your records,

22 that would indicate that you had measured

23 the size of the stricture?

24 A. On that particular date, no.

25 Q. The fact that there is no

0184

1 , M.D.

2 notation about your measuring the size of

3 stricture, does that indicate that a
4 measurement of the stricture was not done?

5 A. No.

6 Q. Do you believe that a measurement
7 could have been done but not recorded?

8 A. Yes.

9 Q. Could it also mean it was not
10 done; correct?

11 A. Correct.

12 MR. : Objection.

13 Q. As you sit here now, do you
14 remember measuring the size of the

15 stricture on 13, 20 ?

16 A. No.

17 Q. When you performed the UroLume
18 insertion on 10th, you told me

19 earlier that, in the operative report,
20 it's noted that you measured the stricture
21 to be three and a half to four centimeters
22 in length; correct?

23 A. Yes.

24 Q. In comparison to your
25 31st examination, it would appear

0185

1 , M.D.

2 to have lengthened in size; correct?

3 A. Perhaps.

4 Q. Tell what you mean by that
5 answer.

6 A. We previously covered this.
7 Because all measurements are approximate.

8 Q. In the 31st note, after
9 your procedure, I noticed that you did not
10 put a range or an approximate value, that,
11 instead, you wrote specifically: Three
12 centimeters.

13 Does that indicate that it was an
14 exact measurement or is it still, in your
15 opinion, an approximate measurement?

16 A. These measurements are all
17 approximate. Always.

18 Q. When you approximated the size of
19 the stricture on 10th to be three and

20 a half to four centimeters, is it
21 possible, doctor, that the size of the
22 stricture could have been longer than the
23 four centimeters that you had
24 approximated?

25 A. No.

0186

1 , M.D.

2 Q. Is possible that it was shorter
3 than the three and a half centimeters you
4 had approximated?

5 A. Yes.

6 Q. Tell me why, in your opinion, it
7 could have been longer than four

8 centimeters?

9 A. Because if I noticed that it was
10 definitely four centimeters or longer, I
11 probably would have suggested to the
12 patient, at that point, that we do not
13 proceed with the UroLume; that we work
14 under anesthesia, that we do, under
15 general, a urethrotomy, and leave the
16 catheter in, and then discuss further
17 options with the patient at a later date.

18 Q. Going back to the risks and
19 options that were available to patients
20 who were contemplating UroLume stent
21 insertion, would you agree that failing to
22 disclose or failure to tell the patient
23 about the option of urethroplasty would be
24 a departure from good and accepted
25 practice?

0187

1 , M.D.

2 MR. : For recurrent
3 stricture disease?

4 MR. OGINSKI: Yes.

5 MR. : Objection to the
6 form.

7 Over objection, you can answer.

8 A. It's a good thing to discuss with
9 the patient that it's one of the available
10 options, absolutely.

11 Q. Would it be a departure from good
12 practice not to disclose or discuss with
13 the patient that urethroplasty was an
14 option?

15 MR. : Objection to the
16 form.

17 MR. OGINSKI: I will rephrase.

18 Q. You told me that it would be good
19 practice to discuss with the patient the
20 option of urethroplasty?

21 A. Yes.

22 Q. Would not discussing that --

23 MR. : Objection.

24 Q. -- option with the patient be a
25 departure from good care?

0188

1 , M.D.

2 A. That seems to me like a legal
3 question.

4 Q. In your opinion, doctor, if
5 another doctor were to have a discussion
6 about the options involved here, including
7 UroLume stent insertion, and in the
8 discussion of the patient's other
9 alternatives, if the doctor omitted that
10 option in the discussion with the patient,
11 in your mind, would that be a departure
12 from good medical care?

13 MR. : Over my objection to
14 the form. That's asked and answered.

15 You already asked him if it would
16 be considered a departure from good
17 practice not to discuss that option.

18 Q. Is not offering that option, is
19 that a departure --

20 MR. : Objection.

21 MR. OGINSKI: I'm turning the
22 question around.

23 MR. : You are asking the
24 same question again. I'm objecting to
25 the form, it's asked and answered,

0189

1 , M.D.

2 counsel. Let's move on.

3 Q. When you had the discussion with
4 the patient --

5 MR. OGINSKI: Withdrawn.

6 Q. Did you ever recommend to the
7 Mr. that he should have a
8 urethroplasty?

9 MR. : As to opposed stent
10 placement?

11 MR. OGINSKI: Correct.

12 A. That was discussed.

13 MR. : Listen to the
14 question. He's not asking about
15 options now. Did you discuss with him
16 having a urethroplasty as opposed to
17 anything else?

18 Is that the question?

19 MR. OGINSKI: Something to that
20 effect.

21 A. I still don't understand.

22 MR. : Listen to the
23 question.

24 (The requested portion of the
25 record was read.)

0190

1 , M.D.

2 Q. Would you agree that, when
3 discussing options with patients, you will
4 often make a recommendation as to what
5 procedure or plan of treatment you think
6 is appropriate to the patient?

7 A. Correct.

8 Q. And the reason for doing that is
9 because you have specialized knowledge
10 about the risks and benefits associated
11 with each of the procedures, which the
12 patient generally does not have; is that
13 not correct?

14 A. That is correct.

15 Q. Did you ever tell Mr. :
16 Look, it's my recommendation, it's my
17 feeling, that you would be better off

18 having a urethroplasty?
19 Did you ever say words to that
20 effect to him?

21 A. No, not to my knowledge.

22 Q. Did you ever tell Mr. that
23 you recommended he have repeat urethrotomy
24 and UroLume stent insertion?

25 A. When are you talking about

0191

1 , M.D.

2 chronologically?

3 MR. : After the two
4 others?

5 MR. OGINSKI: Yes.

6 A. Yes.

7 Q. When did you have that
8 conversation with him?

9 A. After the second urethrotomy, the
10 stricture recurred, and it was time to
11 discuss further options with the patient.

12 Q. Did you recommend a repeat
13 UroLume stent insertion?

14 A. We discussed options.

15 Q. Is that because patients are able
16 to think for themselves and make choices
17 based on what you present?

18 MR. OGINSKI: Rephrase.

19 MR. : If he doesn't
20 understand, he will tell me.

21 A. I don't understand.

22 MR. : He is asking you:
23 Did you specifically recommend one
24 form of treatment over another at the
25 time of the third urethrotomy?

0192

1 , M.D.

2 You said you did recommend
3 urethrotomy for the third time as your
4 preferred method of procedure. Did
5 you discuss anything other than
6 urethrotomy?

7 THE WITNESS: I can't recollect
8 specifically recommending one over
9 another.

10 Q. Did you tell Mr. it was
11 possible, even after the UroLume stent is
12 inserted, that he may need to have it
13 removed and another one put in?

14 A. Yes.

15 Q. Do you remember Mr. 's
16 response?

17 A. No.

18 Q. Now I'm going to refer to a form
19 that appears in your office records
20 titled: "AMS UroLume Endoprosthesis
21 Patient Information." I'm going to ask
22 you to take a look at that.

23 (Witness complies.)

24 A. Okay.

25 Q. Have you seen that form before,

0193

1 , M.D.

2 doctor?

3 A. I don't think so.

4 Q. Is that a form that you filled

5 out for use with a UroLume insertion?

6 MR. : He said he hadn't

7 seen it.

8 A. No.

9 Q. Is that a form that someone from
10 your office would typically fill out?

11 A. No.

12 Q. Do you know if anyone from the
13 hospital is required to fill out that form
14 when you perform a UroLume stent
15 insertion?

16 A. No.

17 Q. Is any portion of that form, to
18 your knowledge, in your handwriting?

19 A. No.

20 Q. Before today, have you ever seen
21 that form?

22 A. No.

23 Q. Does your signature appear
24 anywhere on that form?

25 A. No.

0194

1 , M.D.

2 Q. Do you have a form just like that
3 for the 10, 20 procedure
that you

4 performed on Mr. ?

5 Because this one apparently
6 relates to the 20th procedure.

7 A. I don't know.

8 MR. : Look through your

9 records, doctor.

10 Off the record.

11 (Discussion held off the record.)

12 A. No.

13 Q. Directing your attention to the
14 bottom of the preprinted form, do you see
15 the indications for using various boxes to
16 check off?

17 A. No.

18 Q. Doesn't it say that on the bottom
19 half? It says: Reason for stent
20 insertion, and that it has a checkmark at
21 the box for urethral stricture: Do you
22 see that?

23 A. Yes.

24 Q. Underneath it, it is asking for
25 the etiology of the stricture; do you see

0195

1
2 that? , M.D.

3 A. No.

4 Q. It says: Stricture etiology, and
5 then there are a bunch of boxes that are
6 available to check off?

7 A. Okay.

8 Q. Do you know why none of those
9 boxes were checked off?

10 MR. : Objection to the
11 form.

12 A. I have never seen this form
13 before. I have already answered you. I
14 don't know anything about it.

15 Q. In your opinion, doctor --
16 MR. OGINSKI: Withdrawn.

17 Q. As of 20, 20 , did
18 you form an opinion whether Mr. 's etiology
19 for recurrent stricture was as a result of
20 instrumentation?

21 A. No.

22 Q. Did you form an opinion that, in
23 your opinion, it was not because of
24 instrumentation?

25 A. I did not.

0196

1 , M.D.

2 Q. Did you ever form an opinion as
3 to whether the etiology of the stricture
4 was as a result of catheterization?

5 A. No. I did not form an opinion.

6 Q. Do you have an opinion as to
7 whether the stricture's etiology was
8 congenital?

9 A. No.

10 Q. Did you form an opinion that his
11 stricture was a result of trauma?

12 A. Possibly.

13 Q. You ever inquire or ask Mr.
14 about trauma to any part of his testicles
15 or his genitals?

16 A. Usually that's one of the first
17 questions that I ask: Was it a result of
18 trauma.

19 Q. What was his response?

20 A. I don't know.

21 Q. If Mr. had advised you that
22 it was, that he had had some sort of
23 trauma, would you have expected to make a
24 note of that?

25 A. Yes.

0197

1 , M.D.

2 Q. In your review of the patient's
3 chart, did you see any evidence in any
4 notation anywhere indicating that

5 Mr. had suffered some type of trauma
6 that could possibly lead to a stricture?
7 A. No.
8 Q. Please turn to the note of the
9 10, 20 procedure that you
did.

10 MR. : Off the record.
11 (Discussion held off the record.)

12 Q. The top paragraph on page 1 of
13 the operative report indicates the
14 stricture was three centimeters.

15 A. Yes.

16 Q. Where did you place the UroLume?

17 A. It was placed in excellent
18 position proximally; that would be in the
19 bulbar urethra just distal to the
20 sphincter.

21 Q. Did you indicate in your note
22 where you placed the UroLume stent?

23 A. Yes.

24 Q. Where do you say that, other than
25 saying it was placed in an excellent

0198

1 , M.D.

2 position proximal?

3 A. It says it was placed in
4 excellent position proximally.

5 Q. Does that indicate to you the
6 precise location where it was put in?

7 A. Yes.

8 Q. Where is proximal from the bulbar
9 urethra; how far from the external
10 sphincter was the UroLume placed?

11 A. Half a centimeter.

12 Q. How far was it from the pendulous
13 urethra?

14 A. Several centimeters.

15 Q. Was it your intention to place
16 two stents during that procedure?

17 A. Yes.

18 Q. That was to cover the length of
19 the stricture; correct?

20 A. Yes.

21 Q. Was it your intention that the
22 stent would exceed the length of the
23 stricture by one centimeter I think you
24 said?

25 A. No. Probably half a centimeter;

0199

1 , M.D.

2 a millimeter to half a centimeter, as
3 necessary.

4 Q. I may have asked you this
5 already, but why did you want to exceed
6 the length of the stricture?

7 A. For several reasons. The
8 stricture may actually be a little longer

9 than you measured, and the UroLume may
10 contract slightly.

11 Q. The second stent you chose was a
12 1.5-centimeter stent?

13 A. Yes.

14 Q. When you inserted it, how much of
15 an overlap did you have between the first
16 and second stents?

17 A. A few millimeters.

18 Q. Is that indicated anywhere on the
19 operative report, the length of the
20 overlap?

21 A. No.

22 MR. OGINSKI: Let's take break
23 here.

24 (A recess was taken.)

25 Q. Are you aware of any literature

0200

1 , M.D.

2 that requires, when using two UroLume
3 stents, that the overlap should be at
4 least five millimeters?

5 A. Specific literature? I can't
6 quote any specific manufacturer's
7 instruction or guidelines that were put
8 out, but I'm aware of it, yes, because we
9 do discuss these things all the time at
10 conferences with reps.

11 Q. From your knowledge and
12 understanding, that overlap should be at
13 least five millimeters?

14 A. No. Usually a few millimeters.
15 Up to five millimeters, in the range, for
16 the stent, from three centimeters to one
17 and a half centimeters.

18 Q. There can be an overlap of a half
19 centimeter I think you said, am I correct,
20 if the stricture is four centimeters long?

21 A. Yes.

22 Q. If in fact a patient's stricture
23 was four centimeters in length, now, with
24 a stent that is four centimeters in
25 length, is that sufficient to cover the

0201

1 , M.D.

2 stricture?

3 MR. : Objection to the
4 form.

5 A. Not by those measurements, no.

6 Q. In fact if the stricture is at
7 the low end of the range you measured,
8 three and a half centimeters, and you know
9 the stent is four centimeters in length,
10 would that be sufficient to cover the
11 stricture?

12 A. Yes.

13 Q. What do you do in an instance

14 where the overlap created is a shorter
15 length that doesn't cover fully the
16 stricture?

17 A. Well, you use a different size
18 stent.

19 Q. In this case, when you put in the
20 stent, with the one and half centimeter
21 overlap, was it your opinion there was
22 sufficient excess covering this wound,
23 beyond the actual length of the stricture;
24 correct?

25 A. Yes.

0202

1 , M.D.
2 MR. : Objection to the
3 form.

4 MR. OGINSKI: I will rephrase.

5 Q. If you place a stent -- two
6 stents -- and you realize that it is
7 shorter than the actual length of the
8 stricture, and you have discharged both
9 stents into the bulbar urethra, what do
10 you do in that instance?

11 A. Hopefully, I figure that out
12 before I discharge and just put in the
13 appropriate size stents.

14 Q. Is there anything you do if you
15 have in fact discharged both stents and
16 then realize that the length of the stents
17 is not in excess of that one and half
18 centimeters you talked about and that it
19 should extend beyond the length of the
20 stricture?

21 MR. : Objection to the
22 form. He just asked you a completely
23 hypothetical questions. He's asking
24 you, if that ever happened, what would
25 you do.

0203

1 , M.D.

2 Over my objection to form, you
3 can answer the question.

4 A. If that ever happened, I would
5 try and pull it out and put in the
6 appropriate size stent. I wouldn't leave
7 the stent that was too short in place.
8 There is also the possibility that I could
9 just add a third stent that was, you know,
10 but it's... I've never seen that.

11 Q. You have never done that, put a
12 third stent in?

13 A. No.

14 Q. Is there any risk of destroying
15 the UroLume stent when discharging and
16 placing it? Can you actually remove it
17 and then place it again in the right
18 place?

19 A. Once it's completely discharged,
20 it's pretty difficult to remove... that's
21 true.

22 Q. If you try to attempt to move
23 one, would another risk be that you can
24 tear it, and it may break apart, so you
25 have a problem?

0204

1 , M.D.

2 A. Yes.

3 MR. : Objection to the
4 form, to the word "problem." Other
5 than that, it was fine.

6 Q. Let's turn, please, to the

7 20, 20 procedure, and
specifically

8 to your typed operative report.

9 A. Okay.

10 Q. Did you have assistance during
11 the procedure?

12 A. No.

13 Q. On the 10, 20

insertion of

14 the UroLume, did you have an assistant?

15 You can keep the page open. Can
16 you see in your operative --

17 A. I don't have to look. Thank you.

18 There is no assistant listed.

19 Q. On 20, 20 , did you

measure

20 the stricture?

21 A. Yes.

22 Q. What was the size of the

23 stricture on 20th, approximately?

24 MR. : Objection to the

25 form of the question.

02

1 , M.D.

2 Go ahead and answer.

3 A. It's not noted here.

4 Q. Then how do you know the size of
5 the stricture as you sit here now?

6 A. Because the stricture has to be
7 measured in order to put in the
8 appropriate size stent.

9 Q. What's the size of the stricture
10 on 20th?

11 A. The size of the stricture is not
12 written here, but I have to presume it was
13 same as it was three weeks earlier.

14 Q. Had it grown?

15 A. No.

16 Q. Enlarged?

17 A. No.

18 Q. Had it shortened?

19 A. No.

20 MR. : Off the record.

21 (Discussion held off the record.)
22 (A recess was taken.)
23 THE WITNESS: There is a problem
24 with the question because there was no
25 stricture on . It happened
02
1 , M.D.
2 with the previous stent. When I was
3 answering it, in my mind, I was
4 unclear of the question.
5 Q. When you performed the 20th
6 procedure, before --
7 MR. OGINSKI: Withdrawn.
8 Q. On 20th when you did that
9 procedure, did you observe if the urethra,
10 specifically in the area of the bulbar
11 urethra, was occluded?
12 A. Prior to this procedure?
13 Q. During the procedure.
14 A. During the procedure?
15 Q. Yes. Before putting in the
16 UroLume stent, did you make an observation
17 as to whether or not the urethra was
18 occluded in any fashion?
19 A. Yes.
20 Q. What was your observation?
21 A. My observation was that it was
22 not.
23 MR. : This is
20th?
24 MR. OGINSKI: Yes.
25 Q. Where was the first stent located
0207
1 , M.D.
2 that you initially put in on 10th?
3 A. It would be proximal -- distal --
4 which one are you referring to?
5 MR. : The first one you
6 put in.
7 A. The proximal stent was in the
8 proximal bulbar urethral.
9 Q. Did that stent migrate from 20th?
10 10th to
11 A. Not to my knowledge.
12 Q. Where was the second stent that
13 you put in?
14 A. In the bulbar urethra which was
15 distal to the first stent.
16 Q. Were they still overlapping?
17 A. Yes.
18 Q. Had this second stent migrated at
19 all?
20 A. No.
21 Q. Did you observe any shortening of
22 the first and second stents?
23 A. No.
24 Q. Did you observe any

25 reepithelization of either the first or
0208

1 , M.D.

2 the second stent?

3 A. A little bit.

4 Q. Were you able to pass an
5 instrument through the existing stents?

6 A. Yes.

7 Q. Did you remove a stent during
8 that procedure?

9 A. I removed both stents during the
10 procedure.

11 Q. Tell me what the indications were
12 for the removal of the stents.

13 Do you have them listed anywhere
14 in the typed operative report?

15 A. They are not in the report, no.

16 Q. Is there any reason why an
17 indication for the removal of the stents
18 does not appear in the operative report?

19 A. A reason, I don't... I guess some
20 things are missing from the operative
21 report.

22 Q. In your operative report, is it
23 in any way indicated that you actually
24 removed the UroLume stents?

25 A. Not in this report, no.

0209

1 , M.D.

2 Q. Can you tell me why that
3 information is not contained in the
4 dictated and typed operative report?

5 A. I have a theory as to why that
6 is.

7 Q. I am asking if you know
8 specifically.

9 A. Pretty much...

10 Q. What is your answer?

11 A. The dictation system. It appears
12 on that date that it not was performing,
13 and it was dictated at a later time.

14 Q. At the bottom left side of the
15 page, it has abbreviation for dictation,
16 time dictated, and under it, there's the
17 date of 20, 20

that?

18 A. Yes.

19 Q. The "T" there represents the
20 abbreviation for transcription?

21 A. Yes.

22 Q. Was it dictated and transcribed
23 the same day?

24 MR. : Was it done the same
25 day?

0210

1 , M.D.

2 A. Yes, well, I don't know -- I

3 couldn't comment on the practices of the
4 transcription services.

5 MR. : Do you know that the
6 system was down that day?

7 THE WITNESS: (Indicating).

8 Q. Your attorney has shown me the
9 preoperative report and pointed out to me
10 something that wasn't mentioned in the
11 postoperative and discharge note for
12 20th in the
13 record. There is a notation at the bottom
14 left. It says: Dictating system down.

Hospital

15 Is that your handwriting?

16 A. Yes.

17 Q. It says that you, at some point,
18 received a copy of the dictated operative
19 report; is that correct?

20 A. Yes.

21 Q. And do you know when that made
22 its way into your office record?

23 A. I don't know when that was but...

24 MR. : Did you get a copy?

25 THE WITNESS: Yes.

0211

1 , M.D.

2 Q. At some point after the report is
3 transcribed, is it customary for you to
4 review and to sign it; correct?

5 A. Correct.

6 Q. Once you dictate a report -- I'm
7 looking at the hospital chart now -- I see
8 a signature which appears at the bottom of
9 that.

10 A. Yes.

11 Q. Does that tell you when you
12 signed that operative report?

13 A. I don't know. It's not dated.

14 Q. It was at some point after the
15 report was dictated and read that you
16 signed it; correct?

17 A. Incorrect.

18 Q. Please tell me what you mean.

19 A. I mean very specifically that
20 these reports are dictated reports.

21 Q. How does your signature appear on
22 this particular report in this particular
23 hospital chart?

24 A. They are dictated, signed and not
25 read.

0212

1 , M.D.

2 Q. Is it your custom and practice to
3 sign things that you don't read?

4 A. Yes.

5 MR. : Over objection to
6 the form.

7 Q. Why did you sign it?

8 A. You are made to. It's hospital
9 policy.

10 Q. What is the significance of
11 signing something if you are not reading
12 it? Why bother doing it?

13 A. I asked the same question.

14 Q. In fact you are
15 at that hospital?

16 A. Correct.

17 Q. Is there a policy that says if
18 the doctor signs an operative report they
19 assume they have read it and that
20 everything is accurate?

21 MR. : Are you asking about
22 written policy?

23 MR. OGINSKI: I am asking about
24 the policy for doctors at the
25 hospital.

0213

1 , M.D.

2 A. No.

3 Q. Are you aware of any hospital
4 policy, any written material which is put
5 out by the Department of Urology, or
6 anywhere else in the hospital, that
7 requires physicians to read, to review,
8 and to sign their operative reports?

9 A. I don't know of any policy that
10 requires you to read anything about
11 anything.

12 MS. : Are you asking about
13 anything with his signature, or the
14 electronic signature?

15 MR. : His actual
16 signature.

17 Q. Are you aware of any hospital
18 policy that says you should date every
19 signature for every report you sign at
20 Hospital?

21 A. No.

22 Q. Did you ever review this
23 operative report for accuracy?

24 MR. : When?

25 MR. OGINSKI: At any time.

0214

1 , M.D.

2 Q. Whenever you got it.

3 A. Not until...

4 Q. Unrelated to this lawsuit.

5 A. Until the lawsuit, absolutely I
6 never did.

7 Q. Did you receive a copy of this
8 report when it made its way into the
9 patient's office record? Did you read it
10 then to see if it was accurate?

11 A. No.

12 Q. Did you have any difficulty

13 removing stent No. 2 on 20th?

14 When I say, "stent No. 2," I am
15 referring to the one more distal.

16 A. The stents were difficult to
17 remove.

18 Q. They were or were not?

19 A. They were.

20 Q. Was any damage done to the
21 patient's urethra related to the removal
22 of those stents?

23 A. No.

24 Q. What was difficult about their
25 removal?

0215

1 , M.D.

2 A. The epithelization had started,
3 and it took an extra few minutes to remove
4 the stents.

5 Q. At the time you were referring
6 to, when you removed them, when that was
7 actually occurring, was the tissue that
8 contained it being destroyed, did nothing
9 happen to it, or something else?

10 A. Well, the stent doesn't come out
11 whole. So you are pulling out pieces.
12 It's sort of like pulling a thread out of
13 a piece of material. The material's still
14 holding, even if one thread has been
15 pulled out.

16 Q. Was there bleeding associated
17 with the removal of the stents?

18 A. Not significant bleeding, no.

19 Q. In your opinion, did you expect
20 that there was no scar tissue as a result
21 of the removal of those stents?

22 A. He had scar tissue as a result of
23 having the recurrent urethral stricture.
24 It was a fair amount of scar tissue.

25 Q. Would you have expected

0216

1 , M.D.

2 additional scar tissue from the removal of
3 these stents?

4 A. It's possible.

5 Q. Did you observe any scar tissue
6 in the area where the stents were located?

7 A. Nothing out of the ordinary.

8 Q. Did you observe any unusual
9 coloration in the area where the stents
10 had been?

11 A. Nothing unusual.

12 Q. After removing both stents, were
13 the stents sent to pathology --

14 A. Yes.

15 Q. -- which identified what they
16 are?

17 A. Excuse me?

18 Q. Is there a pathology report that
19 identified what was taken out?

20 A. Yes.

21 Q. When you remove something from a
22 patient and intend to send it to
23 pathology, do you indicate where that
24 specimen came from?

25 A. That information is relayed to a
0217

1 , M.D.
2 nurse who is supposed to write down what
3 the physician says.

4 Q. Did the pathology report dictated
5 the following day describe what it was
6 that you removed; correct?

7 A. Yes.

8 Q. If you would look at the
9 pathology report, please.

10 (Witness complies.)

11 Q. Doctor, are you looking at the
12 surgical pathology report date

22nd?

13 A. Yes.

14 Q. It indicates that coil fragments
15 in the length of five centimeters were
16 removed or observed; correct?

17 A. "Up to five centimeters," it
18 says, yes.

19 Q. At the bottom, it indicates, it
20 says that the diagnosis was foreign body
21 (coiled wire) said to be from urethral
22 stricture; do you see that?

23 A. Yes.

24 Q. Does Hospital keep
25 specimens for any period of time?

0218

1 , M.D.

2 A. I don't know their policy.

3 Q. Does this specimen still exist at
4 Hospital?

5 MS. : Objection.

6 Q. Do you know if it still exists?

7 A. I have no idea.

8 Q. When you inserted --

9 MR. OGINSKI: Withdrawn.

10 Q. When you spoke to Mr.

11 27, 20

on
and discussed his

options

12 with him, and as you told us, you have a
13 note that you were going try to move the
14 UroLume stent distally, did you tell him
15 what you would do if you were unsuccessful
16 in being able to move the stents?

17 A. Yes.

18 Q. What did you say?

19 A. I relayed that it was possible.

20 Q. What did he say in response?

21 A. He must have agreed, because he

22 went through with the procedure.
23 Q. When you removed both stents and
24 sent them to pathology on 20th and
25 decided to put in another stent, what size

0219

1 , M.D.

2 UroLume stent do you use?

3 A. The same as before.

4 Q. Which is what?

5 A. Three and 1.85.

6 Q. To be clear, this typed operative
7 report does not indicate the size of the
8 stent you used?

9 MR. : Objection to the
10 form of the question.

11 You can answer.

12 A. Yes.

13 MR. : Off the record.

14 (Discussion held off the record.)

15 Q. What benefits did you expect to
16 achieve by putting in other two UroLume
17 stents on 20th?

18 A. At that time I was concerned
19 because the patient had complaints, after
20 the first insertion, and I was concerned
21 about them, and I thought that perhaps
22 repositioning would help. Repositioning
23 was not possible, so I put in new ones,
24 which was thought to be the best way to
25 help him.

0220

1 , M.D.

2 Q. You told me earlier that you were
3 concerned, after Mr. made these
4 specific complaints of incontinence and
5 postvoid dribbling, with the possibility
6 that the stent had migrated. On
7 20th, when you are now visualizing
8 and observing the location and placement
9 of the stents, you determine they had not
10 migrated.

11 Can you tell me why you still
12 elected to remove those stents and to put
13 in two, two new stents?

14 A. I observed that where they were
15 can cause pain and discomfort and can
16 cause something maybe you can't see
17 visually. I thought maybe a new stent in
18 a slightly different position, maybe
19 slightly distally, would help with what I
20 expected to achieve or accomplish with
21 this patient.

22 Q. But having put the two new stents
23 in, wasn't that in a similar area to where
24 the stents would have been, had they not
25 migrated?

0221

6 A. I don't recall specifically.

7 Q. How much overlap did you have
8 between the first and second stents?

9 MR. : The two new ones on
10 20th?

11 MR. OGINSKI: The two new ones on
12 20th.

13 A. I don't have the specifics.

14 Q. The overlap you have told us
15 about, would it have to be at least a few
16 millimeters more than one and half
17 centimeters; correct?

18 A. Possibly a little more, depending
19 on the size of the stents used. Those are
20 the guidelines.

21 Q. Where did you actually place them
22 more distally when you placed the two new
23 stents in comparison to the first two
24 original stents?

25 A. Likely further away from the

0224

1 , M.D.
2 sphincter.

3 Q. How far away was the first stent
4 you placed from the sphincter?

5 A. It was a few millimeters to a
6 half a centimeter and it was slightly
7 distal to the sphincter.

8 Q. Are you aware of any literature
9 that supports the use of repeated and
10 consecutive stents to treat recurrent
11 stricture disease?

12 A. I can't quote the literature.

13 Q. Are there manufacturer's
14 guidelines that support the use of repeat
15 and consecutive stents to treat recurrent
16 stricture?

17 A. I wouldn't be able to quote from
18 the manufacturer's guidelines.

19 Q. Did you use any electrodes or
20 surgical loops to remove the
21 epithelialized tissue?

22 A. No.

23 Q. Did you use any type of device,
24 other than maybe a grabber, to grab the
25 stents to remove them?

0225

1 , M.D.
2 A. No. Just the grabber.

3 Q. Did you use any type of device to
4 stem bleeding that may have occurred while
5 you were pulling out the remnants of the
6 stents?

7 MR. : Over objection to
8 form.

9 A. No.

10 Q. Did you use an endoscope to

11 visualize all the wire filaments of the
12 original one and two stents that had to be
13 removed?

14 A. No.

15 Q. Did you look through the
16 fluoroscope to see if all the wire
17 filaments had been removed?

18 A. No.

19 Q. Did the fluoroscope allow you to
20 see if you needed to remove, anything --

21 MR. : Objection.

22 Q. Was there anything other than the
23 threads or remnants that you thought it
24 was necessary to remove?

25 MR. : Objection. That was

0226

1 , M.D.

2 asked and answered.

3 Q. You didn't feel that was
4 necessary?

5 A. No.

6 Q. Before you removed the two
7 original stents, had you tried to
8 reposition them?

9 A. No.

10 Q. In your 27th note, it was

11 noted, as you said, that it was your
12 intention to try to reposition them.

13 Why are you telling me now that
14 you did not try to reposition the stents
15 on 20th?

16 A. At the time of the 20th
17 procedure, it didn't seem feasible because
18 of the urethroscopic observation.

19 Q. That was an observation of what?

20 A. When there's a little bit of
21 reepithelization occurring already, it's
22 not going to be possible to move the
23 stents.

24 Q. Are you a Fellow of the American
25 College of Surgeons?

0227

1 , M.D.

2 A. No.

3 Q. Are you aware of any instances of
4 iatrogenic causes that could lead to
5 urethral stricture?

6 A. Yes.

7 Q. In your opinion, did Mr.
8 have an iatrogenic condition that caused
9 his urethral stricture?

10 A. Prior to my treating him.

11 Q. Would you agree, doctor, that the
12 use of UroLume stents is best reserved for
13 patients who are medically unfit to have
14 lengthy open urethral reconstructive
15 procedures?

16 A. That might be one indication.

17 Q. In your opinion, was Mr.
18 medically unfit to have a lengthy open
19 urethral reconstruction?

20 A. No.

21 Q. If you were to perform an open
22 urethroplasty at any one of the hospitals
23 you were affiliated with, did you need to
24 have a separate credential in order to do
25 that procedure at the hospital, other than

0228

1 , M.D.
2 your privileges?

3 A. No.

4 Q. Would you agree that overall
5 stricture length dictates surgical
6 technique used --

7 A. No, not all the time.

8 Q. Tell me what you mean.

9 A. A lot depends, again, on the size
10 and position of the stricture, in
11 particular, the age of the patient, the
12 patient's wishes, the patient's
13 life-style. There are multiple factors
14 involved when making the decision on which
15 treatment is the appropriate treatment for
16 each case.

17 Q. In making a decision on treatment
18 of the patient's disease, in recommending
19 what the appropriate procedure is, does
20 the size of the stricture primarily
21 dictate what surgical technique you are
22 going to use?

23 A. Not primarily, no. Many factors
24 are involved.

25 Q. I may have asked you this

0229

1 , M.D.

2 already: If the stricture is greater than
3 four centimeters in length, is it your
4 opinion that the patient would benefit
5 most from an open urethroplasty rather
6 than the UroLume stent procedure?

7 MR. : Objection. It was
8 asked and answered multitudinous times
9 today.

10 MR. OGINSKI: Sorry.

11 Q. When you formulate a treatment
12 plan for a patient and the options on how
13 to treat recurrent strictures, would you
14 agree that an important question to answer
15 is whether or not the treatment is a
16 temporary treatment or a long-term
17 treatment for the condition?

18 A. Yes.

19 Q. Is it the general view that
20 stricture management should be a cure not

21 temporary management? Would you agree
22 with that?

23 MR. : Objection to the
24 form.

25 A. It depends. All cases are

0230

1 , M.D.

2 different. In managing each patient's
3 case, management goals always depend upon
4 the particular case. Nothing is so
5 general as to say you always want achieve
6 a cure.

7 Q. Putting aside the patient's
8 actual desire, from a medical standpoint,
9 when recommending a particular procedure,
10 is the general goal, in making a
11 recommendation to treat recurrent
12 stricture disease, primarily one of cure
13 and not necessarily of temporary
14 management?

15 A. Yes.

16 Q. The goal is always to do your
17 best to prevent recurrent stricture, to do
18 a procedure that will last a prolonged
19 period of time?

20 A. Yes.

21 Q. Are you aware of a study by
22 someone named Pansodoro,
23 P-A-N-S-O-D-O-R-O, published in The
24 Journal of Urology in 1996 that reports
25 that a 58-percent recurrence rate after

0231

1 , M.D.

2 the first year of the study of the 142
3 patients in the study; are you familiar
4 with that study?

5 MR. : Note my objection
6 because you know it's not proper to
7 ask that, but over my objection, if
8 you are familiar with that study, you
9 can answer.

10 A. No, not off the top my head.

11 Q. Are you familiar with a study by
12 Dr. Hussain, H-U-S-S-A-I-N, appearing in
13 The Journal of Urology in 1998 involving a
14 study of 210 patients regarding recurrent
15 stricture disease treatment?

16 MR. : Same objection.

17 You can answer over objection.

18 A. I have no independent
19 recollection of that case or that study.

20 Q. Are you successful with a
21 urethrotomy if it was palliative and not
22 curative? Is that a successful
23 urethrotomy?

24 A. In many cases, yes.

25 Q. What are the indicators for

0232

1 , M.D.

2 predicting long-term success of
3 urethrotomy?

4 A. There are a multitude of factors.

5 Q. What are the most important ones
6 that you consider predicting, in recurrent
7 stricture disease, the surgery's long-term
8 success?

9 A. There are so many factors that
10 can be predictive of success in a
11 urethrotomy: Age of the patient, the
12 position and size of the stricture, and,
13 in some cases, whether or not infection is
14 involved. There are so many factors for
15 determining it.

16 Q. The fact that a patient has a
17 single stricture as opposed to multitude
18 strictures a predictive factor of success?

19 A. Yes.

20 Q. What about having a length of
21 stricture which is longer than one
22 centimeter; is that predictive?

23 A. Which kind of stricture?

24 Q. I'm talking about a new urethral
25 stricture, not a recurrent stricture.

0233

1 , M.D.

2 Would the length of a stricture of less
3 than one centimeter be a predictive factor
4 in determining the success rate of repeat
5 urethrotomies?

6 A. In general, shorter strictures do
7 have a higher success rate.

8 Q. If I were to ask the same
9 question but as to the nature of the
10 stricture -- not just its length but the
11 type of stricture -- is the success rate
12 greater with a less dense stricture than
13 it is with a stricture of greater density?

14 A. Yes. There's more success with
15 less dense strictures.

16 Q. Did you discuss with Mr.
17 whether he would be a candidate for
18 urethroplasty?

19 MS. : Over objection to
20 the form.

21 A. No. The actual goal of the
22 second procedure was to try to prevent him
23 from needing an open urethroplasty.

24 Q. Did you tell Mr. , after
25 doing the first urethrotomy on

0234

1 , M.D.

2 31st, what the success rate would
3 be for the procedure?

4 MR. : Objection to the

5 form.
6 A. I told you I don't discuss
7 percentages or success rates with patients
8 because they are all individuals. I don't
9 want to put a number in their head to
10 think about.

11 Q. Before doing the urethrotomy at
12 Hospital, did you tell Mr.
13 that he had 50 percent or greater
14 likelihood for recurrent stricture -- with
15 a repeat urethrotomy -- with relation to
16 his problem with recurrent stricture? Did
17 you tell him what his chances were of
18 getting a repeat stricture?

19 MR. : Objection to the
20 form.

21 A. My philosophy is not to discuss
22 specific percentages with patients because
23 they tend to dwell on these things. No
24 good comes of it.

25 Q. Other than using actual

0235

1 , M.D.
2 statistics or numbers, did you tell
3 Mr. what the possibilities were
4 about the likelihood it would come back,
5 and that he would need another procedure?

6 MR. : Objection to the
7 form. Do you mean did he say it was
8 more likely than not; that sort of
9 thing?

10 MR. OGINSKI: Yes.

11 A. We discussed that possibility,
12 yes. Stricture disease is a recurrent
13 phenomena and I said that eventually -- I
14 have patients that we have followed for
15 15, 20 years that come in for periodic
16 dilation or urethrotomies, and maybe he
17 may need further treatment. So, yes, it
18 is known to be a recurrent phenomena; that
19 maybe a patient will do well just for a
20 certain period.

21 Q. Are you aware of a study in The
22 Journal of Urology published in 1994 which
23 suggested that repeat urethrotomies are to
24 be avoided because it's been documented
25 they are futile in the prevention of

0236

1 , M.D.
2 recurrent stricture disease and suggested
3 that the more effective treatment is
4 urethroplasty?

5 MR. : Objection to the
6 form. I don't think that the doctor
7 should answer that. He hasn't even
8 seen what you are reading from. Are
9 they making this suggestion for anyone

10 a physician might treat? Plus you are
11 talking about an 11-year time period
12 before he even treated this patient.

13 Q. Are you aware of a study that
14 discussed the use of repeat urethrotomies
15 and the implications for future
16 urethroplasty?

17 MR. : Over my objection,
18 are you aware of such a study?

19 A. Are you asking me about something
20 that was written 13 years ago? I would
21 have to read the article. It's an
22 impractical question.

23 MR. : So that's the
24 answer.

25 A. (Continuing) It's an impractical

0237

1 , M.D.

2 question. That is my answer to the
3 question.

4 Q. Are you aware of statistics for
5 the success rate on insertion of the
6 UroLume stent in achieving relief of
7 recurrent stricture problems that patients
8 have had it for?

9 A. I don't quote statistics.

10 Q. Even if you don't quote
11 statistics, I'm asking you: Do you know
12 what they are?

13 A. No. I am not quoting them. I
14 don't quote statistics.

15 Q. Do you know what the statistical
16 success rate is for insertion of the
17 UroLume stent to cure recurrent stricture
18 disease?

19 A. I can't give you an exact number.

20 Q. Do you have that information
21 somewhere in your office, whether it's in
22 a journal, or a textbook, or somewhere
23 else?

24 A. If you are asking me whether I
25 have references that I can look something

0238

1 , M.D.

2 up in, the answer to the question is, yes,
3 I suppose.

4 Q. In preparing for today's
5 deposition, did you review any literature?

6 A. No.

7 Q. Did you reference any textbooks
8 in preparing for today's deposition?

9 A. No.

10 Q. Did you review Mr. 's
11 deposition transcript?

12 A. No.

13 Q. Did you review Mrs. 's
14 deposition transcript?

15 A. Mrs. was deposited?
16 MR. : So I assume, since
17 you didn't know she was deposited, the
18 answer is no?

19 A. (Continuing) No. Correct.

20 Q. Did you review Mr. 's
21 medical records from anyone he saw and
22 treated with in ?

23 A. No.

24 Q. Other than the notes that appear
25 in the hospital record and in your office

0239

1 , M.D.

2 record which you have brought today, do
3 you have any other notes in any other
4 place or any billing records about
5 Mr. ?

6 A. No.

7 Q. Was your use of the UroLume stent
8 on 20th considered an off-label use?

9 A. No.

10 MR. : Objection to the
11 form. That calls for a legal
12 conclusion.

13 Q. Are you familiar with a study
14 published in 2002 in The Journal of
15 Urology by T. S. Wilson called, "UroLume
16 Stent Lessons Learned"? Are you familiar
17 with that study?

18 MR. : Over objection.

19 A. I don't have an independent
20 recollection of that particular study.

21 MR. : Are you aware of any
22 conclusions reached in that particular
23 study: "Yes" or "no"?

24 THE WITNESS: No.

25 I want to say --

0240

1 , M.D.

2 MR. : Just answer the
3 questions.

4 Q. Are you familiar with a study
5 done by Dr. Hussain at the Institute of
6 Urology in London in 2004?

7 A. No.

8 MR. : Over objection.

9 Q. Are you familiar with any of the
10 conclusions reached by Dr. Hussain or any
11 of the coauthors involved in that study?

12 A. No.

13 Q. Did you have a conversation with
14 Mrs. about the options available to
15 her husband before inserting the UroLume
16 stent?

17 MR. : The first time?

18 MR. OGINSKI: Yes.

19 A. Not that I recall.

20 Q. Did you ever meet Mrs. at
21 Hospital?

22 A. I don't recall.

23 Q. Did you ever speak with
24 Mr. 's primary care physician about
25 his ongoing care and treatment in the year

0241

1 , M.D.

2 20 ?

3 A. There were communications, I
4 think, in writing.

5 Q. Other than the information that
6 appears in your notes, do you recall any
7 phone conversations or in-person
8 conversations with the patient's primary
9 care doctor?

10 A. No, none specifically.

11 Q. Do you remember that his primary
12 care doctor was Dr. ?

13 A. I think so.

14 MR. : Off the record.
15 (Discussion held off the record.)

16 Q. Let's go please to the notes,
17 doctor. Let's start with the

18 21, note.

19 MR. : Off the record.
20 (Discussion held off the record.)

21 Q. Doctor, I am going to ask you to
22 read the notes, and then if there are
23 abbreviations, tell me what they are when
24 you are done reading the note.

25 (Witness complies.)

0242

1 , M.D.

2 A. Decide what stent size. Scope
3 stricture. Plan laser treatment.

4 Q. Am I correct, he did not have
5 laser treatment on that date?

6 A. Correct.

7 Q. What is the next date, please?

8 A. 31st.

9 Q. That is in 20 ?

10 A. Yes.

11 Q. Would you read the note, please.
12 (Witness complies.)

13 A. Three centimeters mid to proximal
14 bulbar stricture. Greater than
15 30 millimeters. Tied with 18-French
16 council. Plan DC follow one week.

17 Q. Discontinue?

18 A. Yes.

19 Q. The patient was going to have a
20 Foley, an indwelling Foley for one week?

21 A. Yes.

22 Q. What was the purpose of the
23 indwelling Foley?

24 A. After a urethrotomy, it's the

25 standard in most situations to have an
0243

1 , M.D.

2 indwelling Foley after an internal
3 urethrotomy.

4 Q. Why?

5 A. It's actually controversial, but
6 I can tell you why I do it.

7 Q. Why do you do it?

8 A. I do it because it's more common
9 to leave one in than to do without it.

10 Q. Why did you leave it in after
11 performing the urethrotomy, in this case?

12 A. I thought the patient would do
13 better with a Foley after the procedure
14 than without.

15 Q. What is it designed to do, the
16 Foley?

17 A. Several things. No. 1, as the
18 stricture heals, to keeps the scar down.

19 No. 2, any bleeding, it could
20 bleed, and if there's anything from the
21 cut, it also eases any dysuria, which is
22 trouble that the patient might have
23 voiding, after a procedure.

24 Q. Let's go to the next note, which
25 is 7th. Can you read that note,

0244

1 , M.D.

2 please.

3 (Witness complies.)

4 A. DC Foley. Discontinue Foley.
5 Plan: Return in one week. Check postvoid
6 residual.

7 Q. Did Mr. make any complaints
8 that day?

9 A. No.

10 Q. If he had made a complaint that
11 day, would you have made a note of it?

12 A. Yes.

13 Q. Did you remove the Foley at that
14 point?

15 A. Yes.

16 Q. He returns the following week;
17 correct?

18 A. Correct.

19 Q. Could you read the note of
20 15?

21 (Witness complies.)

22 A. Urethrometry done. Status post
23 IOU, postvoid residual 12 millimeters.

24 Q. Is that normal or abnormal?

25 A. That's pretty good... that's

0245

1 , M.D.

2 within normal range.

3 Q. Please continue with the rest

4 your note.
5 A. That is the note.
6 Q. Under "Plan"?
7 A. Plan: Uroflow postvoid
8 residual...
9 Q. To be done.
10 A. ... to be done at next visit.
11 Q. Did Mr. have any specific
12 complaints on that visit?
13 A. No.
14 Q. When is the next time you saw
15 him?
16 A. March 22, 20 .
17 Q. Would you read that note, please.
18 (Witness complies.)
19 A. Status post internal operative
20 urethrotomy, 31, 20 - doing well
21 until two weeks ago. Uroflow
22 7.2 millimeters per second. Peak
23 flow/average flow, 3.1 millimeters per
24 second. 1-7 millimeters voided.
25 Q. Was that normal flow?
0246
1 , M.D.
2 A. No.
3 Q. Would you describe it as
4 abnormal?
5 A. Very slow stream.
6 Q. Go ahead.
7 A. Positive urge, positive
8 straining.
9 Q. Does that mean he was straining
10 to urinate?
11 A. With urgency.
12 Q. Postvoid residual, 29
13 millimeters; is that normal?
14 A. That's standard, about... within
15 normal range. Assessment and plan: IOU
16 in hospital.
17 Q. The next note is dated ;
18 correct?
19 A. Right.
20 Q. Please read what you wrote.
21 (Witness complies.)
22 A. PG, which stands for
23 General.
24 Q. You saw Mr. --
25 A. Yes.
0247
1 , M.D.
2 Q. This indicates that you saw and
3 treated him on that date at the hospital?
4 A. Yes.
5 Q. When did you next see him in the
6 office?
7 A. .
8 MR. : Do you want him to

9 read the note?
10 MR. OGINSKI: Yes.
11 (Witness complies.)
12 A. Status post IOU: Had hematuria,
13 now clear. Plan: DC Foley/trial of void.
14 Q. What does that mean?
15 A. Tried a trial of void.
16 MR. : What does, "trial of
17 void" mean?
18 THE WITNESS: It means a trial of
19 urinating.
20 Q. Did Mr. tell you he was
21 having difficulty urinating following the
22 procedure?
23 A. No. The Foley was removed in
24 that setting.
25 Q. Did he have any complaints?
0248
1 , M.D.
2 A. His complaint that he had
3 hematuria was clear --
4 Q. Did he express --
5 A. -- which was cleared.
6 Q. Did he express any complaints of
7 pain on the 18th of ?
8 A. No.
9 Q. When you saw Mr. on
10 March 22nd, you had the plan of performing
11 another urethrotomy at Hospital?
12 A. Yes.
13 Q. Did you tell him that you needed
14 to catheterize his penis at that time or
15 words to that effect?
16 A. Yes.
17 Q. Do you remember having a specific
18 discussion with him about the risks of a
19 repeat urethrotomy?
20 MR. : He's asking you
21 specifically if you have a
22 recollection now.
23 A. No, not a specific recollection.
24 Q. When was the next time you saw
25 Mr. ?
0249
1 , M.D.
2 A. .
3 Q. Please read that note.
4 (Witness complies.)
5 A. Status: Post IOU (second
6 one).
7 Q. "IOU" stands for internal
8 operative urethrotomy?
9 A. Yes.
10 Q. Please continue.
11 A. Okay now. Complaining of slowing
12 of stream again. Uroflow peak flow four
13 millimeters per second. Voided volume 204

14 millimeters; less postvoid residual,
15 35 millimeters.

16 Q. Was he performing normally,
17 abnormally, or something else?

18 A. Abnormal.

19 Q. What, if anything, did that
20 suggest to you?

21 A. Together with his other
22 complaints, that it was possible that he
23 was restructuring.

24 Q. Was this the first time that you
25 had a discussion with Mr. about

0250

1 , M.D.

2 insertion of the UroLume stent?

3 A. Yes.

4 Q. Was anyone with him on this
5 particular visit?

6 MR. : Objection, asked and
7 answered.

8 You can answer it.

9 A. Not that I recall.

10 Q. Did you provide Mr. with
11 any written materials, pamphlets,
12 documents, drawings, about the UroLume
13 stent?

14 A. Pamphlets, no, but drawings, I
15 generally do do drawings for patients.

16 Q. Did you keep a copy of the
17 drawing -- did you --

18 MR. OGINSKI: Withdrawn.

19 Q. Do you have a specific m of
20 making a drawing for Mr. on
21 March 22nd --

22 A. No.

23 Q. -- regarding the UroLume stent?

24 A. Not on that specific date, no.

25 Q. Do you have a model in your

0251

1 , M.D.

2 office that you use to show patients you
3 intend to put the UroLume stent into as to
4 what the thing looks like?

5 MR. : Objection to the
6 form of question.

7 A. I do not have a UroLume stent
8 model, no.

9 Q. Do you have the actual device, so
10 to speak, to show how they are inserted,
11 what it looks like, and how it's
12 discharged or inserted?

13 A. Actually, I just answered that.
14 I do not have a model of the UroLume
15 stent.

16 Q. Other than a model, I am asking
17 if you have the actual device.

18 MR. : Isn't that a model?

19 MR. OGINSKI: I am asking about
20 having either the device or the
21 discharge device, did he have either
22 one of those.

23 MR. : "Yes" or "no."

24 A. I don't understand what the
25 difference is between the model and the

0252

1 , M.D.

2 device.

3 Q. I am asking about the actual
4 device.

5 You are familiar with certain
6 anatomic models; correct?

7 A. Yes.

8 Q. As I am sure you are aware, some
9 doctors use models to show how these
10 things work; correct?

11 A. Right.

12 Q. Aside from an anatomic model, did
13 you have a actual UroLume stent device in
14 your office in --

15 MR. : May 20 .

16 Q. -- that you would show to your
17 patients when you intended to perform a
18 UroLume insertion?

19 A. I did not generally show the
20 device to the patient before this type of
21 procedure.

22 Q. Did Mr. tell you he was
23 having postvoid dribbling after either of
24 the two urethrotomies, the first one in
25 or the second one in ?

0253

1 , M.D.

2 A. No.

3 Q. On 10, 20 , he goes
to

4 Hospital, where you did a

5 UroLume insertion; correct?

6 A. Yes.

7 Q. You followed him in your office

8 on 27, 20 ; correct?

9 A. Yes.

10 MR. : Off the record.

11 (Discussion held off the record.)

12 Q. Did you prescribe Ditropan for
13 the patient between 31, 20 and
14 27, 20 ?

15 A. Prior to 27th, not to my
16 knowledge.

17 Q. After the 10th insertion of
18 the UroLume, did Mr. complain of
19 excruciating pain?

20 A. No.

21 Q. Did he ever call you to tell you
22 he was having excruciating pain after

23 10, 20 ?
24 A. Up through the last visit or...
25 Q. Before 20th.
0254
1 , M.D.
2 A. It's certainly possible.
3 Q. But there is nothing in your
4 notes to indicate such a phone
5 conversation; is that correct?
6 A. Correct.
7 Q. Did Mr. ever tell you that
8 he had to use or that he tried adult
9 diapers when he was having dribbling and
10 incontinence?
11 A. If he said that at the visit of
12 , it would have been noted with the
13 other complaints.
14 Q. At any time after 27th, did
15 you ever learn that he had to use adult
16 diapers to control leaking from the
17 postvoid dribbling and incontinence?
18 A. Not that I specifically recall.
19 Q. Do you remember what, if
20 anything, he was using to stop the urine
21 from leaking out and not soiling his
22 clothing, whether it was pads, or a
23 napkin, or something else?
24 A. I don't recall what he was using.
25 Q. After 20, 20 , when
you
0255
1 , M.D.
2 performed the removal and the reinsertion
3 of the new UroLume stents, did you have a
4 conversation with Mr. , by telephone,
5 after the procedure, where he called and
6 said that he had pain following the
7 procedure?
8 A. No. Not to my recollection.
9 Q. Did you ever tell Mr. --
10 MR. OGINSKI: Withdrawn.
11 Q. Do you recall Mr. calling
12 one particular morning before office
13 hours, or paging you, or paging your
14 service, about complaints he was
15 experiencing following the procedure?
16 MR. : Over objection to
17 the form, you can answer.
18 A. No, I have no recollection of
19 that.
20 Q. Did you ever tell Mr. or
21 someone from his family not to call too
22 early in the morning, before office hours,
23 because you had a problem returning phone
24 calls at that hour?
25 A. Absolutely not. I absolutely
0256

5 MR. OGINSKI: Yes.

6 A. I don't recall the specific
7 conversation with the patient.

8 Q. Would you have expected to tell
9 him that you had to use two stents?

10 A. I have no particular reason to
11 recall it.

12 Q. Did you ever come to learn that
13 one or both of the stents came to rest in
14 a section of his penis after 20,
15 20 ?

16 MR. : Over objection,
17 obviously, other than in conversation
18 with counsel.

19 MR. OGINSKI: Yes.

20 Q. I'm not talking about anything
21 you discussed with your attorney.

22 After 20th, did you learn
23 from any doctor that one or more of the
24 stents you had placed was ultimately
25 located in the penis?

0259

1 , M.D.

2 A. No.

3 Q. In your experience, and based
4 upon your knowledge of the UroLume stent,
5 can the stent placed into the bulbar
6 urethra migrate to the penis?

7 MR. : Do you mean the
8 pendulous urethra?

9 MR. OGINSKI: Yes.

10 A. Yes, it's possible.

11 Q. Could they actually migrate?

12 A. They could move per the
13 epithelization. Theoretically they could
14 move.

15 Q. What would cause it to move?

16 A. I don't know if I can tell you
17 that with a hundred percent accuracy.
18 Theoretically, if the patient is
19 catheterized, for instance, it might
20 dislodge a stent. Some other type of
21 trauma. Sexual trauma. Perhaps an
22 emergent reason. They could just migrate
23 when a patient is urinating, is straining
24 to urinate.

25 Q. Assuming that there was no

0260

1 , M.D.

2 trauma, no instrumentation trauma, no
3 catheterization trauma, can a stent
4 migrate due to the force of urine coming
5 out?

6 A. Apparently, it can.

7 Q. Are you aware of any studies that
8 document that such cases, or a report?

9 A. I have never seen a published

10 report specifically addressing that
11 question, no.

12 Q. If you intend to use a stent in
13 an off-label manner, would you agree that
14 you should tell the patient you are using
15 it in an off-label manner?

16 MR. : Objection to the
17 form.

18 Q. Would you agree --

19 A. It's possible --

20 MR. : If you can answer
21 the question, answer.

22 A. (Continuing) I don't think it
23 applies.

24 Q. If, for whatever reason, you
25 choose to use a stent in an off-label

0261

1 , M.D.

2 manner, not in conformance with the
3 manufacturer's guidelines, would it be
4 appropriate for you to have told the
5 patient: Look, I'm thinking of using it
6 this way. I think it will help you. This
7 is why we are using it? Would that be
8 appropriate for you to tell the patient?

9 A. I might. As a general principle,
10 if we are using something for a purpose
11 that it's generally not designed for, or a
12 medication, we commonly explain to the
13 patient: This isn't what it's designed
14 for, but we can use it for this other
15 purpose, and we can apply or use the
16 device this way as well.

17 Q. Is it your practice to tell
18 patients about that, if you are going to
19 doing something like that?

20 MR. : Objection.

21 A. Sure, it if's an off-label use, I
22 might. One might tell the patient about
23 it.

24 MR. OGINSKI: Please mark this as
25 Plaintiffs' Exhibit 3.

0262

1 , M.D.

2 (The document entitled "UroLume
3 Instructions For Use," dated 7/28/04,
4 was hereby marked as Plaintiffs'
5 Exhibit 3 for identification, as of
6 this date.)

7 Q. Doctor, I'm going to show you a
8 document which was marked as Plaintiffs'
9 Exhibit 3. It is titled: "UroLume
10 Instructions For Use," and at the top
11 there is a date on there of
12 That is a 16-page document. I'm going to
13 ask you to look at page 6 and,
14 specifically, at paragraph 4 first. Take

28, 2004.

15 a look at the document, doctor. Can you
16 look please at page 6, doctor and --
17 MR. : Let him read it
18 first.

19 A. Yes.

20 Q. Specifically, paragraph 4, read
21 paragraph 4.

22 MR. : Objection. That's
23 not appropriate for him to read it
24 into the record.

25 MR. OGINSKI: Okay.

0263

1 , M.D.

2 Q. First, have you ever seen an
3 instruction booklet like this regarding
4 the UroLume?

5 A. No.

6 Q. Have you ever read an instruction
7 manual like this one I'm showing you by
8 American Medical Systems?

9 A. Not that I'm aware of.

10 Q. Turn to page 6, under
11 "Precautions," paragraph 4: The
12 prosthesis should be used for treating
13 strictures no longer than three
14 centimeters. Safety and effectiveness of
15 the device in strictures longer than three
16 centimeters has not been fully
17 established.

18 Were you aware of those
19 manufacturer's guidelines, and,
20 specifically, the quotation I just read to
21 you, were you aware of that?

22 MR. : I am going to object
23 to the extent case law and legend
24 mandates that manufacturer's inserts
25 or the Physician's Desk Reference

0264

1 , M.D.

2 cannot be used to identify standard
3 practices of care. I do not want to
4 block the question, because it's a
5 discovery deposition, but I think you
6 should re-ask the question. Also,
7 No. 2, he has stated he has never seen
8 the insert before as far he was aware.
9 And No. 3, he has answered this now
10 multiple times.

11 MR. OGINSKI: It's not an insert,
12 it's not guidelines. It's a specific
13 instruction manual for the use of the
14 device.

15 Q. I'm only asking whether you are
16 aware of the written instructions for the
17 UroLume stent.

18 MR. : The one in your
19 hand? The one you just marked?

20 MR. OGINSKI: Correct.
21 MR. : Over my objection,
22 were you aware of that? You can
23 answer it. Were you aware that it was
24 printed in a booklet, not what it's
25 discussing.

0265

1 , M.D.
2 A. I was not aware of that; that it
3 was in a booklet.
4 Q. Were you aware of material that
5 has been published which specifically
6 indicates that UroLume stents were not to
7 be used in a patient who had a stricture
8 of greater than three centimeters?
9 MR. : Objection to the
10 form, objection to the
11 characterization. Over my objection,
12 you can answer that question.

13 A. Actually I'm familiar enough with
14 the device to make the statement that
15 there is no absolute contraindication in
16 those cases anywhere.

17 Q. Would the use of the UroLume
18 stent for strictures greater than three
19 centimeters be considered, in your
20 opinion, an off-label use?

21 A. Absolutely not.

22 Q. I'm reading from the same page,
23 under the heading "Stricture,"
24 paragraph 11. Removal of the prosthesis
25 for any reason after --

0266

1 , M.D.
2 MR. : Objection.

3 Q. -- could result in significant
4 trauma to the urethra. After, if there is
5 ingrowth over the prosthesis, it must be
6 resected before the prosthesis is removed
7 or the prosthesis may unravel.

8 Are you familiar with that?

9 MR. : Objection. Same
10 objection.

11 Q. Were you familiar with that --

12 MR. : Is he familiar with
13 that text printed in the booklet?

14 MR. OGINSKI: Or was he aware of
15 the concept.

16 MR. : First, were you
17 aware this was printed?

18 THE WITNESS: No, I was not aware
19 that that was printed in that booklet.

20 Q. Are you aware of the general
21 concept that, when removing a UroLume
22 stent that has been placed for a prolonged
23 period, it could cause trauma and damage
24 to the urethra?

25 A. No.

0267

1 , M.D.

2 Q. What is your knowledge and
3 understanding with respect its removal?

4 A. After complete epithelization, it
5 may be necessary to resect tissue first
6 before removing the stents.

7 Q. Could that result in trauma to
8 the urethra?

9 MR. : In cases where
10 there's complete epithelization, could
11 removing the stent cause trauma to the
12 urethra?

13 MR. OGINSKI: Yes.

14 MR. : Over objection, you
15 can answer.

16 A. Anytime something's inserted and
17 removed from the urethra, it can cause
18 trauma.

19 MR. : Off the record.

20 (Discussion held off the record.)

21 Q. I want to direct your attention
22 to the manufacturer's statement on page 5
23 of the instruction booklet: Recurrent
24 bulbar urethral strictures under the
25 heading, "Indications For Use," quote --

0268

1 , M.D.

2 MR. : Objection.

3 Q. -- the device is intended for use
4 in men to remove urinary obstruction, to
5 remove benign bulbar urethral strictures
6 that are less than 3.0 centimeters in
7 length, located distally to the external
8 sphincter and proximal to the bulbar
9 scrotal junction.

10 Were you familiar with this
11 manufacturer's statement about the
12 indications for use of this device?

13 MR. : Over objection to
14 the form and to the authority.

15 A. I told you this previously. I
16 already stated I have never seen this
17 booklet before.

18 Q. Did you ever learn, during the
19 course of your training in the use in the
20 UroLume stent, that it was to be used only
21 for strictures less than three
22 centimeters?

23 A. No.

24 Q. Please go to your billing
25 records.

0269

1 , M.D.

2 MR. : Let's take a break
3 first.

4 (A recess was taken.)
5 Q. Before we get to the billing
6 records, doctor, did you understand from
7 Mr. that he worked for an ?

8 A. I believe so.

9 Q. Did you learn, at some point
10 during your treatment of him, that he had
11 gone down to and had been seen by
12 the emergency room or by an emergency room
13 physician because of continued complaints
14 he was experiencing?

15 A. I'm not aware of that.

16 Q. Did you ever tell Mr. that
17 you didn't think he was drinking enough
18 water, when he was complaining to you of
19 being unable to urinate?

20 A. I don't recall that.

21 Q. Other than recurrent stricture
22 disease, what else can cause a patient to
23 have decreased urinary flow, or in
24 Mr. 's case, virtually no flow --

25 A. Are you talking about BPH, which

0270

1 , M.D.

2 means benign prostatic hypertrophy? There
3 are lots of other conditions.

4 Q. What are the most common?

5 A. Any sort of bladder dysfunction,
6 benign prostatic hypertrophy, and
7 stricture.

8 Q. Do you recall telling Mr.
9 to return from , to come to New
10 York, so that you could see his problem
11 and see what else you could do for him?

12 MR. : Over objection to
13 the form, doctor, you can answer.

14 A. Are you referring to the
15 conversation we had after 20th?

16 Q. No, this is before. This is
17 sometime around of 20 .

18 A. I don't recall a specific
19 conversation.

20 Q. Did you ever tell Mr. ,
21 after the second urethrotomy, that you
22 didn't know why he was still having
23 complaints because you cut the area wide
24 enough?

25 A. It's possible I said that to him.

0271

1 , M.D.

2 Q. Did you ever tell Mr. he
3 should try sitting down to urinate to help
4 with his complaints of difficulty in
5 urinating?

6 A. I don't recall saying that, no.

7 Q. Did you tell Mr. that using
8 a UroLume stent will help him urinate?

9 A. Yes.
10 Q. Let's to go to the billing
11 records, please, for 20th 20
12 procedure.

13 Am I correct you billed \$5,000
14 for the cystourethroscope and UroLume
15 stent?

16 A. Can I say one thing? I am
17 absolutely uninvolved in the process of
18 billing in my office. If you would like
19 to ask my office manager those
20 questions --

21 MR. : This doesn't involve
22 other people. You are looking at the
23 billing records. Can you tell him
24 whether that is what's billed?

25 THE WITNESS: No. I cannot do

0272

1 , M.D.

2 that.

3 Q. Please look at the computer
4 printout.

5 A. I don't even have that.

6 Q. I've given it to you. Your
7 attorney provided it to me.

8 A. Okay.

9 Q. Doctor, at some point before this
10 lawsuit was started, there was a request
11 for records that was submitted to your
12 office. Do you recall that?

13 A. No, I don't recall that.

14 Q. In any event, at some point a
15 request for Mr. 's records was made
16 after the start of the lawsuit.

17 Did you provide copies of his
18 chart; do you recall that?

19 MR. : Over objection. The
20 doctor's office provided you with
21 copies, not the doctor.

22 Q. Were you consulted about which
23 records were to be provided?

24 A. No.

25 Q. Did you learn at any point in the

0273

1 , M.D.

2 year 20 whether or not Mr. 's
3 complete office records were provided to
4 his counsel?

5 A. No.

6 Q. If you look at the billing
7 records, does the billing record indicate
8 that \$5,000 was billed for the 20th
9 procedure?

10 A. I have to say I really don't know
11 how read this. This is the first time I
12 have ever even seen something like this.

13 Q. Can you tell from those records

14 how much you were ultimately paid for the
15 procedure done on 20th?
16 A. No, I cannot.
17 Q. Who best in your office is able
18 to interpret those notes?
19 A. Whoever does the billing. I
20 guess Emma.
21 Q. Does Emma have a last name?
22 A. I don't know it.
23 Q. Is she in the Lawrence office or
24 is she in another office?
25 A. The Lawrence office.

0274

1 , M.D.
2 MR. OGINSKI: Off the record.
3 (Discussion held off the record.)
4 Q. How long did it take for you to
5 do the UroLume stent insertion on
6 10th?
7 I am asking for an approximation,
8 not for the exact length of time.
9 Are you looking for the
10 anesthesia record, doctor?
11 A. Yes.
12 Q. I'm asking for your best
13 approximation of how long it would take to
14 do this procedure --
15 A. Ten or 15 minutes.
16 Q. -- on 10th.
17 Is the same true for the
18 20th procedure?
19 A. No.
20 Q. How long did that procedure take?
21 A. That would have been longer
22 because it was more extensive.
23 Q. Can you estimate approximately
24 how long that procedure took?
25 Are you looking for the

0275

1 , M.D.
2 anesthesia record?
3 A. Yes.
4 That appears to be a little over
5 an hour, about an hour.
6 Q. Is it your opinion that the
7 procedure performed on 10th was done
8 in accordance with good and accepted
9 medical practice?
10 A. Yes.
11 Q. Was it your opinion that the
12 procedure done on 20th was done in
13 accordance with good and accepted medical
14 practice?
15 A. Yes.
16 (Continued on the next page to
17 allow for signature line and
18 jurat.)

19
20
21
22
23
24
25
0276

1 _____, M.D.
2 MR. OGINSKI: Thank you very
3 much. I have nothing further.
4 MS. : I have no questions.
5 (TIME NOTED: 4:20 P.M.)
6

7 _____
8 _____, M.D.
9

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
0277

12 _____
13 Subscribed and sworn to
14 before me this _____
15 day of _____,
16 2007.

17 _____
18 Notary Public

0277

1
2 I N D E X
3

4 WITNESS
5 _____, M.D.
6 EXAMINATION BY PAGE
7 MR. OGINSKI: 5

8
9 E X H I B I T S

10	PLAINTIFFS'	DESCRIPTION	PAGE
11	1	Office record 12 of Dr.	
13		patient	
14			4
15	2	Hospital chart	4
16	3	Document 17 entitled "UroLume Stent	

for

18 Instructions
For Use," dated 60
7/28/04

19

20

21 REQUESTS AND INSERTIONS

22 DESCRIPTION	PAGE	LINE
23 AMS rep contact Information	27	10

24

25

0278

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0279

1

2

3

4

5

6

7

8

9

,

6

7

8

9

M.D.

8

9

PAGE

LINE

CORRECTION

REASON

GRETCHEN A. MILTON

ERRATA SHEET

VERITEXT/ NEW YORK REPORTING, LLC

1-808-727-6396

200 OLD COUNTRY ROAD 1350 BROADWAY
MINEOLA, NEW YORK NEW YORK,
NEW YORK 10018

NAME OF CASE:

v.

et al.

DATE OF DEPOSITION: 10/04/07

NAME OF DEPONENT:

M.D.

PAGE LINE CORRECTION REASON

10 _____
11 _____
12 _____
13 _____
14 _____
15 _____
16 _____

17 _____ (Signature of the Witness)

18 SUBSCRIBED AND SWORN TO

19 BEFORE ME

20 THIS _____ DAY OF _____, 20

21

22 _____

23

24 NOTARY PUBLIC

25 COMMISSION EXPIRES: _____