

*DE-IDENTIFIED DEPOSITION OF A GYNECOLOGIST
IN AN UNNECESSARY HYSTERECTOMY CASE*

1

2 SUPREME COURT OF THE STATE OF NEW YORK

3 COUNTY OF BRONX

----- X

4

and ,

5

Plaintiffs,

6

-against-

7

, M.D. , M.D. and
HOSPITAL,

8

Defendants.

9

----- X

11

202 Mamaroneck Avenue
White Plains, New York

12

August 8, 2002
11:15 a.m.

13

14

15

16 EXAMINATION BEFORE TRIAL of one of the

17 Defendants, , M.D.

18

19

20

21

22

23 TOMMER REPORTING, INC.

192 Lexington Avenue

24 Suite 802

New York, New York 10016

25 (212) 684-2448

2

1

2 APPEARANCES:

3

4 , ESQS.

Attorneys for the Plaintiffs

5 150 Great Neck Road

Great Neck, New York 11201

6

BY: GERALD M. OGINSKI, ESQ.

7

8

, P.C.

9 Attorneys for the Defendants,

, M.D., , M.D.

10

New York, New York 10036

11

BY: , ESQ.

12

13

14 Attorneys for the Defendant
HOSPITAL

15

, New York 10601

16

BY: , ESQ.

17

18

* * *

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

3

1

2 STIPULATIONS

3

4 It is hereby stipulated and agreed
5 by and between counsel for the respective
6 parties hereto that all rights provided by the
7 C.P.L.R., including the right to object to
8 all questions except as to form, or to move to
9 strike any testimony at this examination, are
10 reserved, and, in addition, the failure to
11 object to any question or to move to strike any
12 testimony at this examination shall not
13 be a bar or a waiver to doing so at, and is
14 reserved for, the trial of this action;

15 It is further stipulated and agreed by
16 and between counsel for the respective parties
17 hereto that this examination may be sworn to by
18 the witness being examined before a Notary
19 Public other than the Notary Public before whom
20 this examination was begun, but the failure to
21 do so, or to return the original
22 of this examination to counsel, shall not be
23 deemed a waiver of the rights provided by Rules
24 3116 and 3117 of the C.P.L.R., and shall be
25 controlled thereby;

1

2 It is further stipulated and agreed by
3 and between counsel for the respective parties
4 hereto that this examination may be utilized
5 for all purposes as provided by the C.P.L.R.;

6 It is further stipulated and agreed by
7 and between counsel for the respective parties
8 hereto that the filing and certification of the
9 original of this examination shall be and the
10 same hereby are waived;

11 It is further stipulated and agreed by
12 and between counsel for the respective parties
13 hereto that a copy of the within examination
14 shall be furnished to counsel representing the
15 witness testifying without charge.

16

17

18 ** ** **

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

5

1

2 (Thereupon, the office chart was

3 marked as Plaintiff's Exhibit 1 for

4 identification)

5 (Thereupon, the original

6 hospital record was marked as

7 Plaintiff's Exhibit 2 for

8 identification)

9 , M.D.,

10 called as a witness, having been first

11 duly sworn, was examined and testified

12 as follows:

13 EXAMINATION BY

14 MR. OGINSKI:

15 Q State your name for the record,

16 please.

17 A , M.D.

18 Q State your address for the record,

19 please.

20 A , ,

21 New York .

22 MR. OGINSKI: By Counsel, just for

23 the record, Mr. has indicated

24 they will accept service for Dr. .

25 MR. : Yes, absolutely.

6

1 , M.D.

2 Q Good morning, Doctor.

3 What is a cone biopsy?

4 A A cone biopsy means removing part

5 of the cervix which contains cancer.

6 Q What is a LEEP?

7 A LEEP is a loop electrical excision
8 procedure which is the modern way of a cone
9 biopsy. It contain less invasive way of
10 removing cancer part of the cervix.

11 Q Less invasive than a cone?

12 A Yes.

13 Q Is that done in the office?

14 A Yes, most of the time.

15 Q What is a Pap smear?

16 A Pap smear is the most common way to
17 screen cancer cell of the cervix. Usually we
18 use the brush and wooden spatula to scrape the
19 squamous cell off the superficial part of the
20 cervix. We put it on a slide so we look at the
21 cells to see if they contain any malignant
22 cell.

23 Q Is it customary for you in the
24 office to review the cells before sending them
25 out to the pathologist for review?

TOMMER REPORTING, INC. (212) 684-2448

19 Q When you obtain that specimen, do
20 you then send it off to pathology for
21 evaluation?

22 MR. : And your question is in
23 general?

24 MR. OGINSKI: In general.

25 A Yes.

8

1 , M.D.

2 Q What is an ECC?

3 A Endocervical curettage.

4 Q What is that?

5 A The cervix has a canal which the
6 canal connecting into the uterus that's called
7 a endocervical. Usually we scrape the canal
8 cell because sometimes the cancer can be hidden
9 inside of a canal.

10 Q You came here today with your
11 original office chart for , correct?

12 A Correct.

13 Q Did you bring any other records

14 with you relating to ?

15 A Besides the gift she gave me for

16 appreciation after surgery, no.

17 Q What did she give you?

18 A She gave me -- I just had a baby at

19 that time. She gave me sweater and socks.

20 Q Congratulations.

21 A Thank you.

22 Q Are your billing statements

23 contained within the patient's office chart?

24 A Only for her chart because usually

25 for other patients, no, because...

TOMMER REPORTING, INC. (212) 684-2448

9

1 , M.D.

2 Q I'm only concerned about

3 . All of my questions will either relate

4 to specifically or your general

5 custom and practice.

6 In this instance did you bring

7 's billing records with you?

8 A Yes.

9 Q Are they contained within your

10 records?

11 A Yes.

12 Q Do you have any other records in

13 any other location other than what you brought

14 with you today regarding ?

15 MR. : By Counsel, I have

16 removed from the file any

17 correspondence between my office and

18 the doctor.

19 MR. OGINSKI: Fine.

20 Q Separate from that do you have

21 any other documents or records relating to any

22 treatment you may have rendered to

23 other than what's contained in the records you

24 brought with you?

25 A Can you rephrase that question?

1 , M.D.

2 Q Sure.

3 A Because they also contain some
4 records from other doctors which provide...

5 Q Fair enough.

6 A Is that what you meant?

7 Q At some point in time you received
8 certain records from 's prior
9 treating doctors, correct?

10 A Right, exactly.

11 Q Other than what you already have in
12 this office chart, do you have any other
13 records regarding anywhere else?

14 A No.

15 Q Do you have an independent memory
16 of ?

17 A Very well.

18 Q Can you describe for me what she
19 looks like and what you recall her physical
20 characteristics were?

21 A She appeared to be about 5'6",
22 skinny, petite, short hair. At that time she
23 dyed her hair blonde, brown eyes. She speaks
24 perfect English.

25 Q Did you meet her husband?

TOMMER REPORTING, INC. (212) 684-2448

11

1 , M.D.

2 A Yes, I met her mother too.

3 Q Did you offer a cone
4 biopsy at any time before you performed the
5 hysterectomy?

6 A Yes.

7 Q When?

8 A July 1st in my office I remember
9 vividly I offer -- after I reviewed pathology
10 with her from the LEEP procedure and I offer
11 her because the margin was not clear so I offer

12 her another deeper surgery, more invasive, in
13 the hospital.

14 And I still remember vividly she
15 was sitting. She jumped out her chair. She
16 was jumping on her feet. She said, "No, no,
17 no, you don't understand. My mother has a
18 gynecological problem. I have a family history
19 of GYN problems. I want you to take everything
20 out. I want to come back every year. You tell
21 me my Pap smear is normal every year."

22 MR. OGINSKI: Read it back.

23 (Record read)

24 Q This deeper surgery, more
25 invasive as you've mentioned, is that what you

12

1 , M.D.

2 considered a cone biopsy?

3 A Yes.

4 Q Who was present during this

5 conversation?

6 A Just herself. I couldn't remember

7 but I just remember herself at this time.

8 Q You had already done a LEEP

9 procedure the week before, correct?

10 A Right.

11 Q That was done on June 24, 2000?

12 A That's right.

13 Q Did you use the words "cone biopsy"

14 when you spoke to on July 1st?

15 A I believe so because these two

16 terms are sometimes interchangeable in medical

17 textbooks.

18 Q What terms are interchangeable?

19 A LEEP cone biopsy. LEEP and cone

20 biopsy. Sometimes we call them LEEP cone

21 biopsy.

22 Q Do you consider the cone biopsy and

23 the LEEP procedure to be one and the same?

24 A Not technically.

25 Q Are you board certified in

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 obstetrics and gynecology?

3 A Yes.

4 Q When were you board certified?

5 A 1999.

6 Q Are you board certified in any

7 other field of medicine?

8 A No.

9 Q Are you licensed to practice

10 medicine in the State of New York?

11 A Yes.

12 Q When were you licensed?

13 A 1993, I believe.

14 Q Has your license to practice

15 medicine in the State of New York ever been

16 revoked or suspended?

17 A No.

18 Q Are you licensed in any other

19 state?

20 A No.

21 Q What else did you tell her about
22 the procedure that you mentioned you talked to
23 her about on July 1st?

24 MR. : Which procedure?

25 Note my objection.

14

1 , M.D.

2 MR. OGINSKI: I'll withdraw the
3 question.

4 Q When you told about
5 another deeper, more invasive procedure, what
6 else did you tell her, if anything?

7 MR. : You're talking about
8 the conversation on July 1, 2000?

9 MR. OGINSKI: Yes.

10 A Because her margin was not
11 clear following the LEEP, I offered her another
12 cone biopsy in the hospital. Hopefully, I
13 would be able to get out a margin.

14 But I did explain to her that her
15 Pathology Report showed there are glandular
16 involvement from the carcinoma in situ which is
17 more dangerous than just a regular carcinoma in
18 situ.

19 So I did offer her the cone biopsy.
20 Hopefully, we would be able to get the margin
21 clear.

22 But that still does not encompass
23 that the problem is cured. We still need to
24 follow you every year for Pap smear. So then
25 she said, "No, no, no, her mother had a

TOMMER REPORTING, INC. (212) 684-2448

15

1 , M.D.
2 hysterectomy," I believe. She said that, "My
3 mother had hysterectomy. I would like you to
4 take them out."

5 Q Did you suggest to her that she

6 have a hysterectomy?

7 A No.

8 Q Why?

9 A She insisted that she have

10 hysterectomy.

11 Q Is there any particular reason why

12 you did not suggest or recommend a hysterectomy

13 at that time?

14 A I want to follow the steps. I want

15 to offer her a cone biopsy. I said to her if

16 the cone biopsy still showed margin not clear,

17 then we probably will go for the next step for

18 hysterectomy.

19 Q If the cone biopsy did show that

20 the margins were clear, what steps would you

21 have done at that point, if any?

22 A I would advise her her pathology

23 was with glandular involvement from the

24 carcinoma in situ. You probably need to have

25 very close follow-up every six months to a year

1 , M.D.

2 for Pap smear.

3 Q Doctor, was it customary back in

4 July and June of 2000 in your practice that

5 when you saw and evaluated a patient in your

6 office that you made notes about that visit in

7 the patient's records?

8 A Yes.

9 Q Why was that important to do?

10 A We always write the records.

11 Q For what reasoning?

12 MR. : Well, note my objection

13 to form.

14 You can answer.

15 Q For what reason do you maintain

16 office records for a patient who comes in to

17 see you and treat with you?

18 A To help us take care of her better

19 in the future. We have so many patients

20 sometimes we don't remember individual

21 recollection of patients.

22 Q In addition to yourself back in
23 June and July of 2000, did you have any other
24 associates or other physicians working in your
25 office?

TOMMER REPORTING, INC. (212) 684-2448

17

1 , M.D.

2 A I wouldn't call that as associate.

3 I rent office from.

4 Q Do any other physicians in the
5 office in which you practice see and treat your
6 patients?

7 A No.

8 Q Keeping good office records are
9 important because you may not recall the next
10 time a patient returns what findings you had on
11 your examination the last time they had been

12 there, correct?

13 MR. : Note my objection to

14 form.

15 You can answer.

16 A Yes.

17 Q In addition to any examination

18 finding that you make from time to time you

19 will also record conversations or make notes

20 about conversations that you've had with the

21 patient, correct?

22 A Yes.

23 Q In addition you also record the

24 patient history?

25 A Yes.

18

1 , M.D.

2 Q And that's also important for you

3 as the treating physician to know what has

4 occurred to this patient in the past, correct?

5 A Yes.

6 Q I'd like you to turn, please, to

7 your July 1, 2000 office visit note. I'd like
8 you to read the entire note into the record.
9 If there are abbreviations too tell me what
10 they mean. When you're done with your note
11 I'll have some questions for you.

12 MR. : July 1st entry?

13 MR. OGINSKI: Correct.

14 A "Pathology error carcinoma in
15 situ with margin not clear on interior piece.
16 Glandular involvement in endocervix piece.
17 Plan number one, all risks and benefits
18 explained with patient. Patient choice to have
19 laparoscopic assisted vaginal hysterectomy for
20 definite treatment."

21 Q Is that your signature that appears
22 afterwards?

23 A Right.

24 Q On pathology you were referring to
25 the Pathology Report concerning the LEEP

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 procedure you had done the week earlier?

3 A Right.

4 Q Did you have any conversation with

5 the pathologist who interpreted those

6 specimens?

7 A Yes.

8 Q When did you have a conversation

9 with that pathologist?

10 A I believe it was a couple of days

11 after. That was June 27th, 28th. I can't

12 remember exactly the date.

13 Q What is the date of the Pathology

14 Report you're referring to?

15 A June 24th.

16 Q That's the date that this specimen

17 was sent to the lab?

18 A Correct.

19 Q Can you tell the date the report is

20 actually generated?

21 A June 27th of 2000.

22 Q Why did you have a conversation

23 with the pathologist who interpreted this

24 specimen?

25 A It's my customary practice.

20

1 , M.D.

2 Q On every case, every patient?

3 A Yes.

4 Q Every pathology specimen that you

5 submit do you speak to the pathologist

6 subsequently?

7 A To Hospital.

8 Q In the office in which you saw and

9 examined Mrs. , was it customary for you to

10 speak to the pathologist in every situation

11 where you submitted a specimen?

12 A Yes.

13 Q Who was it who you spoke to?

14 A This doctor, Dr. . He was

15 the covering physician for that time and I
16 spoke with him. I reviewed the slides together
17 with him.

18 Q Where?

19 A In Hospital.

20 Q What was the reason for reviewing
21 the slides with him?

22 A Just felt my learning purpose is
23 always good practice to see what the real
24 pathology shows on the slide instead of just
25 taking the words.

TOMMER REPORTING, INC. (212) 684-2448

21

1 , M.D.

2 Q When was it that you personally
3 examined this patient's slides?

4 A I believe that I examined the
5 slides with him June 27th.

6 But when the other director came
7 back from vacation, Dr. , I also reviewed
8 the slides again. I couldn't remember the
9 date.

10 Q You're now referring to another
11 Pathology Report which has a different date
12 than that specimen for the hysterectomy,
13 correct?

14 A Right, but before that. Before the
15 hysterectomy I believe I examined slides
16 together with Dr. . This doctor was just
17 covering doctor.

18 Q When you spoke with Dr. --
19 and you spoke to him when you were at the
20 hospital, correct?

21 A Right.

22 Q Did you make a special trip to the
23 hospital solely for the purposes of reviewing
24 slides?

25 A Exactly.

1 , M.D.

2 Q Or had you been there for other

3 reasons?

4 A I made a special trip to see the

5 slides.

6 Q What did you personally observe on

7 the slides?

8 MR. : Note my objection. The

9 slides speak for themselves.

10 Over objection you can answer.

11 Q Well, based upon your personal
12 observations of these slides what did you see?

13 A He explained to me these malignant
14 cells are touching the base membrane which
15 consists of carcinoma in situ. There are some
16 endocervical glandular cells that are involved.

17 Q Did you form an opinion after
18 looking at these slides whether or not the
19 opinion generated by Dr. was correct?

20 A Did I generate an opinion with the
21 doctor or did I generate an opinion for myself?

22 Q For yourself.

23 A I think I was going to do a deeper
24 cone biopsy and possibly hysterectomy.

25 Q Let me rephrase the question. I

TOMMER REPORTING, INC. (212) 684-2448

23

1 , M.D.

2 don't think I was clear.

3 Did you agree with the conclusions
4 that Dr. reached in his Pathology Report
5 after you had reviewed the slides yourself?

6 MR. : Which conclusion are
7 you referring to?

8 MR. OGINSKI: Whatever Dr.
9 concluded in his report dated
10 June 27th.

11 MR. : Are you talking
12 about the diagnosis?

13 MR. OGINSKI: Whatever Dr.

14 concluded under diagnosis,

15 yes.

16 MR. : Read over the

17 diagnosis.

18 Q Did you agree with those

19 conclusions?

20 A Yes.

21 Q After having reviewed the slides

22 yourself?

23 A Yes. Yes, I did.

24 Q For what reason did you consult

25 with Dr. after you had spoken with Dr.

24

1 , M.D.

2 about these slides?

3 A I knew Dr. was a very good

4 pathologist. He's a director and was my

5 customary practice to consult with him before

6 with the other pathology which was my customary

7 practice to consult with him because I trust

8 him more than the other covering physician Dr.

9 .

10 Q Do you recall when that

11 conversation was?

12 A I believe he was away for a week

13 and he went to Myrtle Beach in South Carolina

14 and came back a week after that and I talked to

15 him again.

16 Q Where did you talk to him on the

17 phone, in person or somewhere else?

18 A No, in person. He showed me the

19 slides again in the hospital in his office.

20 Q What did Dr. say to you and

21 what did you say to him?

22 A He also agreed with the conclusions

23 from Dr. which was glandular involvement

24 and it's also carcinoma in situ in a lot of

25 sections of the LEEP biopsy.

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 Q Did Dr. in your conversation
3 on or about June 27th suggest to you any course
4 of treatment that the patient would require
5 based upon his findings?

6 A Yes.

7 Q What did he say?

8 A I'm sorry, did Dr. suggest to
9 me?

10 MR. : No.

11 Q Going back now to the first
12 conversation you had with Dr. when you
13 first reviewed the slides?

14 A Right.

15 Q Based upon what his interpretation
16 of the slides was, did Dr. personally
17 suggest to you any course of treatment for this
18 patient based upon his findings?

19 A No, he's a pathologist.

20 Q Did Dr. make any
21 recommendations to you or suggest to you any
22 course of treatment for this patient based upon
23 his review of the slides?

24 A Indirectly.

25 Q What did you interpret his

26

1 , M.D.

2 conversation to be or his suggestions to you?

3 A I interpreted that this pathology
4 is much more invasive than just the carcinoma
5 in situ which has a glandular involvement once
6 again and I emphasized that glandular
7 involvement which Dr. in -- emphasized I
8 think glandular involvement, as you look
9 through the oncology book which clearly
10 indicates to you that there is more chance of
11 metastatic than just high grade carcinoma or
12 carcinoma in situ.

13 MS. : Read it back.

14 (Record read)

15 MR. : Off the record.

16 (Informal discussion held off

17 the record)

18 Q Doctor, I'd like you to turn

19 back to your July 1st note, please.

20 A Sure.

21 Q Can you point out to me where in

22 your note you recommended to the patient that

23 she have a cone biopsy?

24 A July 1st.

25 Q I'm only referring to your July 1st

TOMMER REPORTING, INC. (212) 684-2448

27

1 , M.D.

2 note.

3 MR. : Well, just note my

4 objection.

5 The witness has already read

6 the entry in its entirety and he has
7 read it verbatim.

8 So I'll let him answer over
9 objection.

10 A There's no words mentioned of
11 the cone biopsy document on July 1st.

12 Q When Mrs. first came to your
13 office -- by the way, what date was that, June
14 24th?

15 A Yes.

16 Q For the very first time you had
17 learned she had been to a prior physician named
18 Dr. , correct?

19 A That's correct.

20 Q And you had learned she informed
21 you that she had had a colposcopy with Dr.
22 , correct?

23 A Correct.

24 Q She was coming to you for a second
25 opinion, correct?

22 a cone biopsy in the presence of her mother?

23 A I'm sorry, did I?

24 MR. : Note my objection.

25 You didn't complete the

TOMMER REPORTING, INC. (212) 684-2448

29

1 , M.D.

2 question.

3 MR. OGINSKI: It was complete.

4 I'll rephrase it.

5 MR. : Nonetheless note my

6 objection.

7 MR. OGINSKI: Okay.

8 Q Did you speak to Dr. at

9 any time before performing the hysterectomy on

10 ?

11 A No.

12 Q Why did you perform a LEEP in the

13 office on on June 24th?

14 A Because Dr. pathology show

15 there is carcinoma in situ and from my

16 colposcopy exam there's a discrepancy between a

17 Pap smear and the colposcopy results. There is

18 no gross lesion I see from the colposcopy.

19 Q At the time that first

20 came to you on June 24, 2000, did she bring

21 with her a copy of any records from Dr. ?

22 A Yes.

23 Q What did she bring with her?

24 A She brought two pieces of that

25 Pathology Report. One is a Pap smear, I

30

1 , M.D.

2 believe, yes.

3 Q For the record, Doctor, what is the

4 date of that Pap smear?

5 A April 3rd of 2000.

6 Q The other one?

7 A The other one is her pathology

8 colposcopy biopsy results on June 12th of 2000.

9 Q The information contained within

10 those two pathology reports that was consistent

11 with what had told you that Dr.

12 had confirmed, correct?

13 A Right.

14 MR. : That they were

15 cancerous cells?

16 MR. OGINSKI: Yes, that they

17 were abnormal.

18 A Right.

19 Q Did you review and read those two

20 pathology reports that she brought in?

21 A Yes, I did.

22 MR. : At any time?

23 MR. OGINSKI: On the first

24 visit, thank you.

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 Q Did you have any comments to
3 about what was contained within those two
4 pathology reports?

5 A Yes.

6 Q What did you say to her?

7 A I explained to her there are at
8 least two to three grade difference between the
9 Pap smear and the Pathology Report.

10 Q Did you say grade, Doctor?

11 A Two grade, yes.

12 Q Okay.

13 A Yes, that's how we use them in
14 medical term.

15 Q Continue.

16 A Usually we break it down -- we
17 don't use that term any more -- but usually we
18 break them down as whether they show here for
19 's case called typical squamous cell

20 of undetermined significance. That usually is
21 the most beginning part of the abnormal cell.
22 Then we progress to CIN1 and progress to CIN2
23 then progress to CIN3.
24 Q I don't need you to explain that
25 right now, Doctor.

32

1 , M.D.

2 What did you tell about what
3 you read in the pathology reports?

4 A I explained to her in detail about
5 at least there are big discrepancy between the
6 Pap smear and the colposcopy results. I said,
7 "I would like to -- and re-do my colposcopy, my
8 own colposcopy results. Then advise you about
9 the cone biopsy or LEEP."

10 Q Did she agree to have that done?

11 A Yes.

12 Q Do you have a recollection that
13 June 24th was on a weekend?

14 MR. : Do you know?

15 A My office is opened on

16 Saturday.

17 Q Did you perform the LEEP procedure

18 on the day that she first came to see you?

19 A I believe so, yes.

20 Q That was June 24th, correct?

21 A Yes.

22 Q Who came with on that first

23 visit?

24 A Her mother. I am not sure her

25 husband was there or not. But I know her

TOMMER REPORTING, INC. (212) 684-2448

33

1 , M.D.

2 mother was holding her hand during the

3 procedure.

4 Q So she was in the examining room

5 during the time you were conducting the LEEP?

6 A Yes.

7 Q Do you also recall that

8 mother was also present during the time
9 that you were talking to her about the need for
10 the LEEP?

11 A Yes.

12 Q At any time after you completed
13 your LEEP but while she was still present in
14 your office on June 24th, did you tell her and
15 have a discussion with about what your
16 findings were at that point?

17 A Yes.

18 MR. : What did you tell her
19 on June 24th?

20 MR. OGINSKI: Yes.

21 A June 24th I explained to her
22 about the colposcopy findings and I told her
23 that I don't see any gross abnormal -- I mean
24 gross cancer lesion. Usually they are very
25 cauliflower-type of presentations but I took --

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 I explained that to her. I said that there's
3 no gross abnormal lesions and there's a big
4 discrepancy between the Pap smear and your
5 colposcopy results, that it will be safer to
6 perform the deep cone biopsy.

7 Q Doctor, I just want to clarify.
8 You mentioned LEEP cone biopsy. Again, in your
9 practice, do you consider the LEEP to be the
10 same as a cone biopsy?

11 MR. : Well, note my
12 objection. That's been asked and
13 answered.

14 He did say they are technically
15 different. That's been asked and
16 answered.

17 Don't answer.

18 Q Did you tell at
19 that time that she needed a cone biopsy?

20 A No, she need to have a LEEP

21 procedure.

22 Q You performed the LEEP, correct?

23 A Right.

24 Q That again was done during this

25 first office visit?

35

1 , M.D.

2 A Right.

3 Q How is a LEEP less invasive than a

4 cone biopsy?

5 A LEEP you excise only the

6 transformation zone. Only the epithelium cell

7 of the cervix. You do not need to go into

8 stroma part of cell of cervix.

9 Cone biopsy actually you create a

10 big cone deep down into the endocervical canal

11 which in a laymen term probably you would

12 remove about half the size of the cervix.

13 Q Do you perform a cone biopsy in

14 your office?

15 A No.

16 Q That's done in a hospital setting?

17 A Right.

18 Q In the course of your career, have
19 you performed a cone biopsy?

20 A Yes.

21 Q Have you done LEEP procedures?

22 A Yes.

23 Q Have you done endocervical
24 curettages?

25 A All the time.

TOMMER REPORTING, INC. (212) 684-2448

36

1 , M.D.

2 Q Where did you go to medical school,
3 Doctor?

4 A Medical College, .

5 Q When did you graduate?

6 A 1991.

7 Q You became licensed to practice,
8 you mentioned, in '93?

9 A Yes.

10 Q What did you do after graduating
11 medical school?

12 A I did two years of general surgery
13 training in Hospital.

14 Then four more years of OB/GYN
15 training in Hospital.

16 Q That was a four-year residency?

17 A Six years total.

18 Q The four years in OB/GYN?

19 A Right.

20 Q Was the first out of those four
21 years an internship?

22 A No.

23 Q What was it?

24 A First year OB/GYN training. My
25 internship was considered as Hospital

1 , M.D.

2 general surgery.

3 Q Any particular reason why you

4 trained from general surgery to OB?

5 A My mother was sick from

6 gynecological problems. I decided to change my

7 career to commit myself to my mother.

8 Q When did you complete your training

9 in residency?

10 A 1997.

11 Q After completing your residency did

12 you go on for any specialized training?

13 A No.

14 Q Have you completed any fellowships?

15 A No.

16 Q Do you have any publications to

17 your name?

18 A No.

19 Q Where did you go to college?

20 A University.

21 Q When did you graduate?

22 A 1987.

23 Q Have you contributed to any
24 portions of any textbooks in the field of
25 OB/GYN?

TOMMER REPORTING, INC. (212) 684-2448

38

1 , M.D.

2 A No.

3 Q Have you presented any lectures to
4 any national OB/GYN committees?

5 A No.

6 Q Are you familiar with the clinical
7 practice guidelines for OB/GYN's?

8 A Yes.

9 Q Is that something you subscribe to
10 on a regular basis?

11 A Yes.

12 Q What exactly are the clinical
13 practice guidelines, to your knowledge?

14 MR. : Well, note my

15 objection.

16 You can answer over objection.

17 A I believe it was monthly

18 magazine publications.

19 Q To your knowledge, do they provide

20 certain guidelines for certain medical

21 situations that occur in the field of

22 obstetrics and gynecology?

23 A Guidelines, yes.

24 Q In June and July of 2000 what

25 hospitals were you affiliated with?

39

1 , M.D.

2 A June of 2000?

3 Q Yes.

4 A Mount Vernon Hospital, Lawrence

5 Hospital, Our Lady of Mercy Hospital and Albert

6 Einstein.

7 Q What was your affiliation with each

8 of those hospitals?

9 A Attending.

10 Q You had privileges to admit

11 patients in those hospitals?

12 A Yes.

13 Q Did your practice consist of both

14 obstetrics and gynecology in the year 2000?

15 A Yes.

16 Q From the time you completed your

17 residency up until the year 2000 where did you

18 practice?

19 A I practiced in Bronx and Mount

20 Vernon.

21 Q The Bronx office, what address is

22 that?

23 A I was an independent contractor.

24 So I don't really have an address. I was

25 hired.

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 Q By different offices?

3 A Right.

4 Q For how long did you do that?

5 A A year.

6 Q After that?

7 A A year.

8 Then I moved over to rent office
9 from this place from this Dr. who intends
10 to retire.

11 Q What title, if any, did Dr.

12 have at Hospital?

13 A He was Director of OB/GYN.

14 Q Did Dr. assist you during the
15 course of the hysterectomy that you performed
16 on ?

17 A Yes.

18 Q How was it that he came to assist
19 you?

20 A I asked him.

21 Q Was that something that the two of
22 you did on a frequent basis for patients that
23 you would operate on?

24 A Right, as a friend.

25 Q Prior to performing the

41

1 , M.D.

2 hysterectomy in July of 2000, to your
3 knowledge, did Dr. ever see or examine
4 ?

5 A No.

6 Q Postoperatively, did Dr. see or
7 examine ?

8 A No.

9 Q Did you ever have any conversations
10 with Dr. about the pathology results of the
11 June 24th specimen or the pathology results
12 from the hysterectomy?

13 A No.

14 Q What is the legal name of your
15 office if you have one?

16 A " , M.D., P.C."

17 Q You're part of a professional

18 corporation?

19 A Yes.

20 Q What is your title within that

21 professional corporation?

22 A Owner.

23 MR. : Well, note my

24 objection. He can't be an owner of a

25 corporation. He could be

TOMMER REPORTING, INC. (212) 684-2448

42

1 , M.D.

2 shareholder.

3 But nonetheless over objection

4 he's answered.

5 Q As far as you know, did Dr. Lee

6 also participate within his own professional

7 corporation?

8 A Yes.

9 Q Has the legal status of your

10 business entity changed since June of 2000 up

11 until the present time?

12 A No.

13 Q Now, getting back to the June 24th

14 findings that we were discussing a moment ago.

15 After you told that she needed a

16 LEEP based upon your clinical evaluation of

17 her, did you have another conversation with her

18 after you completed the LEEP?

19 A Yes.

20 Q What did you tell her?

21 A On June 24th?

22 Q Yes.

23 A I told her, "I did the LEEP biopsy.

24 I'm going to send it to that pathology in

25 Hospital. I should have the results in

2 a few days and I would explain to you about the
3 results."

4 Q When you got the results back, how
5 did you first communicate those results to
6 ?

7 A I asked her to come in to the
8 office to explain to her in detail.

9 Q Was that by telephone that you told
10 her to come into the office or sent a letter or
11 some other means?

12 A I believe it was by telephone. She
13 keep calling.

14 Q To find out the results?

15 A Right.

16 Q Did you tell her on the telephone
17 that the results that you had received back
18 from Hospital for the Pathology
19 Report revealed that there was an abnormal
20 finding?

21 A I am not sure that over the
22 conversation what kind of conversation took

23 place over the phone but I did tell her to come

24 in more sympathetic way in detail.

25 Q As you sit here now, do you have a

TOMMER REPORTING, INC. (212) 684-2448

44

1 , M.D.

2 specific memory of what you said to her and

3 what she said to you during that conversation

4 that occurred on the telephone asking her to

5 come in to the office to discuss the results?

6 MR. : Other than asking her

7 to come in to the office to discuss

8 the results?

9 MR. OGINSKI: Yes.

10 A I am not sure.

11 Q Did you review 's

12 deposition transcript?

13 A No.

9 when you called her home to tell her about the
10 Pathology Report you told her that she had
11 cancer?

12 MR. : Objection.

13 Don't answer.

14 MR. OGINSKI: What's the basis?

15 MR. : What's the basis?

16 He's first of all testified that he
17 has not reviewed her deposition
18 transcript and has not discussed it
19 with anyone and what his reaction is
20 to her testimony, whether it may or
21 may not be in no way relevant.

22 MR. OGINSKI: I'm asking
23 whether he was aware.

24 MR. : He's already
25 answered he is not aware of her

TOMMER REPORTING, INC. (212) 684-2448

21 Q As part of their review they
22 generated a report which discusses their
23 findings and their conclusions, correct?

24 A That's correct.

25 Q What is the name of the physician

47

1 , M.D.

2 who conducted that pathology examination?

3 A Dr. .

4 Q I notice, Doctor, you have that
5 report in front of you. That is a copy that
6 you were provided by the hospital?

7 A Yes.

8 Q Am I correct, Doctor, that the
9 pathologist Dr. made certain conclusions
10 in his diagnosis on the second page -- I'm
11 sorry, made certain conclusions in his report,
12 correct?

13 A I'm sorry, say that again.

14 Q I'll rephrase it.

15 Dr. generated a two-page

16 report, correct?

17 A Right.

18 Q Within that report he lists his

19 opinions as to his examination of the specimen

20 that you submitted?

21 A Right.

22 MR. : Are you referring to

23 the diagnosis?

24 MR. OGINSKI: Yes.

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

48

1 , M.D.

2 Q Doctor, under the diagnosis is the

3 word "cervix," correct?

4 A Right.

5 Q He writes, "Moderate dysplasia

6 (CIN2)," correct?

7 A Correct.

8 Q What does that mean to you?

9 A That means they are still precancer

10 cells remained in the cervix.

11 Q Underneath that he writes, "Status

12 post LEEP with focal acute and chronic

13 inflammation and granulation tissue formation,"

14 correct?

15 A Correct.

16 Q What does that mean to you?

17 A LEEP procedure involve electricity.

18 So all the tissue, all the muscle which has

19 been cut by the LEEP usually involves

20 granulation tissue.

21 But other than that it doesn't

22 really mean anything significant.

23 Q The next line he writes, "No

24 residual squamous cell carcinoma in situ

25 present," correct?

23 margin was not clear at that time and at this
24 time we want to confirm if the margin is clear
25 and see if any more invasion was involved.

TOMMER REPORTING, INC. (212) 684-2448

50

1 , M.D.

2 Q According to Dr. pathology
3 evaluation there is no invasive cancer,
4 correct?

5 A Right.

6 Q He goes on in his diagnosis to
7 state, "Refer to previous specimen," and he
8 lists the number and that refers back to the
9 June 24th specimen evaluation, correct?

10 A Correct.

11 Q He continues by stating "Which
12 revealed in the prior specimen squamous
13 carcinoma in situ with glandular involvement

14 and inadequate margins," correct?

15 A Right.

16 Q Am I reading that right that that
17 last part of the statement refers to the prior
18 specimen that had been evaluated?

19 MR. : Note my objection to
20 the extent that he didn't generate
21 this report.

22 But over objection I'll let him
23 answer.

24 Q Do you understand those two
25 lines to mean that the prior specimen showed

51

1 , M.D.

2 exactly what he has listed here?

3 MR. : Which is?

4 Q Squamous carcinoma in situ with
5 glandular involvement and in adequate margins?

6 A Correct.

7 Q Did have cervical

8 cancer based upon the Pathology Report

9 generated by Dr. ?

10 MR. : Note my objection.

11 A Yes.

12 Q Where is that cervical cancer

13 observed according to Dr. 's Pathology

14 Report?

15 A CIN2 moderate dysplasia.

16 Q There's no invasion that he

17 observed, correct?

18 A No invasion.

19 Q There was no invasion.

20 When you say no invasion, no

21 invasion into what?

22 A Invasion pass through base membrane

23 of epithelial cell which is a very fine line

24 about 1 milliliter involved.

25 Q After you performed the

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 hysterectomy on , did you ever tell

3 her that she didn't have cervical cancer?

4 A No, I'm sorry. After July 17th did

5 I tell her there's no cervical cancer?

6 Q I'll rephrase it.

7 After you had performed her

8 hysterectomy on July 17th, did you discuss with

9 her at some point your findings?

10 A Yes.

11 Q Did you ever tell her during your

12 discussion or discussions about your findings

13 that she never had cervical cancer?

14 A No.

15 Q What did you tell her after the

16 surgery had been done?

17 A I told her after I reviewed the

18 pathology I told her cancer cell has been

19 removed from the vaginal hysterectomy. There

20 are still some remaining of CIN2 involved in

21 the cervix.

22 Q I just want to be clear, Doctor,
23 you told her that the cancer cell you
24 mentioned, I think you used the single form as
25 opposed to plural?

53

1 , M.D.

2 A Right.

3 Q That the cancer cells had been
4 removed from the vaginal hysterectomy?

5 A Right.

6 Q Did you get any more specific as to
7 where the cancer was?

8 A Cervix, right. Is that what you're
9 referring to?

10 Q Yes, I'm asking whether you told
11 where the cervix was and whether it's
12 there or no longer there or something along
13 those lines?

14 A Right, cervix.

15 Q Where did this conversation take

16 place?

17 A In her hospital room the next day.

18 Q Now, the Pathology Report --

19 A I'm sorry, not the next day.

20 Pathology usually takes about a couple of days.

21 Q In fact, this report was generated

22 -- the date is July 20th, correct?

23 A Yes, I'm sorry, I believe it was in

24 her room. I don't know. I couldn't remember

25 which date she was discharged home.

TOMMER REPORTING, INC. (212) 684-2448

54

1 , M.D.

2 Q You do have a copy of the discharge

3 report in your record, correct?

4 A Yes.

5 Q That reveals she was discharged

6 from Hospital on July 19th,

7 correct?

8 A Yes.

9 Q The Pathology Report is dated July

10 20th, correct?

11 A Yes.

12 Q So can we agree, Doctor, it would

13 have been difficult for to you have that

14 conversation on the 19th?

15 A That's right.

16 Q Can you tell me, as you sit here

17 now, when it was that you had a conversation

18 with concerning your findings and

19 the Pathology Report based upon the fact that

20 the Pathology Report is dated July 20th?

21 A I could not. I do not have a

22 recollection of that.

23 Q Did you have a phone conversation

24 with after she was discharged but

25 before she came back to your office for

2 follow-up?

3 A I could not recollect that.

4 Q Was it customary back in July of
5 2000 for you to make entries in the patient's
6 chart about any phone conversations that you
7 had with the patient?

8 A No.

9 Q If the patient called your office
10 with certain complaints postoperatively, was it
11 customary for you to make a record of such a
12 call and a complaint back in July of 2000?

13 A No.

14 Q Did call your office
15 after July 19th with any postoperative
16 complaints but prior to her return to your
17 office for her first follow-up visit?

18 A I couldn't recollect that.

19 Q If you were present during office
20 hours, a call came in from , you
21 were busy, would you receive a message from
22 your secretary, receptionist or someone else in

23 the office?

24 A Yes.

25 Q Would that message be written down

TOMMER REPORTING, INC. (212) 684-2448

56

1 , M.D.

2 so that you would know to call this particular

3 patient back at a later time?

4 A No.

5 Q How would you know if a particular

6 patient called with a complaint that needed to

7 be addressed while you were busy seeing other

8 patients?

9 A Can you rephrase that question?

10 Q Sure.

11 If you're busy seeing patients in

12 office hours, another patient calls to ask you

13 questions or has a particular concern or

14 question or complaint, how do you know that
15 they've called?

16 A Usually the secretary transfers the
17 phone to me.

18 Q While you're in with another
19 patient?

20 A Yes, I will decide to take or not
21 to take.

22 Q If you choose for whatever reason
23 not to take it, does the secretary or someone
24 else then make a written note to give to you
25 saying, "This patient called. Please call them

57

1 , M.D.

2 back. Here is a phone number"?

3 A Sometimes or I sometimes ask them
4 to come in to speak to me personally.

5 Q Do you maintain records and keep
6 records of any phone calls by patients that are
7 made who want to speak to you that you're busy
8 at any given time and can't speak to at a

9 moment?

10 A No.

11 Q Did you tell that your
12 surgery caused her cervical cancer to be
13 totally removed?

14 A Yes, but still need to have
15 follow-up.

16 Q Did you tell that it
17 was the surgery itself that permitted the
18 cancer to be removed as opposed to the fact as
19 opposed to any other reason?

20 MR. : Note my objection.

21 A Could you rephrase that?

22 Q Sure.

23 Prior to performing surgery on July
24 17th, was it your opinion that had
25 cervical cancer?

TOMMER REPORTING, INC. (212) 684-2448

21 MR. : Which surgery, I'm

22 sorry?

23 A Vaginal hysterectomy.

24 Q Thank you.

25 The vaginal hysterectomy?

59

1 , M.D.

2 A Microscopically we still do not

3 know there any other metastatic after the

4 vaginal hysterectomy which only God knows is

5 not going to recur.

6 Q For all intents and purposes from a

7 gross standpoint you appeared to have removed

8 or eradicated all cancer?

9 A Yes.

10 Q Did you tell what her

11 chances were for reoccurrence?

12 A Yes.

13 Q What were they?

14 A I cannot point out percentage from

15 -- I do not know percentage that it would recur
16 but yes, there is a chance of recurring which
17 you need to have follow-up with Pap smear every
18 year.

19 Q Did you use any percentages when
20 you spoke to --

21 A No.

22 Q -- in discussing the possibility of
23 recurrence?

24 A No.

25 Q How do you define cervical cancer,

TOMMER REPORTING, INC. (212) 684-2448

60

1 , M.D.

2 Doctor?

3 MR. : Note my objection.

4 You can answer over objection.

5 A How do I define cervical
6 cancer? Cancer invade into the cervix which
7 subsequently would invade into the vagina,
8 subsequently invade to uterus and lymph nodes,

9 blood vessels and lungs and bone. Is that what
10 you meant?

11 Q Yes.

12 If you knew before doing the
13 hysterectomy that did not have
14 cervical cancer you would not have done a
15 hysterectomy?

16 MR. : Note my objection.

17 You're asking a hypothetical
18 question here.

19 Q Do you have an opinion with a
20 reasonable degree of medical probability if you
21 had known prior to the surgery that she did not
22 have cervical cancer doing a hysterectomy would
23 have been contraindicated?

24 MR. : Objection, don't
25 answer.

TOMMER REPORTING, INC. (212) 684-2448

21 June 24, 2000 that she needed a LEEP, did you
22 give her any other options that were available
23 to her prior to performing a LEEP?

24 A No.

25 Q After you performed the LEEP and

62

1 , M.D.

2 had received the results back of the pathology
3 specimen, did you have another conversation
4 with her as to what treatment she needed in
5 your opinion?

6 MR. : Read it back.

7 (Record read)

8 A Yes, July 1st.

9 Q That was July 1st conversation,
10 right?

11 A Right.

12 Q Was there anyone else in the office
13 during your conversation with Mrs. and her
14 mother and yourself during this July 1st visit?

15 A My secretaries.

16 Q I'm sorry, when I say in the
17 office, I mean present during your conversation
18 about what you were telling her and what she
19 was telling you?

20 A My secretary.

21 Q What is her name?

22 A Linda .

23 Q For what reason was she present
24 within the office during your discussion of
25 's condition?

63

1 , M.D.

2 A She was assisting me during the
3 procedure.

4 Q What procedure was done on July
5 1st?

6 A No, I'm sorry.

7 Q Let's clarify.

8 On July 1st when

9 returned to your office with her mother, was
10 anyone else in the office with you during your
11 conversation with her?

12 A Yes.

13 Q Who?

14 A Linda .

15 Q Why was she present in the office
16 during your conversation with and
17 her mom?

18 A She is there every day and I still
19 remember she was very upset -- was
20 very upset. She was -- the office door was
21 open so my secretary was right --

22 Q I'll rephrase the question.

23 A My consultation room door was open
24 so she was right there.

25 MR. : He will ask you another

TOMMER REPORTING, INC. (212) 684-2448

22 being with her mother on July 1st and
23 that's your question here.

24 I don't know that the witness
25 has testified that the mother was

65

1 , M.D.

2 there.

3 He did testify that he has a
4 clear recollection of her mother
5 being there on June 24th.

6 So to the extent that you've
7 asked that question and included the
8 mother there, note my objection.

9 Q Doctor, am I correct, you
10 didn't recall whether her mother was present on
11 July 1st?

12 A I do not recall her mother was
13 there.

14 Q In any event, from the consult
15 office, are you able to physically see your

16 secretary?

17 A No, but I believe that she came in

18 to comfort her because she was very upset.

19 Q Do you know why she came in or do

20 you know how is it she knew to come in?

21 A As I said, she was very upset. She

22 jumped out of the chair. How do you call it?

23 She was tapping on the floor. I still remember

24 vividly that's what she did. The secretary

25 came in to comfort her.

TOMMER REPORTING, INC. (212) 684-2448

66

1 , M.D.

2 MR. : When you say she was

3 tapping on the floor, you're

4 referring to the plaintiff,

5 ?

6 THE WITNESS: Yes, exactly.

7 Q Did you ever have a
8 conversation with your secretary Linda Adao
9 about what it was that led up to her being in
10 that condition or that she needed to be
11 comforted?

12 MR. : Note my objection.

13 You can answer over objection
14 if you understand.

15 A Yes.

16 Q When did you speak to her about
17 that?

18 A July 1st. I couldn't remember
19 exactly but I do remember it was that day I
20 need to speak to her.

21 Q To her?

22 A To .

23 Q I'm sorry, let me rephrase it
24 again.

25 After Mrs. left your office on

2 July 1st?

3 A After, yes.

4 Q Did you have a conversation with
5 your secretary about the events that had just
6 transpired with Mrs. ?

7 A Yes.

8 Q What did you say to Linda and what
9 did she say to you?

10 A I explained to her her pathology is
11 not clear -- that what we have testified
12 before. That she chose to have hysterectomy
13 and because her family history and she was very
14 upset about it.

15 Q What, if anything --

16 A She was very upset she had a
17 remaining cancer cell in her body. She needed
18 to have further surgery.

19 Q What, if anything, did Linda say in
20 response?

21 A Usual very sympathetic way. "Oh,
22 really, she needs to have surgery." That was

23 it.

24 Q You're talking about just your

25 conversation you had with Linda after Mrs.

TOMMER REPORTING, INC. (212) 684-2448

68

1 , M.D.

2 had left?

3 A Right, it was a short conversation.

4 I didn't think it was any kind of lengthy

5 conversation. Casual way.

6 Q Did Linda tell you that she had

7 heard your conversation with Mrs. before

8 she entered the office to comfort Mrs. ?

9 A Yes.

10 Q What did she tell you she heard?

11 A No, has many phone

12 calls about obtaining results.

13 Q I'm not asking about phone calls,

14 Doctor.

15 MR. : Just answer his

16 question.

17 His question is did Linda tell

18 you that she heard the conversation

19 that you had with on July

20 1st before she entered the room?

21 A On July 1st?

22 Q Yes.

23 A No, she didn't tell me that but she

24 knew what the story --

25 Q I'm not asking about the story.

69

1 , M.D.

2 MR. : Just answer his

3 question, okay.

4 Q Did you ever learn from Linda

5 after Mrs. left on July 1st that she had

6 overheard your conversation to Mrs. ?

7 A No.

8 MR. : One moment.

9 Off the record.

10 (Informal discussion held off

11 the record)

12 Q Other than your office records,

13 Doctor, did you have anything in your chart for

14 in writing that confirms that you

15 offered her a cone biopsy?

16 A Indirectly.

17 Q What specifically are you referring

18 to?

19 A She chose to have LAVH,

20 laparoscopic assisted vaginal hysterectomy.

21 Q You're pointing now to your written

22 office note on July 1st, correct?

23 A Right.

24 Q You mentioned previously there's no

25 specific words referring to your offering her

TOMMER REPORTING, INC. (212) 684-2448

21 included removing a cancer cell with the
22 patient and also explaining about a cone biopsy
23 to her and I made a short note that she chose
24 -- which is a key word -- she chose to have
25 LAVH. She insisted to me that she wanted to

71

1 , M.D.

2 have a hysterectomy.

3 Q What exactly did you tell
4 about the cone biopsy on July 1st?

5 A I explained to her about the
6 margin's not clear on the LEEP which we can do
7 another deeper part and with deeper cone biopsy
8 in the hospital we might be able to get the
9 margin but that still is not a very conclusive
10 treatment.

11 And she said that she do not want
12 to go through another uncertain procedure. She
13 wanted to have a cure for this disease.

14 Once again she mentioned that her
15 mother -- I believe she said her mother had a

16 hysterectomy done. She wanted it done. Her
17 mother had a hysterectomy done for fibroid
18 uterus. I still remember something like that.

19 Q Would you agree, Doctor, that the
20 standard of care at that point in time required
21 you to perform a cone biopsy as the next step
22 in evaluating and treating the findings that
23 you observed?

24 MR. : Note my objection.

25 Don't answer.

TOMMER REPORTING, INC. (212) 684-2448

72

1 , M.D.

2 Q Did you have an opinion at that
3 time with a reasonable degree of medical
4 probability whether performance of a cone
5 biopsy was the standard of care in evaluation
6 and treatment of 's condition at
7 that time?

8 MR. : Note my objection.

9 Don't answer.

10 MR. OGINSKI: What's the basis
11 for the objection?

12 MR. : He's here to
13 testify about his treatment not as an
14 expert.

15 MR. OGINSKI: That's absolutely
16 incorrect.

17 There's clear case law which
18 allows this defendant physician to
19 testify as an expert and to render
20 opinions about the treatment that he
21 himself rendered.

22 If you're going to direct him
23 not to answer or not permit him to
24 answer my question about his opinions
25 and his expertise, I will call the

TOMMER REPORTING, INC. (212) 684-2448

22 appropriate for you to recommend a cone biopsy
23 in light of the findings that you observed on
24 July 1st?

25 MR. : Note my objection.

74

1 , M.D.

2 You can answer.

3 A No, she has much more glandular
4 involvement in just cervical cancer which I
5 explained the options and treatment and
6 alternatives and she chose to have a
7 hysterectomy.

8 Q You recommended that
9 have a cone biopsy on July 1st, correct?

10 A I suggested.

11 Q Based upon what you just said, you
12 suggested she have a cone biopsy?

13 A I suggested she could have used all
14 the options.

15 Q Would you agree there's a big
16 distinction between having a cone biopsy and

17 hysterectomy?

18 MR. : They are different

19 procedures.

20 Note my objection to the form

21 of the question.

22 A Yes.

23 Q You agree that a hysterectomy is

24 much more invasive than performing a cone

25 biopsy?

TOMMER REPORTING, INC. (212) 684-2448

75

1 , M.D.

2 A Yes.

3 Q Hysterectomy involves certain

4 morbidity associated with the procedure in

5 comparison to a cone biopsy, correct?

6 A Correct.

7 Q And further a hysterectomy also

8 involves hospitalization?

9 A Correct.

10 Q After you had examined the
11 Pathology Report for your LEEP procedure and
12 had examined on June 24th and had
13 reviewed Dr. 's pathology reports you came
14 in your own mind to some conclusion as to what
15 type of treatment plan she needed, correct?

16 MR. : Well, note my
17 objection.

18 I think you're misstating what
19 the testimony has already been here.

20 MR. OGINSKI: Okay.

21 MR. : He's indicated --

22 MR. OGINSKI: I'll rephrase it.

23 MR. : -- he reviewed
24 various documents. He presented her
25 with options.

2 To say he came to a conclusion
3 implies that he dictated the course
4 of treatment and the testimony has
5 been totally contrary to that.

6 So note my objection.

7 Q On July 1st before you told
8 your suggestion you came to some
9 opinion in your own mind as to what course of
10 treatment you were going to suggest or
11 recommend to her, correct?

12 A Correct.

13 Q Your first suggestion, am I
14 correct, would be the cone biopsy?

15 A That was one of them, yes.

16 Q That was the first one, correct?

17 A I wouldn't say the first one. That
18 was one of them.

19 Q One of how many?

20 A One of the two.

21 Q The second one would be
22 hysterectomy, correct?

23 A Right.

24 Q With regard to the cone biopsy as
25 being a potential course of treatment if

TOMMER REPORTING, INC. (212) 684-2448

77

1 , M.D.

2 had agreed to such a cone biopsy, would it
3 be good and accepted medical practice to refer
4 her back to her original physician in order to
5 perform the cone biopsy?

6 MR. : When you say her
7 original physician, who are you
8 referring to?

9 MR. OGINSKI: Dr. .

10 A Rephrase that question.

11 Q Sure.

12 A Let me see if I understand.

13 Q As of June 24th had
14 informed you that Dr. had recommended she

15 have a cone biopsy, correct?

16 A Right.

17 Q Now comes one week later July 1st

18 you have in your mind that needed

19 either a cone biopsy or a hysterectomy,

20 correct?

21 A Okay.

22 Q If she accepts and agrees to have a

23 cone biopsy, isn't it prudent medical practice

24 to send the patient back to the original

25 physician so that he can go ahead and do

78

1 , M.D.

2 whatever procedure is necessary?

3 MR. : Are you speaking in

4 general or in this case?

5 MR. OGINSKI: In general.

6 MR. : In general.

7 A Not all the time you don't have

8 to send. If she wanted to go back, she could

9 go.

10 Q When you discussed with
11 as you claim to have done on July 1st that she
12 needed a cone biopsy, did you tell her that she
13 should go back to Dr. for treatment?

14 MR. : Note my objection,
15 don't answer that.

16 You're mischaracterizing facts
17 here.

18 Q When you suggested to
19 that she have a cone biopsy, did you also
20 suggest to her that she should go back to Dr.
21 for that treatment?

22 A I don't recall I suggested to her
23 to go back. But she volunteered that
24 information that she did not like Dr. .
25 She did not trust him.

TOMMER REPORTING, INC. (212) 684-2448

22 allow the cervix to heal after a LEEP procedure
23 prior to performing a hysterectomy?
24 A No, it doesn't mean it's good
25 practice.

80

1 , M.D.

2 MS. : Off the record.

3 (Informal discussion held off the
4 record)

5 Q Did you advise of any
6 other treatment options that were available to
7 her during the July 1st visit other than what
8 you have told me about other than the possible
9 cone biopsy or the possible hysterectomy?

10 A I explained the potential risks of.

11 Q I'm not asking about risks. I'm
12 only asking about options available to treat
13 her condition other than the cone biopsy and

14 the hysterectomy.

15 A I don't think there's any other
16 options besides these two appropriate options I
17 think.

18 Q Were there any diagnostic tests
19 available to you in June or July of 2000 to
20 further evaluate 's condition prior
21 to performing a hysterectomy?

22 MR. : What do you mean by
23 diagnostic tests?

24 Q Were there any tests or
25 procedures available to you that would have

81

1 , M.D.
2 assisted you in evaluating whether or not she
3 had invasive cervical cancer prior to
4 performing a hysterectomy?

5 MR. : Other than the
6 diagnostic tests and procedure that
7 were done that he's already testified

8 to?

9 MR. OGINSKI: Yes.

10 A No.

11 Q Were you aware that had

12 two children as of June of 2000?

13 A Yes.

14 Q Were you aware that at some point

15 afterwards or after the year 2000 she intended

16 to have more children?

17 A No, she insisted that she did not

18 want any more children. She had two beautiful

19 girls. I still remember that. "I do not want

20 any more children. I'm done."

21 Q Did you tell in

22 substance, "What do you need more children for.

23 You have two healthy kids?"

24 A I did not say that. I learn

25 afterwards what --

22 mean?

23 MR. OGINSKI: Yes.

24 MR. : Approximately how

25 many have you done.

83

1 , M.D.

2 A Ten.

3 Q Can you estimate how many you've

4 done in the last five years?

5 A Fifty I would say.

6 Q Doctor, I'd like you to turn,

7 please, to your Pathology Report dated June

8 24th -- I'm sorry, the specimen was taken June

9 24th from the LEEP. It's reported as June

10 27th.

11 MR. : You called it "his."

12 You said, "Your Pathology Report."

13 You're referring to his copy of the

14 Pathology Report?.

15 MR. OGINSKI: Yes.

16 MR. : Maintained in his

17 file?

18 MR. OGINSKI: Yes.

19 Q In that report specimen A was

20 the anterior lip, correct?

21 A Correct.

22 Q Specimen B was the posterior lip?

23 A Right.

24 Q Specimen C was the endocervix?

25 A Yes.

84

1 , M.D.

2 Q In fact, according to that

3 Pathology Report specimen C came back abnormal,

4 correct?

5 A Right.

6 Q Came back stating, "Squamous

7 carcinoma in situ with glandular involvement,"

8 correct?

9 A Correct.

10 Q Would you agree that from that
11 statement alone that you cannot tell whether
12 that represents a microinvasion of cancer,
13 correct?

14 A Correct.

15 Q Would you also agree that from that
16 statement you don't yet know whether there is a
17 frank invasion of cancer in the cervix?

18 A Correct.

19 Q Based upon the findings that you
20 see from the endocervix, would you agree that
21 that finding is somewhat troublesome or
22 worrisome to you as a physician?

23 A Correct.

24 Q You are aware that two months
25 earlier in April of 2000 that endocervix was

23 A Of the base membrane of epithelial
24 cell.

25 Q If it had crossed at that point,

86

1 , M.D.

2 you would consider it to then be invasive,
3 correct?

4 A Correct.

5 Q So by technical definition in situ
6 means that there is no invasion at that point?

7 A Right.

8 Q Just to be clear, carcinoma in situ
9 would not invade the base membrane that you
10 just mentioned, correct?

11 A It will in the future.

12 Q But at the moment that it's being
13 evaluated on pathology and the specimen that's
14 submitted, it had not yet invaded the base
15 membrane, correct?

16 A Correct.

17 Q That would be classified as

18 carcinoma in situ?

19 A Right.

20 Q Because if it had invaded then by

21 definition the term that would be used would be

22 different for carcinoma in situ, correct?

23 A Correct.

24 Q They would call it incarcinoma or

25 some other invasive term, correct?

87

1 , M.D.

2 A Correct.

3 Q Would you agree that it is

4 important for you as treating physician to know

5 a distinction between carcinoma in situ and

6 invasive incarcinoma?

7 A Yes.

8 Q What is an intraepithelial

9 neoplasm?

10 A That's carcinoma. A precancerous

11 cell.

12 Q That term I just mentioned

13 intraepithelial neoplasm suggests whether that

14 is invasive or not?

15 A No.

16 Q Is carcinoma in situ synonymous

17 with an intraepithelial neoplasm?

18 A No.

19 Q How is it different?

20 A If you use that term

21 intraepithelial neoplasm I have to give a grade

22 CIN1, 2, 3. That's why it's call

23 intraepithelial cervix 2, 3.

24 Q Back in the year 2000 you mentioned

25 you no longer used these gradations but back in

22 you medically for purposes of treatment and
23 evaluation of the patient to know that
24 information prior to rendering a treatment
25 plan?

89

1 , M.D.

2 A No.

3 Q Were you also aware that

4 had undergone a colposcopy by Dr. on

5 June 12, 2000?

6 A Yes, you asked me that before.

7 Q The results of the Pathology Report

8 is what you have in your chart, correct?

9 A Right.

10 Q Just for clarification, Doctor,

11 based upon the abnormal Pap smear in April of

12 2000, it was appropriate to then go onto the

13 next level and perform a colposcopy, correct?

14 A Correct.

15 Q Now, if you can please look at the

16 June 12, 2000 Pathology Report under diagnosis
17 this pathologist indicates two diagnoses. The
18 first was high grade squamous intraepithelial
19 lesions (CIN3)", correct?

20 A Correct.

21 Q In the ECC part that was negative,
22 correct?

23 A Right.

24 Q Let me jump back for a minute,
25 Doctor, and ask you how you go about actually

90

1 , M.D.

2 performing a cone biopsy?

3 A How would I do it?

4 Q How is it done?

5 MR. : Generally speaking how
6 is it performed?

7 MR. OGINSKI: Yes.

8 A You're talking about cone
9 biopsy not the LEEP, right?

10 Q Cone.

11 A You would go --

12 Q Don't draw anything or write

13 anything, Doctor.

14 A Cervix would present as a circular

15 object.

16 Q I'm sorry, let me clarify and try

17 to make it a little easier.

18 Cone biopsy you mentioned is done

19 generally in the hospital, correct?

20 A Right.

21 Q Is it done under some type of

22 sedation?

23 A Yes.

24 Q Is it something that you can do by

25 yourself or do you need assistance to perform

2 the procedure?

3 A No, you could do it by yourself.

4 Q How long does the procedure,

5 assuming there are no complications, generally

6 take?

7 A Twenty, 30 minutes.

8 Q Are there certain instruments that

9 you use which will allow you to actually

10 withdraw a cone shaped piece of tissue from the

11 cervix?

12 A Knife.

13 Q After such a procedure is the

14 patient hospitalized for any period of time or

15 do you customarily send them home the same day?

16 A Send them home.

17 Q Again, assuming there are no

18 complications?

19 A Right.

20 Q What type of complications, if any,

21 are generally associated with performing a cone

22 biopsy?

23 A Bleeding, infection, severe pain

24 and margin again not clear from the epithelial
25 cell, from the cancer cell.

92

1 , M.D.

2 Q Well, that wouldn't necessarily be
3 a complication. That would be a finding?

4 A Okay.

5 Q Did you tell during the
6 July 1st conversation that she could experience
7 bleeding during the cone biopsy?

8 A She didn't give me a chance.

9 Q Did you tell that she
10 could have some sort of infection as a
11 complication of the cone biopsy?

12 A She didn't give me a chance.

13 Q Did you have any discussions with
14 about the benefits of a cone biopsy
15 on July 1st?

16 A No.

17 Q Did you discuss with
18 anything about the fact that she could
19 experience pain or severe pain, as you
20 mentioned, following a cone biopsy?

21 A Yes, every procedure.

22 Q That would be any surgical
23 procedure, correct?

24 A Right.

25 Q What risks did you discuss with

93

1 , M.D.

2 about hysterectomy?

3 A First of all, no more children.

4 Second of all would be damaging a ureter,
5 damaging the bladder, damaging the bowel which
6 she had couple surgeries before and would make
7 the procedure even harder.

8 Q Did you have any discussions with
9 her about adhesions?

10 A Yes.

11 Q Did you use the word "adhesions"?

12 A Yes, that's what the reason for the
13 bowel injury.

14 Q What did she say -- I'm sorry, go
15 ahead, Doctor.

16 A I'm sorry, that's why we chose to
17 do laparoscopic assisted way to help her.

18 However, when we put her under
19 anesthesia we accommodated her. We try to be
20 less as -- less invasive for her as possible.
21 So we put her under anesthesia with epidural.
22 Her uterus and cervix were relaxed. It was
23 prolapsed into the vagina a little bit. So I
24 chose not to do a laparoscopic way and
25 proceeded with an even less invasive way with

2 just a vaginal hysterectomy.

3 Q Is it your opinion that a vaginal
4 hysterectomy is less invasive than a
5 laparoscopic assisted vaginal hysterectomy?

6 A Of course.

7 Q Was it customary back in the year
8 2000 that after you performed surgery to a
9 patient that you generated or dictated an
10 Operative Report to reflect what you did?

11 A Yes.

12 Q You did that in this case, correct?

13 A Yes.

14 Q Was it also customary that after
15 you generated such a report that at some point
16 after it is prepared that you get a copy of it,
17 you review it and you sign it?

18 A Right.

19 Q That becomes part of the patient's
20 chart, correct?

21 A Right.

22 Q You did that in this case?

23 A Yes.

24 Q Did any resident assist you during
25 the course of hysterectomy?

95

1 , M.D.

2 A No.

3 Q Were there residents in the
4 Department of Obstetrics and Gynecology at
5 Hospital in the year 2000?

6 A No.

7 Q In fact, it was Dr. Lee who
8 assisted you during the procedure?

9 A Right.

10 Q Did you state anywhere in your
11 Operative Report your observations that you
12 just told me about a moment ago that under
13 anesthesia her uterus was prolapsing through
14 the vagina?

15 MR. : He is asking about the
16 Operative Report. Why don't we find

17 the Operative Report because that's

18 what these particular questions seem

19 to be addressing.

20 A I'm sorry, I'll rephrase what I

21 said. After the anesthesia after I grasped it

22 with the forceps and her uterus was falling

23 down a little bit I chose easier to just do a

24 vaginal hysterectomy instead of laparoscopic

25 way.

96

1 , M.D.

2 Q That was why you chose not to do an

3 LAVH but rather vaginal hysterectomy?

4 A Right.

5 I'm sorry, refer to Operative

6 Report cervix was grasped with tenaculum after

7 grasping with the tenaculum the cervix and the

8 uterus was falling down a little bit I

9 observed.

10 Q Can you show me where in your

11 Operative Report you indicated why you chose to
12 go from a LAVH which was originally discussed
13 with to a vaginal hysterectomy?

14 A I don't see that in the Operative
15 Report that I made that decision at that time.

16 Q Am I correct that when you spoke to
17 on July 1st in your office you discussed
18 with her the risks and benefits of an LAVH,
19 correct, that would be a laparoscopic assisted
20 vaginal hysterectomy?

21 A Right.

22 Q You did not have any direct
23 conversation with her about performing a
24 vaginal hysterectomy as opposed to an LAVH,
25 correct?

1 , M.D.

2 A No, I did explain to her about the

3 vaginal hysterectomy part. About removing the
4 uterus from below the cervix.

5 Q Specifically with regard to the
6 consent that you obtained from her when you
7 were going to perform the surgery, it was your
8 impression at least initially that you were
9 going to perform an LAVH, correct?

10 A Yes.

11 Q You had the consent from her for an
12 LAVH?

13 A Possible vaginal hysterectomy,
14 depended on the situation.

15 (Recess)

16 Q Doctor, what information will a
17 cone biopsy tell you that a LEEP cannot?

18 A Nothing more.

19 Q Did you ever tell at
20 any time before performing her hysterectomy
21 that she had only three years to live if she
22 did not have a hysterectomy?

23 A No.

24 Q Did you ever tell to

25 bring her family into the office to discuss the

98

1 , M.D.

2 results of the pathology from the LEEP?

3 A She voluntarily did that. I did

4 not ask her.

5 Q Was it customary for you to tell

6 your patient to bring in their significant

7 other or family member to be with them during

8 the time that they received the results of the

9 pathology of the LEEP?

10 A No.

11 Q In cases where you find

12 abnormalities on LEEP's, do you customarily ask

13 the patient to bring in their family to discuss

14 the results?

15 A No, not all the time.

16 MR. : Just wait for him to

17 complete his question before you

18 answer.

19 Thank you.

20 Q Do you recall any occasion

21 before July 17th when you performed the

22 hysterectomy when came to your office

23 with both her husband and her mother?

24 A Yes, twice, I believe.

25 Q During those occasions, as far as

99

1 , M.D.

2 you recall, both of them were present in the

3 room during the time that you spoke to

4 about her medical condition, correct?

5 A No, I believe the husband sometimes

6 walked in. Sometimes was in the waiting room.

7 I couldn't remember which occasion both of them

8 are in the room per se.

9 Q You had mentioned earlier that you

10 believed that 's mom had had a

11 hysterectomy in the past, correct?

12 A Right.

13 Q Can you take a look, please, at

14 your office record and to see what information

15 you have contained within that that would lead

16 you to conclude that her mother had had a

17 hysterectomy previously?

18 A No, I didn't write it down.

19 Q What is it that led you to believe

20 that her mother had had a hysterectomy?

21 A Her conversation. July 1st

22 conversation.

23 Q On the day that came to you

24 on June 24th, before examining her did you take

25 a history of her?

100

1 , M.D.

2 A Yes.

3 Q And you recorded that history,

4 correct?

5 A Right.

6 Q On the right side of your note of

7 June 24th you record past medical history,

8 correct?

9 A Right.

10 Q You have noted there that there is

11 no past medical history?

12 A Right.

13 Q You have same noted for past

14 surgery call history, correct?

15 A Yes.

16 Q What is "SH"?

17 A Social history.

18 Q That would be like drinking,

19 smoking, things of that nature?

20 A Right.

21 Q That's also recorded as negative,

22 correct?

23 A Right.

24 Q Did you ever come to learn between

25 June 24th and July 17th that had

101

1 , M.D.

2 had gallbladder surgery in the past?

3 A She told me that, yes.

4 Q Is that recorded in your first

5 note?

6 A No.

7 Q Did you ever come to learn between

8 June 24th and July 17th that she had undergone

9 another surgery in the past?

10 A That I didn't know.

11 Q How was it -- go ahead.

12 A I looked at her abdominal scar. I

13 recognized she had a scar. So I asked her the

14 question. She told me she had her gallbladder

15 removed.

16 Q Do you have that recorded in your

17 note?

18 A No.

19 Q Did you ask her when she had her

20 gallbladder removed?

21 A Yes, I believe she said two years

22 ago before the surgery.

23 Q Two years before the year 2000?

24 A Right, I believe.

25 Q Did she tell you where she had that

102

1 , M.D.

2 done?

3 A I believe she had it at Mount

4 Sinai.

5 Q Did you learn whether had

6 undergone any type of gynecologic procedure

7 prior to her arrival in your office?

8 A Yes, I learned but there's negative

9 gynecological procedure surgery.

10 Q Did you learn that from her or

11 someone else?

12 A From her.

13 Q Based on your exam of June 24th,
14 what led you to believe that you might
15 encounter adhesions during your hysterectomy?

16 A On experience anybody who had
17 previous surgery then you would suspect that
18 she might have adhesions.

19 Q The procedure that you performed
20 was confined solely to the pelvic area,
21 correct?

22 A Right.

23 Q The gallbladder surgery is an
24 entirely different area of the anatomy, right?

25 A Correct.

103

1 , M.D.

2 Q Were there any other surgical
3 procedures that you were aware of that

4 had undergone before June 2000 that would lead

5 you to conclude that she might have adhesions?

6 A No, I don't recall.

7 Q Is it possible for a woman who is

8 28 years old to have adhesions in the absence

9 of surgery?

10 A Yes, infection.

11 Q Is there anything to suggest in

12 your records that had a past history of

13 pelvic infections?

14 A No.

15 Q So other than pelvic infections, is

16 there anything else to lead you to conclude

17 that would have or could likely have had

18 adhesions in your proposed hysterectomy?

19 MR. : Objection to form.

20 You can answer.

21 A Even gallbladder surgery

22 sometimes you could still have adhesion down in

23 the pelvic area.

24 Q How is that possible?

25 A Because she had a laparoscopically.

19 told her that she did have cancer. I do not
20 know what you mean by aggressive.

21 Q Did you tell her in substance, not
22 the exact words but in substance, that the type
23 of cancer she had was very aggressive?

24 A No. I told her that she had
25 glandular involved.

105

1 , M.D.

2 Q Doctor, wait. I'm just asking you
3 specific questions. If you can answer that,
4 I'd appreciate it.

5 A Okay.

6 Q Did you tell her that the only way
7 to get rid of the cancer that she had is with a
8 hysterectomy?

9 A No.

10 Q Did you tell that the LEEP
11 results were borderline?

12 A Right.

13 Q And that the hysterectomy had to be

14 done as soon as possible?

15 A No.

16 Q Did you give her any timeline in

17 which you wanted to perform the hysterectomy?

18 A No.

19 Q Did you tell that she would

20 die of cervical cancer if she did not have the

21 hysterectomy?

22 A No.

23 Q Did you tell that as of July

24 2000 before the hysterectomy was done that the

25 cancer that was observed in the pathology has

106

1 , M.D.

2 spread already to the uterus?

3 A No.

4 Q Doctor, was there any way for you

5 to definitively confirm that the cancer had
6 spread to the uterus without doing a
7 hysterectomy?

8 A Rephrase that question one more
9 time.

10 Q Sure.

11 Before doing a hysterectomy in July
12 of 2000, is there any way for you to
13 definitively tell whether or not cancer had
14 already invaded the uterus?

15 A No.

16 Q During the course of the vaginal
17 hysterectomy that you performed on July 17th,
18 did you reconstruct 's vaginal area?

19 A No.

20 Q Were you aware that postoperatively
21 had complained of pain in and around the
22 vagina?

23 A Yes, post-op check, yes.

24 Q Did you ever conclude or reach any
25 decision as to why she was experiencing pain

20 had personally reviewed the June 24th slides at

21 Hospital with Dr. Sandhu, correct?

22 A Yes.

23 Q Did you also review the pathology

24 slides from the hysterectomy specimen that was

25 done on July 17th?

108

1 , M.D.

2 A Yes.

3 Q With whom did you review those

4 slides?

5 A Dr. .

6 Q When did you review those slides?

7 A I believe it was a couple of days

8 after the pathology but I couldn't remember

9 exactly which date.

10 Q When you say after the pathology,

11 you mean after the report has been generated?

12 A Right.

13 Q You received a copy of that?

14 A Right.

15 Q Did you review the slides at Mount

16 Vernon Hospital?

17 A Yes.

18 Q Okay.

19 A Sorry, rephrase that.

20 I wouldn't say a couple of days

21 after the completion. It might have been that

22 day. I can't remember exactly which day.

23 Q When you refer to that day, what do

24 you mean?

25 A July 20th which was done. I mean

109

1 , M.D.

2 it could be just around that time. I couldn't

3 remember which day.

4 Q Is there any specific reason that

5 you recall as you sit here now as to why you
6 reviewed those pathology slides at that time?

7 A It was customary practice of mine
8 to review pathology in the hospital.

9 Q How many slides did you actually
10 review?

11 A There are many slides. I couldn't
12 remember how many.

13 Q Did you review all of them?

14 A I remember whatever Dr.
15 showed me, yes.

16 Q Can you estimate how many it was
17 that you actually did review?

18 A At least between five to ten I
19 believe at least.

20 Q When you personally reviewed those
21 slides, were you in agreement with Dr. 's
22 assessment of what those slides contained?

23 A Yes.

24 Q Did you have any reason to disagree
25 with Dr. 's assessment of the pathology

20 uterus and cervix.

21 Q Did he say why?

22 A I couldn't remember offhand. I

23 just remember that there are precancer cells

24 still remained.

25 Q If the cervix is no longer present,

111

1 , M.D.

2 where do the cancer cells remain?

3 A It could still remain in the

4 vagina.

5 You mean subsequently?

6 Q Well, I want to be clear on this.

7 You just told me that Dr. told you that

8 this patient is lucky that you removed her

9 uterus and cervix. You had also mentioned that

10 she still had precancerous cells remaining?

11 A I'm sorry, remaining in the cervix

12 after the LEEP biopsy. In a specimen still

13 remains -- the specimen -- in the vaginal
14 hysterectomy specimen, is that what you mean?

15 MR. : Off the record.

16 (Informal discussion held off
17 the record)

18 Q Did you have any discussion
19 with Dr. about what you would have
20 expected to see if you had done a cone biopsy
21 instead of a hysterectomy?

22 A No.

23 Q We had discussed a little earlier
24 the fact that Dr. 's colposcopy done in
25 the middle of June of 2000 showed a negative

112

1 , M.D.

2 ECC, correct?

3 A Right.

4 Q That your LEEP however revealed an
5 abnormal endocervix, correct?

6 A Right.

7 Q And that at that point you then had
8 two conflicting results for the similar area,
9 correct?

10 A Right.

11 Q The clinical practice guidelines,
12 do you know what entity publishes that?

13 A No, which book publishes that?

14 Q No, whether it's the American
15 College of OB/GYN, whether it's some other
16 OB/GYN society or organization?

17 A No, I couldn't recall.

18 Q Do you know what the clinical
19 practice guidelines require a physician such as
20 yourself to do in the circumstances where you
21 have two conflicting results in between the
22 negative ECC and an abnormal endocervix in
23 terms of evaluation and treatment. Are you
24 aware of what is contained in the guidelines?

25 A I don't believe there's a guideline

20 endocervical cell was involved from the ECC
21 from the LEEP biopsy which tells me that there
22 is more involvement than just a carcinoma in
23 situ which even with that I -- even with that
24 result you tell me there's much more than just
25 carcinoma in situ. That's why there are

114

1 , M.D.

2 options, either cone biopsy or vaginal
3 hysterectomy.

4 Q Without performing a cone biopsy,
5 how can you tell what, if anything, is further
6 up the cervical canal in terms of whether
7 there's any cancer cells there?

8 A Without performing a cone biopsy?

9 Q I'll rephrase the question.

10 You now have two conflicting
11 results concerning the cervix, correct, okay,
12 in June of 2000?

13 A Okay.

14 Q Putting aside the options of a
15 hysterectomy and before you chose to do a cone
16 biopsy, is there any way for you to definitely
17 confirm whether there is cancerous cells
18 present further up the cervical canal above the
19 area where you performed your LEEP?

20 A There's no need for me to confirm
21 any more even with the simple LEEP biopsy there
22 is endocervical cells involved. What should I
23 do more to get more information to finding out
24 some information that I don't need to know.

25 Q Why don't you need to know whether

115

1 , M.D.

2 there are cervical cancerous cells up higher in
3 the cervical canal at that point?

4 A Because the superficial part is

5 already involved. The deeper part probably is

6 involved too.

7 Q How do you know that?

8 A Because cell travel in deeper

9 fashion. It can penetrate deeper. They

10 involve the superficial ones first.

11 Q Do you have an opinion within a

12 reasonable degree of medical probability

13 whether a cone biopsy will tell you

14 definitively whether there's a cancer further

15 up past the endocervix?

16 A No.

17 Q No, you don't have an opinion or is

18 your opinion that no, it will not?

19 A No, a cone biopsy will not tell you

20 more that there are cells deeper than the

21 endocervix. Is that what you mean?

22 Q I'm not talking about deeper. I'm

23 talking about higher up?

24 A Past?

25 Q Past the endocervix?

20 aware of through the various tests
21 that were conducted and the results
22 that he had from them?

23 MR. OGINSKI: I'll rephrase the
24 question.

25 Q Would a cone biopsy had been

117

1 , M.D.

2 useful for you in purposes of diagnosis and
3 treatment prior to performing a hysterectomy?

4 A No.

5 Q Why?

6 A From the LEEP biopsy we already
7 show that the margins are not clear and that
8 all the superficial area's involved and by
9 doing a deeper cone biopsy might not be the
10 cure -- might not be the answer to treat her
11 problem.

12 Q How do you know whether it would be

13 the answer if the procedure is not performed?

14 A It's a guideline. It's a

15 suggestion. It's experience. It does not mean

16 you always have to know the answer for.

17 Q Is a cone biopsy preferable to

18 performing a hysterectomy on a 28-year-old

19 otherwise healthy woman?

20 MR. : When you say otherwise,

21 now you're taking into account there

22 are cancerous cells there and that

23 perhaps if nothing is done and time

24 is not utilized properly that the

25 cancer can't spread and become more

118

1 , M.D.

2 aggressive and more difficult to

3 treat, is that encompassed in your

4 question?

5 MR. OGINSKI: I'll rephrase the

6 question.

7 Q Is a cone biopsy preferable to
8 performing a hysterectomy on a 28-year-old
9 woman?

10 A Depends on the situation.

11 Q In 's case, putting aside her
12 own desires or her own desires as you've
13 already told me about, based solely on the
14 medicine alone, would it be preferable to have
15 performed a cone biopsy rather than a
16 hysterectomy for the purposes of diagnosis and
17 treatment?

18 A No.

19 Q Is it your opinion that a
20 hysterectomy is the procedure of choice in
21 's case, again putting aside what she may
22 or may not have wanted?

23 A Yes.

24 Q Are there instances in your
25 practice where you have performed a cone biopsy

1 , M.D.

2 prior to performing a hysterectomy?

3 A No, usually from experience that I
4 have the cone biopsy was -- even with just LEEP
5 biopsy was the margin was pretty much clear.

6 Q When you do a LEEP procedure you
7 cut through the lesions, correct?

8 A Right.

9 Q How do you know that higher up
10 there's no cancer?

11 A That's why we don't know.

12 Q And --

13 A We don't know how high it will go
14 up.

15 Q Right.

16 So all I'm asking is when you do a
17 cone biopsy, that tells you further up than
18 what you've obtained with the LEEP whether
19 there's any cancerous cells higher up, correct?

20 A Still not conclusive.

21 Q I understand that but it still
22 gives you additional information to assist you
23 in making a diagnosis and treatment?

24 A It could provide more additional
25 information.

120

1 , M.D.

2 Q You performed an endocervical LEEP,
3 correct?

4 A Right.

5 Q In your endocervical specimen that
6 you obtained with your LEEP procedures you did
7 not obtain any ectocervix in the specimen,
8 correct?

9 A No, no, I did. I did obtain ecto.

10 Q Is the ectocervix lower down in the
11 cervical area or is it above the endocervical
12 canal or somewhere else?

13 A Outside, yes.

14 Q From the LEEP alone is there any

15 way for you to determine whether there is any
16 type of cancer lurking up past the endocervix?

17 MR. : In addition to the
18 cancer that was detected?

19 MR. OGINSKI: I'll withdraw the
20 question.

21 Q When you performed the LEEP on
22 June 24th, did you know how far the cancerous
23 cells extended into the endocervical canal?

24 A No.

25 Q When you performed the LEEP

121

1 , M.D.

2 procedure and received the results of the
3 pathology back from the LEEP, were you able to
4 determine how far up into the cervical canal
5 the cancerous cells went?

6 A No.

7 Q Would a cone biopsy have assisted
8 you in determining how far up the cancerous
9 cells went into the cervical canal?

10 A No.

11 Q If a cone had been performed before
12 the hysterectomy in 's case, based upon
13 what you know from the results of the
14 hysterectomy, can you say with a reasonable
15 degree of medical probability that her margins
16 would have been clear if you had performed a
17 cone biopsy?

18 MR. : Note my objection.

19 That's a hypothetical question.

20 MR. OGINSKI: But it's an
21 appropriate one in light of the
22 findings in this case.

23 THE WITNESS: Answer that?

24 MR. : No.

25 MR. OGINSKI: The basis again

22 the base membrane. Once again it doesn't
23 matter how deep you cut. You could get cell.
24 You could get margin clear. Cells travel in
25 many different directions. It doesn't have to

123

1 , M.D.

2 go to a birth canal. Go into the uterus. It
3 doesn't travel that way. It travels in many
4 different directions. So no matter how deep
5 you cut with the cone biopsy it wouldn't
6 guarantee you that.

7 Q I'm not asking for guaranties,
8 Doctor. I'm asking based upon your expertise
9 and your knowledge and with a reasonable degree
10 of medical probability that based upon Dr.
11 Chung's pathology findings on July 17th whether
12 you could determine that if a cone had been
13 performed before that time whether it would
14 have revealed that there was no cancerous cells

15 and the margins would have been clear higher up
16 in the canal?

17 A No.

18 Q Would you agree that where you have
19 ambiguous margins on the test that you
20 performed the LEEP and also the prior
21 colposcopy that the procedure of choice is to
22 perform a cone biopsy prior to performing a
23 hysterectomy?

24 MR. : Note my objection.

25 This has been asked and

124

1 , M.D.

2 answered several times.

3 MR. OGINSKI: It's a different
4 question.

5 MR. : I don't believe it
6 is. You've asked that question about
7 several times.

8 MR. OGINSKI: It's a different
9 form and there's a reason for it.

10 I'm not belaboring the point.

11 MR. : I think you are, to
12 be honest with you.

13 MR. OGINSKI: I really don't
14 mean to.

15 Before I asked him about his
16 standard of care. Now I'm talking
17 about procedure of choice which may
18 or may not be synonymous and I need
19 to get an opinion from him as to
20 whether or not that's the case, if
21 that's the procedure of choice.

22 MR. : You're asking the
23 same questions over and over again
24 and I don't mean to be difficult but
25 I don't want to stay here all day.

22 practice when you receive abnormal test results

23 you give the patient various options as part of

24 your treatment plan, correct?

25 A Correct.

126

1 , M.D.

2 Q Often times the patient does not

3 have the same medical knowledge and training

4 and background as you do, correct?

5 A Okay.

6 Q They will often times turn to you

7 for advice in asking what you think should be

8 done to treat a particular condition, correct?

9 A Okay.

10 Q As part of your assessment you at

11 times make certain recommendations to the

12 patient as to what you feel should be done,

13 correct?

14 MR. : You're speaking in

15 general?

16 MR. OGINSKI: Generally.

17 MR. : You can answer.

18 A Yes.

19 Q Do you consider a recommendation to
20 be the same as the suggestion to a patient?

21 A No, I wouldn't say I would use
22 those two words differently, recommendation and
23 suggestion.

24 Q Are there times when you will be
25 somewhat forceful with a patient in

127

1 , M.D.

2 recommending a particular procedure?

3 A Sometimes.

4 Q Are there other times while you
5 will present the patient with all the various

6 options, you will allow them to choose among

7 the various options?

8 A Right.

9 Q Can you turn, please, to your June

10 24th handwritten note, please, in that note --

11 on June 24th, how long was the patient in your

12 office that day?

13 A About an hour.

14 Q How long did the LEEP procedure

15 take?

16 A Takes about 30 to 40 minutes

17 including anesthesia, numbing process.

18 Q Before you addressed your

19 assessment you had already completed the LEEP,

20 correct?

21 A Right.

22 Q Tell me what your assessment was?

23 A CIN3.

24 Q No, I'm sorry, read from "because

25 of discrepancy"?

22 Q Did call your office between

23 June 21st and July 1st?

24 A I believe she did.

25 Q How do you know that?

129

1 , M.D.

2 A The secretary asked me, "Did you

3 get the results yet, did you get the results

4 yet".

5 Q Other than calling up to find out

6 the results of the LEEP, did she call at any

7 time during those six or seven days to make any

8 complaints about any complications she was

9 experiencing from the LEEP procedure?

10 A No.

11 Q None that you recall or no, she did

12 not?

13 A She never complained to me she had

14 complications after the LEEP procedure.

15 Q Did she ever tell you that she had
16 experienced excessive bleeding during the days
17 following the LEEP procedure?

18 A No.

19 Q Can you turn please to your July
20 29th note. Is that her first post-op visit in
21 your office since the hysterectomy?

22 A Yes.

23 Q Can you read your note, please?

24 A "Status post vaginal hysterectomy
25 for carcinoma in situ of cervix on July 17/00."

130

1 , M.D.

2 "Pathology: All margins cleared."

3 "Physical examination: Vaginal
4 cuff clear. Positive discharge. No bleeding."

5 "Assessment: Post-op check."

6 "Plan: Return in six months for
7 follow-up."

8 Q In fact, returned within
9 about two and a half weeks to your office,
10 correct?

11 A Right.

12 Q Was this a scheduled visit or did
13 she call to schedule this visit and come in?

14 A I believe she either walked in or
15 she called in.

16 Q Can you read your note, please?

17 A "Complains of lump in vaginal cuff.
18 Granulation tissue seen. Sutures intact.
19 Still in process of dissolving."

20 "Plan: Return in six months.
21 Second, Percocet," which is pain medication.

22 Q Where was she experiencing pain?

23 A I believe -- I remember she always
24 complained about pain in the abdomen area, not
25 specifically in the vagina area.

2 Q Did you make an assessment as to
3 the cause of her complaints of abdominal pain
4 on August 17th?

5 A Yes, we examined the abdomen.

6 Q What were your findings?

7 A Nothing specific.

8 Q In your opinion was this normal
9 postoperative healing pain that she was
10 experiencing?

11 A Yes.

12 Q Was there anything unusual in your
13 mind as to the cause of this pain?

14 A No.

15 Q Was this the first time you had
16 prescribed Percocet for her?

17 A No, she had Percocet from the
18 hospital.

19 Q She again returned to your office
20 on September 2nd?

21 A Yes.

22 Q Again was there an appointment she

23 made because of the complaints she had?

24 A Yes.

25 Q Can you read that note, please?

132

1 , M.D.

2 A "Complains of gap in vaginal cuff.

3 Sutures dissolved."

4 Q I'm sorry, what does that say

5 before suture?

6 A "Physical examination."

7 Q Go ahead.

8 A "Sutures dissolved now.

9 Granulation tissue seen. Silver nitrate

10 applied. No bleeding."

11 "Plan: Percocet. Second one,

12 return in six months."

13 Q Why did you apply silver nitrate?

14 A Granulation tissue means when --

15 engineering-wise, structural-wise, when you

16 remove the cervix and uterus you have to close

17 the top part of the pelvis part vaginally. You
18 have to close it. Once you close those two
19 vaginal cuffs above together, some dissolve,
20 those two new vaginal tissues have to go back
21 together. They form granulation tissue.
22 Sometimes those granulation tissues are very
23 raw, very fresh and they could cause bleeding,
24 it could cause pain. So silver nitrate is a
25 chemical solution that you could make those raw

133

1 , M.D.

2 tissues go away.

3 Q Where was silver nitrate applied?

4 A To the vaginal cuff. To the

5 granulation tissue.

6 Q Did you observe any opening?

7 A No.

8 Q Is silver nitrate applied when you

9 do not observe any opening?

10 A Yes, silver nitrate's applied to
11 any kind of granulation tissue seen.

12 Q Was Mrs. still complaining of
13 pain on the September 2nd visit?

14 A I believe she did. That's why I
15 gave her Percocet again.

16 Q Where was the pain she was
17 complaining of?

18 A Nothing specific. Just lower
19 abdomen pelvis area.

20 Q On your examination were you able
21 to determine the etiology of that pain?

22 A From physical examination, no.

23 Q Did you elicit from Mrs. how
24 often she would experience the pain?

25 A That's a very subjective term.

1 , M.D.

2 Everybody takes pain differently.

3 Q I'll ask it a different way.

4 MR. : Just let him answer the
5 question. You did put a question
6 out. He is answering. I would ask
7 you to at least wait until he
8 completes his answer.

9 MR. OGINSKI: Sure. But it was
10 not responsive.

11 MR. : But nonetheless I
12 would appreciate you letting him
13 answer and he's letting you ask your
14 question in its entirety.

15 MR. OGINSKI: Fair enough.

16 Fine.

17 Q Did you ask Mrs. how often
18 she got her abdominal pain?

19 A No.

20 Q Did you ask her whether she was
21 able to sleep through the pain at night?

22 A No.

23 Q Did you ask her whether she was

24 taking any over-the-counter medications in

25 response to her pain?

135

1 , M.D.

2 A I believe so.

3 Q What was her response?

4 A She said she has low tolerance for
5 pain. Extra Strength Tylenol would not help
6 her at all.

7 Q Did she indicate she had tried to
8 take Tylenol?

9 A I believe so.

10 Percocet, you need a special type
11 of prescription than just a simple
12 prescription.

13 Q Did you ask her whether Percocet
14 provided the relief?

15 A Yes.

16 Q What did she say?

17 A She said, "Yes, that's the only
18 medication can help her." Usually, I do not
19 give people Percocet because once again it's a
20 special type of prescription, you need a
21 government...

22 Q Is that a narcotic?

23 A A narcotic, yes.

24 Q Am I correct that you prescribed
25 Percocet in the hospital postoperatively?

136

1 , M.D.

2 A Yes.

3 Q That was in response to her
4 complaints of pain, correct?

5 A Yes.

6 Q Doctor, I'd like you to turn,
7 please, to the hospital record, to your history
8 and physical prior to the performance of the
9 surgery. Before we get to that can you turn to

10 the Discharge Summary. Under the section where
11 it says, "Hospital course," under the second
12 line it says, "Postoperative course was
13 uneventful except for a lot of severe pain,"
14 correct?

15 A Correct.

16 Q "The patient has low tolerance for
17 pain," correct?

18 A Correct.

19 Q Did you ever determine the cause or
20 the nature of her severe pain?

21 A I mean she told me she had a
22 gallbladder removed. She was in the hospital
23 about seven days. The doctor told her
24 "nothing's wrong." She told me herself she had
25 low tolerance for pain.

137

1 , M.D.

2 Q But did you ever make an assessment

3 as to the cause of her pain she was

4 experiencing in the hospital?

5 A Yes.

6 Q What was the cause?

7 A Abdomen, I examined the pelvis to

8 make sure there's no --

9 Q I'm not asking you what you did.

10 A -- complications.

11 Q I'm asking you what it was that you

12 concluded was causing her severe pain?

13 A Many reasons cause pain so there's

14 not one specific reason that can cause pain.

15 Q In your opinion what were the

16 different reasons that were causing her the

17 severe pain she was experiencing?

18 A Surgery. Any kind of cut from a

19 knife you would expect to have pain. I assume

20 so.

21 Q The severe pain that you described

22 in the Discharge Summary, where within her body

23 was she experiencing the severe pain?

24 A Abdomen, pelvis.

25 Q Is that reflected in your Discharge

138

1 , M.D.

2 Summary?

3 A No, not really.

4 Q Is that something that you recall

5 as you sit here now?

6 A Yes.

7 Recall where is the pain, is that

8 what you mean?

9 Q Yes.

10 A Yes.

11 Q You continue by saying, "So, the

12 patient was given a lot of pain medication,"

13 correct?

14 A Correct.

15 Q That would be the Percocet that you

16 described earlier?

17 A Right.

18 Q Continue.

19 A I might have given -- accommodated

20 her and given her Demerol IM injection. I

21 couldn't recall but if we look through the

22 chart maybe she did.

23 Q I saw the Demerol IM.

24 A Okay.

25 Q Was it customary for you to

139

1 , M.D.

2 prescribe antibiotics postoperatively to a

3 patient who just had a hysterectomy?

4 A Yes.

5 Q You had also given her prescription

6 for when she would be discharged for Percocet,

7 correct?

8 A Correct.

9 Q Can you turn, please, to your

10 Progress Record starting with July the 17th

11 note. Can you read that please in its

12 entirely?

13 A "BORN."

14 Q What does that stand for?

15 A Brief Operating Room Notes. Pre-op

16 diagnosis: Carcinoma in situ of cervix.

17 Post-op diagnosis: Same. Procedure: Vaginal

18 hysterectomy. Surgeon: Dr. . Assistant:

19 Dr. . Anesthesiology: Epidural by Dr.

20 . Input: 1,500 cc's. Output: 600

21 cc's. Estimated blood loss: 200 cc's."

22 "Findings: No adhesions. Uterus

23 within normal limit. Cervix intact removed.

24 No complications."

25 Q The following day you wrote a note,

140

1 , M.D.

2 correct?

3 A Yes.

4 Q That's July 18, 2000. Can you read
5 that, please?

6 A "Post-op day number one.

7 Subjective: Patient is doing well. Objective:

8 Vital signs stable. Temperature maximum

9 100.6."

10 Q Was that febrile?

11 A No, above 101 we call that febrile.

12 It's low grade temperature.

13 Q Continue.

14 A "Number two is physical

15 examination: Lungs clear to auscultation.

16 Abdomen: Soft and nontender. Pelvis: No

17 bleeding, packing removed. No bleeding now.

18 And there's a number there. It's 34.0/".

19 Q That would be her hematocrit?

20 A Yes.

21 Q That was within normal limits,

22 correct?

23 A Within normal limits.

24 Q Continue?

25 A "Assessment: Carcinoma in situ of

141

1 , M.D.

2 cervix."

3 "Plan: Number one, DC IV fluids."

4 Q That would be discontinue?

5 A Discontinue IV fluids.

6 Q Continue.

7 A And the unasyn 3 grams.

8 Q To give unasyn 3 grams?

9 A "To discontinue unasyn," which is

10 an antibiotic. "Number two, regular diet.

11 Number three, discharge patient home in a.m. of

12 July 19, '00."

13 Q On the day of discharge July 19th?

14 A "Post-op day number two. Patient

15 doing well. Vital signs stable. Afebrile."

16 "Plan: Discharge patient home

17 today."

18 Q Prior to having her
19 surgery, did she ever complain to you of pelvic
20 pain?

21 A Yes.

22 Q Is that reflected anywhere in your
23 office notes?

24 A No, I was more concentrating on the
25 cervical cancer part.

142

1 , M.D.

2 Q How is it you recall that she had
3 complained of pelvic pain from the time you had
4 seen her initially in June up until the time of
5 her hysterectomy?

6 A I believe I obtained another
7 history and physical before the surgery.

8 Q That was the day of surgery?

9 A That was the day she also told me,

10 yes.

11 Q Can you turn please to the history
12 and physical. You performed that, correct?

13 A Yes.

14 Q You write in the middle of the page
15 under "History of present illness" among some
16 other findings that she complained of pelvic
17 pain and menometorrhagia, correct?

18 A Right.

19 Q That is another term for abnormal
20 bleeding?

21 A Yes.

22 Q Or irregular bleeding, right?

23 A Right.

24 Q "None responding to oral
25 contraceptive pills"?

143

1 , M.D.

2 A Right.

3 Q Had you made any attempt to try and
4 treat Mrs. conservatively with medication
5 prior to offering a hysterectomy?

6 MR. : Note my objection.

7 What do you mean by
8 conservative?

9 MR. OGINSKI: I'll rephrase it.

10 Q Doctor, did you attempt to
11 treat Mrs. with any type of medications in
12 an attempt to treat her condition prior to
13 performing the hysterectomy?

14 MR. : What condition?

15 MR. OGINSKI: The abnormal
16 finding that he saw on pathology.

17 MR. : You mean cancerous
18 cell?

19 MR. OGINSKI: Yes.

20 A That was not the issue here of
21 bleeding. The issue of surgery was about
22 removing the cancer cell.

23 Q Turn to the second page of your

24 physical examination.

25 A Yes.

144

1 , M.D.

2 Q Under genitourinary section can you
3 read that?

4 A "Uterine size within normal limits.
5 No adnexal mass. Positive bleeding. Status
6 post LEEP. Positive tender upon palpation."

7 Q To what, if anything, did you
8 attribute the tenderness that you observed at
9 that time?

10 A From the LEEP two weeks ago.

11 Q Is that customary that you would
12 see tenderness in the abdomen after a LEEP?

13 A Yes, upon the incision site of a
14 LEEP of the cervix when you touch the cervix
15 from the previous two weeks ago surgery, of
16 course, it would hurt.

17 Q Palpation you referred to is that

18 external or internal?

19 A Intravaginally, is that what you

20 mean?

21 Q Yes.

22 A Yes.

23 Q Under "Lab data" I'd like you to

24 read what you have written there, please?

25 A I did not review this chart before.

145

1 , M.D.

2 So whatever I said before I didn't know. Now I

3 look at this now "All the risks, benefits,

4 alternatives explained."

5 Q Let me stop you for a second. I'll

6 ask you to continue.

7 MR. : You asked him to read

8 it and you cut him off before he gets

9 a chance to read through.

10 Q Finish your note, Doctor.

11 A "All risks, benefits, alternatives
12 explained. Patient understood. Including no
13 more future pregnancy. She is a young patient
14 who chose to have LAVH for definite treatment."

15 Once again to answer your question
16 two, three hours ago from this morning, did she
17 ask me, she had two kids, she did not want any
18 more and this was clearly explained to her
19 during that interview, during that pre-op time.

20 Q Did you have another conversation
21 with while she was in the hospital
22 but before you performed the surgery on July
23 17th about the risks and benefits of having a
24 hysterectomy?

25 A Yes.

146

1 , M.D.

2 Q Who was present in the room during
3 the time you had this conversation?

4 A I believe the time when we signed
5 the consent there was a witness.

6 Q Who was present?

7 MR. : Find the consent. See
8 if the consent indicates who the
9 witness may have been.

10 A Kristy Aguiles. I don't know
11 her last name.

12 MR. : I would ask that
13 counsel for Hospital
14 provide us with a complete identity
15 of the person who signed the consent
16 as a witness in the event that they
17 are employed with Mount Vernon
18 Hospital or were employed with Mount
19 Vernon Hospital on July 17, 2000
20 when that document was generated.

21 MS. : Take it under
22 advisement.

23 You realize unless the name is
24 clearly printed; it's going to be

25 very difficult for me to find out who

147

1 , M.D.

2 it is.

3 MR. : I understand that

4 at times it can be difficult but --

5 MS. : If it's somebody

6 had a note in the chart or something

7 like that it's not a problem unless

8 the doctor knows who it is.

9 A I believe she still works

10 there. I believe her name is Kris. I believe

11 that's Kris's handwriting.

12 Q Is she a nurse?

13 A Yes.

14 MS. : Okay.

15 Q You were referring to the Consent

16 Form that Mrs. signed for the procedure,

17 correct?

18 A Yes.

19 Q Do you have any independent memory
20 as to whether anyone else was present with you
21 during the time you had such conversation about
22 the risks and benefits of the hysterectomy
23 while in the hospital?

24 A I clearly remember both her mother
25 and the husband was in the hospital at the time

148

1 , M.D.

2 and I believe that they were there but I
3 couldn't be 100 percent sure they were there
4 right next to me when I explained to her but I
5 know they were in the hospital. I seen them
6 that morning.

7 Q Generally, Doctor, prior to coming
8 here today, did you review any medical
9 literature or textbooks in preparation for
10 today's deposition?

11 A No.

12 Q Do you have any handwritten notes
13 that exist outside of your office chart and
14 hospital records for this patient?

15 A No.

16 Q In a situation -- I'm talking
17 generally -- where you have conflicting
18 pathology reports as we had here -- but now I'm
19 asking a general question -- if a cone biopsy
20 is performed and the margins are clear at that
21 point, what treatment is necessary, if any, to
22 observe and to see what's going on with this
23 patient in the future?

24 A To observe.

25 Q How do you observe?

149

1 , M.D.

2 A Every three months, six months
3 maybe. Depends.

4 Q Would you perform a colposcopy as

5 part of your observation process on a somewhat
6 regular basis?

7 A Yes.

8 Q Would you also perform an ECC every
9 three months or so for a year in order to
10 continue to observe?

11 A You might. Might be a guideline.
12 Might not be necessary all the time.

13 Q If the cervix remained clear after
14 a year period would there be any need for
15 further follow-up in light of your negative
16 findings?

17 A Yes, you need to.

18 Q For how long would the patient be
19 required to return to the office for follow-up
20 for routine care as a general question?

21 MR. : You're asking this
22 hypothetical general question?

23 MR. OGINSKI: Yes.

24 A Indefinitely until she turned
25 90 or something.

19 Q In light of Dr. 's
20 pathology findings and in light of your
21 findings on your LEEP procedure where we agree
22 that there are two conflicting results, is
23 there any literature that you are aware of to
24 support the position that a cone biopsy would
25 not be the appropriate step to take in order to

151

1 , M.D.

2 treat that particular patient?

3 MR. : Note my objection.

4 Over objection you can answer.

5 A I don't think there's any
6 literature to support that management.

7 Q If Mrs. had invasive cancer --
8 again this is a hypothetical question -- if she
9 had invasive cancer to the cervix, would you
10 agree that the procedure of choice would have
11 been a radical hysterectomy rather than a
12 vaginal hysterectomy?

13 A Depends how deeply it was invaded.

14 Q How would you know how deeply it

15 had invaded if a cone biopsy had not been

16 performed?

17 A By LEEP procedure you would already

18 know. It's almost the same thing as a cone

19 biopsy.

20 Q What are the parameters that you

21 would use when determining whether or not --

22 when you mentioned it depends how deep the

23 cancer was, what do you mean by that?

24 A You mean how far it penetrates

25 through the base membrane.

152

1 , M.D.

2 Q Are there certain sizes, if you can

3 tell me, or parameters that you would use in

4 determining whether or not the patient required

5 a radical hysterectomy as opposed to a regular
6 hysterectomy?

7 MR. : In general?

8 MR. OGINSKI: Yes.

9 A If you need a radical
10 hysterectomy you definitely would know that
11 from the LEEP procedure.

12 Q What would you see in the Pathology
13 Report that would suggest to you if the patient
14 needed a radical hysterectomy?

15 MR. : Would a cancer be more
16 serious than the plaintiff had here.

17 A Invasion more than 5
18 millimeters depth and 7 millimeters wide.
19 We're talking about milliliter off the base
20 membrane which is a superficial which can
21 encompass the whole surface area of cervix. It
22 doesn't have to be just one on the birth canal
23 on the endocervical area.

24 Q Before you performed the
25 hysterectomy on July 17th you did not know

20 invasion in the cervix, correct?

21 A Yes, the depth of the cervix, yes.

22 Not the canal. Just the depth on the

23 superficial.

24 Q Depth of the cervix.

25 You also mentioned that you would

154

1 , M.D.

2 be unable to tell whether there was any

3 cancerous cells above the area where the LEEP

4 had ended?

5 A Right.

6 Q Is there any way for you to

7 determine whether there was any invasion in

8 that area of the cervix higher up than where

9 the LEEP ended without doing a hysterectomy?

10 A No.

11 Q If you proceeded forward to do a

12 hysterectomy and it then turned out that there

13 was invasion higher up in the canal above where
14 the cervix ended, would you agree that the
15 procedure that would have been done would have
16 been a radical hysterectomy as opposed to a
17 regular hysterectomy?

18 MR. : Note my objection.

19 You're asking hypothetical
20 questions here of this witness which
21 really don't bear on this case.

22 But nonetheless I'm not going
23 to be obstructionist here but I mean
24 we're asking hypothetical after
25 hypothetical on things that are not

155

1 , M.D.

2 related here.

3 You're describing your

4 questions as being conditions.

5 Conditions -- what we're not getting

6 in these questions is the presence of
7 cancer. I mean let's get to what
8 this case is about.

9 MR. OGINSKI: The problem is
10 that it is related because it's our
11 claim that the doctor performed the
12 wrong procedure in light of the
13 findings that he had and had he done
14 -- if this patient turned out to have
15 invasive cancer the procedure would
16 have been the wrong one. That's what
17 our position is. And that a radical
18 hysterectomy would have been entered
19 and not a vaginal hysterectomy as it
20 relates specifically to this. I
21 don't have many more questions on
22 this topic.

23 MR. : You said that half
24 hour ago.

25 MR. OGINSKI: Different topic.

1 , M.D.

2 MR. : Still, a year

3 post-op she is still cancer-free to

4 the best of my knowledge of the --

5 she is cancer-free at this point

6 which I think is something that, if

7 you want to comment on, her condition

8 is something that's obviously

9 relevant.

10 But nonetheless why don't you

11 ask the question if you have

12 something relevant.

13 MR. OGINSKI: It goes to the

14 doctor's knowledge and his

15 decision-making at the time.

16 Q Doctor, what is a radical

17 hysterectomy?

18 A Radical hysterectomy you would do

19 abdominally. You would remove more tissue from

20 the vagina. You probably dissect down to the
21 bladder, we call perimetrium, means also
22 dissecting down to the pelvic wall. And part
23 of, almost half of the vagina would be removed.
24 Q How is that different from a
25 regular hysterectomy?

157

1 , M.D.

2 A Regular hysterectomy we don't go
3 that far. We just remove paracervical type of
4 tissue right next to the cervix area.

5 Q In patients who have invasive
6 cervical cancer is the recommended procedure to
7 perform a radical hysterectomy?

8 MR. : Note my objection to
9 form.

10 You're asking hypothetical
11 questions.

12 MR. OGINSKI: No, I'm asking

13 his general medical knowledge now.

14 A Hypothetically, yes, if it was
15 invasive more than 5 millimeters and 7
16 millimeters deep.

17 Q How would you know before
18 performing surgery whether it had invaded to
19 any particular depth?

20 A LEEP procedure would have told me.

21 Q Is it possible that you would not
22 get an accurate measurement of how deep the
23 invasion was on LEEP but higher up in the canal
24 there would be invasion; is that possible?

25 MR. : LEEP procedure would

158

1 , M.D.

2 only show where the samples were
3 taken if that's what you're driving
4 at.

5 MR. OGINSKI: Yes.

6 MR. : For the past hour

7 or so.

8 MR. OGINSKI: Correct.

9 A No.

10 Q Doctor, when you performed the LEEP

11 on June 24th how far into the cervix did you

12 go?

13 A I believe the Pathology Report show

14 -- can I read this?

15 Q Sure.

16 A The first part was part A, which

17 went 2 X 1 X .5 centimeters.

18 The second piece I took out 2 X 1 X

19 .3 centimeters.

20 C, the third part which is

21 endocervix I took out 2.5 X 1 X .2 centimeters.

22 Q What's the dimension of the cervix?

23 A 6 X 4 X 6 X 4 cubic centimeters. 6

24 X 4, about that. 6 X 4 X 4, probably around

25 that range. I'm sorry, 4 X 4 X 4, probably

1 , M.D.

2 around that range.

3 Q What does good medical practice
4 require you to do in terms of the amount of
5 tissue removed for LEEP procedure specifically
6 related to the endocervix?

7 MR. : Note my objection.

8 What are you getting there?

9 Narrow that down.

10 MR. OGINSKI: Sure.

11 Q Is there a particular parameter
12 in which you as a physician remove a particular
13 amount of endocervical tissue for a specimen to
14 evaluate on a LEEP procedure?

15 A Customary clinical experience is
16 they always told us just peeling off the orange
17 skin. That's how think you're supposed to peel
18 off. That's all contained with epithelial cell
19 of the cervix.

20 Q In terms of the dimensions are you
21 aware of?

22 A In terms of dimensions, orange
23 skin, it's about 1 centimeter deep. And that's
24 all you need to know if base membrane or
25 invasion is involved, which is about 1

160

1 , M.D.

2 centimeter.

3 Q Is cervical cancer hereditary?

4 A Could be.

5 Q Under what circumstances could it
6 be?

7 A If a patient is a smoker. I do not
8 recall under what circumstances it could be.
9 But under research I think it could be
10 hereditive in the family history.

11 Q If cervical cancer is hereditary
12 that means there would be some genetic

13 predisposition to this particular cancer,

14 correct?

15 A It could be.

16 Q Okay.

17 A May not be conclusive of genetic

18 factor. There are some signs, risk factors

19 shown it could be genetic. But still some

20 research going on. So I couldn't give you the

21 definitive answer that it could be or couldn't

22 be.

23 Q Did you tell that

24 cervical cancer is hereditary?

25 A No, she told me her mother has GYN

161

1 , M.D.

2 problems.

3 Q I want you to assume her mother

4 never had a hysterectomy.

5 Assuming that fact to be true for

6 the moment, is there any other reason you can
7 recall as to any comments may have made
8 to you about being hereditary?

9 A I couldn't remember. I couldn't
10 recall she told me.

11 Q Is there anything in your office
12 note to reflect the patient's family history of
13 any type of cancer?

14 MR. : I think that's been
15 asked and answered.

16 MR. OGINSKI: I didn't ask
17 specifically about family history to
18 cancer.

19 A No, I didn't write it down. It
20 doesn't reflect.

21 Q The fact you did not record it,
22 does that mean either that you didn't ask it or
23 asked it and did not record it or something
24 else?

25 A It does not reflect. I couldn't

21 26-year-old with cervical cancer. But I did
22 not say that she didn't have a hysterectomy.
23 She was too late already. She came into the
24 ER. She was found with full blown cervical
25 cancer. I do not remember if I told her that

163

1 , M.D.

2 or not but I would never say she needed to have
3 a hysterectomy in order to survive.

4 Q Did you tell that you
5 were going to speak with another pathologist to
6 review the pathology findings from the LEEP
7 procedure?

8 A Yes, that was Dr. .

9 Q Did you tell on either
10 the telephone or in person when you informed
11 her that you were going to have another
12 pathologist review the findings that this was a
13 very aggressive type of cancer?

14 A I wouldn't use that word

15 "aggressive."

16 Q What word would you use in

17 describing the type of cancer?

18 MR. : Note my objection.

19 Is your question what word

20 would you use or what word did you

21 use? He said he did not use these

22 words.

23 Now you're creating a

24 hypothetical.

25 MR. OGINSKI: Fine.

164

1 , M.D.

2 Q What word did you use in

3 describing the type of cancer to Mrs. ?

4 A I used the word that the

5 pathologist show -- that the pathologist told

6 me. That there are glandular involvements of

7 cervical cancer here and there is more chance
8 of a metastatic to different -- of near side
9 organs.

10 I do not recall I used aggressive
11 type of cancer as a quote unquote.

12 Q Have you in the past used the term
13 "aggressive cancer" when describing certain
14 types of cancers?

15 A Hypothetically, yes. If you tell
16 me adenocarcinoma, instead of squamous cell
17 carcinoma it's more aggressive, yes.

18 Q This particular type of CIN3 that
19 was observed on that pathology --

20 MR. : Cancer you mean.

21 MR. OGINSKI: As described in
22 the Pathology Report which says CIN3.

23 Q Can you tell me what the
24 doubling time for that cancer is?

25 A I do not know. I think it's about

21 Q Did you tell how
22 quickly this particular type of cancer grows or
23 spreads?

24 MR. : Note my objection.

25 This has been asked and

166

1 , M.D.

2 answered several times.

3 Q Did you tell that
4 she must've had the cervical cancer when she
5 was pregnant with her daughter Jasmine three
6 years earlier?

7 A No.

8 I'm sorry, how old is her baby? I
9 don't even know that part.

10 Q Did you tell that when
11 she asked you how long she had this condition
12 for that you told her in substance -- not the
13 exact words but in substance -- she must've had
14 this cancerous cells three years earlier when

15 she was pregnant with her daughter Jasmine?

16 A No, I wouldn't use that-- I

17 wouldn't use that specific term, three years.

18 I would use that she probably had this for --

19 before she came to see me at least a couple of

20 months. At least 6 months.

21 Q How do you know that she would've

22 had this type of cancer for at least six months

23 prior?

24 A From experience we know cervical

25 carcinoma doesn't progress that fast.

167

1 , M.D.

2 Q At what stage in terms of the

3 growth of this type of cancer does it show up

4 as an abnormal result on either Pap smear or a

5 colposcopy?

6 A Usually this typical finding,

7 typical squamous cell of a carcinoma which

8 appeared on her Pap smear result, usually
9 that's the first abnormal sign that would be
10 picked up by Pap smear.

11 Sometimes we pick up low grade
12 which is CIN1 but these are typical
13 presentations.

14 Q Do you recall a time when
15 called you in the middle of the night
16 after she had been discharged from the hospital
17 after July 19th but before she appeared in your
18 office for the first post-op visit where she
19 called complaining of pain in the middle of the
20 night?

21 A I don't recall.

22 Q Was there ever an instance where
23 called requesting pain relief, you
24 yelled at her calling you in the middle of the
25 night for such a request?

22 Q What was the bill for performing
23 the hysterectomy that you submitted to
24 's insurance company?

25 A \$6,500 and I didn't get paid for

169

1 , M.D.

2 it.

3 Q That was submitted to MAGNA Care,
4 her insurance company?

5 A Right.

6 Q The reason you know of why you were
7 not paid?

8 A They never got it.

9 Q Who never got it?

10 A The insurance company keep saying
11 they never got it.

12 Q You sent them a bill, I assume?

13 A I sent them a bill, yes. And the
14 lawsuit came so fast, so I didn't pursue that.
15 To chase after this bill.

16 Q Before going ahead with the
17 procedure, the hysterectomy done on July 17th,
18 was it necessary for you to give a
19 precertification letter to her insurance
20 company to get preapproval?

21 A Yes, it's clearly documented here,
22 "Note need certificate."

23 Q Read that please with the date you
24 have on this?

25 A July 17th we -- I gave this note to

170

1 , M.D.
2 my secretary, " Hospital inpatient
3 for two to three days laparoscopic vaginal
4 hysterectomy carcinoma of cervix. CPT code
5 would be 58260."

6 Q What does that code represent?

7 A Laparoscopic vaginal hysterectomy.

8 ICD code which is diagnosis code

9 233.1.

10 On July 13th we spoke with a
11 representative called Veronica. She told us no
12 precertificate was needed.

13 Q Did you submit to the insurance
14 company any letter stating what the condition
15 was and why the procedure was indicated?

16 A No, it was never really necessary
17 for any other insurance company.

18 Q When you submitted the bill that
19 you have in front of you, did you submit it
20 with a coding for the LAVH?

21 A Yes, I believe so. I believe
22 that's a code for LAVH.

23 Q As far as you know, is your bill
24 for LAVH the same as in a vaginal hysterectomy?

25 A Yes.

22 it's the right option.

23 Q What options are available?

24 MR. : Note my objection.

25 This has been asked several

172

1 , M.D.

2 times. I believe this has been asked

3 four or five times.

4 Q Were there any other options

5 other than what you've already told me, cone

6 biopsy or hysterectomy?

7 A No.

8 Q Is it your opinion that the failure

9 to do a cone biopsy before conducting a

10 hysterectomy on was not a departure

11 from good practice?

12 A No, it's not departure. What I

13 meant -- say that again or rephrase.

14 Q Sure.

15 (Record read)

16 A It's not departure of practice

17 that's what I'm trying to say.

18 Q Thank you.

19 You also wrote in your Operative

20 Report, "Patient agreed"?

21 MR. : Wait, you're reading

22 from it.

23 Q "Indication" paragraph last

24 line. "The patient agreed to have a vaginal

25 hysterectomy," correct?

173

1 , M.D.

2 A Right.

3 Q Isn't it a fact that you told

4 that she needed to have a

5 hysterectomy?

6 A No, I did not tell her she needed

7 to have. I gave her the options. She chose to

8 have this and she agreed to have this.

9 MR. : Note my objection.

10 That's a question you've asked

11 about four or five times.

12 Q Did you ever have a further

13 conversation with Dr. Sandhu after the July

14 17th hysterectomy about the evaluation of the

15 July 17th slides?

16 A No, he was there only once.

17 Q Doctor, I'm going to show you a

18 letter that's on your letterhead. I don't know

19 if you have a copy of that in your file.

20 First of all, do you have a copy of

21 that letter in your file?

22 A No.

23 MR. OGINSKI: Mark this as

24 Plaintiff's 3.

25 (Thereupon, a letter was marked

23 MR. : Let him answer his
24 question. You can ask your question.
25 Let him answer.

175

1 , M.D.

2 MR. OGINSKI: I don't want him
3 to just --

4 MR. : You can move
5 afterwards and say, "It's not
6 responsive."

7 Don't cut him off in the middle
8 of his answer.

9 MR. OGINSKI: He said he
10 guessed or probably --

11 MR. : Don't cut him off
12 in the middle of his answer. If he's
13 answering a question you can say
14 afterwards, "I move to strike as
15 nonresponsive."

16 Don't interrupt him and prevent

17 him from answering a question by
18 speaking over him. I'll ask you the
19 courtesy of that.

20 MR. OGINSKI: I will certainly
21 comply with your request.

22 MR. : Thank you.

23 MR. OGINSKI: I didn't mean to
24 cut you off but I didn't think you
25 had specific knowledge about this.

176

1 , M.D.

2 Q I'm going to ask you
3 specifically, Doctor, do you know why you wrote
4 this letter?

5 A I did not know.

6 Q Okay.

7 A It was very general.

8 Q Doctor, I didn't ask you anything

9 else.

10 MR. : Yes, there no question

11 pending.

12 Q In this letter which starts off

13 by saying, "This statement is confirmed that my

14 patient has undergone various procedures."

15 Beginning with the second line it

16 says, "She had had a LEEP on June 24, 2000 and

17 her pathology results came out as malignant."

18 Did I read that correctly?

19 A Right.

20 Q Is that an accurate statement?

21 A Yes, carcinoma in situ is

22 malignant. Cancer is the same word as

23 malignant. Carcinoma is the same word as

24 malignant.

25 MR. : Off the record.

2 (Informal discussion held off

3 the record)

4 Q When you use the word

5 "malignant" in this letter what do you refer to

6 as being malignant?

7 MR. : Can he see the letter?

8 A As a carcinoma. That's what I

9 imply during that letter.

10 Q Is there any other reason for you

11 to believe that the findings on pathology

12 represented a malignancy as opposed to any

13 other type of finding?

14 A No.

15 Q Is there any way for you to

16 determine whether this was a benign condition

17 as of June 24th?

18 A No, it was never benign. Even now

19 today she is not benign.

20 Q Doctor, I'd like you to turn,

21 please, to the Order Sheets in the hospital

22 chart.

23 Do you have that?

24 A Yes.

25 Q While she was in the hospital after

178

1 , M.D.

2 the hysterectomy you prescribed her Demerol and

3 Percocet, correct?

4 A Right.

5 Q Also Percocet for home?

6 A Yes.

7 Q If I can jump back, please, to your

8 office records; am I correct that on June 29,

9 2000, you prescribed Oxycodone for ?

10 A Yes.

11 MR. : Wait, look at the date.

12 A No, it was not documented but

13 just a prescription.

14 Q Are there occasions when you have

15 called in a prescription to the pharmacy in

16 response to a patient's complaint?

17 A Sometimes I do, yes.

18 Q On those occasions do you
19 customarily make a note in the chart indicating
20 you have called in a particular prescription?

21 A No.

22 Q Is there any reason for you to
23 believe that you did not submit or call in to
24 the pharmacy a prescription for Oxycodone on
25 June 29th?

179

1 , M.D.

2 A I couldn't recall I called in or
3 not.

4 Q I want you to assume that
5 's pharmacy record indicates that an
6 Oxycodone prescription was filled on June 29th
7 with your name on it.

8 A Okay.

9 Q I want you to assume that a

10 prescription for Oxycodone was filled on June
11 29th by with your name on it.

12 Do you have any records in the
13 patient's chart to confirm that you filled such
14 a prescription?

15 A No, I do not have records.

16 Q Is Percocet the same thing as
17 Oxycodone?

18 A No, Percocet has Oxycodone inside
19 but they are different strengths.

20 Q Is it a different medication?

21 A Yes.

22 Q Is there anything in your records
23 to confirm that you prescribed Oxycodone for
24 on July 29th and also on August
25 1st?

2 A No.

3 Q Can you turn please to the

4 Pathology Report of July 17th.

5 Based on the Pathology Report was

6 there any cancer observed in the cervical canal

7 above the ECC?

8 MR. : Note my objection.

9 I think this has been asked and

10 answered.

11 Answer over objection.

12 MR. OGINSKI: I did not ask

13 this question.

14 A No.

15 Q Would you agree that based upon the

16 July 17th Pathology Report that a hysterectomy

17 was not medically necessary for ?

18 A No, I wouldn't agree. I think

19 still necessary.

20 Q How do you rule out a lesion up

21 higher into the cervical canal if you do not

22 perform a cone biopsy, other than a

23 hysterectomy?

17 run into Dr. during whatever meetings

18 that you may have had at Our Lady of Mercy?

19 A Yes.

20 Q Did you ever discuss 's

21 case with Dr. at any time after you

22 performed the LEEP procedure but prior to the

23 hysterectomy?

24 A No.

25 Q Did you ever speak with Dr.

182

1 , M.D.

2 about after you performed the

3 hysterectomy but before this lawsuit was

4 started?

5 A No.

6 Q When was the last time you spoke to

7 ?

8 A I can't remember. After the

9 lawsuit I never spoke to her.

10 Q The last time she was in your

11 office, did you ever have any further
12 conversations with her either in person or by
13 telephone?

14 A No, that was the last time I saw
15 her, September.

16 MR. : Wait.

17 Is the question, "The last time
18 she was in the office did you have a
19 conversation with her?"

20 MR. OGINSKI: No.

21 MR. : Maybe I didn't
22 hear. I'm sorry.

23 Q After September 2, 2000, did
24 you ever have a conversation with
25 again?

183

1 , M.D.

2 A No.

3 Q Did you ever learn from any of the
4 doctors she treated with afterwards what her
5 medical condition was at any point after
6 September 2nd?

7 A No.

8 Q Did you ever learn or speak to any
9 of her treating doctors what was going on with
10 her medically up until the point that this
11 lawsuit was started?

12 A No.

13 Q Did anyone ever suggest to you or
14 question why you were performing a hysterectomy
15 as opposed to a cone biopsy?

16 When I say, "anyone," I'm referring
17 to any health care professional.

18 A No.

19 Q Was 's case brought up
20 or presented to any Mortality or Morbidity
21 Conferences at Mount Vernon Hospital?

22 A No.

23 Q Did you present Mrs. 's case to
24 any committee or residency program as part of

25 any learning program or educational program?

184

1 , M.D.

2 A No.

3 MR. OGINSKI: Thank you.

4 EXAMINATION BY

5 MS. :

6 Q Dr. , my name is

7 . I'm with

8 . I represent Hospital

9 on the case. I have a few more questions for

10 you.

11 As the patient's OB/GYN you were

12 making decisions and recommendations about the

13 patient's treatment?

14 A Yes.

15 MR. : Note my objection.

16 There's been testimony

17 throughout that the patient made some
18 decisions in reference to her
19 treatment.

20 MS. : I'll rephrase.

21 Q Just so I'm clear, is it your
22 testimony that decisions and judgments
23 regarding the patient's treatments and
24 procedures were made by you and the patient?

25 A Yes, provided with enough data.

185

1 , M.D.

2 Q You're aware Dr. is a
3 pathologist. So he would not be making any
4 recommendations as to surgical or GYN
5 treatment?

6 A No, he would imply how severe the
7 disease is from a pathologist's point of view.

8 Q But it would have been outside of
9 Dr. 's specialty to recommend whether you
10 perform a cone biopsy or a hysterectomy or any

11 other surgical procedure?

12 A Right.

13 Q What is your date of birth?

14 A July 9, 1964.

15 Q What have you reviewed before
16 testifying here today?

17 A My office records and that's the
18 only thing I have.

19 MS. : Thank you.

20 EXAMINATION BY

21 MR. OGINSKI:

22 Q Doctor, on July 1st when you
23 had your conversation with her about your plan
24 of treatment, did you ask her to sign anything
25 in the office on this day about the plan of

186

1 , M.D.

2 treatment that you were proposing?

3 A No, it's not standard of care.

4 Usually you sign the consent before the
5 operation.

6 Q Is there anything in the hospital
7 record that you have seen to confirm any
8 statement that you have made that you spoke to
9 -- that you gave the option of
10 having a cone biopsy prior to the hysterectomy?

11 A Alternatives which we mentioned in
12 Operative Report and in our office chart,
13 alternatives explained which encompassed cone
14 biopsy or vaginal hysterectomy were explained.

15 Q Anything specifically that spells
16 out that a cone biopsy was offered and refused,
17 those specific things?

18 A Refuse?

19 Q Yes.

20 A Yes, she chose to have a vaginal
21 hysterectomy from the last part of my office
22 notes. As I vividly still remember I mean she
23 did choose. She was tapping her feet. She
24 chose to have a vaginal hysterectomy when other

25 options were given.

187

1 , M.D.

2 Q Are you aware that has
3 testified she was never given any options of a
4 cone biopsy?

5 MR. : Don't even answer that.

6 Q I want you to assume that
7 has testified that you never gave
8 her the option of a cone biopsy, do you
9 disagree with that statement?

10 MR. : Note my objection.

11 He is not here to comment on
12 the truthfulness of your client's
13 testimony.

14 MR. OGINSKI: I can ask him the
15 question at trial. I'm entitled to
16 know it now.

17 MR. : You want him to
18 comment on whether your client was

19 lying or not at her deposition?

20 That's not natural.

21 MR. OGINSKI: Absolutely not.

22 MR. : He's testified what
23 the discussions were. He is not going
24 to comment on the veracity of your
25 witness's testimony at the deposition,

188

1 , M.D.

2 especially in light of the fact that
3 you've asked him previously if he's
4 reviewed the deposition transcript or
5 not. He hadn't.

6 I'm not going to let him
7 comment on what hypothetical the
8 testimony may or may not be.

9 MR. OGINSKI: It doesn't limit
10 me from probing into whether or not

11 he agrees or disagrees with things
12 that my client may have said.
13 I could ask him the same
14 question about expert testimony as to
15 whether he agrees or disagrees with
16 it.

17 The client has testified and I
18 mean the testimony is there. Either
19 he does or he doesn't. You can't
20 direct him not to answer.

21 MR. : I just did.

22 MR. OGINSKI: You can't legally.

23 MR. : I did.

24 MR. OGINSKI: You cannot.

25 You're subjecting yourself to

189

1 , M.D.
2 sanctions for doing that. I don't
3 know why you're doing it. It's a

4 simple question.

5 MR. : It's a ridiculous

6 question.

7 MR. OGINSKI: Nothing

8 ridiculous about it.

9 MR. : It is.

10 MR. OGINSKI: The only thing

11 you could object to are privileged

12 questions or questions that are

13 palpably improper and this is not a

14 palpably improper question.

15 I'm simply presenting a

16 question to which my client has

17 already testified to.

18 MR. : Do you have a

19 transcript here?

20 MR. OGINSKI: No.

21 I'm asking him to assume that

22 has testified that she

23 was never given the option of cone

24 biopsy, do you agree with that

25 statement.

190

1 , M.D.

2 I know what he's going to say
3 based upon what he testified to but I
4 need him to testify to it. That's
5 all.

6 MR. : Ask him. Did he
7 offer a cone biopsy.

8 A Of course, I offer. I mean, of
9 course, that was a lie she testified that she
10 was not given the option. I mean I gave all
11 the options.

12 Q How much did you charge Mrs.
13 for the LEEP procedure?

14 A I couldn't remember that. Maybe
15 about \$2,000.

16 Q Do you have those billing records
17 with you?

18 A I don't have this billing record

19 with me.

20 Q Where would that be?

21 A We could get that out from the
22 computer. No, we could find that off the
23 computer.

24 Q For the follow-up visits on July
25 1st, July 29, August 16th and the September 2nd

191

1 , M.D.

2 visit, did you charge her for any of these
3 visits?

4 A No, that would be counted as a
5 global package. We expect to get paid but we
6 did not get paid.

7 Q Did you get paid anything from the
8 LEEP procedure?

9 A I believe we did, \$200, \$300.

10 MR. OGINSKI: I would just ask
11 that you provide whatever information

12 about that to your attorney.

13 MR. : I would ask that

14 you submit any requests in writing.

15 MR. OGINSKI: That will be in

16 writing. The transcript is going to

17 be reduced to writing. I don't know

18 why you're asking for a separate

19 letter.

20 MR. : If there's not a

21 letter sent I will guarantee you that

22 it will be buried in this transcript.

23 It will not be responded to.

24 MR. OGINSKI: Thank you, Doctor.

25 (Time noted: 3:45 p.m.)

192

1

2 A C K N O W L E D G E M E N T

3

4 STATE OF NEW YORK)

5 :SS

6 COUNTY OF)

7

8 I, , M.D., hereby certify that I

9 have read the transcript of my testimony taken

10 under oath in my deposition of August 8, 2002;

11 that the transcript is a true, complete and

12 correct record of what was asked, answered and

13 said during this deposition, and that the

14 answers on the record as given by me are true

15 and correct.

16

17 _____

18 , M.D.

19

20 Signed and subscribed to

21 before me, this day

22 of , 2002.

23

24 _____

25 Notary Public

1

2

I N D E X

3

EXAMINATION BY PAGE

4

MR. OGINSKI 5

5

185

6

MS. 184

7

8

E X H I B I T S

9

PLF'S
FOR ID DESCRIPTION PAGE

10

1 Office chart 5

11

2 Original hospital record 5

12

3 Letter 174

13

14

R E Q U E S T S

15

DESCRIPTION PAGE

16

Name of witness on Consent Form 146

17

Paid billing receipts 191

18

19

20

21

22

23

24

25

194

1

2

C E R T I F I C A T E

3

4

I, KAREN VIGGIANO, hereby certify that

5

the Examination Before Trial of , M.D.

6

was held before me on August 8, 2002;

7

That said witness was duly sworn before

8

the commencement of the testimony;

9

The within testimony was stenographically

10

recorded by myself and is a true and accurate

11

record of the Examination Before Trial of said

12 witness;

13 That the parties herein were represented

14 by counsel as stated herein;

15 That I am not connected by blood or

16 marriage with any of the parties. I am not

17 interested directly or indirectly in the matter

18 in controversy, nor am I in the employ of any

19 of the counsel.

20

21 IN WITNESS WHEREOF, I have hereunto set my hand

22 this 8th day of August, 2002.

23

24

25

KAREN VIGGIANO