

****DE-IDENTIFIED DEPOSITION OF A GYN ONCOLOGIST IN A FAILURE TO DIAGNOSE
PERFORATED BOWEL CASE DURING GYNECOLOGIC SURGERY****

0001

1
2 SUPREME COURT OF THE STATE OF NEW YORK
3 COUNTY OF QUEENS
4 - - - - -x
5
6 ',
7 Plaintiff,
8 -against-
9
10
11 ',
12 Defendants.
13

- - - - -x

14
15

16
17 August 13, 2008
18 10:20 a.m.

19
20

21 EXAMINATION BEFORE TRIAL of
22 , a Defendant in the
23 above-entitled action, held at the above
24 time and place, taken before Jennifer
25 Brennan, a Notary Public of the State of
New York, pursuant to Order.

0002

1
2 APPEARANCES:
3 LAW OFFICES OF GERALD M. OGINSKI, LLC
4 Attorneys for Plaintiff
5 25 Great Neck Road
6 Suite 4
7 Great Neck, New York 11021
8 BY: GERALD M. OGINSKI, ESQ.
9
10 Attorneys for Defendants

11
12

13 BY:
14
15 Attorneys for Defendants

16
17

18 BY:

19

* * *

19
20
21
22
23
24
25
0003

1

2

STIPULATIONS

3

IT IS HEREBY STIPULATED, by and among
4 the attorneys for the respective parties
5 hereto, that:

6

All rights provided by the C.P.L.R.,
7 and Part 221 of the Uniform Rules for the
8 Conduct of Depositions, including the
9 right to object to any question, except
10 as to form, or to move to strike any
11 testimony at this examination is
12 reserved; and in addition, the failure to
13 object to any question or to move to
14 strike any testimony at this examination
15 shall not be a bar or waiver to make such
16 motion at, and is reserved to, the trial
17 of this action.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0004

1

2

and shall be controlled thereby.

3

The filing of the original of this
4 deposition is waived.

5

6

IT IS FURTHER STIPULATED, a copy of
7 this examination shall be furnished to
8 the attorney for the witness being
9 examined without charge.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

* * *

, the Witness

herein, having first been duly sworn by
14 the Notary Public, was examined and
15 testified as follows:

EXAMINATION BY

MR. OGINSKI:

Q Please state your name for the
19 record?

A

Q Please state your address for
22 the record?

A

24

25 MR. OGINSKI: Let's mark the

0005

1 A.
2 doctor's office record as Plaintiff's
3 Exhibit 1 and Plaintiff's Exhibit 2
4 will be the original
5 record.

6 [The documents were hereby
7 marked as Plaintiff's Exhibits 1 and
8 2, for identification, as of this
9 date.]

10 Q Good morning, Doctor. Would
11 you agree that a perforated bowel during
12 laparoscopy is a risk of procedure?

13 A Please repeat the question.

14 Q Would you agree that a
15 perforated bowel during laparoscopy is a
16 risk of the procedure?

17 A Yes.

18 Q Would you also agree that a
19 perforated bowel during laparotomy is a
20 risk of the procedure?

21 A Yes.

22 Q Would you agree that the
23 failure to recognize, intraoperatively, a
24 bowel perforation, may be a departure
25 from good care?

0006

1
2 : I'll object to
3 the form of that question.

4 Q Would you agree that there are
5 times when a bowel perforation may occur
6 during surgery and that the failure to
7 recognize that perforation, may be a
8 departure from good and accepted medical
9 care?

10 A Yes.

11 Q Why?

12 A Because some perforations of
13 the bowel are detectable at surgery and
14 some perforations should be detectable by
15 reasonable effort during the surgery by
16 the surgeon.

17 Q And in those circumstances
18 where you mentioned that the surgeon
19 should recognize a bowel perforation,
20 would the failure to recognize, and again
21 I'm just talking generally, be considered
22 a departure from good and accepted
23 medical care?

24 A That would depend upon the
25 individual, that would depend upon the

0007

1 A.
2 individual circumstance and it's hard to
3 generalize.

4 Q If a bowel perforation occurs
5 during a laparoscopy, what clinical signs
6 would you expect to see postoperatively?

7 A I would expect to see
8 increasing distension of the abdomen with
9 increasing abdominal pain and tenderness.
10 I would expect usually to see absent
11 bowel sounds. I would expect to see
12 spiking high fevers and elevated white
13 count that usually would increase
14 day-to-day.

15 Q What do you consider a high
16 fever?

17 A A high fever -- well, the upper
18 limits of normal is 38, but high would be
19 let's say well over 39 and that's degrees
20 Celsius.

21 Q Are there any other clinical
22 signs that you would expect to see with a
23 bowel perforation?

24 A These would be the cardinal
25 signs. It may be that there is something

0008

1 A.
2 that I'm not thinking of, but I can't
3 think of anything offhand.

4 Q Why is the early diagnosis of a
5 perforated bowel critical?

6 : Object to the
7 form. You are assuming that it is.

8 Q Is the early diagnosis of a
9 bowel perforation postoperatively
10 important?

11 A Not necessarily.

12 Q Tell me what you mean?

13 A Well, the main -- what do I
14 mean, you said?

15 Q Yes.

16 A The perforation of a bowel may
17 lead to -- may lead to other things, such
18 as septic shock. You want to diagnose it
19 before it leads to that, but I think that
20 there is usually a window during which if
21 it exists, it can be diagnosed any time
22 during the course of that window, without
23 detriment to the patient, without further
24 detriment to the patient I should say.

25 Q Generally would you agree that

0009

1 A.
2 it's preferable to diagnose a perforated
3 bowel earlier rather than later, I mean
4 under the ideal circumstances, and again
5 I'm talking generally?

6 A All other things being equal,
7 yes.

8 Q Why?

9 A Because the sooner you know

10 about it, the sooner you treat it.

11 Q Why is it better for the
12 patient to treat it sooner rather than
13 later?

14 A Because the patient might
15 develop these further infectious
16 complications if not treated and really
17 just because the patient is not -- you
18 know, is ill under those circumstances
19 and not being treated.

20 Q Would you agree that the prompt
21 recognition and repair of a bowel
22 perforation has certain advantages,
23 including possibly minimizing or reducing
24 the risk of a patient needing a second or
25 maybe even a third surgery to correct the

0010

1

2 problem?

3

A No.

4

Q Tell me why?

5

A Because the patient is
6 invariably going to need a second
7 operation to correct the problem once
8 it's perforated.

9

Q Why?

10

A Because there is a hole in the
11 bowel and it needs to be repaired.

12

Q And is there any window of time
13 within which the patient would avoid
14 having a second corrective surgery in the
15 event a bowel perforation occurs
16 initially?

17

A I would think only if it were
18 diagnosed actually at the time of
19 surgery.

20

Q Can you reasonably say within
21 24 hours after surgery is done, that if a
22 perforation was recognized, that the
23 patient would not require a corrective
24 surgery in order to fix the problem?

25

A No, I wouldn't say that at all.

0011

1

Q If there is prompt recognition
2 and treatment of a bowel perforation, is
3 the risk of abdominal sepsis less if it's
4 treated earlier rather than later?

5

A I don't know what you mean by
6 your question.

7

Q I'll rephrase it. One of the
8 risks of bowel perforation -- withdrawn.

9

One of the risks of
10 unrecognized bowel perforation is the
11 patient can develop sepsis; correct?

12

A Yes.

13

Q What is sepsis?

14

A Sepsis, as I would understand

15

16 the term, would be a generalized
17 infection through the body, possibly with
18 shock.

19 Q And what is septic shock?

20 A Septic shock is dilatation of
21 the blood vessels throughout the body and
22 decrease in -- well, low blood pressure
23 and failure to perfuse vital organs as a
24 result of sepsis.

25 Q If a bowel perforation is

0012

1

2 promptly recognized and repaired, is the
3 risk of sepsis to the patient less, if
4 the recognition and repair of the
5 condition is recognized earlier rather
6 than in a later time?

7 A Probably -- yes, generally so.

8 Q Why?

9 A If a patient is not in sepsis
10 at this very moment and you are repairing
11 an injury to the bowel, then there isn't
12 going to be further spillage of
13 intestinal contents and any spilled
14 intestinal contents would be evacuated
15 and that would reduce the chance of
16 sepsis. And that would be true any time
17 before sepsis actually occurs.

18 Q If a patient is septic and the
19 repair of a bowel perforation occurs at
20 that point, is there a greater chance
21 that the sepsis will progress to septic
22 shock?

23 A I'm sorry, I'm not sure that I
24 understand what you are asking.

25 Q I'll rephrase it. Does sepsis

0013

1

2 come before septic shock; are they in
3 sequence -- withdrawn.

4 Can you have septic shock
5 without sepsis?

6 A No.

7 Q What are the signs of sepsis?

8 A The signs of sepsis are a
9 feeling of warmth and accompanied by
10 fever, chills and sweats and generally a
11 spiking and rising white count. And I
12 would say and everything else is general.
13 These would be the typical signs of
14 sepsis, malaise, fatigue.

15 Q What are the signs of septic
16 shock?

17 A Low blood pressure and -- yes,
18 basically low blood pressure and then
19 whatever signs of organ failure would go
20 along with that, which are very variant
21 and numerous.

22 Q If there is prompt recognition
23 and repair of a bowel injury --
24 withdrawn.

25 Would you agree that the timely
0014

1
2 recognition of a bowel injury tends to
3 result in lower morbidity for the
4 patient?

5 A I'm not sure what you are
6 asking me.

7 Q What is morbidity?

8 A Morbidity is a complication.

9 Q And if bowel perforation
10 postoperatively is recognized, again
11 sooner rather than later, is that
12 generally favorable for the patient, in
13 terms of their overall morbidity?

14 A The earlier -- if it's
15 recognized earlier rather than later,
16 then generally speaking, there is a lower
17 chance of morbidity.

18 Q And would you agree, Doctor, to
19 turn the prior question around, that the
20 longer a diagnosis of an injury to the
21 bowel is delayed postoperatively, that
22 there is a greater risk of serious
23 morbidity and associated mortality?

24 A This is a very general
25 statement, but that would be the case.

0015

1
2 Q That would be true?

3 A It would be true to a large
4 extent.

5 Q I would like you to define what
6 adhesions are?

7 A Under normal circumstances,
8 there is a cavity within the abdomen that
9 occupies most of the abdomen called the
10 peritoneal cavity, within which lie many
11 organs and structures. And these
12 structures are normally separate. Even
13 if they lie against one another, they can
14 be pulled apart; they don't stick
15 together.

16 Adhesions -- this is true
17 outside of the abdominal cavity as well
18 in many parts of the body. Adhesions are
19 fibrous tissue or scar tissue that cause
20 structures near each other, which
21 normally can be separated from one
22 another, to adhere tightly to each other.
23 It binds itself. It's sort of like an
24 internal glue.

25 Q What is the procedure that you

0016

1

2 have available to you to break apart
3 those adhesions, what is that called?
4 A The process of breaking apart
5 adhesions can be called adhesiolysis.
6 Q Also known as lysis of
7 adhesions, cutting the adhesions?
8 A Lysis is another word you can
9 use.
10 Q What is a pneumoperitoneum?
11 A It's the presence of air or
12 other gas within the peritoneal cavity.
13 Q Is that typically created when
14 you perform laparoscopy?
15 A Yes, it is.
16 Q What is a pneumomediastinum?
17 A Pneumomediastinum is the
18 presence of gas within the soft tissue of
19 the chest that lies between the two
20 lungs.
21 Q Is that commonly associated
22 with the performance of laparoscopy?
23 A Yes, it is.
24 Q And tell me why that occurs?
25 A In performing laparoscopy, a

0017

1
2 surgeon infuses gas, and most typically
3 carbon dioxide, into the peritoneal
4 cavity through a port, through a sleeve
5 that's placed through the abdominal wall
6 into the peritoneal cavity. And there
7 are several other such ports going
8 through the abdominal wall through which
9 the instruments are used.
10 The gas is under pressure. The
11 pressure forces some of this gas into the
12 tissues between the peritoneal cavity and
13 the skin, which tend to be soft tissues,
14 and the gas pushes its way up into the
15 mediastinum.

16 Q Are you a member of the
17
18 ; is that the right
19 named the ?

20 A I've heard of such a society
21 and I'm not a member of it.

22 Q A finding on X-ray of free air
23 in the belly, what does that mean to you?

24 A It doesn't mean any one thing.

25 Q Are you familiar with the term,

0018

1
2 differential diagnosis?

3 A Yes.

4 Q What does that term mean to
5 you?

6 A A differential diagnosis is a
7 list of possible diagnoses to explain a

8 finding, a symptom, a physical finding,
9 an X-ray finding, what have you.
10 Q And generally that list goes
11 from most likely, all the way down to
12 least likely; correct?
13 A It can. I mean, it's a list.
14 Q When you see the term free air
15 in the belly on a radiology report, what
16 is your differential diagnosis, and again
17 I'm talking generally?
18 A I can't talk generally because
19 it totally depends on the clinical
20 circumstances.
21 Q Fair enough. Would you agree
22 that the term, free air in the belly, can
23 be a result of the air that's insufflated
24 during laparoscopy?
25 A Yes.

0019

1
2 Q Would you also agree that free
3 air can be a result of a perforated
4 viscus?
5 A Yes.
6 Q Would you agree that free air
7 in the belly can also be the result of a
8 perforated bowel?
9 A Yes.
10 Q Are you familiar with the term
11 atelectasis?
12 A Yes.
13 Q What is that?
14 A Atelectasis refers to a
15 collapse of a portion of the lung without
16 air or gas in the pleural cavity, but
17 just that there are no -- there is no air
18 in the air passages in a portion of the
19 lung.
20 Q What does the term basal
21 atelectasis mean?
22 A That there is collapse of some
23 of the lower portions of the lung. By
24 lower, I mean away from the head.
25 Q What is an abscess?

0020

1
2 A An abscess is a localized
3 infection with a cavity and pus in the
4 cavity.
5 Q And are you familiar with the
6 term known as bandemia?
7 A Yes.
8 Q What is that?
9 A Bandemia refers to the presence
10 of a certain kind of cell called bands,
11 as a component of the white cells in the
12 blood. There is always some bands, but
13 an increase of the number of bands as

14 compared to the usual.

15 Q When do you typically see
16 bandemia?

17 A Any time there is any kind of
18 inflammation, whether it can be caused by
19 surgery, by infection, by noxious agents,
20 but it's basically a sign of
21 inflammation, which can have many, many
22 causes.

23 Q Is bandemia a precursor to
24 infection?

25 A No, it can be a result -- no.

0021

1

2 I don't mean that it is -- it can be a
3 consequence of infection, but it's not a
4 precursor to it.

5 Q Can you have infection without
6 bandemia?

7 A Yes, you can, but generally
8 mild ones.

9 Q What is septicemia?

10 A Septicemia refers to the
11 presence of bacteria in the blood.

12 Q Is that analogous to sepsis?

13 A I don't know what you mean by
14 analogous. Do you mean is it synonymous?

15 Q Yes, thank you.

16 A No.

17 Q We used the term a moment ago,
18 viscus perforation, what is viscus?

19 A A hollow organ.

20 Q And the bowel is a hollow
21 organ; correct?

22 A Yes.

23 Q Are you familiar with, and I'm
24 asking about now percentages --
25 withdrawn.

0022

1

2 All of my questions are going
3 to relate to the time period of ,
4 unless I indicate otherwise.

5 What was your understanding of
6 the risk of injury to bowel during the
7 course of laparoscopy in ?

8 A The risk of injury to the bowel
9 from laparoscopy depends markedly upon
10 the kind of laparoscopic procedure
11 performed.

12 Q Let's talk specifically about
13 the type of procedure that was done in
14 this particular case for ,
15 the BSO, bilateral salpingo-oophorectomy.

16 What is the percentage of the
17 injury to bowel in this type of
18 procedure?

19 A I can't give you a percentage

20 because each person is unique and
had --

22 Q I'm sorry, I wasn't clear. I'm
23 not talking about specifically this
24 patient.

25 I would like to know the risk,
0023

1
2 in general, for performing a BSO in ?

3 A The risk of a bowel injury on
4 entry into the abdominal cavity is
5 about one in 300. The risk of bowel
6 injury during the BSO is going to be
7 somewhat more than that, but I couldn't
8 quantitate it.

9 Q When you say "more than that,"
10 do you mean more than one out of 300?

11 A Yes.

12 Q Is that based upon your own
13 personal practice or literature or
14 something else?

15 A It's based upon my general
16 knowledge of the field, whether that's
17 literature, lectures, reading, whatever.

18 Q Are you familiar with the risks
19 to the GI tract, in general, for this
20 type of procedure?

21 A I don't understand your
22 question.

23 Q I asked specifically about the
24 risk to bowel.

25 My question now is a little
0024

1
2 more broad and asks about injury to the
3 GI tract during the course of a BSO?

4 A Most of the risk would be to
5 the GI tract. There might be a slight
6 risk of injury to the stomach during
7 laparoscopy. Such things have been
8 reported, but are unusual. The rest of
9 the GI tract would be the esophagus and
10 hopefully we wouldn't have any risk of
11 injury to the esophagus.

12 Q You mentioned you're currently
13 aware of risk of injury during the BSO?

14 A I'm sorry, I didn't hear you.

15 Q You told me a moment ago that
16 you did not have the specific percentages
17 of the risk of injury to the bowel during
18 a BSO?

19 A That's correct.

20 Q At some point before today,
21 within up until today, did you know
22 that percentage?

23 A As a number, I couldn't say.
24 I've read it at some point in my readings
25 or heard it in some point hearing

0025

1

2 lectures and at that point, I might have
3 known it. But I can't tell you -- I have
4 a general sense, but I couldn't give you
5 a percentage, you know, 1.35 or
6 something.

7 Q In addition to the risk to
8 bowel, is there also a risk of injury to
9 the major vessels during the course of
10 laparoscopy?

11 A There is such a risk.

12 Q In , what was the risk of
13 injury to major vessels during
14 laparoscopy for a BSO?

15 A Again, the general risk of
16 laparoscopy is probably something like
17 one in 500 and the risk of major vascular
18 injury during a BSO is slightly higher
19 than that, but not as great of the risk
20 to injury to the bowel.

21 Q Now, when a patient consults
22 with you and you recommend a particular
23 laparoscopic procedure, do you tell
24 patients about the risk of laparoscopy?

25 A Yes, I do.

0026

1

2 Q Why do you tell them?

3 A I tell them so that they can
4 make an informed decision.

5 Q And would you agree, Doctor,
6 that it's important to inform the patient
7 about the risks that are associated with
8 a particular procedure that you intend to
9 perform?

10 A Please repeat the question.

11 MR. OGINSKI: Could you read it
12 back?

13 [At this time, the requested
14 portion of the record was read.]

15 A Significant risks.

16 Q And is bowel injury one of the
17 significant risks that you would expect
18 to tell a patient about when recommending
19 a laparoscopic BSO?

20 A Yes.

21 Q Why?

22 A Because although not common,
23 the incidents being certainly under five
24 percent, it is something that can happen,
25 that we know happens and that is clearly

0027

1

2 a serious -- a relatively serious thing
3 when it happens.

4 Q Would you agree, Doctor, that
5 if a doctor, such as yourself, when

6 consulting with a patient about a
7 laparoscopic BSO, if the physician did
8 not inform the patient about the
9 significant risk of bowel injury during
10 the procedure, that that would be a
11 departure from good and accepted medical
12 care?

13 A I think that a doctor should
14 inform the patient that there is a risk
15 of injury to the bowel with a
16 laparoscopic procedure under ordinary
17 circumstances.

18 Q If the physician did not tell
19 the patient about that risk, would you
20 agree that that would be a departure from
21 good care?

22 A I'm not going to make a blanket
23 statement about that, but under ordinary
24 circumstances, the doctor should do that.

25 Q And they should do that so the

0028

1
2 patient could make an informed decision
3 about whether they should go forward;
4 correct?

5 A Yes.

6 Q If the patient does not have
7 sufficient information because the doctor
8 didn't fully inform them, would you agree
9 that the patient would be at a
10 disadvantage in making an intelligent
11 decision about to proceed with the
12 surgery?

13 MS. : I'll object.

14 Q Can a patient who is not
15 informed of a significant risk of bowel
16 injury during laparoscopic BSO, make a
17 sufficiently informed decision about
18 whether the procedure is right for them?

19 A I can't generalize, but under
20 most circumstances, this is something
21 that patients should be told and that
22 would be a factor that most patients
23 would want to have at their disposal.

24 Q What is your opinion, Doctor,
25 if a physician does not provide that

0029

1
2 information to the patient prior to
3 undergoing surgery?

4 A I don't understand what you are
5 asking me.

6 Q What do you think about that?

7 MS. : You have to
8 rephrase the question.

9 Q Do you have an opinion with a
10 reasonable degree of medical probability
11 as to whether a doctor who does not

12 provide the risk of bowel injury to a
13 patient undergoing laparoscopic BSO,
14 whether the failure to provide that
15 information would be a departure from
16 good and accepted medical practice?

17 A I think it would depend upon
18 the circumstances and I couldn't make a
19 blanket statement covering all cases.

20 Q I'm asking generally and you've
21 already told me that it would be
22 appropriate and in fact, the physician
23 should tell the patient about the risk of
24 bowel injury.

25 I'm simply reversing the

0030

1
2 question and asking whether the failure
3 to tell the patient about the risk of
4 bowel injury would be a departure from
5 good and accepted medical care?

6 MS. : Asked and
7 answered.

8 MR. OGINSKI: He didn't answer
9 it.

10 MS. : Yes, he did.

11 Q Do you have an opinion, Doctor,
12 as to whether -- in addition to telling
13 the patient verbally about the risks of
14 any laparoscopic surgery, do you provide
15 them with written booklets or pamphlets
16 about the procedure?

17 A I personally don't and I
18 don't -- I personally don't. I'm not
19 sure if my nurse did.

20 Q Do you typically draw any
21 diagrams for the benefit of the patient,
22 to explain what procedure you're going to
23 perform?

24 A I typically point to a picture
25 that I have in a book or on a model.

0031

1
2 Q Have you ever videotaped any of
3 your discussions concerning informed
4 consent with your patients?

5 A No.

6 Q Or audio recorded that
7 conversation?

8 A No.

9 Q Now, would you agree, Doctor,
10 that a patient who is not provided
11 information about the risk of bowel
12 injury, would not be presented with full
13 information sufficient to make a decision
14 about whether to go forward with the
15 surgery?

16 MS. : That was asked
17 and answered. You asked him that

18 question.

19 MS.

: Note my objection.

20 Q Tell me why you cannot make a
21 blanket statement about the failure to
22 provide information concerning risk of
23 bowel injury to a patient and whether
24 that would be a departure from good care?

25 A In all medical activity, in all
0032

1
2 activity by a physician, including
3 communications, you have to look at risk
4 and benefit and you have to look at
5 whether the information would be helpful
6 to the patient, which would include such
7 things as to how important -- the
8 severity of the patient's condition, the
9 urgency of the problem, the patient's
10 state of mind, the patient's own desire,
11 both expressed and apparent to hear
12 information and other things. And you
13 take all of these things into account
14 when -- in discussing risks and benefits.

15 Q Doctor, you told me that you
16 talk to the patient about all the
17 significant risks associated with the
18 procedure; correct?

19 A Uh-huh.

20 Q You have to answer verbally.

21 A Yes.

22 Q Death is obviously one of the
23 most significant risks; correct?

24 A Not necessarily. Death is a
25 risk, but it happens so infrequently
0033

1
2 that, you know, if it happens, it's
3 obviously catastrophic, but it's not
4 something that I necessarily discuss with
5 patients.

6 Q Do you inform them that there
7 is a risk of death with anesthesia?

8 A I typically do not.

9 Q Typically in a laparoscopic
10 BSO, such as the one that was performed
11 in this particular case, would you agree
12 that major vessel injury and intestinal
13 injuries are the most serious
14 complications associated with this type
15 of procedure?

16 A I would agree that they're very
17 serious complications associated with
18 this type of procedure. If we're talking
19 about superlatives, I don't know, but
20 they're certainly serious complications.

21 Q Are there ways to reduce risk
22 of intestinal injury during laparoscopy?

23 A Yes.

24 Q How?

25 A One way is to give the

0034

1

2 patient -- ask the patient to take a
3 bowel prep prior to surgery. Another way
4 is to perform open laparoscopy, meaning
5 make the initial incision with a scalpel,
6 as opposed to just a blind puncture.

7 And in terms of the actual
8 performance of the laparoscopy, these are
9 the two main things you can do in terms
10 of technique, to reduce the risk of a
11 bowel injury on performance of the
12 laparoscopy.

13 Q With a bowel prep, the patient
14 will evacuate the contents of the bowel?

15 A Yes.

16 Q And the reason why it reduces
17 the risk is to eliminate any fecal
18 spillage in the event there is a
19 perforation?

20 A It does that too, in case there
21 is a perforation. But it also tends to
22 make the contents -- tends to make the
23 bowel smaller. Once the air and fecal
24 matter are evacuated, they occupy no
25 space.

0035

1

2 Q With an opened laparoscopy, am
3 I correct you can visually see where you
4 are inserting the instruments, at least
5 initially?

6 A Yes, it doesn't entirely reduce
7 the risk because you are still making
8 that initial incision into the peritoneal
9 cavity through a small incision, but in
10 terms of -- but it gives you some greater
11 measure of control, so that when you are
12 making the initial incision, you can
13 often see if there is something grossly
14 wrong immediately below the peritoneum.

15 Q Would you agree, Doctor, that
16 in a patient who had multiple surgeries,
17 it's fair to assume that the patient has
18 abdominal adhesions?

19 MS.

: Note my objection to

20 form.

21 MS.

: You can answer

22 if you can.

23 A It would be common for a
24 patient to have adhesions.

25 Q And where there is severe

0036

1

2 adhesions anticipated, would you agree in
3 many cases, it would be preferable to

4 avoid laparoscopy all together and go
5 straight to a laparotomy?

6 A In some cases.

7 Q Where significant adhesions
8 have been documented from a patient's
9 prior abdominal surgeries, would you
10 agree that it is often preferable to go
11 straight to a laparotomy, as opposed to
12 attempting a laparoscopy?

13 A No.

14 Q Why?

15 A Because if you can achieve
16 laparoscopic visualization, then you can
17 see what the anatomy looks like, what the
18 patient's insides look like, what the
19 patient's structures look like. You can
20 actually see the adhesions and then you
21 can, if you're uncertain as to whether
22 the laparoscopy is feasible, you can --
23 you do some preliminary dissection to
24 determine if the dissection is feasible.

25 Q If a patient's prior surgeries

0037

1
2 have documented significant adhesions, is
3 it your opinion that you can still
4 attempt to perform laparoscopy?

5 A Again, I'm not going to say
6 anything is 100 percent the case, but I
7 think it's -- I think that in -- I think
8 it's generally prudent to attempt
9 laparoscopy.

10 Q If a patient has significant
11 adhesions from prior surgeries, other
12 than performing an open laparoscopy, can
13 you attempt to place the primary trocar
14 in an alternative location?

15 A You can.

16 Q What is blunt dissection and
17 how does it compare to sharp dissection?

18 A Blunt dissection means that
19 you're putting traction against tissue
20 with either a -- let's start with sharp
21 dissection.

22 Sharp dissection is cutting
23 tissue with a scissor or a knife. Blunt
24 dissection is putting pressure against
25 tissue, either with a blunt instrument or

0038

1
2 with the finger.

3 Q Would you agree that in a
4 patient with significant adhesions, that
5 blunt dissection is not preferable?

6 A No.

7 Q Why?

8 A I think that the use of blunt
9 versus sharp dissection is something that

10 needs to be decided by the surgeon at the
11 time.

12 Now, when performing blunt
13 dissection, it should always be
14 performed, of course, with reasonable
15 gentleness and so forth. But the thing
16 is that when you cut something, on the
17 one hand, you know where the instrument
18 is. On the other hand, if there are
19 adhesions, you can't necessarily tell
20 where the adhesions end and a structure
21 begins. On the other hand, when you
22 don't have appropriate -- you don't have
23 a sense for whether the tissue is
24 yielding or not.

25 When you perform blunt

0039

1 dissection, you have a sense, whether
2 with instruments or a finger, you have a
3 sense of where the -- as to how dense the
4 adhesions are. You have a sense as to
5 how yielding things are, how yielding
6 tissue is. And in many cases, adhesions
7 will give way with blunt dissection and
8 form a nice surgical plan in an area
9 where cutting will be more likely to
10 injure a vessel.

11 And blunt dissection, of
12 course, is less likely to injure major
13 vessels. So you have to, as a surgeon,
14 at any given point, weigh the advantages
15 and disadvantages of any particular
16 dissection technique at that time, in
17 that patient, with your own experience
18 and what has worked for you as a surgeon.
19 And you absolutely cannot make any
20 generalization that this or that
21 dissection technique should be carried
22 out in any given circumstance.

23 Q Have you authored any peer
24 review articles on the performance of

0040

1 laparoscopy?

2 A I don't recall. I know that
3 there is at least one case report that I
4 authored which was peer reviewed, which
5 told the story of somebody's laparoscopic
6 complication and I can't remember if I
7 authored any other.

8 Q Other than a case report, have
9 you authored any specific article about
10 the performance of laparoscopic surgery?

11 A I don't believe so.

12 Q Would you agree, Doctor, that
13 bipolar and ultrasonic devices can cause
14 thermal injury by heat conduction, as
15

16 well as by direct contact?

17 A Please repeat the question.

18 Q Can bipolar and ultrasonic
19 devices cause thermal injury to bowel or
20 surrounding structures?

21 A Yes, they can.

22 Q And they do that by heat
23 conduction and also by actually touching
24 the instrument to a particular area?

25 A They can.

0041

1

2 Q Would you typically avoid using
3 monopolar electrosurgical devices during
4 laparoscopy in a patient who has
5 significant adhesions?

6 A Not necessarily.

7 Q Why would you -- are there some
8 instances where you would?

9 A There are some areas in which I
10 would use monopolar and there are some
11 areas in which I would use bipolar.

12 Q What is the distinction?

13 A With a monopolar device there
14 is an electrode usually on the leg, but
15 on some distal distant part of the body,
16 distant from where the current is being
17 applied. And the current goes from the
18 electrode to the tissue, where it
19 disperses through the tissue and it's
20 intense through the tissue and is carried
21 to the electrode.

22 With a bipolar device, there
23 are two electrodes and the current flows
24 between the two electrodes rather than --
25 and then the electrode on the leg is just

0042

1

2 a ground. This is my understanding of
3 the difference between a monopolar and
4 bipolar device.

5 Q Under what circumstances would
6 you not use monopolar devices in a
7 patient with significant adhesions?

8 A I would not use a monopolar
9 electrical device if I felt I was
10 operating in very -- near a significant
11 blood vessel. And I would not use a
12 monopolar device unless I were certain
13 that the tissue that I was coagulating,
14 was a distance from a structure, subject
15 to injury by the monopolar device, such
16 as bowel.

17 Q Now, in _____, you were the
18 chairman of the Department of Obstetrics
19 and Gynecology at _____ Hospital?

20 A I was.

21 Q And are you still in that

22 position, that capacity?

23 A I am.

24 Q And you are a gynecologic
25 oncologist by training; correct?

0043

1

2 A An obstetrician and
3 gynecologist and an gynecologic
4 oncologist. It's a subspecialty. You
5 have to do one before you do the other.

6 Q In , did Hospital
7 have a separate division of gynecologic
8 oncology?

9 A It had a service basically
10 consisting of me and there is a private
11 gynecologic oncologist that practices
12 there as well.

13 Q Did the hospital have any GYN
14 oncology fellows that rotated through
15 your department?

16 A No.

17 Q There were OB/GYN residents
18 that rotated through your department;
19 correct?

20 A They didn't rotate through my
21 department; they were intrinsic to the
22 department. Medical Center had,
23 at that time and still does, a fully
24 approved residency in obstetrics and
25 gynecology.

0044

1

2 Q And there are no fellowships
3 associated with the OB/GYN department
4 then; correct?

5 A No.

6 MS. : Off the
7 record.

8 [At this time, a discussion was
9 held off the record.]

10 Q Doctor, at the conclusion of
11 any laparoscopic procedure, especially
12 after cutting adhesions, would you agree
13 that it's important to inspect the
14 intestines before removing your
15 instruments?

16 A Yes.

17 Q Why?

18 A To -- let me back off and
19 qualify that. It's important to inspect
20 the intestines, in certainly the area
21 where you operated, to look for injuries.

22 Q And would the failure to look
23 for any injuries prior to completing your
24 procedure and removing instruments, be
25 considered a departure from good and

0045

1

2 accepted medical care?

3 A One can't -- I'm not going to
4 generalize about that.

5 Q I'm asking you as a physician
6 who performs laparoscopy, would the
7 failure to inspect the cavity where you
8 have been operating prior to removing
9 your instruments and completing the
10 procedure, would that be considered a
11 departure from good care?

12 A In some cases, yes, and some
13 cases, no.

14 Q Tell me what cases you would
15 consider it to be a departure?

16 A That would have to take into
17 account why it was being done, why the
18 procedure was being terminated, what had
19 been done and many other factors.

20 Q You had told me a moment ago
21 that it's important to check the area
22 where you have operated, to check for any
23 injuries?

24 A Generally so.

25 Q And would the failure to check

0046

1

2 for any injuries prior to concluding the
3 procedure, be a departure from good and
4 accepted medical care?

5 A Sometimes, yes; sometimes, no.

6 Q Are you saying, Doctor, that it
7 would depend on each particular case
8 individually?

9 A Yes.

10 Q Now, when you inspect the
11 surgical area at the conclusion of
12 laparoscopy, before removing your
13 instruments, would you agree that it's
14 good medical practice to when you write
15 or dictate your operative report, to
16 indicate the details of your inspection
17 in your note?

18 A Not necessarily, no.

19 Q What is the purpose of creating
20 an operative report?

21 A To document what you found and
22 what you did.

23 Q And a year or two from the time
24 of performing the surgery, would you
25 agree that an operative report allows you

0047

1

2 to go back and refresh your memory about
3 what you did and what you found and
4 anything else that you observed?

5 A It does.

6 Q Now, if another physician were
7 to look at your operative report and

8 certain things were missing from that
9 report, how then, other than speaking to
10 you, could that physician obtain that
11 information about things that may have
12 occurred during surgery, if it's not
13 contained in the operative report?

14 MS. : Objection.

15 Q When you have -- withdrawn. If
16 you inspect the patient's peritoneal
17 cavity prior to completing your
18 procedure, would you agree that it's good
19 practice to record that in your operative
20 note?

21 MS. : Objection.

22 You just asked him that.

23 Q When you dictate your notes,
24 your operative note -- withdrawn.

25 You teach residents in your

0048

1
2 program; correct?

3 A Yes.

4 Q And as part of your teaching,
5 do you teach them about the importance of
6 keeping accurate records?

7 A Yes.

8 Q Why?

9 A Because it's important to keep
10 accurate records.

11 Q Why?

12 A To document what you found and
13 what you did.

14 Q Now, if a resident observed
15 certain things during the course of
16 surgery and did not record them, for
17 whatever reason and I'm talking about
18 significant findings, would you typically
19 try and teach the resident and explain to
20 them that they need to record significant
21 findings that occurred during surgery?

22 MS. : Note my objection.

23 A I'm not sure what circumstances
24 you're talking about.

25 Q I'm only talking about GYN

0049

1
2 surgery that's performed at
3 Hospital in , that if a resident
4 performed a procedure and dictated an
5 operative note and the resident did not
6 include significant findings, would that
7 be something you would want to speak to
8 that individual about and teach them what
9 to put in their note?

10 A Okay, for my own cases, I
11 almost always record -- I almost always
12 dictate my own operative notes, so it
13 doesn't come up.

14 Q When you are teaching the
15 residents, you told me you teach them to
16 create accurate records, what do you do
17 in an instance where a record is not
18 accurate or there is missing information,
19 in terms of teaching them what to do?

20 A I tell them that the
21 information is missing and that they
22 should record information of that
23 character, to the extent that it's
24 something that I think is something that
25 should -- that should be there, to the

0050

1
2 extent that it's something that I feel
3 should be there.

4 Q Now, if a resident had
5 performed a laparoscopic BSO and did not
6 record that they inspected the surgical
7 area prior to completing the procedure
8 and is not contained within the operative
9 note, is that something you feel would be
10 appropriate or inappropriate?

11 MS.

: Note my objection.

12 A You know, it's something that
13 hasn't come up and I haven't reflected on
14 at this point, but, you know.

15 Q Doctor, you have been the
16 at
17 Medical Center; correct?

18 A Yes, I have.

19 Q And that was for about
20 years?

21 A Yes.

22 Q And during that time, you also
23 taught OB/GYN residents; correct?

24 A Yes.

25 Q During the course of your work

0051

1
2 at , if a resident performed a
3 laparoscopic surgery and did not indicate
4 in their note that they did not inspect
5 the contents of the peritoneal cavity
6 prior to completing the procedure, is
7 that something you would address with a
8 resident, in order to instruct them about
9 what information should be in a note?

10 A Okay --

11 MS.

: Note my objection.

12 A That particular scenario has
13 never come up and I would be speculating.

14 Q After laparoscopic procedure,
15 if a patient does not improve steadily,
16 would you agree that one of the primary
17 presumptive diagnoses to be excluded is
18 injury secondary to either the procedure
19 or the technique?

20 A It depends upon how the patient
21 isn't improving.

22 Q Typically after surgery, you do
23 expect them to recuperate over a period
24 of time; correct?

25 A Yes.

0052

1

2 Q And if you do not see that
3 recuperation within that general model,
4 do you, in your own mind, have some type
5 of presumption and say, why is this
6 patient not getting better?

7 A Your question is -- when you
8 say recuperate, that's a very, very
9 general question.

10 MS. : Can you
11 rephrase it?

12 MR. OGINSKI: Sure.

13 Q At some point, if a patient is
14 not getting better following laparoscopic
15 surgery, do you form any type of
16 presumptive diagnosis, that maybe one of
17 the primary reasons why they're not
18 getting better is because of the
19 possibility of injury as a result of the
20 procedure?

21 A If -- it depends upon the
22 circumstances of their not getting
23 better. Not getting better encompasses a
24 wide range of signs and symptoms.

25 Q You had told me at the

0053

1

2 beginning that one of the clinical signs
3 of a bowel perforation is abdominal pain;
4 correct?

5 A Yes.

6 Q And would you agree that in a
7 patient who has a bowel perforation and
8 has abdominal pain, that typically they
9 require narcotic pain medication?

10 A I'm sorry, you kind of asked me
11 a compound question and I don't
12 understand it.

13 Q I'll rephrase it. Are you able
14 to quantify the degree of pain that a
15 patient with a bowel perforation
16 experiences or characterize it?

17 A You can characterize it; I
18 wouldn't say quantify it.

19 Q How would you characterize it?

20 A Mild, moderate, severe, more
21 than yesterday, less than yesterday, the
22 same as yesterday, that type of thing,
23 dull, sharp, continuous, intermittent.
24 There are many ways to characterize it.

25 Q Would you agree that after

0054

1

2 there is an intestinal perforation, the
3 risk of sepsis is high?

4

MS. : Objection.

5

You already went over this.

6

Q When you have --

7

MR. OGINSKI: I'll withdraw it.

8

9 Q When performing a laparoscopy,
10 an opened one, that you talked about
11 earlier, would you agree that it's
12 important to understand and know the
13 anatomy, in order to avoid injury with
14 placement of the trocar?

10

11

12

13

14

15 A To know anatomy, you're asking
16 me?

15

16

Q Yes.

17

18 A The anatomy of the area where
19 you are placing the trocar, yeah.

18

19

Q Why?

19

20

21 A So that you can be familiar
22 with the sorts of things that can happen.

20

22

23

24 Q In this particular case on
25 , with 's
26 surgery, you performed an open
27 laparoscopy; correct?

23

24

25

0055

1

A Correct.

2

3 Q Who made the decision to create
4 the open --

3

4

A I did.

5

6 Q When you created the opening,
7 did you also place a trocar as well?

6

7

8

9 A I placed, yes, I placed a
10 trocar through the opened incision.

8

9

10

11 Q Who placed the trocar in this
12 case?

10

11

A I did.

12

13 Q And there was a resident who
14 was assisting you during this case;
15 correct?

12

13

14

A Correct.

15

16 Q Customarily in a teaching
17 hospital like Hospital, where
18 you have residents, is it customary to
19 allow the resident to make the initial
20 incision and to place the trocar?

16

17

18

19

20

MS. : Note my objection.

21

22 A There is no hospital-wide
23 custom or policy.

22

23

24 Q Now, one of the risks of injury

24

25

0056

1

2 during laparoscopy is the risk that
3 occurs during the entry or the approach;
4 correct?

2

3

4

5 A Yes.

4

5

6 Q As well as the possibility of
7 injury during the actual procedure,
8 whether it's manipulation of the scissor,
9 forceps, probe or any energy device;
10 correct?

11 A I wouldn't characterize the
12 approach as not being part of the actual
13 procedure.

14 Q Other than the risk of injury
15 as a result of the approach, I'm talking
16 now about risk of injury during the
17 procedure?

18 A The procedure starts with the
19 skin incision.

20 Q I'll rephrase it. Once you
21 have entered into the peritoneal cavity,
22 would you agree there is a risk of injury
23 once you are performing the actual
24 surgery, whether it's a BSO or whatever
25 else you intend to do?

0057

1 A The -- I think I know what you
2 are getting at, the dissection in the
3 pelvis.
4

5 Q Thank you.

6 A Yes.

7 Q And you agree that is a risk?

8 A Certainly there is a risk, yes.

9 Q Now, does a patient with
10 abdominal adhesions, place the patient
11 into a higher risk category for trocar
12 injury?

13 A If the adhesions are to the
14 area where you are going to put in the
15 trocar.

16 Q How do you know if the
17 adhesions are in that location?

18 A You don't.

19 Q If you have documents,
20 operative notes from prior surgeries,
21 does that sometimes assist you in
22 identifying where the adhesions are
23 located?

24 A It doesn't tell you where the
25 adhesions are. It lets you know that

0058

1
2 other people have found adhesions and
3 lets you know there are adhesions.

4 Q But the fact that the patient
5 is known to have adhesions from prior
6 surgeries, does that, in and of itself,
7 place the patient in a higher risk
8 category of getting an injury as a result
9 of placement of the trocar?

10 A Yes.

11 Q Why?

12 A Because there may be a higher
13 risk of having adhesions in the area
14 where -- well, in the anterior abdominal
15 wall and you are going to be placing the
16 trocar through the anterior abdominal
17 wall.

18 Q Generally, would you agree that
19 a patient who has adhesions is in a
20 higher risk category for getting a bowel
21 perforation, than someone who does not
22 have adhesions?

23 MS. : You asked him
24 that already. Asked and answered.

25 MR. OGINSKI: This is distinct.

0059

1
2 This is a different question. I'm
3 now making a distinction between
4 someone who has adhesions and someone
5 who doesn't.

6 MS. : You asked that
7 already.

8 MR. OGINSKI: I didn't.

9 MS. : Yes, you did.

10 MR. OGINSKI: What was the
11 answer?

12 MS. : Let her read
13 it back.

14 Q Doctor, is a patient who has
15 abdominal adhesions, at a higher risk for
16 bowel injury from someone who does not
17 have adhesions?

18 MS. : That's the
19 same question. You asked him that
20 already.

21 MR. OGINSKI: I didn't.

22 MS. : You did.

23 MR. OGINSKI: I didn't. I
24 don't want to spend ten minutes --

25 MS. : It's annoying

0060

1
2 if you keep repeating the same
3 questions. You ask the same question
4 five different ways, that's your
5 style. You asked him this question
6 already.

7 MR. OGINSKI: I disagree, but I
8 don't want to spend 10, 15 minutes to
9 have her search for it. You can tell
10 me what you recall him saying. Do
11 you want her to look for it?

12 MS. : Sure, he
13 answered to the question already.

14 MR. OGINSKI: What was the
15 answer, to your memory?

16 MS. : It doesn't
17 matter. She'll read it back to you.

18 MR. OGINSKI: I didn't ask the
19 question. I'll move on.

20 Q Doctor, if during the course of
21 surgery, specifically laparoscopic
22 surgery, where the patient has
23 significant adhesions and you cannot
24 readily identify the anatomy during the
25 lysis of adhesions, do you then form an

0061

1
2 index of suspicion to the injury to the
3 bowel or adjacent organs, as a result of
4 being unable to identify the anatomy?
5 I'll rephrase it.

6 In a patient who has
7 significant adhesions, where you can't
8 readily identify the anatomy, is a
9 patient like that at higher risk for
10 injury to the bowel?

11 MS. : That's the
12 same question phrased another way,
13 but go ahead, answer it.

14 A The patient is at higher risk
15 for injury to the bowel if there are
16 more -- going in prospectively, if there
17 are more adhesions.

18 Q Now, before performing --
19 before making the entry, would you agree
20 that you cannot predict with any
21 accuracy, whether the intestine is
22 adhered to the entry site?

23 A I'm not sure what you mean by,
24 with any accuracy.

25 Q I'll rephrase it. You don't

0062

1
2 know with any degree of certainty,
3 whether the intestine is right at the
4 area where you intend to make your
5 opening; correct?

6 A Know with any degree of
7 certainty? I know that there is a
8 possibility that the intestine may be
9 adhered to the anticipated entry site.

10 Q Now, in an opened -- withdrawn.
11 In a closed laparoscopy, once you are in,
12 you've placed the trocar, you placed your
13 instruments, what do you do to confirm
14 there is no injury to the bowel or major
15 vessels from the entry itself?

16 A In a closed laparoscopy?

17 Q Yes.

18 A I haven't done one in years,
19 but there are a number of things you can
20 do.

21 Q Such as?

22 A Such as inspect the entry site
23 with another -- with a laparoscope placed

24 through another port, such as when you
25 are pulling out the trocar at the end of

0063

1

2 the case, pull it out with the camera on,
3 so that you'll see if you went through a
4 loop of bowel or the lumen of the bowel.

5 Q Now, in an opened laparoscopy,
6 what do you do to confirm there was no
7 injury to the bowel or major vessels as a
8 result of the entry?

9 A Okay, in an opened laparoscopy,
10 you know that there is no injury to the
11 major vessels because there are no major
12 vessels anywhere near the umbilicus,
13 assuming that's where you do it, so it's
14 a non-issue.

15 As for the bowel, when you make
16 the incision through the peritoneum, if
17 the bowel is adherent there, you can
18 readily see the lumen of the bowel, which
19 has a characteristic appearance.

20 Q What if there are adhesions in
21 that area, that prevent you from readily
22 identifying that area?

23 A If there are adhesions, you
24 would have cut through the adhesions into
25 the bowel because you would have

0064

1

2 perforated it and you would see the lumen
3 of the bowel on entry.

4 Q Now, you told me that when I
5 asked you about reducing, things you can
6 do to reduce the risk of intestinal
7 injury, you said that open laparoscopy
8 doesn't entirely remove the risk, tell me
9 why?

10 A Because when you cut through
11 the peritoneum, if the bowel is adherent
12 right there, you can be cutting through a
13 thin bowel or right into the lumen.

14 Q Would you agree, following
15 dissection of dense adhesions, it's good
16 to irrigate the neighboring intestine
17 with sterile saline and perform a
18 detailed inspection of the intestines to
19 evaluate the integrity of the bowel?

20 A Generally speaking, that's a
21 good idea after.

22 Q Why?

23 A To look for perforation or
24 other injury.

25 Q How long does that actually

0065

1

2 take to do your inspection and to put in
3 the sterile saline to inspect the

4 integrity of the bowel?

5 A Depending upon the
6 circumstances, anywhere from a couple of
7 minutes to ten minutes.

8 Q And if you do in fact perform a
9 detailed inspection of the bowel and the
10 intestines, would you agree that it's
11 important to put that information in your
12 operative report?

13 A Not necessarily, but -- not
14 necessarily.

15 Q Would it be helpful to the
16 person, the next physician who reads your
17 operative report, to indicate that you
18 did in fact perform an inspection of the
19 bowel and this is what you found?

20 MS.

: Note my objection.

21 A It might; it might not.

22 Q Now, I would like to direct
23 your attention to this particular case.

24 Is there any doubt in your
25 mind, Doctor, that

0066

1
2 experienced a bowel perforation, which
3 was recognized on ?

4 A I believe it may have been
5 recognized on a day or two earlier than
6 that, on the . But there is no doubt
7 in my mind that she experienced a bowel
8 perforation.

9 Q And what makes you believe or
10 makes you -- withdrawn.

11 What is your understanding as
12 to when this bowel perforation was
13 recognized; was it recognized
14 intraoperatively or before the surgery
15 was done -- withdrawn.

16 I'm not talking now about the
17 suspicion of a bowel perforation, I want
18 to know when it was actually diagnosed.

19 MS.

: Let him look

20 at the record.

21 MR. OGINSKI: Just so the
22 record is clear, the doctor is
23 looking at Plaintiff's Exhibit 2,
24 which is the original hospital chart
25 for this patient, for the hospital

0067

1
2 admission of .

3 Q Doctor, at any time or sometime
4 before today, you had a chance to review
5 the patient's chart that's currently in
6 front of you?

7 A Yes.

8 MS.

: A copy of it.

9 Q When before today did you

10 review it?

11 A I reviewed it
12 yesterday, days ago.

13 Q And before that time, when did
14 you last review it?

15 A I can't tell you. I don't have
16 any recollection of reviewing it since
17 she left the hospital.

18 Q In addition to the patient's
19 copy of the chart from Hospital,
20 did you also at some point before today,
21 review your office records for this
22 patient?

23 A Yes.

24 Q And when did you review that
25 most recent to today?

0068

1

2 A A week ago yesterday.

3 Q And the same question, when had
4 you reviewed it before that?

5 A A long time ago.

6 Q In preparation for today's
7 deposition, did you review any medical
8 literature?

9 A No.

10 Q Did you review any textbooks in
11 preparation for today's deposition?

12 A No.

13 Q Did you review any deposition
14 transcripts, specifically of
15 , before coming today?

16 A I didn't.

17 Q Did you review any testimony at
18 all by anyone given in this case, prior
19 to today?

20 A No.

21 Q Do you have an independent
22 memory as sit here now, as to when this
23 particular bowel perforation was
24 recognized and diagnosed? And I'll allow
25 you plenty of time to go through the

0069

1

2 record, but just from your memory?

3 A I'm not sure what we mean by
4 recognized or diagnosed.

5 Q I'll rephrase it. Am I correct
6 that on , you performed
7 surgery on ?

8 A Yes.

9 Q And during the course of the
10 surgery, one of your findings was that
11 you recognized there was a bowel
12 perforation; correct?

13 A Yes.

14 Q And you identified that bowel
15 perforation as being

16 millimeters in length; correct?

17 A I did.

18 Q And just to use the words you
19 used in your operative report, it was an
20 incision millimeters long;
21 correct, and you are free to look at the
22 operative report?

23 A That's the phrase that I use.

24 Q Now, would you agree, Doctor,
25 that this patient did not suffer a

0070

1

2 thermal burn; correct?

3 A There was nothing that I saw
4 there at the time that was consistent
5 with thermal burn.

6 Q Did you form an opinion on
7 , as to whether the
8 bowel injury happened during the surgery
9 that had been performed eight days
10 earlier on ?

11 A I don't recall.

12 Q Did you form an opinion when
13 you recognized there was a bowel
14 perforation on , whether
15 this perforation was a result of
16 something that occurred during her
17 initial surgery on ?

18 A I don't recall what opinion, if
19 any, I formed at that time.

20 Q Did you form any opinion as to
21 the cause for this bowel perforation that
22 you recognized on , as to
23 how it came about?

24 A I thought there may be some
25 possibilities probably.

0071

1

2 Q And what were those
3 possibilities?

4 A That one is that it was formed
5 at the time of the initial surgery on the
6 . Another is that it was formed
7 while looking -- while inspecting the
8 pelvic field on the .And the third
9 is that it was formed at the time of the
10 initial operation on the and
11 enlarged during the dissection on the
12 .

13 Q What made you believe that that
14 was a possibility, that it was enlarged
15 as a result of the surgery?

16 A Well, first of all, because the
17 sigmoid colon had been stuck to other
18 structures and it had to be peeled off.
19 Second, because it did look as though it
20 was fresh and if it had been as a
21 result -- if it had been entirely as a

22 result of the prior operation, there
23 might have been some areas of necrosis
24 around the edges, but something that, you
25 know, that you can't tell 100 percent

0072

1

2 sure just by looking at it.

3

4 And finally, I did inspect the
5 operative field and the colon before I
6 closed on the and would have
7 certainly noticed an incision that was
8 millimeters long.

9

10 MR. OGINSKI: Can you read that
11 back, please?

12

13 [At this time, the requested
14 portion of the record was read.]

15

16 Q Doctor, can you turn to your
17 first operative report dated
18 ?

19

20 A Yes, I have it.

21

22 Q The bowel injury that you
23 recognized on , did that
24 happen during the laparoscopic portion of
25 the surgery on ?

0073

1

2 A No.
3 Q Did that happen during the
4 laparotomy portion of the surgery on
5 ?

6

7 A If it happened on the at
8 all, it happened during the laparotomy

0073

1

2 portion.
3 Q Would you agree that this bowel
4 injury was not recognized at any time on
5 , during the surgery that
6 was performed?

7

8 A Yes.
9 Q Now, if this bowel injury, this
10 millimeter long injury had
11 been recognized during the surgery on
12 , what would you have done
13 in order to repair that?

14

15 A First, I don't know that there
16 was a millimeter injury on
17 the , but had I noted a
18 millimeter injury on the or an
19 injury of any size on the , I would
20 have oversewed it.

21

22 Q Typically it would have been
23 oversewn with one or two stitches of this
24 size?

25

26 A Again, depending upon the size,
27 I typically would have approximated it
28 through and through silk and then pulled
29 serosa -- correction. I would have

0074

1

2 approximated it be through and through
3 with chromic, then covered that with
4 seromuscular silk sutures.

5 Q Would you agree, Doctor, for
6 this size injury, assuming it was present
7 on , that the oversewing of
8 that defect would require very few
9 sutures?

10 A I can't tell you exactly how
11 many sutures it would require, but
12 usually I would -- but it would been
13 interrupted. Not interrupted, but
14 running chromic and then several
15 interrupted silk.

16 Q And based upon your experience,
17 this would have been a simple matter to
18 oversee it; correct?

19 A I don't know because I don't
20 know what it looked like. But it would
21 have been feasible had it been there.

22 Q This is not something you would
23 have needed to call in a general surgeon
24 to repair; correct?

25 A I'm a

0075

1
2 and I'm trained to repair this myself.

3 Q Is it fair to say during your
4 career you have encountered bowel
5 perforations during surgeries you have
6 performed?

7 A Yes.

8 Q Is it also fair to say that you
9 have repaired certain bowel injuries that
10 occurred during surgery?

11 A Yes, I have.

12 Q Have there been instances where
13 you had to call in a general surgeon to
14 come in and repair a defect that occurred
15 during GYN surgery?

16 A Without using the word have to,
17 there are times when I have, for a
18 variety of reasons, then if it involved
19 something like the stomach, if it
20 involved the stomach.

21 Q I'm only sticking to bowel.

22 A There have been times. There
23 certainly have been times for a variety
24 of reasons, but I feel like I'm capable
25 of repairing bowel injuries.

0076

1
2 Q Now, if you recognize a bowel
3 perforation intraoperatively, is it
4 correct to say that the patient would not
5 require colostomy at that point?

6 A That's correct.

7 Q Is it also fair to say that the

8 patient would unlikely become septic as a
9 result of that oversewing the bowel
10 defect, in general?

11 A The patient might well develop
12 an infection postoperatively if there is
13 a perforation, but, but the chances of a
14 serious infection are much less.

15 Q Now, in a patient whose bowel
16 perforation is not recognized at the time
17 of surgery, would you agree that one of
18 the risks of bowel perforation, as you
19 mentioned, is infection?

20 A Yes.

21 Q Can the patient also get a
22 fluid electrolyte imbalance?

23 A Not as a direct result of the
24 perforation.

25 Q Can there be intestinal fluid
0077

1
2 and feces containing bacteria that will
3 empty out into the abdominal cavity?

4 A Yes.

5 Q Does that produce any toxins or
6 follow the bacteria into the blood
7 stream?

8 A It can.

9 Q Can an unrecognized bowel
10 perforation lead to intraabdominal
11 abscesses?

12 A Yes.

13 Q How?

14 A Any infection from -- any
15 bacteria from any cause, it can happen
16 with or without bowel injury, but if
17 there is a bacterial contamination of the
18 abdominal cavity, then this can result in
19 formation of an abscess.

20 Q How do you diagnose an abscess?

21 A There are a number of ways,
22 but -- there are a number of ways. It
23 depends on where the abscess is too.

24 Q If you think the patient has
25 some type of intraabdominal abscess, what
0078

1
2 are the diagnostic tests you have
3 available to you, in , that would
4 assist you in making the diagnosis?

5 A Those are basically radiologic
6 tests, ultrasound and CAT scan.

7 Q And at Hospital in
8 , did you have available to you
9 ultrasound?

10 A Yes.

11 Q As well as CAT scan?

12 A Yes.

13 Q Now, if the abdominal cavity

14 becomes contaminated from a bowel
15 perforation, can that lead to
16 inflammation of the peritoneum?

17 A Yes, it can.

18 Q And what signs or symptoms
19 would you expect the patient to exhibit
20 if that occurred?

21 A Severe pain, marked distension,
22 rigidity of the abdomen, absence of bowel
23 sounds, vomiting, absence of flatus,
24 absence of stool.

25 MS. : Off the

0079

1
2 record.

3 [At this time, a discussion was
4 held off the record.]

5 Q Where there is inflammation of
6 the peritoneal cavity from an
7 unrecognized bowel perforation, can you
8 get the subperitoneal blood vessels where
9 they become porous, causing the
10 interstitial fluid to leak into the third
11 space?

12 A That happens after any
13 laparotomy.

14 Q What is an ileus?

15 A Ileus is a condition where
16 without obstruction, the intestine is not
17 undergoing peristalsis or the peristalsis
18 is markedly diminished.

19 Q Is that also known as paralytic
20 ileus?

21 A Yes.

22 Q Is that similar to
23 gastroparesis?

24 A I'm not sure that the term
25 gastroparesis is a very precise term.

0080

1
2 Q What is your understanding of
3 gastroparesis?

4 A I don't have one. I don't use
5 it.

6 Q In a patient who has an
7 unrecognized bowel perforation, can you
8 see an ileus or do you sometimes --
9 withdrawn.

10 In a patient who has an
11 unrecognized bowel perforation, is ileus
12 one of the findings that you may see?

13 A It's a finding after most large
14 operations, so you can see it. So you
15 can see it, but it's not clear it's due
16 to the bowel perforation.

17 Q Would you expect the ileus to
18 resolve within a short period of time
19 after the surgery has been complete,

20 whether a day or two or some other time
21 period?

22 A I've seen ileus last up to a
23 week and a half. And in somebody with a
24 laparotomy, with extensive dissections, I
25 wouldn't be at all surprised to see an

0081

1
2 ileus last for up to a week, in the
3 absence of any complication.

4 Q Can a patient who has ileus,
5 have positive bowel sounds?

6 A Yes.

7 Q Can a patient who is
8 experiencing flatus, have an ileus?

9 A Ileus is generally -- ileus is
10 generally thought of as being
11 incompatible with flatus, except for very
12 mild ileus.

13 Q In a patient who -- can a
14 patient who has a bowel movement, have an
15 ileus?

16 A Ileus is generally thought to
17 be incompatible with a bowel movement,
18 unless it's very, very mild.

19 Q In a patient with unrecognized
20 bowel injury following surgery, can
21 accumulative fluid in the peritoneal
22 cavity cause the lungs to get pushed or
23 to expand and contribute to partial lung
24 collapse?

25 A Virtually everyone who's had a
0082

1
2 laparotomy, has some partial lung
3 collapse, atelectasis especially.

4 Q Can the inflammation of the
5 peritoneal cavity, can that accumulate in
6 the chest as pleural cavity effusion?

7 A Pleural effusion is a fairly
8 nonspecific sign and a lot of things --
9 it's not common to see that
10 postoperative.

11 Q What is peritonitis?

12 A I didn't hear your question.

13 Q What is peritonitis?

14 A Peritonitis is an infection of
15 the peritoneal cavity. It's an
16 inflammation of the peritoneal cavity.
17 It doesn't need to be infectious.

18 Q And is peritonitis a frequent
19 complication associated with a colonic
20 injury?

21 A Yes.

22 Q Is ileus also a complication
23 associated with a colonic injury?

24 A As I just said, it's very
25 nonspecific and you will see that to some

0083

1

2 extent in almost every major laparotomy.

3

4 Q Is intraabdominal abscesses
5 frequently associated with a colonic
6 injury?

6

A Yes.

7

8 Q I know you said pleural
9 effusion is nonspecific, but do you often
10 see it with a colonic injury?

10

A You can. I can't say if you
11 see it often. I don't know that you do.

11

12

13 Q Now, getting back to
14 , would you agree, Doctor, that on
15 , when you initially
16 performed your surgery, that there is no
17 question that she had dense adhesions?

17

A I agree with that.

18

19 Q In fact, the adhesions were so
20 dense and thick, that you had to cancel
21 the laparoscopy and convert it to an open
22 procedure; correct?

22

A I didn't cancel it, I converted
23 it.

23

24

25 Q I'll rephrase that. You had to
convert the laparoscopy to a laparotomy?

0084

1

A That's correct.

2

3

4 Q Would it also be correct to say
5 that you had difficulty finding the
6 surgical planes between the ovary and the
7 sigmoid mesentery because she had a belly
8 full of adhesions?

8

A That's correct.

9

10

11 Q And did you spend a great deal
12 of time lysing the adhesions, trying to
13 find and identify the anatomy?

11

12

13 A I don't remember offhand how
14 much time I spent, but that was a
15 substantial portion of the case, yes.

15

16

17 Q And in a patient such as
18 , where there are such dense and
19 thick adhesions, is it more difficult to
20 identify the anatomy than in someone who
21 doesn't have all those adhesions?

20

A Yes.

21

22

23 Q And after you lysed or cut
24 through the adhesions in order to
25 accomplish the procedure on
26 , am I correct that you inspected the
27 cavity, the area where you were

0085

1

operating?

2

A That's correct.

3

4

5 Q And based upon your
examination, you did not find any injury

5

6 to either major vessels or to any injury
7 to the bowel?

8 A That's correct.

9 Q Now, what role did the resident
10 play in participating in her surgery on
11 ?

12 A The resident basically was
13 first assisting me. The resident who was
14 participating in this operation on
15 would have tied knots, held
16 clamps, held retractors, probably placed
17 clamps across the ovaries when the
18 vessels had been dissected. The
19 resident --

20 Q I'm sorry to interrupt, Doctor,
21 I assume you are telling me based upon
22 what a first assistant typically does?

23 A I am telling you what a
24 second-year resident operating with me on
25 a pound patient, with dense

0086

1 adhesions, would have done.

2 Q Now, I'll ask you, do you have
3 a specific memory as you sit here now, as
4 to what this resident did during the
5 course of this procedure, separate and
6 apart from what you expect and
7 customarily have the resident do?

8 A No.

9 Q And am I correct that the
10 patient's weight is a factor, in terms of
11 difficulty in performing the surgery?

12 A Yes.

13 Q Why?

14 A Because first of all because
15 that means that the abdominal wall is
16 thicker, so that the hole is deeper, so
17 to speak, the incision is deeper and more
18 difficult to use instruments. It's more
19 difficult to -- and then some of the
20 tissues will be infiltrated by fat, which
21 just means that there is generally a
22 little less room in which to operate.

23 And then in terms of
24 retraction, which is holding tissues away

0087

1 from other tissues to create exposure and
2 visibility, it's physically more
3 difficult because it weighs more.

4 Q This resident, whose name is
5 , ?

6 A Yes.

7 Q Is it a man or woman?

8 A She's a woman.

9 Q Is Dr. still a resident at
10 Hospital?
11

12 A No.
13 Q When did finish
14 residency?
15 A finished residency at
16 the end of .
17 Q Of what year?
18 A This .
19 Q Where does Dr. practice?
20 MS. : Note my objection.
21 MS. : You can
22 answer.
23 A She told me she was going to
24 practice in .
25 Q Have you spoken to Dr.

0088

1
2 about this particular case after the
3 lawsuit was started?
4 A No.
5 Q Have you spoken to Dr. for
6 any reason at all, after completed
7 residency up until today?
8 A Yes.
9 Q When did you last speak to ?
10 A About two weeks ago.
11 Q Was that in regard to a
12 patient?
13 A No.
14 Q Was that in regard to this
15 particular case?
16 A No, called me to tell me
17 passed boards, that was all that
18 was said.
19 Q Are you aware of what hospital
20 is affiliated with in ?
21 A told me what hospital
22 would be affiliated with. I don't know
23 with -- I don't know definitively.
24 Obviously if applied and got
25 privileges.

0089

1
2 Q What hospital?
3 A in .
4 Q Did Dr. lyse any adhesions
5 during the surgery?
6 A No.
7 Q You were the only one who broke
8 apart and cut the adhesions?
9 A Yes.
10 Q Now, if you suspect that there
11 is a bowel injury intraoperatively, what
12 are some of the things that you can do to
13 definitively identify whether there is in
14 fact a defect in the bowel?
15 A Well, usually you'll know just
16 by looking at it and one thing you can do
17 is pour saline in and then in the course

18 of manipulating the bowel, you will see
19 bubbles.

20 Q Are there any other ways that
21 you can identify if there is a bowel
22 injury intraoperatively?

23 A Yes.

24 Q What other ways?

25 A You can instill fluid into the
0090

1
2 rectum and see if it comes out the colon.

3 Q And what type of fluid do you
4 use?

5 A Saline tinged with a blue dye.

6 Q Have you ever used a Betadine
7 liquid in order to evaluate the integrity
8 of the bowel?

9 A I have not.

10 Q If you suspect intraoperative
11 bladder injury, what do you do to
12 definitively identify a bladder injury?

13 A Instill water or saline tinged
14 with a blue dye into the bladder.

15 Q Is that methylene blue?

16 A Usually I use methylene blue.

17 Q When you pour saline on the
18 bowel and you say you look for bubbles,
19 do you instill air into the bowel with a
20 syringe?

21 A No, there is generally air in
22 the bowel that has -- as you manipulate
23 the bowel, you are squeezing it.

24 Q How long does it take, you told
25 me earlier the length of time it can take
0091

1
2 to pour saline on and look for bubbles?

3 A Uh-huh.

4 Q If you were to instill fluid
5 into the rectum, the blue dye, how long
6 does that part of the process take?

7 A The whole thing would take
8 about 15 minutes.

9 Q Now, if you suspect bowel
10 injury during the course of the
11 laparotomy, am I correct that you can
12 visually inspect with your hands and run
13 the length of the bowel to see if there
14 is any injury?

15 A Yes.

16 Q And is there any distinction
17 to -- withdrawn. Am I correct that on
18 , you had no suspicion --
19 withdrawn.

20 On , did you have
21 any suspicion that the patient might have
22 any type of injury to the bowel
23 intraoperatively?

24 A No.
25 Q And would it be fair to say

0092

1
2 that you did not perform any of those
3 procedures that we just talked about,
4 including pouring saline, looking for
5 bubbles to the colon?

6 A When I irrigate, I am always
7 looking for bubbles, that's pretty
8 routine.

9 Q You did not instill any blue
10 dye into the colon?

11 A I don't believe so.

12 Q And did you not that do that
13 for the bladder as well?

14 A Correct.

15 Q When you performed this
16 procedure, did you install bladder
17 catheters?

18 A Yes.

19 Q And is that something that you
20 put in or you had a urologist do that?

21 A A bladder catheter?

22 Q Yes.

23 A The resident does that. It's
24 just done through the urethra.

25 Q What is the purpose of putting

0093

1
2 in bladder catheters prior to doing
3 either laparoscopy or laparotomy?

4 A To drain the urine, so you know
5 how much urine is put in.

6 Q I apologize, ureteral
7 catheters, were they inserted in this
8 patient?

9 A No.

10 Q And am I correct that you did
11 not call in any general surgeon to come
12 in to assist you or evaluate the patient
13 during the surgery?

14 A That's correct.

15 Q What is a walled off abscess?

16 A It's basically synonymous to an
17 abscess.

18 Q What does the term loculated
19 mean?

20 A Loculated means that there are
21 several different fluid cavities in cyst
22 and abscess, whatever you are talking
23 about, several different cavities filled
24 with fluid.

25 Q In a patient who has no bowel

0094

1
2 injury, is it common to see
3 intraabdominal abscesses following

4 laparoscopic or laparotomy surgery?

5 A It's not uncommon.

6 Q To what, if anything, do you
7 attribute intraabdominal abscesses
8 following laparoscopic surgery or
9 laparotomy surgery?

10 A Okay, following -- let me
11 correct following. Laparoscopic surgery
12 done by itself, you rarely see an abscess
13 following a laparotomy. Despite using
14 sterile technique, there is no way that
15 an operation can be completely sterile.
16 There is dust in the air. The sterile
17 prep of the skin is never 100 percent
18 effective in cleaning the bacteria off
19 the skin and there is always some
20 bacteria in the blood.

21 In addition, so now after an
22 operation and especially a difficult one,
23 there is always a small amount of or a
24 large amount of fluid that's just exuded
25 into the peritoneal cavity, sometimes

0095

1

2 minimal bleeding and whatever
3 contamination there might be or even the
4 bacteria that are just ordinarily
5 floating around in the blood will
6 colonize, will sometimes colonize the
7 fluid that you invariably -- that you
8 invariably get in the pelvis and when
9 that happens, you can get -- develop an
10 abscess.

11 Q How do you distinguish whether
12 that abscess is from just normal
13 contaminants in the air or surrounding
14 area or as opposed to as a result of an
15 injury to the bowel?

16 A What you do is you treat it
17 with antibiotics and if it gets better,
18 then you know it's an ordinary, run of
19 the mill abscess from, you know, skin
20 contaminants or whatever. If it doesn't
21 get better or if it gets worse, then you
22 are going to look for other causes.

23 Q If you suspect the patient has
24 intraabdominal abscess following surgery,
25 what diagnostic tests were available to

0096

1

2 you -- withdrawn.

3 If you suspect a patient has
4 peritonitis, what diagnostic tests would
5 you use to definitively confirm that
6 finding?

7 A You generally don't. It's a
8 clinical diagnosis.

9 Q And if you suspect a patient

10 has an ileus, are there diagnostic tests
11 you can use to assist you in confirming
12 the diagnosis?

13 A CT, X-ray. They're not
14 definitive. You take any kind of
15 radiographic tests into consideration
16 with the entire clinical picture. And an
17 ileus is not thought to be a radiologic
18 diagnosis, but is thought to be a
19 clinical diagnosis that is made by
20 overall consideration of physical and
21 radiologic considerations.

22 Q As part of your training to
23 become an _____,
24 am I correct that training is distinctly
25 different from radiologists, who do their

0097

1
2 training and become board certified in
3 that field?

4 A It's different, yes.

5 Q And am I also correct that you
6 are not a specialist in radiology?

7 A That's correct.

8 Q And when you order a CAT scan
9 or an ultrasound, you rely typically on
10 the radiologist to formally interpret
11 those imaging studies?

12 A They interpret it and we go
13 over it with them.

14 Q And in the course of --

15 A As needed.

16 Q In the course of your career,
17 Doctor, have you had occasion to read and
18 interpret CAT scan films and images?

19 A To read them, yes. To do
20 formal interpretation, no.

21 Q If you suspect the patient has
22 pleural effusion, what are the best
23 diagnostic tools to use to help you
24 evaluate that condition?

25 A I'm sorry?

0098

1
2 Q If you suspect the patient has
3 pleural effusion, what are the best
4 diagnostic tools available to you to help
5 you evaluate that condition?

6 A Generally speaking, generally
7 speaking in a postoperative state, there
8 is no need to evaluate it. It's a
9 minor -- if there is a small pleural
10 effusion, it's an expected finding and
11 you don't evaluate it, but you make the
12 diagnosis on the basis of X-ray and CAT
13 scan.

14 And if for some reason or other
15 you need to evaluate it, then you can get

16 somebody to aspirate pleural fluid with a
17 needle, somebody in pulmonary medicine or
18 something.

19 Q If a patient has intraabdominal
20 abscess, what clinical symptoms would you
21 expect to see with that condition?

22 A You can have a wide range of
23 clinical symptoms, ranging from nothing,
24 to severe pain, nausea, vomiting, high
25 fever. It's a very -- and many things

0099

1

2 that I could talk about as far as
3 symptoms are considered. It's a very,
4 very broad range of spectrum of findings.

5 Q If a patient has an ileus, what
6 clinical symptoms would you expect to
7 see?

8 A With ileus, you expect to see
9 distension -- symptoms, did you say?

10 Q Yes.

11 A Distension isn't a symptom.
12 You expect -- basically you would expect
13 to see nausea, absence of flatus, absence
14 of stool, feeling of fullness, generally
15 absence of pain.

16 Q In an ileus, what diagnostic
17 findings would you expect to see?

18 A On physical exam, distension,
19 decreased or absent bowel sounds. On an
20 X-ray, dilated loops of bowel, usually
21 with air in the colon.

22 Q Before performing the second
23 surgery on , did you come to
24 either a working diagnosis or some
25 conclusion that this patient had an

0100

1

2 intraabdominal abscess?

3 A Yes.

4 Q And do you recall when it was,
5 and just by memory now, do you recall
6 when it was that you came to that
7 conclusion that she had an intraabdominal
8 abscess?

9 A No.

10 Q Do you have a note contained
11 within this chart that would refresh your
12 memory as to when you first concluded or
13 believed that she had an intraabdominal
14 abscess?

15 A Yes.

16 Q Take a look, please?

17 A Will you read the question
18 back?

19 Q Do you have a note of your own
20 that indicates when you first recognized
21 or had a working diagnosis that the

22 patient had an intraabdominal abscess?
23 And again, I'm not referring to
24 anyone else's notes, I'm only talking
25 about your own notes.

0101

1

2 A I would have to compare this
3 note with the CT findings.

4 Q For the record, what note are
5 you referring to?

6 A The note I wrote at
7 on . Yes, the answer,
8 this note on the .

9 Q You're talking about your note
10 at ?

11 A Referring to the report of the
12 CT scan on the .

13 Q Can you turn please back to
14 your note?

15 A Yes.

16 Q What I would like you to do
17 please, is read your entire note in its
18 entirety and if there are abbreviations,
19 tell me what they represent?

20 A "Gram" --

21 Q Beginning with the date and
22 time?

23 A . Gram
24 negative rods in blood culture,
25 sensitivity pending. CBC done at ."

0102

1

2 Q You don't have to read the
3 results, continue on.

4 A The results are part of --

5 Q Go ahead, Doctor.

6 A We have a white blood count of
7 8,200; a hemoglobin of 12.4; hematocrit
8 of 36.1; platelet count of 245. "Lungs
9 clear; defecated last night; abdomen
10 soft; non-tender, no abdominal pain.
11 Temperature curve improving."

12 Q What does that mean?

13 A It meant that she had been
14 febrile and that the tendency was to come
15 down to normal.

16 Q Do you know whether that was as
17 a result of medication, like Tylenol or
18 anything else she was getting, as opposed
19 to anything else -- withdrawn.

20 Do you know why her temperature
21 curve was improving?

22 A Very likely she had fever due
23 to atelectasis which was resolving.

24 Q Go ahead.

25 A "CT report as noted,

0103

1

2 interpretation not consistent with more
3 benign physical findings. Nevertheless,
4 will treat with Amp, Gent, Flagyl for
5 septicemia. Continue regular diet.
6 Assess results of treatment."

7 Q And your signature appears
8 there; correct?

9 A My signature.

10 Q Tell me what you meant when you
11 wrote the interpretation of the CAT scan
12 was not consistent with the more benign
13 physical finding?

14 A Her physical findings were
15 those of somebody with a normal
16 postoperative course. She had a
17 postoperative fever, which was a little
18 high for atelectasis, but I've seen it
19 with atelectasis and it was improving.
20 Her abdomen was soft. I didn't write
21 about it, but we knew that she had bowel
22 sounds. We knew that she was defecating.
23 We knew that she wasn't having nausea or
24 vomiting, that she was tolerating a diet.
25 She was -- I wrote "no

0104

1
2 abdominal pain," which means, of course,
3 that she was having the incisional pain
4 and discomfort consistent with surgery,
5 but no abnormal abdominal pain. That's
6 not precisely what it says, but that's
7 the sum and substance of what that means.
8 And so her physical examination was that
9 of somebody who is recovering from
10 surgery.

11 Her white count, which isn't
12 precisely examination, but it's something
13 I look at with regard to examination, was
14 normal and was not really what you would
15 expect. You would expect to have an
16 elevated white count and rising in
17 somebody with an abscess untreated with
18 antibiotics.

19 Q Now, did you learn from the
20 patient directly that she had defecated
21 the night before?

22 A No.

23 Q Who did you learn that from?

24 A One of the residents and I
25 can't tell you which one.

0105

1
2 Q Can you look please at the
3 postoperative progress
4 note by a GYN resident?

5 A I'm looking at it.

6 Q And this note is written by Dr.
7 ?

8 A It is.
9 Q And Dr. was what year
10 resident in ?
11 A Second.
12 Q And is Dr. still
13 affiliated with Hospital?
14 A Yes.
15 Q In what capacity?
16 A just joined the staff as an
17 attending physician.
18 Q In other words, completed
19 residency and is now working
20 full-time at the hospital?
21 MS. : Note my objection to
22 the form.
23 A has completed her residency
24 and is employed by the organization which
25 operates our hospital, with duties
0106
1
2 being to practice OB/GYN at our hospital.
3 Q 's an attending physician?
4 A 's an attending physician.
5 Q Now, if you look at Dr. 's
6 note, on the left side -- withdrawn.
7 At the top this is dated
8 and time is ;
9 correct?
10 A Yes.
11 Q "Patient's post-op day number
12 three," and at the bottom left there is a
13 list of problems. In the middle of it,
14 it says "no bowel movement."
15 Do you see that?
16 A I do.
17 Q Can you explain the
18 distinction, if any, between the note
19 that Dr. wrote about no bowel
20 movement as of the time of this note,
21 compared to the information you obtained
22 a little later in the afternoon on the
23 , indicating that the patient had a
24 bowel movement?
25 A No, I can't.
0107
1
2 Q I would like you to turn please
3 to Dr. 's note of
4 timed at p.m. This is actually a
5 regular progress note at p.m.
6 A I have it.
7 Q Now, Dr. 's note indicates
8 that was called to see the patient
9 because of an elevated temperature?
10 A That's correct.
11 Q Temperature of 38.9 is
12 elevated; correct?
13 A Yes, it is.

14 Q Under the abdominal finding she
15 wrote, "soft and bowel sounds sluggish";
16 correct?

17 A Yes.

18 Q Under assessment it says,
19 "status post exploratory
20 laparotomy/BSO/lysis of adhesions, with a
21 question mark, basal atelectasis";
22 correct?

23 A Yes.

24 Q And as far as you recall, all
25 this information is correct?

0108

1

2 A Yes.

3 Q And then Dr. continues on
4 the next line, it says "watch for bowel
5 perforation"?

6 A Yes.

7 Q Do you know why Dr. made
8 that entry?

9 MS.

: Note my objection.

10 A No.

11 Q Did you have any discussion
12 with Dr. after was called to
13 examine the patient at around p.m.
14 on ?

15 A I'm certain that I did, but I
16 don't remember the tenor of the
17 conversation.

18 Q Do you know what clinical
19 symptoms Dr. was concerned about,
20 that might have suggested that this
21 patient could have a bowel perforation?

22 A No, I don't.

23 Q Do you know what diagnostic
24 findings she was referring to when she
25 indicated that she wanted to watch for

0109

1

2 bowel perforation?

3 MS.

: Note my objection.

4 A No, I don't.

5 Q Is there anything unusual or
6 out of the ordinary as of ,
7 according to the note contained by Dr.
8 , that would suggest to you that
9 this patient might have bowel
10 perforation?

11 A No.

12 Q Did you have any discussion
13 with Dr. within the next day or
14 two, the or the , to suggest
15 that this patient had a bowel perforation
16 and I'm talking about your memory as you
17 sit here now, about a specific
18 conversation you may have had with her?

19 A I clearly don't have any memory

20 of a conversation I had with Dr.
21 several years ago.

22 Q Am I correct that Dr. is
23 a woman?

24 A Yes.

25 Q At the conclusion of your

0110

1

2 surgery on , did you have
3 any discussion with Dr. about the
4 concern or possibility that this patient
5 might have a bowel perforation?

6 A I don't remember the tenor of
7 any conversation I had with Dr. .

8 Q Is there anything in your
9 operative note or note that you recorded
10 on , to suggest or imply
11 that the patient might have a bowel
12 perforation as a result of the surgery
13 that was performed?

14 A Not that I'm aware of.

15 Q Can you turn please to your
16 note on , please, timed at
17 ?

18 A Yes.

19 Q I would like you to read that?

20 A " . Temperature
21 as documented. Abdomen soft, non-tender;
22 extremities soft, probably atelectasis."

23 Q What was your plan of treatment
24 at that time?

25 A Routine postoperative

0111

1

2 management.

3 Q Did the patient make any
4 complaints to you when you examined her
5 at that time?

6 A If so, I'm not aware of it. If
7 there would have been anything out of the
8 ordinary, I would have written it down.

9 Q Am I correct the patient was
10 placed on a PCA pump, a pain control pump
11 postoperatively?

12 A I don't recall, but we can --

13 Q I'll withdraw it and go back to
14 it later.

15 A That would have been what I
16 would have done ordinarily as routine in
17 somebody who had a laparotomy.

18 Q At the bottom of that page, the
19 anesthesia note, acute pain service,
20 , timed at , it says
21 "on PCA with Morphine"; correct?

22 A Yes.

23 Q Does that mean the patient was
24 getting an administration of pain
25 medication with a pump?

0112

1

2 A Yes.

3 Q The patient activates it?

4 A Yes.

5 Q In , if a

6 patient had complained to you about pain
7 postoperatively, would you typically make
8 a note of it in the chart?

9 A No, everybody has pain
10 postoperatively.

11 Q And you are referring to
12 commonly incisional pain?

13 A Usually incisional pain and
14 which is exacerbated on breathing, but
15 that would be excisional pain.

16 Q Can you turn please to the
17 note dated , timed at

18 ?

19 A Yes.

20 Q It's on two different pages.

21 Are you able to tell who wrote that note?

22 MS.

: Can you identify the

23 note?

24 MS.

: at

25 11:30 p.m.

0113

1

2 A No.

3 Q Do you know Dr. ?

4 A We didn't have a Dr. .

5 We still don't.

6 Q Are you able to identify the
7 signature that appears at the end of that
8 note?

9 MS. : He just told

10 you he couldn't.

11 Q Is it customary to obtain a
12 postoperative X-ray following laparotomy?

13 A We frequently do.

14 Q Why? I should be more clear.

15 A postoperative chest X-ray, is what I
16 should have asked?

17 A We might. I mean, we sometimes
18 do.

19 Q Why was a chest X-ray ordered
20 for this patient on ?

21 A To make sure that -- the best I
22 can do is to piece it together by taking
23 all of these notes taken together. It
24 was to look for pneumonia.

25 Q What was the suspicion that the

0114

1

2 patient might have pneumonia?

3 A Just that the temperature

4 was -- that had a temperature, that

5 it was 39, which you certainly see for

6 atelectasis, but it's a little bit high,
7 that 's obese and prone to both
8 pneumonia, per se and prone to
9 aspiration. And I tend to be very
10 proactive in ordering studies because --
11 in ordering studies.

12 Q Now, the group that you
13 practice under was known as
14 ?

15 A Yes.

16 Q Did you have any other people
17 working in that group, any other
18 physicians besides yourself?

19 A About or . is
20 basically the group -- the medical
21 practice that encompasses the full-time
22 staff of , and
23 and probably a few freestanding
24 physicians as well.

25 Q Now, were there times where you
0115

1
2 were on call for your patients and other
3 times when you would have covering
4 service?

5 A Covering?

6 Q Covering doctors, when you were
7 not available?

8 A Yes.

9 Q What was the arrangement if you
10 were away and you needed someone to cover
11 for you?

12 A I would make arrangements.

13 Q Would that be with a private
14 attending; would it be with a group, a
15 faculty practice?

16 A It would generally be with a
17 private attending.

18 Q And back in ,
19 customarily how many days or nights a
20 week would you be on call?

21 A Generally I would be on call
22 for my patients weekdays, Monday through
23 Thursday and alternating weekends, at
24 that time, usually with ,
25 .

0116

1
2 Q Was it your custom to round on
3 your patients each day?

4 A Yes.

5 Q And in addition to your
6 administrative duties as the of the
7 department, did you also have a
8 private practice where you saw and
9 treated patients?

10 A Yes, I did.

11 Q Were you also responsible for

12 service patients?

13 A Yes, I was.

14 Q And I should have been more
15 specific, were you responsible for seeing
16 and treating service patients?

17 A I did.

18 Q Now, did you typically see and
19 treat -- withdrawn.

20 Did you typically go on rounds
21 with your patients in the morning,
22 afternoon, some other time?

23 A It varied.

24 Q When you made your rounds, did
25 you usually do it with the team that was

0117

1

2 assigned to your patients or on your own?

3 A I invariably did it with a
4 resident who was assigned to .
5 Sometimes it wasn't invariably, but I
6 regularly did that. But sometimes if
7 they were all scrubbed when I was making
8 rounds, I would go on rounds with
9 somebody who was assigned to .

10 But it's a reasonably small
11 program. They made turnover rounds
12 together and communication between the
13 team and the team was good.

14 Q How many residents were there
15 per year?

16 MS. : Note my objection.

17 A There were three residents per
18 year, with four in the first year.

19 Q Where did you go to school,
20 Doctor?

21 A .

22 Q When did you graduate?

23 A In .

24 Q You just took the exam?

25 A Yes.

0118

1

2 Q Going back to the note on
3 at , there is a
4 preliminary report of a chest X-ray which
5 indicates that there is free air under
6 the diaphragm?

7 A Yes.

8 Q And this doctor writes "likely
9 due to abdominal surgery"?

10 A Yes.

11 Q Do you agree with that
12 statement?

13 A Yes. Well, the day after
14 surgery, there would invariably be free
15 air under the diaphragm from that cause.

16 Q Is there any cause to see free
17 air in a postoperative patient, other

18 than from the abdominal surgery?
19 A Anything else that there might
20 be, would be in addition to the free air
21 that one would normally have. So that
22 then there is no way of telling air from
23 one source or another source.

24 Q Why do you encourage patients
25 to ambulate after surgery?

0119

1

2 A Because it's supposed to --
3 first of all, it's supposed to help them
4 resolve atelectasis. It's supposed to
5 help them regain their bowel function,
6 have their ileus resolve and it's good
7 prophylaxis against deep venous
8 thrombosis.

9 Q Can you turn please to --

10 A May I use the bathroom again?

11 MR. OGINSKI: Sure.

12 [At this time, a short recess
13 was taken.]

14 Q Doctor, after the chest X-ray
15 came back, was there any evidence of
16 pneumonia on the chest X-ray?

17 MS.

: You're talking about

18 the ?

19 MR. OGINSKI: Yes.

20 A No.

21 Q In the absence of pneumonia,
22 which is the reason why you told me it
23 was ordered, what did you do to further
24 evaluate the elevated temperature that
25 the patient was experiencing?

0120

1

2 A I'm sorry?

3 Q You told me that the reason why
4 you ordered the chest X-ray or a chest
5 X-ray was ordered, was an attempt to
6 evaluate possible pneumonia.

7 Having seen that the X-ray was
8 negative for pneumonia, what did you then
9 do to further evaluate the patient's
10 elevated temperature?

11 A This was the . At that
12 point, we worked with the presumptive
13 diagnosis of atelectasis and observed
14 her.

15 Q Now, on at
16 , there is a nurse's note
17 indicating the patient's temperature was
18 39.4?

19 A Yes.

20 Q And that is elevated; correct,
21 abnormally elevated?

22 A Yes.

23 Q Did you form any opinion as of

24 , as to any other cause for
25 the findings on the chest X-ray, which

0121

1
2 was taken on , in other
3 words, the free air under the diaphragm?

4 A The presumptive diagnosis was
5 that this was due to recent surgery.

6 Q Now, on , there is
7 a note indicating that a venous duplex
8 was performed?

9 A Yes.

10 Q Is there a note in the chart to
11 indicate why a venous duplex was ordered
12 and performed?

13 A No, there is not.

14 Q As you sit here now, do you
15 have any knowledge as to why a venous
16 duplex was done?

17 A Yes.

18 Q Why was it done?

19 A Again, as part of the fever
20 workup.

21 Q And why is a venous duplex done
22 to evaluate a fever workup?

23 A To look for thrombophlebitis.

24 Q Are there any other causes,
25 other than thrombophlebitis, that would

0122

1
2 be associated with an elevated
3 temperature such as this patient had now
4 for a day postoperatively?

5 A The most likely, as I
6 indicated, was atelectasis. There are
7 others.

8 Q Was it your custom and practice
9 in not to administer prophylactic
10 antibiotics for a laparoscopy?

11 A I don't remember what my custom
12 and practice was in for laparoscopy.

13 Q Was it your custom and practice
14 in not to give prophylactic
15 antibiotics for a laparotomy?

16 A My custom and practice was to
17 give prophylactic antibiotics for
18 laparotomy if -- yes, for laparotomy.

19 Q Was this patient given any
20 prophylactic antibiotic prior to surgery?

21 A My recollection is was
22 given Mefoxin.

23 Q Now, as a , you
24 perform surgery; correct?

25 A Among other things.

0123

1
2 Q Sure, you do exenterations?
3 A Yes, I can.

4 Q And debulking?
5 A Yes.
6 Q And you do hysterectomies?
7 A Yes.
8 Q And you do other surgeries
9 commonly associated with treating cancer,
10 GYN cancer; correct?
11 A Yes.
12 Q Am I correct this surgery for
13 this patient did not involve any type of
14 cancer issues?
15 A That's not correct.
16 Q I'll rephrase it then. Before
17 going in to perform surgery on
18 , did you suspect that this patient
19 had any form of GYN cancer?
20 A I thought there was a small
21 possibility that she might.
22 Q What part of her anatomy did
23 you suspect that she had some type of GYN
24 cancer?
25 A I didn't say I suspected that
0124
1 she had. I said she might.
2 Q Was there a particular part of
3 her anatomy?
4 A If she had, I would have
5 suspected her right ovary.
6 Q Did you confirm that suspicion
7 in any note that you have in this
8 hospital chart?
9 A She didn't have cancer.
10 Q My question was, Doctor, at any
11 note that you entered in this hospital
12 chart for this patient, did you ever
13 indicate your suspicion about the
14 possibility that she could have cancer,
15 at any time preoperatively or
16 postoperatively?
17 A Not explicitly, no.
18 Q In your office chart that you
19 brought with you here today, that's
20 marked as Plaintiff's Exhibit 1, do you
21 have any note specifically confirming
22 your suspicion that she had possibly had
23 some type of cancer?
24 MS. : He didn't say
0125
1 suspicion. He said a small
2 possibility.
3 MR. OGINSKI: Thank you.
4 MS. : Can you read the
5 question back?
6 [At this time, the requested
7 portion of the record was read.]
8 A No.

10 Q You told me you just recently
11 took the bar exam, was that the
12 bar exam?
13 A And .
14 Q And if you are successful in
15 passing the bar, do you intend on
16 practicing law?
17 MS. : Objection,
18 don't answer.
19 Q Do you intend on stopping
20 practicing medicine in the near future?
21 MS. : For any
22 reason?
23 MR. OGINSKI: Yes.
24 A I don't have any intention in
25 the near future for stopping the practice
0126

1
2 of medicine.
3 Q Did you learn about the venous
4 duplex results at sometime on
5 ?
6 A I don't recall.
7 Q Is there anything in your note
8 on , that would reflect
9 whether you learned of the venous duplex
10 results?

11 A No.
12 Q At the bottom, two lines from
13 the bottom of your note, Doctor, it says,
14 "Results of lower extremity Doppler
15 pending"?

16 A Correct.
17 Q At some point after that, on
18 the , did you learn the results of
19 the venous duplex?

20 A I would be fairly certain that
21 I had, but it's not documented.

22 Q What is your recollection of
23 the results of the venous duplex?

24 A Had they been positive, we
25 would have instituted treatment of deep
0127

1
2 venous thrombosis. The fact that we did
3 not institute treatment of deep venous
4 thrombosis, suggests that they were
5 negative and I knew they were negative.

6 Q What treatment would you
7 normally use for deep venous thrombosis?

8 A At that time, intravenous
9 Heparin.

10 Q And did you call -- withdrawn.
11 If I.V. Heparin was to be used, would you
12 need to call in any type of consultation
13 to determine the dosages and the length
14 of time that Heparin is to be used?

15 A No.

16 Q That's something that's within
17 your realm of expertise?

18 A Yes.

19 Q Now, turn please to the
20 progress note, the
21 form?

22 A Okay.

23 Q On the bottom right of the
24 plan, at the bottom, Dr. 's note, it
25 says "continue Heparin," do you see that?

0128

1

2 A Yes, "b.i.d."

3 Q Why would the patient be given
4 Heparin in the absence of a diagnosis of
5 deep venous thrombosis?

6 A She was getting subcutaneous
7 Heparin as prophylaxis against deep
8 venous thrombosis.

9 Q In the middle of Dr.
10 note on the right side it says, "DVT
11 study negative," do you see that?

12 A Yes.

13 Q Is it still appropriate to
14 continue a patient on Heparin, in light
15 of a negative DVT study?

16 A Absolutely.

17 Q Why?

18 A Because this was for
19 prophylaxis of deep vein thrombosis. She
20 was obese. It was not clear at that
21 point that she was ambulating well and
22 she was at high risk for development of
23 deep vein thrombosis, given that she had
24 a long operation and was obese. So that
25 it was appropriate to give her this

0129

1

2 prophylaxis, which would have continued
3 had she -- which was independent of the
4 Doppler scan which was to determine
5 whether she had it not, whether she was
6 at risk for it.

7 Q She had no prior history of a
8 DVT or thrombus in the past; correct?

9 A Correct.

10 Q Now, on
11 at Dr. progress note timed at
12 , at the bottom --

13 A We're talking about the one on
14 the template?

15 Q Yes, correct. And by the way,
16 this is a template that residents
17 write each day when they see the patient;
18 correct?

19 A Yes.

20 Q At the bottom of Dr. 's
21 note under plan, it says "Close watch for

22 any signs of bowel perforation"?

23 A Uh-huh.

24 Q Do you have any knowledge as
25 you sit here now, as to why Dr. was

0130

1

2 concerned about the possibility this
3 patient had a bowel perforation?

4

MS.

: Note my objection.

5

A Please repeat the question.

6

MR. OGINSKI: Would you?

7

[At this time, the requested

8

portion of the record was read.]

9

MS.

: No

10 speculation, just conversation or
11 note or something of that nature.

12 A As to why she thought she had a
13 bowel perforation?

14

Q Based upon Dr. 's note, do

15

you have any reason to believe or know

16

why she suspected there might be a bowel

17

perforation?

18

MS.

: Note my objection.

19

A I don't know that she did on

20

the basis of this note. That's not what

21

the note says.

22

Q Tell me your interpretation of

23

that note.

24

MS.

: Note my objection

25

again.

0131

1

2 A My interpretation of that note
3 is that I teach patients -- I teach
4 residents to always have a high suspicion
5 for the possibility of bowel perforation.
6 And they are inclined to write that when
7 they're following my patients, to keep me
8 happy.

9

Q Other than just writing it to

10

keep you happy, do you think that it also

11

encompasses the possibility that they at

12

least have it in the forethought of their

13

mind?

14

MS.

: Objection,

15

state of mind.

16

MS.

: Note my objection.

17

Q Did you have a conversation

18

with Dr. about any thoughts may

19

have had about any possibility of a bowel

20

perforation on ?

21

A I can't recall that.

22

Q Is there anything, as far as

23

her findings contained within this note,

24

which would suggest to you that this

25

patient possibly had a bowel perforation?

0132

1

2 A No.

3 Q Her abdomen, according to the
4 note, was soft and she had positive bowel
5 sounds; correct?

6 A Correct. Her white count was
7 normal and there was nothing here that
8 suggested that she had free air under the
9 diaphragm, that everybody does who has an
10 X-ray the day after surgery.

11 Q Did you form -- withdrawn. Did
12 you come to any other conclusion on
13 as to the reason or any
14 other reason for the patient's elevated
15 temperature, other than what you already
16 told me?

17 A No.

18 Q Now, can you turn please to the
19 nurse's note, ?

20 Do you see the note after that
21 is dated and that would be
22 ?

23 A That's what it says.

24 Q And after that is a
25 nurse's note?

0133

1

2 A Yes.

3 Q And the note after that is also
4 a nurse's note, now with a date of
5 ; correct?

6 A Yes.

7 Q What's the time on that note?

8 A It looks like

9 Q Do you have any knowledge as to
10 why there would be a note from the day
11 prior, in between a note that had a day
12 later?

13 In other words, why are they
14 out of sequence?

15 MS. : Note my objection.

16 MS. : No

17 speculation.

18 Q I don't want you to guess,
19 Doctor.

20 A I have no -- the answer to that
21 is no.

22 Q At the bottom of the page there
23 is a sticker that says, "CT scan
24 completed"; correct?

25 A Yes.

0134

1

2 Q We know that the patient was
3 sent for a CT scan on -- well, according
4 to this stamp, on ; correct?

5 A Yes.

6 Q And we also have a dictated
7 report from the radiologist indicating a

8 date of .
9 Are you able to determine which
10 date this patient had her CT scan?
11 A She had a CT scan, I believe,
12 on the .
13 Q Can you explain then on the --
14 A No, wait, stop. The answer to
15 that is -- I change that. Probably on
16 the . I can't say 100 percent sure.
17 Q Do you have any knowledge as to
18 why the date of , with that
19 sticker saying "CT scan completed,"
20 appears under the ,
21 note?
22 MS. : Note my objection.
23 A Do I have any knowledge?
24 Q Yes.
25 A No, I have no knowledge.
0135
1
2 Q Is there any note in the chart
3 on -- is there any note in
4 the chart on , that would
5 suggest or indicate the reason as to why
6 this patient required a CT scan?
7 A No.
8 Q Is there any note in the chart
9 on , to indicate why this
10 patient would need a CT scan?
11 A No.
12 Q Who ordered the CT scan? Just
13 so you're clear, you're looking at the
14 physician order sheet; correct?
15 A I'm looking at the physician
16 order sheet and I can't read the
17 signature.
18 Q And what date and time is noted
19 for the order for the CAT scan?
20 A
21 Q If you wanted to find out whose
22 signature that was, how could you do
23 that?
24 MS. : Note my objection.
25 A I don't know.
0136
1
2 MS. : And he's not
3 going to.
4 Q Is there anybody in the
5 that you could turn
6 to and ask to identify a particular
7 signature?
8 MS. : He's not going
9 to.
10 MR. OGINSKI: I'm not asking
11 him to. I'm asking if he knows if
12 there is someone there.
13 MS. : Note my objection.

14 Off the record.
15 [At this time, a discussion was
16 held off the record.]

17 Q Why was a CT scan ordered on
18 the ?

19 Again, I don't want you to
20 guess or speculate.

21 A I have to guess. I think I
22 know why because of my practice of
23 managing these patients, but I would have
24 to guess.

25 Q I don't want you to guess.

0137

1

2 Under what circumstances would you order
3 a CAT scan for a postoperative patient?

4 A Many circumstances.

5 Q If you suspected that there was
6 some type of injury that occurred during
7 surgery, would that be one reason why you
8 would order a postoperative CAT scan?

9 A It would.

10 Q If you suspected that there was
11 an infectious process going on, would
12 that be another reason why you would
13 order a CAT scan?

14 A Yes, and fever.

15 Q As part of the fever workup?

16 A As part of the fever workup.

17 Over 39 you think about pelvic phlebitis,
18 abscess, any intraabdominal process.

19 Q Were you informed by a resident
20 of the CT findings?

21 A Yes.

22 Q And who informed you about the
23 CT findings?

24 A I don't know.

25 Q And what is it that you are

0138

1

2 referring to in the chart or in your
3 note, that indicates you were advised
4 about the CT finding?

5 A Where I write "CT report is
6 noted."

7 Q That report, was that a written
8 report or verbal report?

9 A I can't tell you that as I
10 speak to you.

11 Q Did you have a conversation
12 with the radiologist who interpreted that
13 CAT scan?

14 A Yes, I did.

15 Q And who was the doctor who
16 interpreted that?

17 A Dr.

18 Q Spell it as best you can?

19 A

20 Q And do you have a specific
21 memory of talking to her about this CAT
22 scan report?

23 A I don't have a clear
24 recollection of the conversation. I have
25 a specific recollection of talking to

0139

1
2 her.

3 Q And is this radiologist, is she
4 an attending?

5 A Yes.

6 Q Did you let her know your
7 feelings or belief that the report was
8 inconsistent with your physical findings?

9 A What I would invariably do --

10 Q No, I'm sorry, Doctor, I'm not
11 asking generally.

12 A I do not have a specific
13 recollection of verbiage that took place.

14 Q In the report, if you can turn
15 to that report, please?

16 A Yes.

17 Q indicates within the report
18 that bowel injury cannot be excluded, do
19 you see that?

20 A Yes.

21 Q Can you read for me please the
22 exact words she used?

23 MS. : It's

24 typewritten it speaks for itself.

25 MR. OGINSKI: I would like him

0140

1
2 to read it.

3 MS. : It's typed.

4 MR. OGINSKI: I would like him

5 to read it.

6 MS. : Just this

7 once. We are not reading typed
8 written notes into this record.

9 A "Injury involving portion of
10 the intestinal tract, with perforation,
11 cannot be excluded."

12 Q What does that mean to you?

13 A It speaks for itself.

14 Q I would like to know what your
15 understanding is of what you just read?

16 A That cannot say that there
17 is no perforation of the bowel.

18 Q What do you do in light of that
19 information, as far as further evaluating
20 the patient for injury to the bowel?

21 A It depends upon the overall
22 clinical picture.

23 Q And does this interpretation
24 suggest that there is still a possibility
25 that there is an injury to the bowel?

0141

1

2 A That there is a possibility,
3 yes.

4 Q And what options are available
5 to you in order to further evaluate the
6 bowel at that point?

7 A At this point I could do a
8 lower GI study, if the radiology service
9 is willing to do it, which a lot of times
10 they're not or I could follow the patient
11 clinically.

12 Q What do you mean, if the GI
13 service is willing doing it?

14 A If they're willing to do it.

15 Q Why wouldn't they?

16 A You have to ask them, but
17 sometimes you'll ask them for procedures
18 and they'll say the CT -- it won't show
19 you anything that the CT doesn't.

20 Q Is another way to evaluate
21 whether there is injury to the intestinal
22 tract, by going in surgically and
23 visually observing it?

24 A Yes.

25 Q And would it be correct to say

0142

1

2 that you would need a higher index of
3 suspicion of bowel injury to go in and
4 operate, in light of your clinical
5 findings on the ?

6 A That's correct.

7 Q Now, turning to your note
8 again, Doctor, --

9 A Okay, I have it here.

10 Q -- you said that nevertheless,
11 you will treat with triple antibiotics;
12 correct?

13 A Yes.

14 Q Now, the nevertheless refers to
15 what, to the finding that there might
16 possibly be an injury to the bowel or
17 something else?

18 A No, it refers to the benign
19 physical findings.

20 Q And why did you feel it prudent
21 to give the patient antibiotics at this
22 point?

23 A Because there was a collection
24 consistent with an abscess and there was
25 fever. And even though I would

0143

1

2 ordinarily expect X-ray findings of this
3 magnitude to be associated with a much
4 worse clinical picture, I was saying,
5 well, if the radiologist says there is an

6 abscess there, I'll treat her for an
7 abscess.

8 Q Can you have a bowel
9 perforation in the absence of bowel
10 sounds? I'm sorry, let me rephrase that.
11 If a patient has bowel sounds,
12 can they still have a perforated bowel?

13 A Probably, yes.

14 Q If the patient has a soft
15 abdomen, is that consistent with a bowel
16 perforation?

17 MS.

: Can you read that

18 back?

19 [At this time, the requested
20 portion of the record was read.]

21 A It would be very unusual to
22 have a bowel perforation of any duration
23 and have a soft abdomen.

24 Q Would it also be inconsistent
25 for a patient to have a non-tender belly

0144

1
2 if they have a perforation?

3 A It would be very unusual.

4 Q Now, can you turn please to the
5 PGY-4 note of , timed at
6 ?

7 A I have it in front of me.

8 Q Who wrote that note?

9 A This is Dr.

10 Q What year resident was Dr.
11 at this time?

12 A Fourth year.

13 Q Do you have any knowledge as to
14 where Dr. currently works?

15 A No.

16 Q Or what hospital 's currently
17 affiliated with?

18 A , but I have no idea.

19 Q Dr. writes, "The patient
20 complains of mild pain in the lower
21 abdomen."

22 Did you make a similar
23 observation when you saw the patient
24 about less than an hour earlier?

25 A I wrote "no pain," which as I

0145

1
2 explained much earlier, meant that she
3 had pain consistent with her
4 postoperative state, but no pain in
5 excess of that.

6 Q This note by Dr. , would
7 you consider that to be a new finding or
8 something that's consistent with what you
9 were observing in the last two days?

10 MS.

: Note my objection.

11 A I would consider it to be

12 consistent with my findings.

13 Q And she writes under the
14 abdomen, that abdomen was soft; she had
15 positive bowel sounds and is that, "no
16 rebound"?

17 A Yes, "no rebound, no guarding."

18 Q Now, under the CAT scan
19 findings writes, "Multiple fluid
20 collections, can't rule out bowel
21 injury"; correct?

22 A Yes.

23 Q Other than administering the
24 antibiotics to address the abscess, were
25 any further steps taken to attempt to

0146

1

2 rule out bowel injury on ?

3 A No.

4 Q Would it be prudent, Doctor, in
5 your opinion with a reasonable degree of
6 medical probability, to take steps to
7 attempt to rule out the possibility of
8 bowel injury, at the same time that you
9 are trying to treat the abscesses?

10 A Not at this point.

11 Q Is that because of the clinical
12 picture as of the time that you examined
13 her on the ?

14 A In part.

15 Q What's the other part?

16 A Well, she either has an abscess
17 or a bowel injury. If she has a bowel
18 injury, she's going to need a colostomy.
19 If the abscess responds, that's fine.

20 We watch her closely in the
21 meantime and if she doesn't respond, then
22 little or nothing is lost by watching her
23 and seeing how the clinical picture
24 evolves.

25 Q Is she septic as of

0147

1

2

3 A As I define septic, having a
4 systemic infection, I thought she was
5 not.

6 Q Did she have evidence of
7 septicemia as of this date?

8 A Defining it as blood in the --
9 as bacteria in the blood, she had
10 bacteria in the blood, which is a common
11 finding with an abscess.

12 Q And if as of she
13 did -- withdrawn.

14 If you had confirmed as of
15 she had a bowel perforation
16 and required surgery to repair that,
17 would you be the one who would be

18 performing the colon repair and
19 colostomy --
20 A Yes.
21 Q -- or would you call in a
22 general surgeon to do that?
23 A I would have done that.
24 Q And is it preferable --
25 withdrawn.

0148

1
2 Can you turn please to the
3 note, , timed ,
4 ?
5 A Yes.
6 Q Can you tell who wrote that
7 note, please?
8 A Dr. .
9 Q Do you have any knowledge as to
10 where Dr. currently works?
11 A Yes.
12 Q Where?
13 A At
14 Q As an attending?
15 A Yes.
16 Q And Dr. ' plan was to
17 continue close observation; correct?
18 A Yes, among other things.
19 Q Was there any other discussion
20 about -- withdrawn.
21 The CAT scan addressed the
22 multiple fluid collections and the
23 abscesses. Did you come to any
24 presumptive or working diagnosis that the
25 fluid collection that you observed or was

0149

1
2 visible on CAT scan, was from any other
3 cause?
4 MS. : Could you
5 rephrase that, please?
6 Q Did you form an opinion on
7 as to any other possible
8 cause for the abscess or fluid
9 collection?
10 MS. : Other than
11 what?
12 Q Other than what you already
13 told me?
14 MS. : I don't get
15 the question. Please rephrase it.
16 Q Did you form an opinion as to
17 the cause for the patient's abscess on
18 ?
19 A As to the cause for the
20 patient's abscess?
21 Q Yes.
22 A No, I did not.
23 Q Did you form an opinion as to

24 the cause for the fluid collection on
25 ?

0150

1

2 A That was the abscess.

3 Q At any time after

4 , did you formulate a cause or
5 determine an etiology for the abscess?

6 A We have a presumptive cause of
7 perforation that was part of our
8 differential all along, but that became
9 the presumptive cause when we operated.

10 Q Do you record that presumptive
11 cause of perforation anywhere, before
12 ?

13 A Again, by implication when I
14 said that I had read the CAT scan
15 results, which certainly came up in my
16 discussion with Dr. and I wrote
17 it on the , I mean, this is certainly
18 something we were thinking about on the
19 and very seriously.

20 Q And the note that you are
21 referring to now is a note that you wrote
22 on ; correct?

23 A note.

24 Q Does that reflect that you had
25 been thinking about that particular

0151

1

2 condition a day or two before?

3 A It doesn't reflect it, no.

4 Q Can you turn please to the
5 nurse's note? There is a
6 stamp at the bottom of that note that
7 says, "CT scan completed."

8 What is the date of that?

9

10 Q Do you know why there appears
11 to be two CAT scan completion stamps
12 or --

13 A No.

14 Q -- stickers, one with the ,
15 one with the ?

16 A No.

17 Q Now, by post-op day number four
18 on the , the patient still had a
19 fever and maximum temperature of
20 39 degrees Celsius?

21 A Yes.

22 Q Is it normal for a patient to
23 have post-op fever four days after
24 surgery?

25 A It's not common, no.

0152

1

2 Q Did the CAT scan findings
3 indicating the patient had an abscess --

4 withdrawn.

5 Is it a single abscess or is it
6 multiple abscesses?

7 A It looks like it may be
8 multiple abscesses.

9 Q Did that account for your
10 belief -- withdrawn.

11 Was it your belief that the
12 reason the patient was experiencing the
13 elevated temperatures as of post-op day
14 number four, was because of the
15 abscesses?

16 A Yes.

17 Q Did you attribute the elevated
18 temperature to any other cause, other
19 than the abscesses?

20 A No.

21 Q Now, there is a note and I
22 don't know the date, there doesn't appear
23 to be a date --

24 MS. : It's on the
25 back of a page.

0153

1

2 Q On the back of ,
3 there appears a note and it starts with
4 "O"?

5 A Yes.

6 Q Are you able to identify who
7 wrote that note?

8 A I'm not 100 percent sure. I
9 think I know who it may be.

10 MS. : Don't guess.

11 Q Is that a physician's note?

12 A Yes.

13 Q Do you know what specialty,
14 whether this person is an attending, a
15 resident, GYN or something else?

16 A May I consult with my lawyer?

17 MS. : You can't

18 guess. You either know or you don't
19 know, that's the answer.

20 A If it's who I think it is on
21 the basis of handwriting, then I know who
22 it is. Otherwise, I don't know.

23 Q Is there a date associated with
24 this note, other than it's on the back of
25 the page of the ?

0154

1

2 A That's it.

3 Q Did you have any conversation
4 with the individual who wrote this note?

5 A I don't know.

6 Q What are blood cultures?

7 A Blood cultures are a test. A
8 blood culture is a test where blood is
9 taken from the vein and examined in the

10 lab for the presence of bacteria in the
11 blood.

12 Q And blood cultures were done in
13 this case; correct?

14 A Yes.

15 Q Were they normal, abnormal or
16 something else? I'm going to withdraw
17 the question and come back to it.

18 On Doctor, did
19 you suspect that the patient had a bowel
20 perforation?

21 A I knew that it was a
22 possibility, but my working diagnosis at
23 this point -- I suspected that she might.
24 I thought she might or she might not and
25 was treating her presumptively for an

0155

1
2 abscess.

3 Q Am I correct that the
4 administration of antibiotics to treat
5 the abscess would not definitively treat
6 any bowel perforation?

7 A That's correct.

8 Q In fact, the only way to
9 definitively treat a bowel perforation is
10 to surgically correct it?

11 A That is correct.

12 Q And is there anything on the
13 note that you wrote, to
14 confirm your suspicion or possibility
15 that these findings that you are
16 observing might be bowel perforation?

17 MS. : I don't think
18 he has a note.

19 A I didn't have a note and I
20 suspect this may have been on a weekend.

21 Q And the individual whose note
22 you aren't clear about, may have been the
23 one covering for you?

24 A Yes.

25 Q On the , do you have

0156

1
2 anything written which would suggest or
3 confirm your belief that she might have a
4 bowel perforation?

5 A I'm going to say the same
6 thing, that there is a note on the back
7 by somebody who I think may have been
8 covering for me. And my suspicion is
9 that the and were Saturday or
10 Sunday. I suppose we could look on a
11 calendar.

12 MS. : You don't have
13 a note; right?

14 THE WITNESS: I don't have a
15 note.

16 MS. : That's the
17 answer.

18 Q And the notes for the
19 individual who wrote these notes that are
20 undated and untimed, say nothing about
21 the possibility the patient may have a
22 bowel perforation; is that correct?

23 A That's correct.

24 Q Is there a policy in the
25 department of that every note

0157

1
2 that's written, has to be dated and
3 timed?

4 MS.

: Note my objection.

5 A There is a policy that -- I
6 don't know if it's an policy or
7 hospital policy. Certainly every note
8 has to be dated and I'm certain every
9 note has to be timed.

10 Q Were you aware that the
11 patient -- withdrawn.

12 Can you turn please to Dr.
13 's progress note, the template
14 again, for ?

15 A Here it is.

16 Q And at the bottom right, Dr.
17 writes "Patient complains of
18 pain, wants to go home"; right?

19 A That's not Dr. 's note.

20 Q Whose note is it?

21 A The same person who wrote the
22 note the other day, that I'm not
23 100 percent sure who wrote it.

24 Q In any event, she complains of
25 pain.

0158

1
2 Is there anything in this note
3 that indicates what part of the body she
4 had the pain in?

5 A No.

6 Q If you turn one page back,
7 there is a nurse's note, also
8 , at the bottom, the very last line
9 says "pain level four"?

10 A Uh-huh.

11 Q To your knowledge, was the pain
12 she was still experiencing related to
13 incisional pain and normal postoperative
14 pain?

15 A I don't -- as I say, I think
16 this was a day I wasn't in there, so I
17 have no personal knowledge.

18 Q Can you turn please to the
19 nurse's note, also , timed
20 at ?

21 A Yes.

22 Q And in the first line at the
23 end it says, "Patient complains of pain,
24 gave two tabs Percocet as per p.r.n.
25 order"?

0159

1

2 A Yes.

3 Q Again, are you able to
4 determine from the note where she was
5 experiencing the pain?

6 A No.

7 Q Do you have any memory as you
8 sit here now, as to where she was
9 experiencing the pain?

10 MS. : I think he

11 just said he wasn't there on the

12 .

13 Q Can you turn please to the
14 template note, postoperative progress
15 note dated ?

16 A I have it. It was out of
17 order.

18 Q A further CAT scan was ordered
19 on ; correct, if you look in
20 the middle of page, it talks about CAT
21 scan findings?

22 A Yes.

23 Q Do you have knowledge as to why
24 a repeat CAT scan was done on
25 ?

0160

1

2 A She had been on antibiotics for
3 a couple of days, to see how she was
4 doing.

5 Q And what was the consensus as
6 to whether the antibiotics were improving
7 her condition?

8 A I'm sorry?

9 Q What was the belief or
10 consensus as to whether the antibiotics
11 were improving her condition?

12 A I don't know what you mean by
13 belief or consensus.

14 Q Did the antibiotics improve her
15 condition?

16 A Clinically she seemed better,
17 but we wanted -- but the CT was done to
18 determine objectively how the abscess
19 looked.

20 Q And the finding, at least
21 according to this physician -- can you
22 determine who the individual is?

23 A It says Dr. ,

24 .

25 Q What year was Dr. ?

0161

1

2 A At that time, a .

3 Q Where does Dr.
4 currently work?

5 A Dr. is in private
6 practice in , admitting
7 patients to several hospitals, including
8 ours.

9 Q What were the CAT scan
10 results -- withdrawn.
11 It indicates pneumoperitoneum;
12 right?

13 A Yes.

14 Q Was that a normal finding or
15 abnormal finding?

16 A It's something that often,
17 perhaps usually, but not always, is
18 resolved by this time, five, six days
19 later.

20 Q And the fact that it has not
21 resolved as of post-op day six, what did
22 that suggest to you, if anything?

23 A It suggested either that this
24 was residual pneumoperitoneum from her
25 surgery or that this was from a bowel

0162

1
2 perforation.

3 Q What is done at that point, in
4 order to rule out that possibility?

5 A Apparently I was informed of
6 the results close to midnight on the
7 and at that point what I decided on was
8 CT drainage of the abscess.

9 Q And the note that you are
10 referring to, Doctor, that's a note that
11 you wrote the next day on the ;
12 correct?

13 A Yes, it is.

14 Q Now, CT drainage would simply
15 drain whatever fluid the abscess was
16 creating; correct?

17 A That's correct.

18 Q And would not address the issue
19 of the possibility the patient had a
20 bowel perforation; correct?

21 A It would in a sense because if
22 she had a perforation, you might be
23 draining fecal material and would make a
24 presumptive diagnosis.

25 Q Is there any reason you did not

0163

1
2 take the patient to the operating room on
3 , once you learned of the
4 CAT scan findings?

5 A Yes, because I learned about it
6 at 11:00 at night. And if you are going
7 to do a big operation and if somebody

8 isn't in an emergency condition, you want
9 to do it at a time when you have the best
10 possible access to facilities, you want
11 to do it during the day, on a weekday,
12 when you have your regular OR team and
13 when everybody is fresh.

14 Q And what is different about
15 doing surgery in the early morning hours,
16 as opposed to doing it the following day?

17 A By early morning hours --

18 Q Midnight, 1 o'clock, the
19 earliest you can get your patient to the
20 operating room schedule?

21 A First of all, you are dealing
22 with nurses who may not be familiar with
23 your instrumentation. Second, you are
24 dealing with -- second, everyone is
25 fatigued and isn't going to be doing

0164

1
2 their best work. And again, if you have
3 to, if there is -- if somebody is
4 critical, if somebody is unstable, you're
5 going to do it.

6 But if somebody is stable, you
7 want to do it when -- if you need
8 immediate consultation for some reason or
9 other, then people are around; you don't
10 have to call people in. If you are doing
11 it at night, there are two nurses who may
12 not be people who work with you. There
13 is one anesthesiologist. If the patient
14 is unstable and you need another
15 anesthesiologist, you have to call him in
16 from home. You're operating basically
17 with whatever residents you have there.
18 If you need some kind of consultation
19 from another surgical specialty, a
20 general surgeon, a chest surgeon,
21 whatever, you have to call them in from
22 home. If they're unstable afterwards and
23 you have to bring them to the ICU, you
24 don't have the people around.

25 I mean, you always want to

0165

1
2 do -- you always want to do surgery
3 during the day, when you have maximal
4 resources and people are at their best.

5 Q I just want to be clear,
6 Doctor, did you have a specific knowledge
7 on the evening of , after
8 learning about the CAT scan results, that
9 the nurses that were on staff at the
10 hospital that evening in the operating
11 room, were not familiar with the
12 instrumentations that you used in order
13 to perform surgery?

14 MS. : Note my objection.

15 A Generally speaking --

16 Q I don't want general. I just
17 want to know specifically in this case.

18 A Then let me finish and you can
19 tell me if you don't like my answer.

20 MS. : No.

21 A I did not know that there were
22 nurses at the hospital. They have to
23 call them in. And I had no knowledge of
24 what -- I had no knowledge of who the
25 nurses were.

0166

1

2 Q Did you have any knowledge,
3 again at that time, , in the
4 late evening, as to whether any of the
5 particular people that you would need to
6 perform surgery, were in fact fatigued?

7 A Yes.

8 Q Who?

9 A Me. It was midnight.

10 Q Other than yourself, were there
11 any other or surgeons
12 that you could have called, who may have
13 been on call and affiliated with the
14 hospital, that you could have called in
15 as well?

16 A Dr. , who also would have
17 been operating at midnight.

18 Q By the way, do you still
19 perform ?

20 A No.

21 Q When did you give up

22 ?

23 A When I left the in .
24 Occasionally I was call. Occasionally I
25 took night call in various situations

0167

1

2 that I had, but I never, except in the
3 , I never had primary care for
4 patients.

5 Q Did you have attending or
6 admitting privileges at any other
7 hospital besides in ?

8 A Yes.

9 Q Which ones?

10 A , ,

11 .

12 Q Has your license to practice
13 medicine ever been revoked?

14 A No.

15 Q Has it ever been suspended?

16 A No.

17 Q You are board certified;
18 correct?

19 A Yes.

20 Q You're board certified in
21 obstetrics and gynecology?
22 A Yes.
23 Q And you are board certified in
24 gynecologic oncology?
25 A Yes.

0168

1
2 Q As far as the boards,
3 did you ever have to take the written or
4 oral boards more than once?
5 A Yes.
6 Q Which one?
7 A The oral.
8 Q How many times did you need to
9 take that?
10 A Twice.
11 Q And as far as the
12 boards?
13 A The same.
14 Q You had to take the oral twice?
15 A Yes.
16 Q When did you attain your first
17 certification for your
18 boards?
19 A .
20 Q Have you had to have that
21 renewed after a number of years?
22 A I did not, but I did
23 voluntarily in .
24 Q Since that time, have you
25 renewed it?

0169

1
2 A No.
3 Q Is there any requirement that
4 you're aware of, to renew it?
5 A No.
6 Q Same question with regard to
7 your boards?
8 A I voluntarily renewed it in
9 and there was requirement -- and is
10 no requirement to renew that.
11 Q Do you participate in
12 continuing medical education courses?
13 A Yes, I do.
14 Q Have you personally ever
15 lectured to any national body of
16 within the
17 last ?
18 A National?
19 Q Yes.
20 A No.
21 Q Any national meetings or
22 yearly meetings?
23 A No, I have not done that. I
24 have only given grand rounds.
25 Q Am I correct you do have some

0170

1

2 publications to your name?

3 A Yes.

4 Q And that includes both peer
5 review and --

6 A Non-peer review.

7 MR. OGINSKI: Do you have a
8 copy of his CV?

9 MS. : Yes.

10 MR. OGINSKI: May I have it,
11 please?

12 MS. : You could have
13 had it hours ago.

14 Q Have you written anything on
15 the topic of diagnosis and prevention of
16 bowel injury?

17 A Not specifically. I wrote a
18 chapter on routine postoperative care,
19 that I don't know if it has anything
20 about that or not.

21 Q Was Ms. 's case ever
22 presented at grand rounds?

23 A No.

24 Q Did you ever have any
25 conversations after she was discharged

0171

1

2 from the hospital, about her care and
3 treatment?

4 A Yes.

5 Q Tell me about that.

6 A I spoke with , who
7 ultimately repaired the colostomy.

8 Q Did you learn that it was in
9 fact his partner who did the repair,
10 , , who did the
11 repair?

12 A No, I didn't.

13 Q Did you ever see a copy of the
14 colostomy reversal, the operative report?

15 A No, I did not.

16 Q Tell me what you remember about
17 your conversation with Dr. ?

18 A Dr. told me that she
19 had -- that she had a reversal of her
20 colostomy and that it went smoothly,
21 that's about all I can remember. I can't
22 remember anything else.

23 Q Did you ever have any
24 discussion with Dr. about the
25 timing and when the bowel perforation was

0172

1

2 recognized?

3 A Not that I recall.

4 Q Did you have any conversation
5 with any of the residents after

6 , about when the bowel
7 perforation was recognized?
8 A Not that I recall.
9 Q Do you have an opinion with a
10 reasonable degree of medical probability,
11 as to whether this bowel perforation
12 should have been recognized on
13 ?
14 A I do.
15 Q What is your opinion?
16 A I don't think that it should
17 have been recognized on the .
18 Q Why?
19 A Because I think that I took
20 appropriate steps to look for a bowel
21 perforation and I didn't find one.
22 Q And can you account for the
23 reasons why you did not find one at that
24 point?
25 A No, I cannot.

0173

1
2 Q Now, on -- if in fact
3 you had made the decision to take the
4 patient to the operating room in the
5 early morning hours of , if
6 I understand you correctly, you're saying
7 that this patient still would have
8 required a colostomy; correct?
9 A Yes.
10 Q Was Ms. septic on
11 ?
12 A I don't think so and if she
13 was, it was mild.
14 Q Was she septic on the ?
15 A Same thing.
16 Q She still had an elevated
17 temperature; correct?
18 A She again had an elevated
19 temperature.
20 Q And in your opinion, were the
21 antibiotics she was receiving working?
22 A They seemed to work
23 transiently. They seemed to work for a
24 while and at this point, they had stopped
25 working.

0174

1
2 Q Now, I would like you to turn
3 please to the note, it's
4 p.m. or p.m., I can't tell,
5 in the middle of page, can you make out
6 what time that note is written?
7 A It looks to me to be
8 Q Are you able to determine who
9 wrote that note?
10 A No.
11 Q In that note it says

12 "Preliminary radiology report, extensive
13 free air is noted throughout the abdomen
14 and pelvis, highly suggestive for hollow
15 viscus perforation. No bowel obstruction
16 is identified."

17 What is your understanding of
18 this note?

19 MS.

: Note my objection.

20 A That it's likely that she has a
21 bowel perforation.

22 Q And in light of that
23 information, is there a particular reason
24 why this patient was not taken to the
25 operating room shortly afterwards?

0175

1
2 A The reason, as I said, was that
3 I wasn't going to take her to the
4 operating room in the middle of the night
5 if she was stable.

6 Q What was the reason for not
7 taking her to the operating room the next
8 day, on the , in light of the
9 findings shown here on the p.m.
10 note that says, "highly suggestive for
11 hollow viscus perforation"?

12 A I don't recall why I elected to
13 obtain -- I don't recall why I -- well, I
14 know there were a few things. First of
15 all, she had an albumin of 1.7 and she's
16 severely malnourished.

17 Q You are referring to your note
18 on the ?

19 A Yes, and it will be in the lab
20 reports.

21 Q The time of your note is when?

22 A 10:40 a.m. I'm sorry, 14:45.

23 Q That's 2:45 p.m.?

24 A Yes.

25 Q So a good number of hours have

0176

1
2 transpired by the time you see her.

3 My question is, did anyone
4 advise you on the evening -- withdrawn.

5 Did you learn that the
6 patient's albumin was low on
7 ?

8 A I'm sure I did not.

9 Q Low albumin is indicative of
10 what?

11 A Malnutrition.

12 Q And how does a patient like
13 this develop malnutrition, while she was
14 receiving I.V. fluids during her
15 hospitalization?

16 A First, she was malnourished in
17 the hospital, but not severely. She had

18 an albumin earlier in her hospitalization
19 that was two point something. So she
20 came into the hospital somewhat
21 malnourished.

22 Second, I.V. hydration is not
23 nutrition. It doesn't give you protein.

24 Q Was the fact that she had a low
25 albumin, a reason not to take her to the
0177

1
2 operating room?

3 A It was another reason to take
4 her to the operating room at a time when
5 I could maximize the resources and the
6 team.

7 Q What do you do if a patient has
8 low albumin?

9 A What you do is you operate with
10 people with whom you can work very
11 efficiently and get her -- do an
12 operation as quickly as you can do it
13 safely, with as little blood loss as you
14 can get by and get her off the table and
15 bring her to the intensive care unit, if
16 necessary, during the day.

17 Q What does her low albumin have
18 to do with whether or not you are going
19 to take her to the operating room?

20 A Having a low albumin is one of
21 the highest risk factors for surgical
22 complications.

23 Q Like what?

24 A Like any surgical compensation
25 you can think of, infection, pneumonia,
0178

1
2 if there is a repair, failure of the
3 repair.

4 Q Then why did you take her to
5 the operating room on ?

6 A Because she clearly had a
7 perforated viscus and it had to be done
8 regardless. And at that point, I had the
9 ability to do it with another
10 attending, during the day.

11 Q You told me a little earlier
12 that you elected to do an interventional
13 radiology procedure to drain the abscess
14 on the ?

15 A Yes.

16 Q When you had a conversation
17 with someone around 11 at night on the
18 , did you learn about this
19 preliminary radiology report, indicating
20 that this was highly suggestive for
21 hollow viscus perforation?

22 A Yes, I did.

23 Q And with whom did you have a

24 conversation that evening?
25 A With the resident on call.

0179

1

2 Q Who was that?

3 A I don't know.

4 Q Did the resident on call make
5 any recommendations to you, telling you
6 that he or she believed that the patient
7 should go to the operating room that
8 night?

9 A I don't recall.

10 Q Did the resident make any
11 recommendations that the patient should
12 go to the operating room to explore the
13 abdomen the next day?

14 A I don't recall.

15 Q Did you tell the resident that
16 you wanted to take the patient to the
17 operating room the next day?

18 A I don't recall.

19 Q Are there any other tests that
20 you can perform to definitively confirm a
21 hollow viscus perforation, other than the
22 CAT scan?

23 A As I say, a lower GI study,
24 injecting -- giving somebody an enema of
25 contrast and seeing if it exits the

0180

1

2 rectum.

3 Q Did you have any reason to
4 disagree with the radiologist's
5 interpretation of this particular CAT
6 scan done on the ?

7 A This was an off site
8 interpretation.

9 Q Called Night Hawk?

10 A This was Night Hawk and I
11 frequently seen over read films.

12 Q I'm not talking about
13 frequently. I'm talking in this case?

14 A In this case, I had no reason.

15 Q The individual who interpreted
16 this particular study, was it an
17 attending, a fellow, a resident or
18 something else?

19 A It was Mr. or Dr.

20 . I don't know who interpreted
21 it. It's somebody -- I don't know who
22 they are. Excuse me, may I take a break?

23 MR. OGINSKI: Sure.

24 [At this time, a short recess
25 was taken.]

0181

1

2 Q Doctor, there is a nurse's note
3 on , 10:30, p.m., which says

4 "Radiology called stating that patient is
5 positive for left pleural effusion."

6 Was that consistent with your
7 knowledge she had a pleural effusion
8 throughout her postoperative course up
9 until then or was this a new finding?

10 A I don't recall whether I was
11 aware of it.

12 Q Can you turn to the note
13 timed 11:50 p.m. and is that by Dr.
14 ?

15 A I can't say for sure.

16 Q In any event, this note
17 indicates that right after CT scan, tried
18 to eat and vomited times one?

19 A Yes.

20 Q Is that significant at all in
21 light of her findings?

22 A I don't know.

23 Q Is vomiting an indication of
24 some bowel injury?

25 A It can be.

0182

1
2 Q Had the patient attempted to
3 eat any type of food before
4 ?

5 A She had been on a regular diet
6 and tolerating it, so this is new.

7 Q When you say "regular diet,"
8 you mean more than clears?

9 A Yes.

10 Q And under the CAT scan findings
11 it indicates "Extensive free air
12 throughout the abdomen and pelvis." And
13 under assessment it says, "questionable
14 possible bowel injury"; correct?

15 A Yes.

16 Q What is written under plan and
17 your name?

18 A "Patient discussed with Dr.
19 . Continue antibiotic treatment.
20 Close observation. Follow-up CT scan
21 official report by Hospital
22 radiologist. Tylenol for fever."

23 Q Does that refresh your
24 recollection as to whom you might have
25 spoken to?

0183

1
2 A No.

3 Q Turn please to your note. I
4 would like you to read your note,
5 starting with the date and time?

6 A Are we talking about
7 , 14:45

8 Q Yes.

9 A "Patient continues febrile. CT

10 shows large left flank abscess with free
11 air, but patient and, I meant patience,
12 abdomen flat, soft, non-tender abdomen.
13 Will attempt CT drainage. If

14 unsuccessful, open drainage and TPM."

15 Q Did you consider performing
16 surgery at that point, in order to
17 address the possible perforated viscus?

18 A Open drainage would have
19 addressed the viscus. Open drainage does
20 mean a laparotomy.

21 Q And the labs that appear above
22 your note timed at 10:40 a.m., other than
23 the albumin being abnormal, is there
24 anything else significant?

25 A Yes, the white count is on its

0184

1

2 way up; it's elevated.

3

Q And that's indicative of
4 infection, sepsis or something else?

5

A Infection. This is high, but
6 not sky high.

7

Q Can you turn please to the
8 note, , timed at

9

12:30 p.m.?

10

A Yes.

11

Q The first line, "Patient
12 complains of mild pain in the lower
13 abdomen"?

14

A Yes.

15

Q Is that the same type of pain
16 she had exhibited on days prior or is
17 this different?

18

A I don't think she has any
19 different pain, but I can't say for sure.

20

Q Can you turn please to a note
21 that you have timed at 13:15?

22

Just so we're clear, Doctor,
23 this note preceded your 14:45 note;
24 correct?

25

A That's -- so it would seem

0185

1

2 either that or the 13:15 is an error.

3

Q Read your note, please?

4

A "Erythema of left flank noted.
5 No tenderness, no edema. Impression,
6 rubor, meaning redness, secondary to
7 abscess. Doubt necrotizing fasciitis."

8

Q Why would an abscess cause this
9 condition, the erythema?

10

A Because if it's underneath --
11 but it can cause redness. There was no
12 edema.

13

MS. : Erythema, he

14

said.

15

A Meaning redness. Any abscess

16 anywhere can cause redness in the skin
17 most adjacent to the abscess.

18 Q Did you speak to the
19 interventional radiologist who performed
20 the procedure, the drainage?

21 A After -- I'm not sure. I know
22 I spoke to him at some point.

23 Q Were you present for the
24 procedure?

25 A No, I wasn't. I think Dr.

0186

1

2 , she was.

3 Q Did you learn what the findings
4 were?

5 A Yes.

6 Q What were the findings?

7 A The findings was that there was
8 feculent material draining out of the
9 abscess.

10 Q What is feculent?

11 A Resembling feces.

12 Q What is that indicative of?

13 A It's highly suspicious for a
14 perforation.

15 Q Once you learned that
16 information, what was your plan of
17 treatment?

18 A My plan of treatment was to
19 perform a laparotomy.

20 Q When did you learn that
21 information?

22 A Late in the afternoon of the
23 .

24 Q **And can you tell me why the**
25 **patient was not taken to the operating**

0187

1

2 **room on the ?**

3 **A Because I would have been doing**
4 **it after hours and with the same**
5 **consideration, that she was stable and**
6 **that I decided to do it at the next**
7 **opportunity that I could, during regular**
8 **operating room hours.**

9 Q Did you have a conversation
10 with the patient about your findings?

11 A Yes, I did.

12 Q Was the patient conscious and
13 awake during the conversation?

14 A Yes.

15 Q Were any family members
16 present?

17 A I can't say for sure. I spoke
18 at various times during this with her and
19 her father and with her and her father
20 together.

21 Q Before doing this surgery of

22 , I assume you had a
23 discussion with the patient about the
24 risks of this particular procedure and
25 I'm again referring to the

0188

1

2 surgery now?

3

A Okay, yes.

4

Q And was anyone with her at the
5 time you had a conversation about the
6 risks of the surgery?

7

A I don't remember.

8

Q Was there ever any discussion
9 with her referring physician, as to
10 whether he would also be present with you
11 at the time that you performed the
12 surgery?

13

A Yes, there was.

14

Q Tell me about that.

15

A He said that he wanted to --
16 this was Dr. . He said he wanted
17 to and then later on we had a
18 conversation where he said, just do it
19 yourself.

20

Q Now, after your discussion with
21 the patient about the risks of the
22 procedure, did she agree to go forward
23 with it?

24

A Yes.

25

Q Did she sign any consent form

0189

1

2 in your office to have the procedure
3 done?

4

A I don't believe so.

5

Q The consent form was signed at
6 the hospital; correct?

7

A Yes.

8

Q Now, typically the resident
9 will take care of having the patient sign
10 the consent?

11

MS.

: Note my objection.

12

A What generally -- I generally
13 confirm with the patient what they're
14 having, then the resident obtains the
15 consent.

16

Q And in the consent for the
17 surgery, was there anything
18 specifically written in there to suggest
19 that bowel injury or injury to any
20 adjacent organs to where you were
21 operating, was a possibility?

22

A No.

23

Q Now, on at
24 5:30 p.m., there is a note by Dr.
25 . It says, "The patient need bowel

0190

1

2 prep with an exploratory laparotomy,
3 possible bowel resection, possible
4 colostomy and OR called"?
5 A Yes.
6 Q That's in anticipation of
7 having the patient go for surgery;
8 correct?
9 A Yes.
10 Q Am I correct you can also take
11 the patient to the operating room without
12 a bowel prep?
13 A Yes.
14 Q Is it fair to say it's
15 preferable to have the patient bowel
16 prepped before this type of surgery?
17 A It's preferable.
18 Q Did that factor play a part in
19 when the surgery would be performed?
20 A I didn't do it the next day
21 because of the -- exclusively because of
22 the bowel prep. But I mean, everything
23 you do plays a factor in everything else.
24 But as I said, it's highly
25 desirable to do things during hours and
0191

1
2 it's also highly desirable to do a bowel
3 prep.
4 Q What do you consider to be
5 normal operating hours?
6 A Starting an operation anywhere
7 between 7:30 or 8, to early afternoon.
8 Q And can you give me a time
9 frame or range of time frame that early
10 afternoon would encompass?
11 A No, I couldn't. It -- you
12 know, I would talk to the operating room
13 and see basically -- I don't know,
14 1 o'clock, 2 o'clock probably. I can't
15 nail it down. I can't say 2:47 or
16 something like that.
17 Q Was there any issue at all
18 about the operating room being --
19 withdrawn.
20 How many operating rooms are
21 there at Hospital?
22 A There are about nine.
23 Q Was there any issue about not
24 having availability of a room?
25 A If the case is urgent enough,
0192

1
2 then you can generally get the room.
3 Q And did you determine, did you
4 feel that this particular case on the
5 , was urgent enough to require
6 surgery?
7 A On the , yes. It wasn't an

8 emergency, but it was something that I
9 wanted to get done the next day.

10 Q Would it have been preferable
11 to perform the surgery on the , if
12 the operating room and their staff and
13 everybody that you needed was present and
14 available?

15 A I don't think the results would
16 have differed, except she would have had
17 the colostomy a day earlier.

18 Q And after the
19 procedure, you're aware the patient
20 remained in the hospital an additional
21 or days to recuperate?

22 A Yes.

23 Q And she saw you in follow-up in
24 your office?

25 A Yes.

0193

1
2 Q And what was your impression as
3 to how she was recuperating after the
4 eight days she was in the hospital and
5 after discharge?

6 A Her recuperation basically was
7 slow and steady and pretty much what we
8 would have expected. She was somebody
9 who had had two operations, was both
10 obese and malnourished and she really did
11 as well as I would have expected. And
12 then subsequently, she appeared to do
13 well as well.

14 The colostomy was a difficult
15 colostomy because her abdominal wall was
16 so thick, that the bowel just didn't
17 reach the skin without putting it on
18 tension and as -- nevertheless, we didn't
19 have any necrosis of colostomy or any
20 abscess. The bag didn't fit especially
21 well, but that was to be expected. And
22 my impression was that her recovery had
23 been proceeded pretty well.

24 Q Do you learn she had a
25 difficulty with the colostomy bag would

0194

1
2 leak on a regular basis?

3 A As I just said, I knew that and
4 this was something that I anticipated as
5 I -- again, as I just said because it was
6 a very difficult colostomy. Usually to
7 do a colostomy -- always when you do a
8 colostomy, you want to bring a
9 significant amount of bowel out, so that
10 it protrudes beyond the skin. And this
11 just was not possible with her and that
12 creates a stoma that pulls down on the
13 skin and causes leakage.

14 Q Did you learn from anyone,
15 other than your attorney, that she
16 recently underwent hernia repair, an
17 incisional hernia repair?

18 A I am learning that for the
19 first time from you, as I speak to you
20 now.

21 Q Do you have any independent
22 memory of conversations that you had with
23 the patient's mother and father before
24 her perforation was diagnosed on the
25 ?

0195

1

2 A I have some recollection of
3 conversations with her father; none with
4 her mother.

5 Q Tell me about the ones you
6 recall with her father?

7 A I think that I had extensive
8 discussions with him all along, telling
9 him what I thought might be the case.
10 Initially that there appeared to be an
11 abscess and that perforation, I thought
12 was an outside chance. And later on
13 telling him what I thought, what I was
14 doing and why.

15 Q Did you ever have any further
16 discussions with the radiologist who
17 performed the CAT scan of the , Dr.
18 , about the finding of the

19 A I don't recall.

20 Q Did the doctor who interpreted
21 the CAT scan on the , have access to
22 the CAT scan that was done on the ?

23 A You'll do best to ask them.

24 Q I'm only asking if you're
25 aware?

0196

1

2 A All I would know is --
3 MS. : It's yes or
4 no.

5 A The answer is no.

6 Q The CV that your attorney
7 provided, is that an updated CV?

8 A This is a CV that is
9 approximately seven or eight months old.

10 Q And the last article that you
11 have written, whether peer review or
12 non-peer review, appears to be ; is
13 that correct?

14 A I haven't written anything in
15 the last few years. That's probably
16 right, as far as medical literature.

17 Q Were you ever asked to discuss
18 Ms. 's care at any mortality or
19 morbidity conference?

20 A No.
21 Q Have you ever testified before?
22 A Yes.
23 Q As an expert, how many times
24 have you testified?
25 A In court, around or

0197

1
2 times.

3 Q Is that for plaintiff or
4 defendant?

5 A In court, I've testified for
6 defendants.

7 Q And how many times have you
8 given deposition testimony, where you are
9 the one being sued as a defendant?

10 A Perhaps or times.

11 Q And how many times have you
12 testified in court as a defendant?

13 A times; of them
14 malpractice suits.

15 Q Are you licensed to practice
16 medicine in any other state?

17 A Yes.

18 Q Which ones?

19 A .

20 Q Do you have an active practice
21 in ?

22 A No.

23 Q Did you ever discuss with the
24 patient's father, as to why you were not
25 taking Ms. to the operating room

0198

1
2 earlier than ?

3 A I discussed with Ms. 's
4 father what I was doing and why and to
5 the extent that I wasn't taking her to
6 the operating room, I think that was
7 implicit, but --

8 Q Is it your opinion, Doctor,
9 that it was not a departure -- withdrawn.

10 Was it a departure from good
11 practice not to take the patient to the
12 operating room on , after
13 learning about the CAT scan findings?

14 A It was not a departure.

15 Q Was it a departure from good
16 practice not to take the patient to the
17 operating room on the ,
18 in light of the CAT scan findings of the
19 18th?

20 A It was not a departure.

21 Q And again, is the reason why
22 you feel it was not a departure, because
23 you felt the patient was still clinically
24 stable at that time?

25 A I would I have discussed

0199

1
2 extensively why I did what I did and I
3 would say that it would be an
4 oversimplification to sum it up in ten
5 words.

6 MR. OGINSKI: Thank you.

7 EXAMINATION BY

8 MS. :

9 Q Doctor, my name is
10 I'm from . I
11 represent Dr. and
12 Hospital. I just have a couple of quick
13 questions.

14 You were asked today earlier
15 about two notes which appear, the first
16 one appears on the back of a
17 postoperative progress note and the
18 other one appears on the back of a
19 progress note, both of
20 which appear to be undated.

21 Can I just ask you, on the
22 bottom of the progress
23 note, does it appear that there is
24 writing in blue pen with an arrow?

25 A Yes.

0200

1
2 Q The back of that progress note
3 has the same writing in blue pen; is that
4 correct?

5 A Yes.

6 Q From your understanding of the
7 way the notes are written in
8 Hospital Medical Center, would the arrow
9 indicate the note was written on the same
10 day?

11 A Yes, it was one note and what
12 was on the back, was a continuation of
13 what was on the front.

14 Q Would the same apply for
15 progress note, meaning the
16 arrow on the bottom of the page and the
17 blue pen would indicate what's on the
18 back is a continuation?

19 (Continued on the next page.)
20
21
22
23
24
25

0201

1

2 A Yes.

3

MS.

: Thank you.

4

(Time noted: 3:25 p.m.)
5

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

Subscribed and sworn to before me
this day of ,

0202

1
2
3
4
5
6
7
8
9

EXAMINATION BY	PAGE
Mr. Oginski	4
Ms.	

199

E X H I B I T S

PLAINTIFF'S

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXHIBIT	DESCRIPTION	PAGE
1	Office record	4
2	Hospital record	4

0203

1
2
3
4
5
6
7
8
9
10

C E R T I F I C A T I O N

I, , a Shorthand
Reporter and a Notary Public, do hereby
certify that the foregoing witness, was
duly sworn on the date indicated, and
that the foregoing is a true and accurate
transcription of my stenographic notes.

11 I further certify that I am not
12 employed by nor related to any party to
13 this action.

14
15
16
17
18
19
20
21
22
23
24
25

0204

1

2

ERRATA SHEET
VERITEXT/NEW YORK REPORTING, LLC

3

CASE NAME:

4

DATE OF DEPOSITION:

WITNESS' NAME:

5

PAGE/LINE (S) /	CHANGE	REASON
-----------------	--------	--------

6

/ /	/	/
-----	---	---

7

/ /	/	/
-----	---	---

8

/ /	/	/
-----	---	---

9

/ /	/	/
-----	---	---

10

/ /	/	/
-----	---	---

11

/ /	/	/
-----	---	---

12

/ /	/	/
-----	---	---

13

/ /	/	/
-----	---	---

14

/ /	/	/
-----	---	---

15

/ /	/	/
-----	---	---

16

/ /	/	/
-----	---	---

17

/ /	/	/
-----	---	---

18

/ /	/	/
-----	---	---

19

/ /	/	/
-----	---	---

20

21

22

SUBSCRIBED AND SWORN TO
BEFORE ME THIS _____ DAY

23

24 OF _____, .

NOTARY PUBLIC
25