

**\*\*DE-IDENTIFIED DEPOSITION OF A GYNECOLOGY RESIDENT IN A FAILURE TO TIMELY  
DIAGNOSE AND TREAT A BOWEL PERFORATION DURING GYN SURGERY CASE\*\***

0001

1

2

3 SUPREME COURT OF THE STATE OF NEW YORK  
COUNTY OF

4 -----x

5

,  
Plaintiff,

6

-against- Index No.

7

8

9

10

11

Defendants.

12

13

10:35 a.m.

14

15

16 EXAMINATION BEFORE TRIAL of Defendant

17 , M.D., taken by Plaintiff,

18 pursuant to Order, at the offices of

19 , LLP, ,

20 , before

21 , a Registered Professional Reporter

22 and Notary Public within and for the State of

23 New York.

24

25

0002

1

2 A P P E A R A N C E S :

3

4

5 THE LAW OFFICES OF

GERALD M. OGINSKI, LLC

Attorneys for Plaintiff

6 25 Great Neck Road

Suite 4

7 Great Neck, New York 11021

8 By: GERALD M. OGINSKI, ESQ.,

of Counsel

9

10

11

12

13

14  
15  
16  
17  
18  
19

Attorneys for Defendants

20  
21  
22

By:

23  
24  
25

0003

1

2 IT IS HEREBY STIPULATED, by and between  
3 counsel for the respective parties hereto,  
4 that:

5  
6  
7  
8  
9  
10  
11  
12  
13  
14

All rights provided by the C.P.L.R., and  
Part 221 of the Uniform Rules for the Conduct  
of Depositions, including the right to object  
to any question, except as to form, or to move  
to strike any testimony at this examination,  
is reserved; and in addition, the failure to  
object to any question, or to move to strike  
any testimony at this examination shall not be  
a bar or waiver to make such motion at, and is  
reserved to, the trial of this action.

15  
16  
17  
18  
19  
20  
21  
22  
23

This deposition may be sworn to by the  
witness being examined before any Notary  
Public other than the Notary Public before  
whom this examination was begun, but the  
failure to do so, or to return the original of  
this deposition to counsel, shall not be  
deemed a waiver of the rights provided by Rule  
3116 of the C.P.L.R., and shall be controlled  
thereby.

24  
25

The filing of the original of this  
deposition is waived.

0004

1

2

3

4

5

6

7

8

9

10

11

12

13

14

, M.D.,  
having been first duly sworn by the  
Notary Public ( ), was  
examined and testified as follows:

EXAMINATION

BY MR. OGINSKI:

Q. Doctor, can I get your name and  
address, please?

A.

MR. OGINSKI: Off the record.

(Whereupon, a discussion was held  
off the record.)

15 MR. OGINSKI: On the record.  
16 Defense counsel has agreed to accept  
17 service for the doctor, if and when she's  
18 needed at trial.

19 Thank you.

20 EXAMINATION

21 BY MR. OGINSKI:

22 Q. What are the clinical signs of a  
23 bowel perforation?

24 A. Well, there are many signs. Some of  
25 them could be tachycardia, which is increased

0005

1 , M.D.

2 pulse rate, fever, abdominal tenderness,  
3 decreased bowel sounds, abdominal guarding,  
4 rigidity and the whole picture, a lot of other  
5 signs.

6 This is what I can think of right  
7 now.

8 Q. In the course of your medical  
9 career, have you had occasion to diagnose a  
10 patient with postoperative bowel perforation?

11 MS. : As an attending or as a  
12 resident?

13 Q. During your residency, as an  
14 attending, at any time.

15 MS. : Have you ever diagnosed  
16 bowel perforation?

17 THE WITNESS: Not by myself but as a  
18 whole team throughout maybe medical  
19 school.

20 Q. In the course of your training and  
21 residency, did you learn what the clinical  
22 signs were of bowel perforation?

23 MS. : You mean the ones she  
24 just mentioned?

25 MR. OGINSKI: Yes.

0006

1 , M.D.

2 Q. In other words, did you learn those  
3 while you were in your residency?

4 A. Yes, and from academic teaching,  
5 from textbooks and medical lectures.

6 Q. Now, in the event a patient does  
7 have a bowel perforation, what symptoms would  
8 you expect the patient to exhibit?

9 MS. : Other than what she's  
10 just mentioned?

11 MR. OGINSKI: Well, those are  
12 clinical signs.

13 I will rephrase the question.

14 Q. What is atelectasis?

15 A. Atelectasis is a collapse of the  
16 basal lung.

17 Q. And have you had occasion to  
18 diagnose atelectasis in your career?

19 A. I mean, it's not a clinical  
20 diagnosis usually.





7 A. I just started, yes.  
8 Q. Where?  
9 A. Hospital.  
10 Q. When did you finish your OB-GYN  
11 training?  
12 A. of .  
13 Q. And where did you do your training,  
14 your residency training?  
15 A. Hospital.  
16 Q. What are the most frequent  
17 complications that are associated with an  
18 intraoperative colon injury?  
19 A. Would you repeat that, please?  
20 Q. Sure.  
21 I'm talking about the injury that  
22 occurs during surgery to the colon.  
23 Can you tell me what are the most  
24 common complications that occur in the event  
25 there is a, I should say, unrecognized colon  
0012  
1 , M.D.  
2 injury?  
3 MS. : I am going to object to  
4 that question.  
5 MR. OGINSKI: I will rephrase it.  
6 Q. Can you tell me what are the most  
7 common complications of an intraoperative  
8 colon injury?  
9 A. Well, most common complications  
10 could be infection in the peritoneum,  
11 peritonitis, a fistula formation and  
12 eventually colostomy formation for repair.  
13 Q. Would abscesses be included within  
14 that group of complications you just  
15 mentioned?  
16 A. Could be.  
17 Q. Would ileus be one of the  
18 complications that could arise from a colon  
19 injury?  
20 A. Well, we don't call ileus really a  
21 complication. It could be a finding.  
22 Q. Tell me how a bowel injury during  
23 surgery could cause an infection.  
24 A. Well, if there is spillage of fecal  
25 matter into the peritoneal cavity that could  
0013  
1 , M.D.  
2 lead to infection and peritonitis.  
3 Q. Can bowel injury cause any type of  
4 fluid electrolyte imbalance?  
5 A. Not initially. If it leads to  
6 sepsis, septic shock then...  
7 Q. How does bowel injury cause sepsis?  
8 A. Again, if there is spillage of fecal  
9 contents into the abdominal cavity it could  
10 lead to infection and then it could lead to  
11 blood -- infection of the bloodstream, and  
12 that can lead to sepsis.

13 Q. If a patient has a bowel prep prior  
14 to any abdominal surgery and there is some  
15 type of injury to the bowel intraoperatively,  
16 is it still possible to have fecal contents  
17 remaining within the bowel?

18 A. Yes.

19 Q. How does bowel injury cause an  
20 intraabdominal abscess?

21 A. The same mechanism. Spillage of  
22 fecal contents can get collected somewhere in  
23 the abdominal cavity and cause abscess.

24 Q. Would the same be true for  
25 peritonitis?

0014

1 , M.D.

2 A. (No response.)

3 Q. In other words, explain to me how  
4 bowel injury causes peritonitis.

5 A. I think I just told you. It's the  
6 same thing. Irritation in the peritoneum from  
7 spillage.

8 Q. After the patient is closed and  
9 brought back to recovery and they are back on  
10 the floor, if you suspect that a patient has  
11 an intraabdominal abscess, what diagnostic  
12 tool in your opinion is the best tool to use  
13 to evaluate that condition?

14 MS. : I am going to object to  
15 that question.

16 I think it's too broad.

17 If you want to specifically ask  
18 about that case.

19 MR. OGINSKI: No.

20 MS. : Because you didn't say  
21 what kind of surgery you are talking  
22 about she had. You just said after  
23 surgery.

24 MR. OGINSKI: I will rephrase it.

25 Q. If the patient has some form of

0015

1 , M.D.

2 abdominal surgery and postoperatively you  
3 suspect there is some type of intraabdominal  
4 abscess, is there a particular diagnostic  
5 tool that you have available to you back in,  
6 and again --

7 MR. OGINSKI: I will rephrase it.

8 Q. All of my questions are relating to  
9 the time period of unless I  
10 indicate otherwise.

11 In of , what was the  
12 best diagnostic tool to evaluate a possible  
13 intraabdominal abscess?

14 MS. : I object, because she  
15 was a resident at the time in and  
16 she wasn't diagnosing patients.

17 MR. OGINSKI: She is a named  
18 defendant in the case.

19 MS. : But she was a resident  
20 and she wasn't diagnosing anything in  
21 without the oversight of the  
22 attending.

23 MR. OGINSKI: Then she can tell me  
24 that, but I am still entitled to probe  
25 her medical knowledge.

0016

1 , M.D.

2 MS. : She is not an expert  
3 and it's starting to border on that.

4 MR. OGINSKI: She's a defendant.  
5 She's a physician. I am entitled to  
6 probe her medical knowledge and ask her  
7 what she knows.

8 MS. : She was a resident in  
9 . I just want to make that clear.  
10 So you can answer the question.

11 MR. OGINSKI: There is no issue  
12 about that.

13 MS. : There is an issue.  
14 There is an issue.

15 Go ahead.

16 Q. What was the best diagnostic tool  
17 that was available to you to evaluate a of  
18 possible intraabdominal abscess in  
19 ?

20 MS. : Over my objection, you  
21 can answer.

22 A. Well, it's not a single tool. It's  
23 a combination of a lot of things.

24 Q. Let me stop you.

25 Was there, for example, was a CAT

0017

1 , M.D.

2 scan the best thing to look at an abscess  
3 intraabdominally?

4 Was it an MRI, a sonogram?

5 I just want to know if there is one  
6 definitive diagnostic tool that would best  
7 assist you in evaluating and diagnosing that  
8 condition.

9 MS. : One film?

10 MR. OGINSKI: No.

11 Q. One particular tool that you would  
12 consider the gold standard for evaluating  
13 intraabdominal abscesses.

14 A. I am not an expert in intraabdominal  
15 abscesses, and I don't know if there is a  
16 single standard.

17 Q. If you suspected the patient had  
18 peritonitis following abdominal surgery, what  
19 would be the best diagnostic tool for you to  
20 evaluate peritonitis?

21 MS. : Over my objection you  
22 can answer.

23 A. Well, it's again a combination of  
24 factors. It's not a single diagnostic tool.



25 Q. I understand that, Doctor, and I  
0018  
1 , M.D.  
2 understand you need to correlate things  
3 clinically together with diagnostic findings,  
4 but I'm just asking from a diagnostic  
5 perspective.

6 For example, is a CAT scan  
7 preferable to an MRI to evaluate a patient's  
8 abdomen for determining whether or not the  
9 patient has peritonitis?

10 MS. : Objection. I think  
11 it's broad but you can answer.

12 A. I can't answer that. That would be  
13 for the radiologist to determine, or an  
14 expert.

15 We are OB-GYN.

16 Q. If you want to evaluate a patient  
17 who possibly has an intraabdominal abscess, is  
18 a CAT scan a better diagnostic tool than an  
19 MRI?

20 MS. : Over my objection you  
21 can answer.

22 A. I don't know.

23 Q. Is a sonogram ever useful for you as  
24 a physician to evaluate an intraabdominal  
25 abscess?

0019  
1 , M.D.

2 MS. : Over my objection, you  
3 can answer.

4 A. No.

5 We're not experts in sonogram to  
6 diagnose abscesses.

7 Q. But if you suspect that a patient  
8 has an abscess, what diagnostic test would you  
9 recommend or order for the patient?

10 MS. : Over my objection, you  
11 can answer.

12 As long as it's clear on what years  
13 you are talking about.

14 A. At that point I was a resident still  
15 in training and I was doing what I was told to  
16 do.

17 Q. What were you taught was the best  
18 diagnostic tool to use, to order, if you  
19 suspected that a patient had an abscess, an  
20 intraabdominal abscess?

21 A. No. Again, there is no single  
22 diagnostic tool. It's a whole combination of  
23 factors, a clinical picture, along with the  
24 radiologist.

25 Q. Are there instances in gynecology

0020  
1 , M.D.

2 where you will order an MRI?

3 A. Yes.

4 MS. : For what?

5 MR. OGINSKI: Anything.  
6 Q. As well as ordering a CAT scan?  
7 MS. : For what?  
8 MR. OGINSKI: In general.  
9 Q. You have occasion to order those  
10 tests, correct?  
11 A. Yes.  
12 MS. : Ever?  
13 Q. It's important as a gynecologist to  
14 know the differences between what a CAT scan  
15 can do as opposed to what an MRI can do,  
16 correct?  
17 A. Yes, but for a gynecologist, that is  
18 not for abscess. We are not taught that.  
19 Q. Okay.  
20 If you suspect that a patient has a  
21 pleural effusion, what is the best diagnostic  
22 tool to evaluate that condition?  
23 MS. : Over objection.  
24 A. I wouldn't know.  
25 Q. Are you currently an employee of  
0021  
1 , M.D.  
2 Hospital?  
3 A. Yes.  
4 Q. Do you work for a faculty practice  
5 group or some other entity within  
6 Hospital?  
7 A. The faculty practice group.  
8 Q. Does that have a name or  
9 professional corporation name?  
10 A.  
11 Q. Are you a shareholder of that group?  
12 A. No.  
13 Q. In of , were you a  
14 second year resident of Hospital?  
15 A. Yes.  
16 Q. And am I correct that your OB-GYN  
17 training was years?  
18 A. Yes.  
19 Q. And before starting your residency  
20 at Hospital, where did you go to  
21 medical school?  
22 A. In .  
23 Q. Where?  
24 A. Medical College,  
25 .  
0022  
1 , M.D.  
2 Q. When did you complete your studies  
3 there?  
4 A. In .  
5 Q. And what did you do after completing  
6 your medical school in ?  
7 A. I did one year of emergency medicine  
8 in the same college, and then I did two years  
9 OB-GYN diploma in Medical  
10 College.

11 Q. Also in ?  
12 A. Yes, , .  
13 Q. And after that, what did you do?  
14 A. After that I worked in another  
15 hospital, Hospital, for another  
16 years, in , .  
17 Q. In what field of medicine?  
18 A. Same, OB-GYN.  
19 Q. And then what did you do?  
20 A. And then I came here. I took my  
21 .  
22 Q. Are you a U.S. resident?  
23 I am sorry.  
24 Are you a citizen?  
25 A. No.

0023

1 , M.D.  
2 Q. Are you licensed to practice  
3 medicine in the State of New York?  
4 A. Yes.  
5 Q. When were you licensed?  
6 A. .  
7 Q. You are not board certified yet,  
8 correct?  
9 A. No.  
10 Q. Are you board eligible?  
11 A. Yes.  
12 Q. You have not taken your written  
13 boards yet?  
14 A. I took the written on . I  
15 passed those, but orals are next year, oral  
16 exams are next year.  
17 Q. Today or sometime before today, did  
18 you have a chance to review 's  
19 hospital record?  
20 A. Yes.  
21 Q. Other than the record, did you  
22 review any other documents relating to this  
23 particular patient?  
24 A. No.  
25 Q. Did you review any deposition

0024

1 , M.D.  
2 testimony that has been given in this case?  
3 A. No.  
4 Q. Have you ever testified before?  
5 MS. : Over my objection, you  
6 can answer.  
7 A. Testify meaning --  
8 Q. Where an attorney is asking you  
9 questions in a setting like this.  
10 A. Yes.  
11 Q. How many times have you done it in a  
12 similar setting?  
13 MS. : Over my objection, you  
14 can answer.  
15 A. Once.  
16 Q. Have you ever testified at trial?

17 A. No.  
18 Q. And just for completeness, Doctor,  
19 that other occasion when you testified, was  
20 that as a fact witness or were you asked  
21 questions about what had happened, or were you  
22 one of the parties who were sued in a  
23 lawsuit?

24 MS. : Over my objection you  
25 can answer.

0025

1 , M.D.

2 Do you know if you were a party to  
3 that other suit or if you were just a  
4 nonparty witness.

5 Do you know?

6 THE WITNESS: I don't understand the  
7 meaning of party.

8 MS. : She is not a legal  
9 expert. She may not know.

10 Q. Were you sued in the case in which  
11 you gave testimony?

12 MS. : Only if you know.

13 Only if you know.

14 MR. OGINSKI: The questions are  
15 always if you know.

16 MS. : I just don't want her  
17 to get confused with the legal...

18 A. I was a resident.

19 MS. : He wants to know if you  
20 were actually sued, served with a summons  
21 and complaint, named in the caption in  
22 that other case.

23 If you don't know, say you don't  
24 know.

25 A. I was dropped from the suit. So I

0026

1 , M.D.

2 don't know.

3 Q. Did you review any literature in  
4 preparation for today?

5 A. No.

6 Q. Did you review any textbooks?

7 A. No.

8 Q. Have you published anything in the  
9 field of OB-GYN?

10 A. No.

11 Q. Have you given any lectures to any  
12 national bodies of OB-GYN, ACOG --

13 A. No.

14 Q. -- or any similar organization?

15 A. No.

16 Q. I would like you to turn please to  
17 your note.

18 A. Um-hum.

19 Q. What rotation were you on at that  
20 time?

21 A. I'm presuming GYN rotation.

22 Q. And describe to me what your duties



3 conversation with any of 's  
4 family while she remained at the hospital?

5 A. No.

6 Q. In the course of your review of this  
7 patient's chart, did you see notes that you  
8 had written and authored?

9 A. Yes.

10 Q. And was it customary, Doctor, that  
11 after you rounded on a patient in the morning  
12 or at any time during the day that you would  
13 then enter a note in the chart reflecting  
14 why you were there and what your findings  
15 were?

16 A. Most of the time, unless we are very  
17 busy or running somewhere.

18 Q. Now, I noticed there are notes in  
19 two different formats.

20 One is in a form that you use, it  
21 says postoperative progress note; correct?

22 A. Yes.

23 Q. And another is a progress note,  
24 which is lined sheets.

25 A. Um-hum.

0030

1 , M.D.

2 Q. Tell me the differences as to why  
3 you would make an entry on the form  
4 postoperative progress note, which is a  
5 preprinted form, as opposed to a blank  
6 progress sheet.

7 A. The morning round, the first note in  
8 the morning would usually be on the preprinted  
9 format, and after that, subsequently, if we  
10 have to add anything in the chart would be in  
11 these progress notes.

12 Q. If you had a discussion with your  
13 chief residents or your senior residents above  
14 you about a patient's course of treatment,  
15 would you typically make an entry in the chart  
16 about your conversation?

17 A. No.

18 Q. If you had a conversation with the  
19 attending physician about an issue that needed  
20 to be resolved concerning the patient's care  
21 and treatment, what was your practice at that  
22 time about recording that conversation in  
23 summary in the chart?

24 MS. : Objection to form.

25 You can answer.

0031

1 , M.D.

2 A. Sometimes we would write. Sometimes  
3 not, because sometimes we were elsewhere and  
4 you're talking on the phone or talking  
5 somewhere else on the floor, not always you  
6 can come in and make an entry.

7 Q. When you would write your notes,  
8 would they be done at the time that you are

9 doing your examination or would they be at a  
10 later time?

11 MR. OGINSKI: I am sorry.

12 Let me rephrase it.

13 Q. If you come and see the patient,  
14 let's say at seven o'clock in the morning and  
15 you examine them and it takes a few minutes  
16 and now you leave, do you sit down and write  
17 your note at that time or do you do it at a  
18 later time, if you have some free time during  
19 the day?

20 A. Most of the time we usually write it  
21 right after the exam, unless we have been  
22 called for an emergency. Then we come back  
23 and finish up the note.

24 Q. What I would like you to do, Doctor,  
25 I am going to ask you to read your note in its

0032

1 , M.D.

2 entirety and if there are abbreviations, just  
3 tell me what they represent, and I will ask  
4 you some questions about it later.

5 MS. : Read it slow for the  
6 court reporter.

7 Q. Beginning with the date and time,  
8 please.

9 A. . . . 12 p.m., note.

10 Called to the floor for T 38.9.

11 MS. : He wants you to tell  
12 him what the abbreviations are.

13 Q. Temperature.

14 MS. : Temperature.

15 A. On examination, pulse is 112  
16 permanent.

17 Respiratory rate 14 per minute.

18 Blood pressure 100 by 70.

19 Q. I am sorry. Is that 105 or 100?

20 A. No, 100.

21 Lungs clear to auscultation.

22 Abdomen soft.

23 Bowel sounds sluggish.

24 Dressing dry.

25 No calf tenderness.

0033

1 , M.D.

2 Assessment: Status post exploratory  
3 laparotomy.

4 BSO, which is bilateral

5 salpingo-oophorectomy.

6 Lysis of adhesions with questionable  
7 basal atelectasis.

8 Plan: Continue --

9 Q. You missed the line above that,  
10 Doctor.

11 A. Oh. Watch for bowel perforation.

12 Plan: Continue vitals monitoring,  
13 temperature monitoring. If continued spiking  
14 consider x-ray FUA, which is flat and upright.

15 Physical therapy consult to  
16 encourage ambulation and respiratory therapy.  
17 Encourage incentive spirometer.  
18 Encourage ambulation.  
19 Q. You don't have to read the last,  
20 Doctor.  
21 A. Discussed with Dr. .  
22 Q. Your signature appears at the  
23 bottom?  
24 A. Yes.  
25 Q. Do you have a memory of discussing

0034

1 , M.D.  
2 your findings with Dr. ?  
3 A. No.  
4 Q. Do you have any information in this  
5 note about what Dr. ' response was to  
6 your discussion?  
7 A. No.  
8 Q. Do you have anything to indicate  
9 what his plan of treatment was, other than  
10 what you have in your note here?  
11 MR. OGINSKI: I am going to rephrase  
12 that.  
13 Q. Did Dr. recommend the  
14 treatment plan that you have listed here?  
15 MS. : Does she remember or  
16 would it be the custom and practice to do  
17 something?  
18 Q. The plan that you have listed here,  
19 was that your plan? Was it Dr. ' plan,  
20 a combination or something else?  
21 A. No. It's always the attending's  
22 plan because we are really -- we really don't  
23 write anything on our own.  
24 Q. Did Dr. see the patient  
25 around the same time that you did?

0035

1 , M.D.  
2 A. I don't know.  
3 Q. Was Dr. with you when you saw  
4 the patient?  
5 A. I'm not sure. I don't remember.  
6 Q. You mentioned in the note that bowel  
7 sounds were sluggish.  
8 Tell me what that means.  
9 A. Sluggish means slower.  
10 Q. And did you have any reason or  
11 explanation for why they were sluggish?  
12 A. I mean, my thinking from my  
13 knowledge right now is that it could be  
14 because of normal postoperative findings.  
15 Q. Now, in the assessment you indicated  
16 questionable basal atelectasis.  
17 Tell me what you meant.  
18 A. Because it's a normal postoperative  
19 finding. So I guess that's why I'm writing it  
20 here.



21 Q. What finding did you observe that  
22 suggested that this patient possibly had basal  
23 atelectasis?

24 A. I presume it must be the fever,  
25 that's why, because the first cause of fever

0036

1 , M.D.

2 here we think about basal atelectasis.

3 Q. This particular fever that was  
4 noted at 38.9, is that outside the range of  
5 normal?

6 MR. OGINSKI: Withdrawn.

7 Q. Is that febrile?

8 A. I mean, clinically significant fever  
9 is more than 39.

10 Q. You wrote down, watch for bowel  
11 perforation.

12 Tell me why you wrote that.

13 A. I don't remember why I wrote that  
14 but it's a normal -- I mean, this is what we  
15 are taught in the training, to look for all  
16 these things postoperatively.

17 Q. What was it about the patient's  
18 findings that suggested to you that someone  
19 should watch for bowel perforation?

20 MS. : Objection.

21 A. (No response.)

22 Q. Was there anything in this patient's  
23 examination that suggested she had a bowel  
24 perforation?

25 A. I don't remember the patient at that

0037

1 , M.D.

2 time, but it's a normal statement.

3 Q. Doctor, I am only asking based upon  
4 your note.

5 Is there anything in here, any of  
6 your findings, to suggest that this person had  
7 a bowel perforation at that time?

8 MS. : Based on your note,

9 he's asking.

10 A. Not really, because it says abdomen  
11 soft.

12 Maybe it was tachycardia, a  
13 temperature of 38.9, but nothing really  
14 significant.

15 Q. At that time, did you suspect that  
16 the patient might have a bowel perforation?

17 A. According to my note, no. I don't  
18 remember anything.

19 Q. And what was the reason for writing  
20 to watch for bowel perforation?

21 MS. : I think she just told

22 you that.

23 Asked and answered.

24 Q. Now, what was it that you would be  
25 looking for, for bowel perforation?

0038



7 MS. : Objection.  
8 You can answer.  
9 A. Well, we were not taught clearly as  
10 to write or not to write but always think  
11 about it.  
12 Q. Is there any difference --  
13 MR. OGINSKI: Withdrawn.  
14 Q. When you examined the patient, she  
15 had tachycardia; correct?  
16 A. Yes.  
17 Q. And she had a fever, right, even  
18 though -- how would you characterize this  
19 fever?  
20 Low grade or something else?  
21 A. Low grade.  
22 Q. Was there any abdominal tenderness?  
23 A. Well, according to my note it  
24 doesn't mention. So I don't know.  
25 Q. Did you evaluate for abdominal

0041

1 , M.D.  
2 tenderness?  
3 A. Yes.  
4 Q. And if the patient had tenderness,  
5 would you have expected to make note of that?  
6 A. Yes. But it's also a normal  
7 postoperative finding. She's only post-op day  
8 one, so she would be having tenderness at the  
9 incision post-op.  
10 Q. Did you ever see or examine this  
11 patient before ?  
12 A. No.  
13 Q. The sluggish bowel sounds that you  
14 observed, that you attributed to the patient's  
15 recent surgery; correct?  
16 A. I did not attribute to anything. I  
17 am presuming now that it could be a normal  
18 postoperative finding.  
19 Q. What other causes --  
20 MR. OGINSKI: Withdrawn.  
21 Q. If you have sluggish bowel sounds  
22 postoperatively, what else could it signify?  
23 A. Any irritation of the bowel can lead  
24 to ileus. So it could be recent surgery or  
25 infection, electrolyte imbalance.

0042

1 , M.D.  
2 Q. How often would you typically round  
3 on a patient? Would it be twice a day?  
4 A. Yes, but not always on the same  
5 patient.  
6 Q. Now, did you learn, in reviewing  
7 this patient's chart and in the notes that you  
8 had written that she maintained a fever  
9 throughout most of her postoperative period,  
10 certainly through post-op days one through  
11 five?  
12 MS. : You have to check.

13 A. I will have to check.  
14 Q. All right. I will come back to  
15 that, then.  
16 Now, did you see, Doctor, that on  
17 a chest x-ray was taken?  
18 A. I didn't, no.  
19 Well, here there is a note from the  
20 x-ray people.  
21 Q. You are referring to a 7:30 p.m.  
22 note on ?  
23 A. Yes.  
24 Q. And in the note that appears at  
25 11:30 p.m., it says GYN note; do you see that?

0043

1 , M.D.  
2 A. Yes.  
3 Q. Are you able to tell who wrote that  
4 note?  
5 A. It was one of my chief residents at  
6 that time.  
7 Q. Do you know who?  
8 A. Dr. .  
9 Q. Do you know Dr. ' first name?  
10 A. .  
11 Q. Does Dr. still work at  
12 Hospital?  
13 A. Yes.  
14 Q. Is she an attending?  
15 A. Yes.  
16 Q. In Dr. ' note at 11:30 p.m.,  
17 on the first page of it, do you see toward the  
18 bottom it says, preliminary report of chest  
19 x-ray, free air under the diaphragm, likely  
20 due to abdominal surgery?  
21 A. Yes.  
22 Q. Did you ever learn the results of  
23 that chest x-ray on ?  
24 MS. : Did she ever?  
25 MR. OGINSKI: Yes.

0044

1 , M.D.  
2 A. I was not even in the hospital.  
3 We go home at 6:30, 7:00, and we are  
4 not supposed to be there.  
5 Q. You are not on the night shift at  
6 that time?  
7 A. I presume not, because I'm writing a  
8 note in the daytime. I can hardly be a night  
9 person.  
10 Q. The following day on  
11 you have a note; correct?  
12 A. Yes.  
13 Q. And that's the postoperative  
14 progress notes?  
15 A. Yes.  
16 Q. Is that timed at 6:50 a.m.?  
17 A. Yes.  
18 Q. In your note, Doctor, do you refer

19 to the chest x-ray that was done the day  
20 before?

21 A. It says chest x-ray pending.

22 Q. Did you ever learn as of the time  
23 you wrote this note on , that there  
24 was free air observed on chest x-ray? I  
25 should say free air under the diaphragm.

0045

1 , M.D.

2 A. No, because usually if the chest  
3 x-ray is done in the evening, we don't have a  
4 radiologist to report it, and I would not  
5 know what had happened the night before,  
6 before I round on the morning, because we  
7 get the morning report after I round between  
8 7 to 8.

9 Q. If a patient had diagnostic tests  
10 such as an MRI, CAT scan, or an x-ray, was  
11 part of your duties to actually go and view  
12 and look at those diagnostic studies?

13 MS. : As a year  
14 resident?

15 MR. OGINSKI: Yes.

16 A. Probably not.

17 Q. Did you look at the patient's chest  
18 x-ray at some time on in the  
19 morning?

20 A. I have no idea.

21 Q. Is there anything in your note to  
22 suggest that you, personally, looked at the  
23 patient's chest x-ray?

24 A. Nothing in the note suggests that.

25 Q. Free air under diaphragm, what does

0046

1 , M.D.

2 that represent in a postoperative patient?

3 A. From my knowledge right now I would  
4 say it could be normal postoperative finding.

5 Q. What else could it represent?

6 A. Bowel perforation, abscess.

7 Q. Did you have any conversation with  
8 any of your senior residents about the chest  
9 x-ray that the patient had the day before?

10 MS. : According to her note?

11 MR. OGINSKI: On ,  
12 yes.

13 A. Like I said, when we come in we just  
14 quickly see the patient and then we discuss  
15 the patient after seeing the patient between  
16 7 to 8 in the morning report.

17 So I am not sure if I had this  
18 conversation.

19 Q. Did you see the patient again for  
20 which you have a written note on  
21 ?

22 A. I don't know.

23 MS. : He wants to know if you  
24 have another written note for



6 No calf tenderness.  
7 Bowel sounds plus normal. I think  
8 normal is with the bowel sounds.  
9 Q. Continue.  
10 A. The last --  
11 Q. You can skip the letters.  
12 A. Problems.  
13 Q. Recent imaging?  
14 A. Yes, chest x-ray pending.  
15 Problems, postoperative day number 2  
16 of laparotomy, .  
17 Fever no, regular diet or bowel  
18 movement. No voiding.  
19 Plan: Follow blood and urine  
20 cultures.  
21 Follow chest x-ray.  
22 Incentive barometer, physical  
23 therapy, ambulate, pain management.  
24 **Close watch for any signs of bowel**  
25 **perforation.**

0050

1 , M.D.  
2 Discharge today, no, because of  
3 fever and awaiting bladder and bowel  
4 functions.  
5 Signature.  
6 Q. On the bottom right of your plan,  
7 again you write, close watch for any signs of  
8 bowel perforation.  
9 Based upon your examination, did  
10 this patient have any signs of bowel  
11 perforation?  
12 A. I'm looking at my note. I don't  
13 think so because the bowel sounds are normal  
14 and abdomen is soft.  
15 Q. When you write, follow chest x-ray,  
16 for whose benefit was that information?  
17 Was that a note for you to follow or  
18 another resident or someone else?  
19 A. For the whole team.  
20 Q. And whose obligation was it to check  
21 on the x-ray results, the official report?  
22 A. Well, it's not a single person's  
23 obligation. It's the whole team. Anybody,  
24 whoever gets time checks the x-ray first and  
25 looks at it.

0051

1 , M.D.  
2 Q. And on , again when  
3 you wrote, close watch for any signs of bowel  
4 perforation, what specifically did you mean?  
5 MS. : This is .  
6 MR. OGINSKI: I apologize. What did  
7 I say?  
8 MS. : .  
9 Q. **When you wrote, on ,**  
10 **close watch for any signs of bowel**  
11 **perforation, what specifically did you mean?**

12 MS. : Over my objection you  
13 can answer.

14 A. I didn't mean anything specific.  
15 Again, it's like a normal  
16 postoperative course.

17 Q. When you checked the patient for  
18 calf tenderness, what was that purpose? Why  
19 did you do that?

20 A. It's not -- it's a normal thing for  
21 every postoperative patient, we always check  
22 for calf tenderness.

23 Q. Why?

24 A. Because they have a risk of having  
25 deep venous thrombosis.

0052

1 , M.D.

2 Q. And if there is tenderness, what  
3 would it suggest to you?

4 A. Deep venous thrombosis.

5 Q. Can a patient who is in the  
6 postoperative period have a bowel perforation  
7 and have a soft abdomen?

8 MS. : Can it ever happen?

9 MR. OGINSKI: Yes.

10 A. I don't know.

11 Q. Did you participate in this  
12 patient's surgery on with  
13 Dr. ?

14 A. No.

15 Q. Did you participate in the patient's  
16 corrective surgery on ?

17 A. No.

18 Q. Were you present for any  
19 conversation between Dr. and the  
20 residents regarding a decision to take the  
21 patient to the operating room on  
22 ?

23 MS. : Objection.

24 MS. : Over my objection, if  
25 you remember.

0053

1 , M.D.

2 MR. OGINSKI: What is the  
3 objection?

4 MS. : She already said she  
5 has no recollection of any conversation.  
6 So I am objecting because it's already  
7 been asked and answered.

8 But go ahead.

9 A. I don't remember.

10 Q. Did you have any specific concern on  
11 that this patient might have a  
12 bowel perforation?

13 A. As per my note, whatever I thought  
14 must be in the note. I mean, I don't  
15 remember.

16 Q. I am only asking you, Doctor, based  
17 upon your note.





24 A. No.  
25 Q. Did you have any conversation with

0056

1 , M.D.

2 Dr. on in the morning  
3 about this patient?

4 A. I don't remember.

5 Q. Did you have a conversation with  
6 your other residents, either before or after  
7 you examined the patient on , about  
8 the plan of treatment and her management?

9 A. Well, I must have discussed in the  
10 sign-out report, and it's usually the senior  
11 chief residents who talked to the attending  
12 and they decide the final plan of action.

13 Q. Now, can you turn back one page,  
14 please.

15 MR. OGINSKI: Off the record.  
16 (Whereupon, a discussion was held  
17 off the record.)

18 Q. Doctor, can you read your note,  
19 please?

20 MS. : From?

21 MR. OGINSKI: .

22 A. 6:40 a.m., postoperative day 3.  
23 Procedure status post laparoscopy,  
24 explore, an exploratory laparotomy, bilateral  
25 salpingo-oophorectomy, lysis of adhesions,

0057

1 , M.D.

2 bilateral ovarian cyst.

3 Walking, yes.

4 Voiding, yes.

5 Flatus, yes.

6 Defecation, no.

7 Tolerates liquids, yes.

8 Tolerates regular diet, yes.

9 Temperature 39 degrees centigrade,  
10 T max, maximum temperature, 39.4.

11 6 a.m., 140 over 70, blood pressure.

12 Pulse 88 per minute.

13 Respiration 14.

14 Pain score, 2 out of 10.

15 IV --

16 Q. You don't have to read that. What  
17 is your physical exam?

18 A. Chest clear, cardiovascular system  
19 within normal limits.

20 Abdomen soft.

21 Bowel sounds plus, present.

22 Sero sanguinous, discharge from the  
23 stitch line, dressing changed.

24 Q. Doctor, you said there was discharge  
25 from the suture line?

0058

1 , M.D.

2 A. Yes.

3 Q. Continue.

4 A. Labs.  
5 Q. You can go past that.  
6 A. Pathology diagnosis pending.  
7 Problem: Postoperative day 3,  
8 fever, no bowel movement and sero sanguinous  
9 discharge from the wound.  
10 Plan: Encourage ambulation,  
11 incentive barometer. Follow white blood cell  
12 count today.  
13 Wound dressing daily or b.i.d.  
14 Continue Heparin.  
15 Discharge today, no.  
16 Postoperative fever and rule out  
17 wound infection.  
18 Q. Is that rule out or work up?  
19 A. Rule out, R/O.  
20 Q. Again, your signature appears at the  
21 bottom?  
22 A. Yes.  
23 Q. Why was the patient receiving  
24 Heparin?  
25 A. I don't know, but I guess because --

0059

1 , M.D.  
2 MS. : Don't guess.  
3 A. I don't know.  
4 Q. The fact that the patient was now in  
5 post-op day 3, with no bowel movement, is that  
6 a normal observation?  
7 A. Could be, yes.  
8 Q. Over what period of time would you  
9 suspect the patient not to have bowel movement  
10 following surgery?  
11 A. Sometimes it can go up to a week or  
12 more.  
13 Q. The fact that the patient again  
14 had a fever, a continuous fever since her  
15 surgery, what, if anything, did that signify  
16 to you?  
17 A. I don't know if this is continuous  
18 fever throughout the day or not, but this is  
19 the morning note that I write.  
20 Q. Well, you told me on the 13th she  
21 had a fever of 38.9.  
22 On the 14th she had a fever of 39.4.  
23 And at the time you are examining  
24 her now on the 15th at the time she had a  
25 temperature of 39 with a max of 39.4.

0060

1 , M.D.  
2 What, if anything, was the  
3 significance of those findings to you?  
4 A. It could be a lot of things.  
5 Q. Such as what?  
6 A. Such as lung atelectasis, deep  
7 venous thrombosis, pneumonia, wound  
8 infection.  
9 Q. Had you come to any conclusion as of

10 the 15th of as to the cause or  
11 etiology for this patient's fever?  
12 A. It's not up to me to reach the  
13 conclusion.  
14 Q. I'm just asking if you did, Doctor.  
15 A. I don't think so. I would just  
16 write whatever the findings are and the  
17 attendings decide.  
18 Q. Why would blood cultures and urine  
19 cultures be obtained?  
20 A. It's the normal thing to do if the  
21 patient has fever.  
22 Q. And what would those cultures tell  
23 you?  
24 A. If the patient has sepsis or urinary  
25 infection.

0061

1 , M.D.  
2 Q. Was the patient receiving any  
3 postoperative antibiotics, prophylactics?  
4 A. I'm not sure.  
5 Q. Is there anything in your note to  
6 indicate whether she was getting any type of  
7 prophylactic antibiotics?  
8 MS. : Just in this note.  
9 A. No.  
10 Q. Was there anything in your finding  
11 here to suggest on 15th that this  
12 patient had a bowel perforation?  
13 A. No.  
14 Q. Is there any particular reason why  
15 you did not write the words, or in substance,  
16 watch for bowel perforation, as you had done  
17 in the two prior notes of the 13th and the  
18 14th?  
19 A. I don't remember.  
20 Maybe because she -- I don't know.  
21 Q. What made you believe that this  
22 patient likely had a wound infection?  
23 MS. : Where does it say she  
24 likely has a would infection?  
25 Q. You wrote, rule out wound

0062

1 , M.D.  
2 infection.  
3 MS. : That doesn't mean the  
4 same thing. Please rephrase your  
5 question.  
6 Q. What made you believe that the  
7 patient had a wound infection?  
8 MS. : Again, objection.  
9 That's not what it says.  
10 Q. Doctor, you are familiar with the  
11 term differential diagnosis; right?  
12 A. Yes.  
13 Q. What does it mean?  
14 A. Well, you have a series of diagnoses  
15 and then you have a differential, like many

16 other diagnoses that could be the patient's  
17 real diagnosis.  
18 Q. And in order to rule out different  
19 possibilities you will conduct different tests  
20 to rule out a particular condition; correct?  
21 A. Yes.  
22 Q. Now, if you suspect that a patient  
23 has a condition you will try and rule that  
24 out; correct?  
25 MR. OGINSKI: Withdrawn.

0063

1 , M.D.  
2 A. Yes.  
3 Q. And in this instance you wanted to  
4 rule out that the patient had had a wound  
5 infection based on the findings you observed  
6 regarding discharge from the suture line?  
7 A. I wouldn't rule it out myself. I  
8 would just read the findings to my chief or  
9 the attending, and they will tell me to do  
10 whatever tests to do and we will go from  
11 there.  
12 Q. How do you rule out a wound  
13 infection?  
14 A. It's clinical, wound discharge, or  
15 cultures from the wound.  
16 Q. And were cultures from the wound  
17 obtained?  
18 MS. : According to your  
19 note.  
20 A. I don't know.  
21 Q. Did you obtain wound cultures?  
22 A. Well, if I had obtained, it's not in  
23 the notes.  
24 I don't remember.  
25 Q. Is there anything -- I may have

0064

1 , M.D.  
2 asked this. I am sorry if I did.  
3 Is there anything in this note to  
4 reflect the chest x-ray findings from  
5 13th?  
6 MS.: You already asked that  
7 in the last note, about the last note.  
8 Q. I'm asking on the 15th  
9 note, is there anything to suggest that you  
10 had obtained the information regarding the  
11 13th official chest x-ray read?  
12 MS. : You are asking if it's  
13 written in her note?  
14 MR. OGINSKI: Yes.  
15 MS. : Is it there in your  
16 note, he wants to know.  
17 That's a different thing of her  
18 being aware of the results.  
19 MR. OGINSKI: Correct.  
20 : But he wants to know if  
21 it was written in your note.

22 A. It's not in the notes.  
23 Q. When you would round on a patient in  
24 the morning, would you review the patient's  
25 record to see what notes, if any, were made

0065

1 , M.D.

2 the night before?

3 A. Sometimes yes, sometimes no.

4 Q. And why would you sometimes review  
5 the patient's chart from the night before?

6 A. Depends on how much time you have in  
7 the morning, because any time in the morning  
8 report we will get to know from the night  
9 shift residents what was done.

10 Q. And what was your practice at that  
11 time when you were given information by the  
12 people who were leaving -- who had done  
13 the night shift and now you were coming on  
14 duty?

15 Would you make notes about different  
16 things going on with different patients?

17 A. We do have a sign-out list which is  
18 prepared by the night shift and given to the  
19 day shift, and we write things to do.

20 Whatever our chief residents tell us to do,  
21 we just do it.

22 Q. What do you do with that list?

23 A. We have it.

24 Q. Where?

25 A. We carry it the whole day with us.

0066

1 , M.D.

2 Q. What do you do after the day is  
3 over?

4 A. Garbage.

5 Q. Do you give that list to the next  
6 group of residents who are coming on for the  
7 night shift?

8 A. Then you make up a new list.

9 Q. On 15th there is a note  
10 timed at 17:00, which says, gram negative rods  
11 in blood culture.

12 Do you see that?

13 A. Yes.

14 Q. What does that mean?

15 A. That means there is some sort of a  
16 growth coming from the blood culture results.

17 Q. Are you able to identify where  
18 within the patient that those gram negative  
19 rods are or is that system-wide throughout the  
20 blood?

21 A. Very hard to say.

22 Q. Are you able to tell me who wrote  
23 this particular note?

24 A. Dr. .

25 Q. Turn please to the note timed at

0067

1 , M.D.

2 7:40 p.m., the note. Who wrote that  
3 note?

4 A. Another chief resident of mine.

5 Q. Who was that?

6 A. Dr. .

7 Q. What is Dr. 's first name?

8 A. .

9 Q. Does Dr. work at  
10 Hospital?

11 A. No.

12 Q. Where does Dr. work?

13 MS. : Objection.

14 You can answer if you know.

15 Q. Do you have any knowledge as to  
16 whether Dr. works in ?

17 MS. : Objection.

18 A. I have no idea.

19 Q. Did you learn --

20 MR. OGINSKI: Withdrawn.

21 Q. Were you still on duty at 17:40?

22 A. I have no recollection.

23 Q. Do you have another note for this  
24 patient on 15th?

25 A. No.

0068

1 , M.D.

2 Q. Doctor, I want you to go back one or  
3 two pages, please.

4 There is a notation or at least a  
5 CAT scan sticker that appears in the bottom  
6 left of that page.

7 Do you see that?

8 A. Yes.

9 Q. It says, "CAT scan completed," and  
10 then it has a date of .

11 Do you see that?

12 A. Yes.

13 Q. Is there an indication on that note  
14 that appears right above it to indicate the  
15 reason for the CAT scan?

16 A. None of them is my notes.

17 Q. I understand.

18 I'm just asking is there anything in  
19 that nurse's note that indicates to you why  
20 this patient was sent for a CAT scan?

21 MS. : I object.

22 She cannot interpret other people's  
23 notes and the notes speak for  
24 themselves. You can read them as well as  
25 she. She wasn't there and she didn't

0069

1 , M.D.

2 order the CAT scan. She didn't have a  
3 CAT scan done.

4 Q. Well, when you came in on the  
5 15th, was there any discussion or anything to  
6 suggest to you that a CAT scan was done the  
7 day before?

8 A. I don't remember at all.  
9 Q. We know that the patient did go for  
10 a CAT scan on 15th.  
11 Did you ever review the patient's  
12 CAT scan?  
13 A. I don't remember, because I'm not  
14 the only person following the patient. It's  
15 the whole team of residents.  
16 Q. I'm just asking whether you did,  
17 Doctor.  
18 A. I don't remember.  
19 Q. Now, going back to Dr. 's note,  
20 please, timed at 17:40.  
21 A. Um-hum.  
22 Q. In the middle of the note, are you  
23 able to read what -- it's a he, right?  
24 A. She.  
25 Q. -- what she writes about the CAT  
0070  
1 , M.D.  
2 scan?  
3 MS. : Only if you can read  
4 it.  
5 A. No.  
6 Q. Do you see where I am referring to?  
7 MS. : Right here?  
8 MR. OGINSKI: Yes, right there  
9 (indicating).  
10 MS. : Only if you can read  
11 it. It's not your note.  
12 A. Multiple fluid collection.  
13 Q. Does that say can't rule out bowel  
14 injury?  
15 A. Could be, yes.  
16 Q. Did you ever have any discussion  
17 with Dr. about this observation on  
18 15th?  
19 A. I have no idea.  
20 She's the chief resident.  
21 Q. I am just asking whether you had a  
22 conversation.  
23 A. I don't remember at all.  
24 Q. Did you ever have a conversation  
25 with Dr. --  
0071  
1 , M.D.  
2 MR. OGINSKI: Withdrawn.  
3 Q. Were you ever present for a  
4 conversation that Dr. had with  
5 Dr. on 15th?  
6 MS. : Objection.  
7 You are asking her to comment on a  
8 conversation?  
9 MR. OGINSKI: She's sitting in the  
10 room.  
11 MS. : Note my objection.  
12 MR. OGINSKI: What is the basis?  
13 MS. : She's can't comment on



14 a conversation she wasn't part of.  
15 MS. : She is allowed to  
16 comment on a conversation she heard about  
17 the care, but I object.  
18 She has already said numerous times  
19 she has no recollection of any  
20 conversations, which is beating a dead  
21 horse here.  
22 MR. OGINSKI: I still don't  
23 understand your objection.  
24 MS. : You can ask the  
25 question.

0072

1 , M.D.  
2 You have my objection.  
3 MR. OGINSKI: Okay.  
4 EXAMINATION  
5 BY MR. OGINSKI:  
6 Q. Doctor, were you present for any  
7 conversation between Dr. and Dr.  
8 on 15th?  
9 A. I don't remember.  
10 Q. When you came into the hospital --  
11 turn to the next page, please, to a note by  
12 Dr. at 11:00 p.m. on 15.  
13 A. Yes.  
14 Q. Going down to the bottom of this  
15 note on the first page --  
16 MS. : On the first page?  
17 MR. OGINSKI: Yes.  
18 Q. -- it says CAT scan of the abdomen.  
19 Multiple fluid collections. Possible  
20 abscesses.  
21 Do you see that?  
22 A. Yes.  
23 Q. Were you ever made aware of that  
24 finding when you came into the hospital the  
25 next day on the 16th?

0073

1 , M.D.  
2 MS. : According to her note?  
3 MR. OGINSKI: Yes.  
4 MS. : According to your note,  
5 do you know if you were aware of that?  
6 A. No.  
7 Q. No, you were not aware; or, no, you  
8 were not told?  
9 A. I don't think so.  
10 Q. Why?  
11 Why do you say that?  
12 A. Because I was day shift, and I must  
13 have just come in and rounded.  
14 Like I said, we don't talk before  
15 seven. We talk after.  
16 Q. Is there anything in your note on  
17 16th to reflect what the chest x-ray  
18 on 13th was, what the official  
19 radiology read was?

20 MS. : As a result of what is  
21 listed there, is what you are asking  
22 her?

23 MR. OGINSKI: Yes.

24 A. The results are not listed.

25 MS. : In your notes.

0074

1 , M.D.

2 THE WITNESS: In my notes, yes.

3 Q. When you go to check on a patient,  
4 if you are the one to be writing the note, is  
5 it also your obligation to check on the  
6 patient's labs?

7 A. Well, the previous labs, we do, yes.

8 Q. And as part of checking the  
9 patient's labs, do you do that by checking the  
10 computer?

11 A. Not always.

12 Sometimes we just go by the list  
13 that we have.

14 Q. And if the patient had had, let's  
15 say, an x-ray or a CAT scan the day before or  
16 the night before, how would you learn that  
17 information just from looking at either the  
18 chart or the computer?

19 A. It's not always in the computer  
20 because the investigations are done at night.  
21 They don't even come up in the computer unless  
22 they have been read officially the next day by  
23 the radiologist, which may happen in the  
24 afternoon.

25 MS. : He just wants to know

0075

1 , M.D.

2 how you would learn about the result of  
3 any imaging studies.

4 MR. OGINSKI: Thank you.

5 MS. : How would you learn  
6 about it?

7 A. In the morning at 6 o'clock?

8 Q. Yes.

9 A. If they have already been reported  
10 officially by the radiologist, until then they  
11 would be in the computer. Otherwise, I would  
12 not find out.

13 Q. Can you read your note, Doctor, for  
14 16th.

15 A. 6:40 a.m., postoperative day 4:  
16 Status post laparoscopy, exploratory  
17 laparotomy, bilateral salpingo-oophorectomy,  
18 extensive lysis of adhesions.

19 Bilateral ovarian cyst.

20 Walking, yes.

21 Voiding, yes.

22 Flatus, yes.

23 Defecation, yes.

24 Tolerates liquids, yes.

25 Tolerates regular diet, yes.



6 note and you need to know what the information  
7 is in that note, what do you do?

8 MS. : You mean as a second  
9 year resident?

10 MR. OGINSKI: Yes.

11 MS. : Like would she go up to  
12 the attending and ask what it says?

13 MR. OGINSKI: Whatever.

14 A. I don't know.

15 I wrote this in the morning and I  
16 don't know what time he came in later in the  
17 day and wrote it. I would not even know if he  
18 wrote on my note.

19 Q. My question was, if you couldn't  
20 read another doctor's note, what do you do in  
21 that instance?

22 MS. : That she needs certain  
23 information from that note?

24 MR. OGINSKI: Yes.

25 A. I would probably ask another

0079

1 , M.D.

2 resident or my chief resident, who would know  
3 the plan, and ask them what do you think he  
4 wrote or she wrote.

5 Q. The patient's temperature was 38  
6 degrees.

7 How would you characterize that?

8 A. Now or at that time?

9 Q. At the time.

10 A. Just a temperature of 38.

11 Q. Is it normal? Is it febrile? Is it  
12 low? You tell me what it represents.

13 A. Well, it's not febrile by  
14 definition.

15 Q. Does the patient still have some  
16 type of low grade fever?

17 A. No.

18 Q. The maximum temperature was 39,  
19 which you have already told me represents  
20 fever, correct?

21 A. Yes.

22 Q. Do you know --

23 MR. OGINSKI: Withdrawn.

24 Q. Did you record the patient's  
25 etiology for her continued fever?

0080

1 , M.D.

2 A. It's not in the notes.

3 Q. In your review of the patient's  
4 record in preparation for today, did you see  
5 any notations which would indicate the reason  
6 or the cause for this patient's continued  
7 fever?

8 MS. : Up to this point?

9 MR. OGINSKI: Yes.

10 A. But I'm not the only person who is  
11 involved in this care. So it's not up to me

12 to decide the etiology.  
13 MS. : He just wants to know  
14 if you see any indication in the chart up  
15 to this point as to what the etiology of  
16 the fever was.  
17 THE WITNESS: From everybody else's  
18 notes?  
19 MS. : Yes. You don't have to  
20 read them all but just generally from  
21 what we have learned.  
22 THE WITNESS: Dr. ' note --  
23 MS. : Go ahead. Answer the  
24 question.  
25 A. The only note I see is from

0081

1 , M.D.  
2 Dr. from at 5 p.m., which says  
3 treat with Ampicillin, Gentamicin, and Flagyl, for  
4 septicemia.  
5 Q. Doctor, based upon your note of  
6 16th, were there any clinical signs  
7 to suggest that this patient had a bowel  
8 perforation?  
9 A. As per my note, no.  
10 Q. Is there any specific reason that  
11 you know of as you sit here now as to why you  
12 did not record the phrase, watch for bowel  
13 perforation?  
14 A. It might have been that she must  
15 have been improving clinically. That's why.  
16 Q. Is there any other reason that you  
17 can think of?  
18 A. No.  
19 Q. Who is Dr. ?  
20 A. He was my resident at  
21 that time.  
22 Q. And where does he work now?  
23 MS. : Objection.  
24 A. I don't know.  
25 Q. Would you turn to the note

0082

1 , M.D.  
2 . It's a postoperative progress  
3 note.  
4 MS. : ?  
5 MR. OGINSKI: Yes.  
6 Q. Can you tell me who wrote that note?  
7 A. Dr. .  
8 Q. Who is Dr. ?  
9 A. She was also my resident.  
10 Q. Where does Dr. work?  
11 MS. : Objection?  
12 A. Hospital and another  
13 hospital.  
14 Q. Which one?  
15 A. I don't know.  
16 Q. Is she an ?  
17 A. Yes.

18 Q. An OB-GYN?  
19 A. Yes.  
20 Q. On the left side of the problem  
21 sheet, number 3, says pelvic abscess.  
22 Do you see that?  
23 A. Yes.  
24 Q. Under recent imaging, in the middle  
25 of the page under CAT scan, it says -- can you  
0083

1 , M.D.  
2 read that?  
3 MS. : Only if you can. It's  
4 not your note.  
5 MR. OGINSKI: We know it's not her  
6 note.  
7 MS. : I want to make it clear  
8 for the record.  
9 MR. OGINSKI: Okay.  
10 A. It looks like pneumoperitoneum,  
11 multiple fluids collection, possible abscess.  
12 Q. Did you learn that information from  
13 anyone on ?  
14 A. I have no idea.  
15 I don't even know if I was there at  
16 that time. She's senior to me. She wouldn't  
17 relate to me.  
18 Q. Did you ever learn up until  
19 why this patient was receiving Heparin?  
20 MS. : Based on her notes?  
21 MR. OGINSKI: Yes.  
22 MS. : Based on your notes.  
23 A. No.  
24 Q. Was there any suspicion that the  
25 patient had a DVT?

0084  
1 , M.D.  
2 MS. : Objection.  
3 Based on her notes?  
4 Because I am not going have her go  
5 through everything.  
6 Q. Based on your notes.  
7 A. No.  
8 Q. Was there any suspicion that the  
9 patient had a pulmonary embolus?  
10 MS. : Based on your notes.  
11 A. Based on my notes, no.  
12 Q. Did you have an understanding as to  
13 whether the patient had some venous condition  
14 that required her to be Heparinized prior to  
15 her arrival at Hospital on  
16 ?  
17 A. I don't know. I did not know the  
18 patient before surgery.  
19 Q. Can you turn please to the  
20 , 5 p.m. note. I'm sorry. 10:20 p.m.  
21 note.  
22 Right there (indicating).  
23 A. Okay.

24 Q. It says, patient's CAT scan of  
25 abdominal pelvis report came back.

0085

1 , M.D.

2 Are you able to tell who wrote that  
3 note?

4 A. No, no.

5 Q. Did you ever learn the information  
6 contained within this note?

7 It says, extensive free air is noted  
8 throughout the abdomen and pelvis. Highly  
9 suggestive for hollow viscus perforation.

10 A. This is at 10:20 p.m. So I don't  
11 know.

12 Q. What does hollow viscus perforation  
13 mean to you?

14 A. I mean any viscus which is hollow,  
15 hollow structure, meaning bowel.

16 Q. And when it's written, extensive  
17 free air observed, what does that mean to you?

18 A. Extensive free air means extensive  
19 free air.

20 Q. Why would there be extensive free  
21 air in a finding like this?

22 MS. : Objection.

23 MR. OGINSKI: Withdrawn.

24 Q. If there is a bowel perforation,  
25 explain to me why radiographically you would

0086

1 , M.D.

2 see free air?

3 A. Because bowel is in connection with  
4 exterior through the bowel and through the  
5 rectum. So you can have free air inside the  
6 abdominal cavity.

7 Q. Would you turn, please, to  
8 Dr. 's note at 11:50 p.m. on

9 .

10 Under his assessment he writes --  
11 she writes, questionable possible bowel  
12 injury.

13 Do you see that?

14 A. Yes.

15 Q. And under plan, what does it say  
16 under the first line under the plan?

17 MS. : Only if you can.

18 A. I don't know. Something discussed  
19 with Dr. .

20 Q. When you came in the next morning,  
21 did you see in the chart that there were these  
22 notes by your fellow GYN residents regarding  
23 the CAT scan findings?

24 A. I don't remember.

25 Q. Is there anything in your

0087

1 , M.D.

2 note timed at 6:40 a.m. to suggest that  
3 you were aware that the patient, number one,

4 had a CAT scan and, number two, what the  
5 findings were?

6 A. Well, my note says follow official  
7 CT scan. So I guess I knew that she had  
8 it, but it was probably not officially  
9 reported.

10 Q. Is there anything in your note to  
11 suggest that you were aware of what the  
12 unofficial read of the CAT scan was?

13 A. It's not in my notes.

14 Q. Did the patient have a fever when  
15 you examined her?

16 MS. : On ?

17 MR. OGINSKI: Yes.

18 A. As per my notes, no.

19 Q. Her abdomen was soft and she had  
20 positive bowel sounds?

21 A. Yes, according to my notes.

22 Q. Under pathologic diagnosis, what is  
23 a mucinous cystadenoma?

24 A. It's a benign cyst of the ovary.

25 Q. Read please from problems and plan.

0088

1 , M.D.

2 A. Fever, positive blood culture,  
3 malnutrition.

4 Plan: Follow complete blood cell  
5 count today. Continue physical therapy.

6 Possible nutrition consult.

7 Follow blood cultures sensitivity.

8 Get pre albumin.

9 Continue IV antibiotics.

10 Follow official CT scan.

11 And discharged today, no.

12 Evaluate the cause of fever and

13 continue IV antibiotics.

14 Q. Is there any mention about the  
15 patient's Heparin?

16 MS. : In that note?

17 MR. OGINSKI: Yes.

18 A. Not in the note.

19 Q. Why did the patient have  
20 malnutrition?

21 A. I don't know.

22 Q. Did you have any conversation with

23 Dr. regarding the patient's

24 malnutrition?

25 A. I don't remember.

0089

1 , M.D.

2 Q. Did you have any discussion with the  
3 residents as to why this patient was not going  
4 to the operating room to address the findings  
5 that were observed on the CAT scan?

6 MS. : Can you read the

7 question back, please?

8 I didn't get it.

9 (Whereupon, the requested portion



10 was read back by the reporter.)  
11 A. I don't remember.  
12 Q. Is there anything in your note to  
13 suggest the patient might be going to the  
14 operating room?  
15 A. Not in the notes.  
16 Q. Was there anything in this note that  
17 would suggest to you that you suspected or  
18 that the patient --  
19 MR. OGINSKI: Withdrawn.  
20 Q. Is there anything in your  
21 note to suggest that you were thinking  
22 this patient might have a bowel perforation?  
23 MS. : What in your note, he  
24 wants to know. Is there anything in your  
25 note to suggest that.

0090

1 , M.D.  
2 A. No. It just lists the findings that  
3 I have. It's not my thinking.  
4 Q. Were you present for any  
5 conversation that any of your residents had  
6 with Dr. regarding any decision being  
7 made whether the patient should go to the  
8 operating room?  
9 MS. : Over objection, you can  
10 answer.  
11 A. I don't remember.  
12 Q. Would you agree, Doctor, it is  
13 important to recognize a bowel perforation  
14 early?  
15 MS. : Generally?  
16 MR. OGINSKI: Yes, generally.  
17 MS. : Over objection, you can  
18 answer.  
19 A. Yes.  
20 Q. Why?  
21 A. Because if you diagnose early you  
22 can treat it early.  
23 Q. And what is the implication for the  
24 patient if it is not recognized early?  
25 MS. : What are all the

0091

1 , M.D.  
2 implications of any random patient? I  
3 think that's really broad.  
4 MR. OGINSKI: I'll rephrase it.  
5 Q. Would you agree, Doctor, that the  
6 longer a bowel perforation goes unrecognized  
7 in the postoperative period, that there's a  
8 greater risk of mortality and associated  
9 morbidity?  
10 MS. : Over objection, you can  
11 answer.  
12 A. Are you talking about my knowledge  
13 right now or from the time I was a second  
14 year?  
15 Q. Your general knowledge of medicine.

16 A. Yes.  
17 Q. Why?  
18 A. Well, if it's longer it could lead  
19 to fistula formation or sepsis.  
20 Q. Did you learn on 19th that  
21 the patient was going to have drainage of her  
22 pelvic abscess by interventional radiology?  
23 MS. : Based on her note did  
24 she know that?  
25 Q. Is there anything in the office that  
0092

1 , M.D.  
2 suggests to you that you know that?  
3 MR. OGINSKI: I will rephrase it.  
4 MS. : The reason is your  
5 questions are vague.  
6 MR. OGINSKI: I'll rephrase it.  
7 Q. In your review of the chart, did any  
8 of the notes refresh your memory about this  
9 patient going for interventional radiology to  
10 drain her abscesses?  
11 MS. : Refresh your memory, he  
12 wants to know.  
13 A. No.  
14 Q. Is there anything in your note to  
15 suggest --  
16 MR. OGINSKI: Withdrawn.  
17 Q. Did you ever learn at any time on  
18 19th that the patient went to have  
19 her abscesses drained by interventional  
20 radiology?  
21 A. I don't remember at all.  
22 Q. Did you ever learn on 19th  
23 what the findings were by the interventional  
24 radiologist?  
25 A. I don't remember.

0093  
1 , M.D.  
2 Q. Can you turn, please, to a 5:30 p.m.  
3 note by Dr. on 19th.  
4 A. Um-hum, yes.  
5 Q. It says, case discussed with  
6 Dr. -- what is that name there?  
7 A. .  
8 Q. Who is Dr. ?  
9 A. I think she was a cover  
10 . She must have been at that time,  
11 if her name is there.  
12 Q. The note continues and says, patient  
13 needs bowel prep and exploratory laparotomy,  
14 possible bowel resections, possible colostomy.  
15 Did you ever learn that information  
16 from Dr. on 19th?  
17 MS. : On 19th.  
18 A. I don't remember. It's 5:30.  
19 Q. The note continues and says, patient  
20 explained.  
21 Did I read that right?

22 A. Yes.  
23 Q. It says OR called. Consent to be  
24 obtained.  
25 When it says OR called, what does it

0094

1 , M.D.

2 mean to you?

3 A. They usually call the operating room  
4 to schedule the surgery.

5 Q. Did you ever learn on 19th  
6 that the patient was going to have surgery on  
7 the 19th?

8 A. I would never learn. She's my chief  
9 resident. She wouldn't inform me.

10 Q. I am just asking if you know,  
11 Doctor.

12 A. I don't remember.

13 Q. Did you learn on 20th the  
14 following day that the patient was taken to  
15 the operating room on the 20th?

16 A. Well, as per my note in the morning,  
17 it says OR today. So I guess the plan was to  
18 take her to the OR.

19 Q. Did you ever determine --

20 MR. OGINSKI: Withdrawn.

21 Q. Were you present for any  
22 conversation with any resident as to why the  
23 patient was not taken to the operating room  
24 on 19th as opposed to the 20th?

25 A. I don't remember being present.

0095

1 , M.D.

2 Q. On 20th, when you saw the  
3 patient before she went to the operating room,  
4 did you learn from anyone why she was not  
5 taken to the operating room the night or the  
6 evening before the 19th?

7 A. As per my note, no.

8 Q. When you saw the patient at 6:40  
9 a.m., it is now post-op day 8, did she have  
10 fever?

11 A. According to my note, no.

12 Q. What was your physical exam, Doctor?

13 A. Chest, cardiovascular system within  
14 normal limits. Abdomen, soft. Stitch line  
15 healthy.

16 Bowel sounds present.

17 Q. You can go down to the problems.

18 A. Postoperative day 8, bowel  
19 perforation, malnutrition, fever.

20 Plan: OR today. Possible bowel  
21 resection, possible colostomy.

22 Total parenteral nutrition after  
23 surgery. Nutrition consent.

24 Discharge today, no.

25 Patient for OR today.

0096

1 , M.D.



8 A. Yes.  
9 Q. Can you go down to the problems and  
10 the plan, please?  
11 A. Problem, postoperative day 7.  
12 Colostomy, drains in situ, obesity.  
13 Plan, physical therapy.  
14 Ambulate, CT scan if vomiting  
15 again. Follow labs today.  
16 Q. Did you ever have any conversation  
17 with Dr. , after this patient had her  
18 surgery on 20th, regarding the events  
19 that preceded the 20th surgery?  
20 A. I have no idea.  
21 Q. Was Ms. 's case ever  
22 presented at rounds after 20th?  
23 A. Rounds meaning?  
24 Q. Where the residents or attendings  
25 discuss patients and problems that may occur.  
0099

1 , M.D.  
2 MR. OGINSKI: I'll withdraw it.  
3 Q. From time to time are various  
4 patients, during the course of their  
5 hospitalizations, discussed either at  
6 grand round or educational rounds with  
7 attendings?  
8 A. Are these bedside rounds?  
9 Q. No.  
10 In an educational setting where you  
11 talk about the patients and what's going on  
12 and how to prevent or watch for certain  
13 things.  
14 A. I don't remember.  
15 Q. Were you ever asked to prepare any  
16 written report or notes about your involvement  
17 with this particular patient?  
18 A. No.  
19 MS. : Objection.  
20 Q. Were you present for any  
21 conversation between --  
22 MR. OGINSKI: Withdrawn.  
23 Q. Were you ever present at any  
24 mortality or morbidity conference concerning  
25 this patient?

0100  
1 , M.D.  
2 MS. : Objection.  
3 You can answer.  
4 MR. OGINSKI: I'm entitled to know  
5 if she was present. I didn't ask her  
6 what was said.  
7 MS. : You can answer.  
8 A. I don't remember.  
9 Q. Did you ever learn --  
10 (Cell phone interruption.)  
11 A. Sorry.  
12 Q. On 20th before the patient  
13 was taken to the operating room, did you ever

14 come to the conclusion as to why she --  
15 MR. OGINSKI: Withdrawn.  
16 Q. Did you ever form an opinion as to  
17 whether this patient had sepsis on  
18 20th?  
19 A. According to my note, there is  
20 nothing in the note.  
21 Q. Did you ever come to the conclusion  
22 that the patient was in septic shock?  
23 A. It's not listed in my note.  
24 Q. Did you ever form an opinion or come  
25 to the conclusion that the patient had

0101

1 , M.D.  
2 peritonitis?  
3 A. I was a resident second year.  
4 Q. I understand.  
5 A. It's not up to my conclusion.  
6 Q. I'm only asking whether you did.  
7 A. It's not in the note at all.  
8 Q. Did you ever learn what the  
9 intraoperative findings were on 20th  
10 regarding the bowel perforation?  
11 A. I have no recollection. I don't  
12 remember if I was in the service at that time.  
13 Q. How long was your rotation in ?  
14 A. It's usually for a week. So I don't  
15 know. When it switched, I don't know.  
16 MR. OGINSKI: Thank you you, Doctor.  
17 MS. : No questions.  
18 (Time noted: 12:25 p.m.)  
19  
20  
21  
22  
23  
24  
25

0102

1 , M.D.  
2  
3  
4 A C K N O W L E D G M E N T  
5  
6  
7  
8  
9 I, , M.D., hereby  
10 certify that I have read the transcript  
11 of my testimony taken under oath on the  
12 23rd day of , , that the  
13 transcript is a true, complete and  
14 correct record of what was asked,  
15 answered, and said during the deposition,  
16 and that the answers on the record as  
17 given by me are true and correct.  
18  
19



19  
20  
21  
22  
23  
24  
25  
0105

1  
2

E R R A T A S H E E T

3

CASE NAME:

DEPOSITION DATE: October 23

4

NAME OF WITNESS: , M.D.

5

C H A N G E S

6

PAGE LINE FROM TO

7

I I I I I

8

I I I I I

9

I I I I I

10

I I I I I

11

I I I I I

12

I I I I I

13

I I I I I

14

I I I I I

15

I I I I I

16

I I I I I

17

I I I I I

18

I I I I I

19

I I I I I

20

I I I I I

21

I I I I I

22

I I I I I

23

I I I I I

24

I I I I I

25

I I I I I

\_\_\_\_\_  
, M.D.

Subscribed and sworn to before me  
this \_\_\_ day of \_\_\_\_\_, .

\_\_\_\_\_  
(Notary Public) (commission expires)