

DE-IDENTIFIED DEPOSITION OF A PODIATRIST IN AN IMPROPERLY PERFORMED BUNION SURGERY CASE

1 SUPREME COURT OF THE STATE OF NEW YORK
2 COUNTY OF WESTCHESTER

3 -----
4 Plaintiff(s),
5 -against- Index No.:
6 and
7 Defendant(s).
8 -----

9 DEPOSITION OF
10 DR.
11 New York
12 September 10,
13
14
15
16
17
18
19
20
21
22
23

24 REPORTED BY:
25

0002

1
2 A P P E A R A N C E S
3 THE LAW OFFICE OF GERALD M. OGINSKI, LLC.
4 Attorneys for Plaintiff(s)
5 25 Great Neck Road, Suite 4
6 Great Neck, New York 11021

7 BY: GERALD M. OGINSKI, ESQ.
8

9 LAW OFFICE OF
10 Attorneys for Defendant(s)

11 New York
12 BY:
13
14
15
16

17
18
19
20
21
22
23
24
25
0003

3

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STIPULATIONS

IT IS HEREBY STIPULATED, by and between the attorneys for the respective parties hereto, that:

All rights provided by the C.P.L.R. and Part 221 of the Uniform Rules for the Conduct of Depositions, including the right to object to any question, except as to form, or to move to strike any testimony at this examination is reserved; and in addition, the failure to object to any question or to move to strike any testimony at this examination shall not be a bar or waiver to make such motion at, and is reserved to, the trial of this action.

This deposition may be sworn to by the witness being examined before a Notary Public other than the Notary Public before whom this examination was begun, but the failure to do so or to return the original of this deposition to counsel, shall not be deemed a waiver of the rights provided by Rule 3116 of the C.P.L.R. and shall be controlled thereby.

0004
1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22

4

The filing of the original of this deposition is waived.

IT IS FURTHER STIPULATED, that a copy of this examination shall be furnished to the attorney for the witness being examined without charge.

23
24
25
0005

5

1

2 DR.

3

the Defendant herein, having been first duly
sworn by , a Notary
Public for the State of New York, was
examined and testified as follows:

4

5

6

7

EXAMINATION BY

8

MR. OGINSKI:

9

Q Would you please state your name for the
record.

10

11

A .

12

Q Would you please state your address for the
record.

13

14

A , ,

15

16

Q Good morning, Doctor. What is a Lapidus
procedure?

17

18

A Well, good morning to you.

19

20

And Lapidus procedure is arthrodesis of the
first metatarsal cuneiform joint.

21

22

MR. : Give a general description
of Lapidus; you don't have to give a
two-hour dissertation.

23

24

THE WITNESS: Okay.

25

MR. : As general as you can.

0006

1

2

A Usually combined with a bunionectomy.

3

4

Q What is arthrodesis?

5

6

A Arthrodesis is the fusion of two bones into
one.

7

8

Q And where is the first cuneiform joint?

9

10

A The first metatarsal cuneiform joint is on

11

12

the inside of the foot towards the middle.

13

14

Q What is a bunion?

15

16

A Bunion is a general term, usually referring

17

18

to a subluxation of the first
metatarsophalangeal joint.

19

20

Q And what is subluxation?

21

22

A A partial mal-positioning. Not complete
dislocation, just a mal-positioning.

23

24

Q Are bunions hereditary?

25

MR. : All bunions?

0007

1

2

MR. OGINSKI: In general.

MR. : Okay.

A I believe that there's no consensus on that
in the general literature.

Q And what do you observe when you diagnose a
bunion?

A Many things.

Q Typically, what do you find?

6

7

3 answer you.
4 Q Sure. I'll rephrase.
5 When you do a clinical examination and you
6 find that there is a bunion, what is it that
7 you see?
8 A I think the most important or -- no. The
9 primary observation is a lump or a
10 prominence on the medial side of the foot.
11 Q And when you say "medial," can you be any
12 more specific?
13 A Big toe side, inside.
14 Q Do bunions typically present with pain?
15 A It's a variable thing case by case.
16 Q Are you familiar with the term known as
17 hyperkeratosis?
18 A Yes.
19 Q What is that?
20 A Thickening of skin.
21 Q And what causes that?
22 A Well, gee, there's many causes.
23 Q Can you give me the most common cause?
24 A Most common cause seen in the foot is
25 friction, pressure, force.

0008

1
2 Q And what is a hallux valgus?
3 A Hallux valgus is a term referring to the
4 lateral, or outside, deviation of the big
5 toe.
6 Q Is that a bony abnormality?
7 A It's a positional abnormality affecting the
8 toe.
9 Q Are you familiar with the term known as an
10 intermetatarsal angle?
11 A Yes, sir.
12 Q What does that mean?
13 A It's an angle calculated by drawing lines
14 between the bi-section of the second
15 metatarsal and the bi-section of the first
16 metatarsophalangeal joint.
17 Q And --
18 A On a AP view.
19 Q Is that typically seen when you're viewing
20 an X-ray?
21 A Yes, sir.
22 Q Are you familiar with an Austin
23 bunionectomy?
24 A Yes, sir.
25 Q What is that?

0009

1
2 A Austin bunionectomy involves removal of
3 excessive bone from the inside, or medial,
4 first metatarsal head and a V-shaped
5 osteotomy at the neck of the first
6 metatarsal with transposition of the first
7 metatarsal head laterally.
8 Q How does the Austin bunionectomy differ from

8

9

9 the Lapidus procedure?
10 A Well, again, in many ways.
11 Q If you can generally tell me, it would be
12 helpful.
13 A Well, Austin is an osteotomy at the distal
14 aspect of the metatarsal. Its ability to
15 correct metatarsal angle is more limited
16 than the Lapidus. Its ability to correct
17 sagittal plane deformity is extremely
18 limited, nonexistent really.
19 I suppose that will be my answer, unless you
20 have further questions.
21 MR. : Okay.
22 Q What is an MPJ release?
23 A MPJ, metatarsophalangeal joint. It involves
24 surgical cutting of joint capsule, usually
25 to loosen up contracture.

0010

1
2 Q And contracture would be what? 10
3 A Tightness. You can consider it tightness.
4 Q Typically, what causes contracture?
5 A Well, again --
6 MR. : Is there something that
7 typically causes it?
8 THE WITNESS: Many things. Adaptations
9 to abnormal situations, common cause.
10 Q I'm not asking you for an exhaustive list.
11 A That's the primary thing. Contracture is
12 usually caused by adaptation.
13 Q Go ahead.
14 A Secondary could be contractures of scars.
15 Q Known as adhesions?
16 A Well, adhesion is a type of scar, yes.
17 Q What is a K-wire fixation?
18 A K-wire is stainless steel pin that's drilled
19 into a bone to maintain a position or --
20 Well, to maintain a position.
21 Q And in the Lapidus procedure do you use a
22 K-wire in order to fixate the bone?
23 A No.
24 Q In the Austin bunionectomy do you use K-wire
25 to fix the bone?

0011

1
2 A I do not. 11
3 Q Where is the talonavicular joint
4 anatomically?
5 A Well, it's just in front of the ankle. It's
6 the next joint out when you pass the talus
7 on the inside of the foot, inside medial.
8 Q Can you explain to me, Doctor, what a tendon
9 extension transfer is?
10 A Tender extension transfer?
11 Q Yes. If you're familiar with the term.
12 A I'm familiar with the words. Those words
13 are not usually used together.
14 Q Tell me what a tendon extension is.

15 A Well, strictly speaking about the words, a
16 tendon extension involves --
17 Well, I would not use those terms. I'm
18 sorry, I wouldn't use those terms.
19 Q What is exostosis?
20 A A synonym would be bone spur.
21 Q And have you ever heard the term
22 hypermobile first ray?
23 A Yes, I have.
24 Q What does that mean to you?
25 A It means that the first metatarsal bone will

0012

1
2 move in an upward direction in an excessive
3 degree.
4 Q When you make a diagnosis that a patient has
5 a bunion, what are your treatment options?
6 And again, I'm asking you as a general
7 question.
8 A Generally speaking.
9 MR. : Again, there are many
10 patients. He's asking generally, not
11 this particular patient.
12 A Generally speaking, I inform the patient
13 that a bunion is a subluxation.
14 Q No, I'm sorry. Let me interrupt you. Maybe
15 I wasn't clear.
16 I'm not talking about informed consent
17 discussions. I'm not talking about that
18 yet.
19 When you make a diagnosis that a patient has
20 a bunion, what are the treatment options
21 that are available to you to treat that
22 particular condition?
23 MR. : You can testify what are
24 some of the treatment options. He's
25 not going to get boxed in on every

12

0013

1
2 treatment option on every patient.
3 A Treatment options include no treatment; shoe
4 accommodation; controlling the patient's
5 rear foot with orthotics; and various
6 surgical procedures.
7 Q And typically, when you see patients who
8 have bunions, do they also, at the same
9 time, have hyperkeratosis?
10 A Did you say "typically"?
11 Q Yes.
12 Is that something that's common that you see
13 with a bunion?
14 A I'm not sure how to answer that.
15 Q Let me rephrase it then.
16 A Both things happen.
17 Q Doctor, if there's something that I ask you
18 that's not clear, I'll be happy to rephrase
19 it.
20 A Uh-huh.

13

21 Q In patients who have bunions, as a result of
22 their bunion do you also typically observe
23 that there is evidence of hyperkeratosis?
24 A In some patients, yes.
25 Q When you relieve the bunion through whatever

0014

1
2 treatment is chosen to treat it, does the
3 hyperkeratosis go away typically?
4 A Usually, no.
5 Q Why is that?
6 MR. : Now you're asking
7 generally?
8 MR. OGINSKI: Of course.
9 MR. : Object to the form, but you
10 can answer the question. Each patient
11 is obviously different.
12 A Please restate your question and then I'll
13 be able to answer it.
14 Q Sure. I'm going to go on.
15 Let's talk about the Lapidus procedure that
16 I asked you about a little while ago.
17 Can that procedure cause a shortening of the
18 first metatarsophalangeal joint?
19 A It can.
20 Q And how does that occur? How does it cause
21 a shortening?
22 A Well, Lapidus procedure involves removing
23 bone from the first metatarsal cuneiform
24 joint. Removing bone obviously would cause
25 shortening.

0015

1
2 Q What effect does that have on a patient in
3 and of itself?
4 In other words, you remove the bone. You
5 have a shortening of the first
6 metatarsophalangeal joint. What is the
7 practical effect of that; if anything?
8 A Correcting of the deformity.
9 Q As a result of the shortening itself does
10 that affect the patient's balance, gait,
11 walking ability, or anything else?
12 MR. : I think you mean can it
13 affect it.
14 MR. OGINSKI: Can it, yes.
15 A Can it?
16 Q Yes.
17 A Theoretically possible it could.
18 Q Tell me how that occurs or why that occurs.
19 MR. : These are in general terms.
20 A All right.
21 Generally speaking, shortening the first
22 metatarsal --
23 Perhaps you should ask this question again
24 because I'm not exactly sure what you mean.
25 Q Sure.

0016

1
2 If the procedure, the Lapidus procedure,
3 causes a shortening of the first metatarsal,
4 can that cause instability in the foot?
5 A Generally speaking, it's possible that it
6 could cause instability in the foot, yes.
7 Q With a shortened first metatarsal can it
8 cause the toe to stick up in the air and not
9 lay flat?
10 A Not by itself.
11 Q What do you mean?
12 A Other factors must be also present.
13 Shortening the first metatarsal alone does
14 not cause the big toe to stick up in the
15 air.
16 Q Okay. Can you give me an idea of some of
17 the other factors you mentioned or you're
18 thinking about that might cause it to stick
19 up in the air?
20 A Scar contracture is the biggest one.
21 Q Is there any way to eliminate or reduce the
22 amount of scar tissue someone has following
23 a procedure such as a Lapidus procedure?
24 MR. : Again, I think you're
25 asking is it possible to --

0017

1
2 MR. OGINSKI: Yes. All my questions for
3 now are general. I'll get very
4 specific in a little while.
5 MR. The way it's worded I think
6 it's boxing him in. Ask it again. I
7 think it's fine.
8 MR. OGINSKI: Sure.
9 Q What are some of the other factors that
10 might cause the first metatarsal to stick up
11 and not lay flat, separate and apart from
12 the actual procedure?
13 MR. : And the scar?
14 MR. OGINSKI: Yes, and the scar.
15 A And the scar contracture?
16 Q Yes.
17 A At the most --
18 I'm not thinking of any.
19 Q By shortening the first metatarsal, does
20 that create pressure under the second and
21 third metatarsals?
22 A It could, yes.
23 Q And how does that actually happen?
24 A How do I explain this?
25 When the heel is raised off the ground in

0018

1
2 walking, the longer bones sometimes bear
3 more body weight, therefore more pressure.
4 Q And in the case where you have a Lapidus
5 procedure and you have additional pressure
6 on the second and third metatarsals, do you

7 find that there is a buildup of callous
8 formation in the second and third metatarsal
9 area?
10 A In some cases; not all.
11 Q And is there an increase in the chance that
12 the patient will get bursitis with the
13 shortening of the first metatarsal?
14 A I wouldn't know.
15 Q Typically, if there is callous formation on
16 the second and third metatarsals, would you
17 expect the patient to experience any type of
18 pain because of the additional forces on the
19 second and third metatarsal?
20 A Possible in some cases; doesn't happen in
21 all cases.
22 Q And can you tell me, Doctor, why someone
23 might experience pain in those areas, the
24 second and third metatarsal area,
25 specifically following a Lapidus procedure?

0019

1
2 A Well, I won't say following a Lapidus
3 procedure. I will say that longer bones
4 that bear more pressure can develop pain
5 below those bones.
6 Q Why?
7 A Because they bear more pressure.
8 Q What is it about the pressure being applied
9 that causes the patient pain?
10 MR. : Tough to answer, other than
11 there being more pressure.
12 Q Well, does the pressure itself cause pain or
13 is it pressure upon a particular nerve that
14 causes the pain or something else?
15 A I think that when you have a pinpoint
16 pressure it's more likely to irritate the
17 tissue below. The metatarsals, two and
18 three, if they irritate the tissue below,
19 person gets pain.
20 Q And in , did you ever make
21 an observation that she had hyperkeratosis
22 under her second metatarsal?
23 MR. : Are you asking from memory
24 or --
25 MR. OGINSKI: Yes, just from memory

19

0020

1
2 first.
3 A I don't know. I don't remember that.
4 Q Do you have an independent memory of
5 Mrs. ?
6 A I do, yes.
7 Q Do you remember what she looks like?
8 A Vaguely.
9 Q On the occasions that she came to your
10 office and you treated her, did she usually
11 come alone or was she with someone else?
12 A Usually with her children.

20

13 Q And is that how you actually met her the
14 first time, that she had come in with one of
15 her children to see you?
16 A I believe that's the way, yes.
17 Q Did you ever meet her husband?
18 MR. : At any time?
19 MR. OGINSKI: Yes.
20 A I believe on one occasion.
21 Q Before performing surgery on Mrs. ,
22 did you make any observation that she had a
23 lateral deviation of her toes, either from a
24 bunion or from some other condition?
25 MR. : Again, this is all from

0021

1
2 independent recollection; correct?
3 MR. OGINSKI: Yes.
4 A I do remember that.
5 Q Did you form any opinion at the time you
6 made that observation as to what caused the
7 lateral deviation either on the right or the
8 left foot?
9 A I did, yes.
10 Q What was your opinion? And if you could
11 tell me about when that was I would
12 appreciate that.
13 MR. : Again, this is from
14 memory. If you can't remember, we'll
15 refresh your recollection.
16 A From memory when I looked at her first for
17 the first time I saw that it was an
18 extremely unstable collapsing-type foot.
19 Q Can you tell me or explain any more what
20 you mean by "unstable collapsing-type foot"?
21 A Just generally speaking or --
22 MR. : I don't want you to guess
23 what she presented with if you don't
24 remember.
25 THE WITNESS: That's why I asked about

21

0022

1
2 generally speaking.
3 MR. : No, he's not asking
4 generally.
5 Q Tell me what you mean when you say she had
6 an "unstable collapsing-type foot."
7 A Well, it means that -- generally speaking,
8 of course, it means that the heel bone flips
9 outward, that talus slides down and
10 sub-luxes inward. The metatarsals,
11 especially on the inside, move way up with
12 respect to the rear foot and --
13 Well, that's sufficient. That's a pretty
14 good description.
15 Q And did you form any opinion as to the
16 reason she was experiencing this condition?
17 A No.
18 MR. : Yes, I want to clarify,

22

19 though, he testified that that's an
20 unstable collapsible-type foot
21 generally. He doesn't memorize his
22 whole chart.
23 MR. OGINSKI: I'm not asking him to.
24 A The answer is no, I never do that.
25 Q Did you make this observation on the first

0023

1
2 visit she made to your office?
3 MR. : Don't guess.
4 A Honestly, I don't remember. I don't think I
5 remember that.
6 Q At any time while you were treating her, did
7 you ever form an opinion as to the cause for
8 her condition?
9 MR. : Which condition is that?
10 Q The one that you've talked about, the
11 extremely unstable collapsing-type foot.
12 A Definitely not. I don't know what the cause
13 is, specific to her.
14 Q Did you learn from Mrs. how long
15 she had been experiencing problems relating
16 to her foot?
17 A My only recollection, that it was for a long
18 period. Specific, I don't know how long.
19 Q Had you learned from her that she had been,
20 whether she had been to any other foot
21 doctor before coming to you?
22 A Actually, I don't remember that.
23 Q Typically, when you see a patient who comes
24 in with a complaint relating to their foot,
25 do you ask them whether they have been to

23

0024

1
2 any other doctor, whether podiatrist or
3 orthopaedist, to treat the foot?
4 A Generally speaking, I do.
5 Q If there is a positive response, do you
6 generally make a note in your file regarding
7 that?
8 A Generally speaking, yes.
9 Q In April of , your office was operating
10 under the name
11 ?
12 A I believe that's true, yes.
13 Q Did you have any partners at that time?
14 A I don't recall if I did at that time. I did
15 have an associate for a short period. I
16 don't recall the date at the moment.
17 Q And the associate would be an employee?
18 A Employee, yes.
19 Q Were you considered, or did you consider
20 yourself to be an employee of the
21 professional corporation?
22 A I am an employee of the professional
23 corporation.
24 Q Are you also a shareholder?

24

25
0025

A I am, yes.

25

1

Q Is there any other shareholder in the professional corporation?

4

A No.

5

Q Do you have more than one office?

6

A I do.

7

Q What are the other offices that you have?

8

Is the other one in _____ ?

9

A I gave you the _____ address a moment ago.

10

Q Okay.

11

A And I have another office in _____. Would you like the exact address.

12

13

Q Not right now.

14

During the time that you treated Mrs. _____, was the treatment rendered solely at one particular office?

15

16

17

18

MR. _____: Other than the surgery, obviously?

19

20

MR. OGINSKI: Yes. I'm not talking about the surgery.

21

22

A If I can --

23

I'm not entirely sure. It might have been in two office locations or it might have been just in the _____ office.

24

25

0026

1

Q Now, the office where you saw her for most of the time, was that in the _____ office?

2

3

4

A Yes. For the bulk of her treatment, yes,

5

6

Q In that _____ office did you have X-ray equipment to take X-rays of people's feet?

7

8

A No, sir.

9

Q In the other office, in _____, did you have X-ray equipment to take X-rays of people's feet?

10

11

A No, sir.

12

Q If you wanted to have X-rays done, what, typically, would you tell the patient?

13

14

A I asked them to go to a convenient radiologist place, hospital or X-ray entity, give them an order and they get it done.

15

16

Q In addition to receiving a report about the interpretation of their X-rays, do you also receive copies of the films?

17

18

A Yes, sir.

19

20

Q In your career, Doctor, have you had occasion to review X-ray films?

21

22

A Yes, sir.

23

24

25

0027

1

Q And in the course of your career you have interpreted X-rays?

2

3

A Yes, sir.

4

27

5 Q In Mrs. 's case, do you recall, at
6 some point pre-operatively, having her go
7 for X-rays of her feet?
8 A Yes, sir, I do recall that.
9 Q Did you, in fact, review the X-rays that she
10 had done pre-operatively?
11 A I did.
12 Q As a result of the review of her films, did
13 you make any notes in the chart regarding
14 your observation and interpretation of those
15 films?
16 A That I don't recall. I'd have to check.
17 Q In preparation for today's deposition, did
18 you have an opportunity to go through your
19 chart for Mrs. , whether today or
20 days past or weeks past?
21 A Several months ago, actually.
22 Q Is there anything you recall specifically
23 about any X-ray results that you observed,
24 separate and apart from what any radiologist
25 interpreted?

0028

1
2 MR. : As he sits here today?
3 MR. OGINSKI: Yes.
4 MR. : If you can recall, you
5 recall.
6 Q I'm not asking for the details.
7 A Yes. I recall, generally speaking, what the
8 X-ray results showed.
9 Q Did you bring with you the patient's
10 pre-operative X-rays?
11 A I believe I did.
12 Q Could you take those out, please?
13 A (Witness complied with request.)
14 Q Can you identify, Doctor, and tell me how
15 many pre-operative X-rays you have in your
16 possession and the dates, please?
17 A Well, I have one set.
18 Q Okay.
19 A And the date here is March 10, .
20 Q And what views are seen in the X-ray you
21 were just looking at?
22 A Well, this is an AP medial oblique.
23 Q And which feet?
24 A Of the right foot.
25 Q Okay.

0029

1
2 A I have more views here.
3 Q Go ahead.
4 A I have a lateral.
5 Q And that's also of the right foot?
6 A Also of the right foot.
7 Q Same date?
8 A I'll confirm that for you.
9 3/10/ . And there's a heel X-ray. It's
10 called a calcaneal axle, also on the same

28

29

11 date.
12 Q Of the right foot?
13 A Right foot.
14 Q Now, do you have contained within your
15 records the radiology report regarding the
16 interpretation of those films?
17 A I can look.
18 Q Sure.
19 A (Witness complied with request.)
20 I do.
21 Q And can you tell me what the conclusions
22 were of the radiologist who read each of
23 those films?
24 A Very specific.
25 : You can just read his --

0030

1
2 you don't have to interpret it.
3 A (READING FROM RECORD)
4 Impression, bilateral hallux valgus
5 deformities.
6 Q And you had mentioned to me earlier that
7 those were outside deviations of the big
8 toe?
9 A Yes, I did.
10 Q Okay. And the fact that they're bilateral
11 means that they're on both feet; correct?
12 A Yes.
13 Q Were there any other conclusions that the
14 radiologist made?
15 A No. That was it.
16 Q Okay. Now, if you can, please turn to your
17 notes for March 15, or March 29, .
18 MR. : Other than these
19 conclusions that are listed here? I
20 mean, I don't want -- you're saying
21 does he have any other conclusions
22 other than what's on the report?
23 MR. OGINSKI: Yes.
24 MR. : Okay.
25 Just for the record, there's a more

30

0031

1
2 descriptive paragraph under the
3 description he just read.
4 MR. OGINSKI: I understand that, and we
5 have the radiologist's report so I'm
6 not concerned with that.
7 Q If you can just turn to the March 15 and
8 March 29 note, please.
9 MR. : His office note?
10 MR. OGINSKI: Yes.
11 Q That's it.
12 A Okay.
13 Q Is there anything in your March 15 note,
14 Doctor, that indicates that you reviewed the
15 patient's X-rays of March 10?
16 A On March 15, no.

31

17 Q Okay. Typically, how long does it take for
18 you to get copies of the films and the
19 report following the patient going for
20 X-rays?
21 A Typically the patient brings the X-rays with
22 him or her on the date of visit.
23 Q And the report that you just read from, can
24 you tell me what the date of that report
25 was, or the date that it was sent to your

0032

1 office?
2
3 A I can't tell you the date it was sent to my
4 office I don't think. Let me see.
5 Found that so easily a moment ago.
6 Okay. March 10.
7 Q In other words, the date of the report is
8 March 10th?
9 A It is, yes.
10 Q And it's addressed to you at your
11 office?
12 A Yes, sir, it is.
13 Q And is that different than the
14 office?
15 A It was a predecessor to the
16 office.
17 Q Does that refresh your memory as to where
18 you were treating Mrs. , at least in
19 the beginning?
20 A I suppose, yeah. I suppose it was in the
21 office, yes.
22 Q Can you turn, please, to your March 29th
23 note?
24 A Okay.
25 Q Is there anything in your March 29th note

0033

1 that indicates that you had reviewed the
2 patient's X-rays or the radiologist's report
3 for the X-rays of March 10th?
4
5 A No, sir. Nothing in the note.
6 Q Okay. Could you please take a look, Doctor,
7 at the March 10th X-rays, and I want to ask
8 you whether there is a short first
9 metatarsal that you had observed compared to
10 the second and third metatarsal?
11 MR. : Are you asking all three
12 in? Totality.
13 MR. OGINSKI: No. I'm asking in
14 general, based upon review of the
15 three films.
16 MR. : Do you recall the question?
17 A If you don't mind.
18 Q I'll rephrase it, sure.
19 Looking at the three films taken on March
20 10, , is there evidence that the patient
21 has a short first metatarsal?
22 A I'll say the first metatarsal is shorter

23 than the second metatarsal. It doesn't
24 necessarily mean it's short.
25 Q Okay. At any time before you operated on

0034

1

34

2

Mrs. , did you happen to measure the
3 actual length of the first metatarsal?

4

A I did.

5

Q And what was your observation as to the
6 length of that first metatarsal?

7

A I don't recall.

8

Q Do you have any notes that would indicate to
9 you or refresh your memory as to the length
10 of your measurement?

11

A No, sir.

12

Q And when did you perform that measurement?

13

A Pre-operatively.

14

Q And what was the purpose of that measuring
15 of that first metatarsal?

16

A It allows me to choose the correct medical
17 procedure.

18

Let me rephrase it. It's one consideration
19 in choosing my procedure; one consideration.

19

20

Q And why would the length of the first
21 metatarsal change your opinion as to what
22 procedure you might use?

21

22

MR. : I don't know if that would
24 solely change. Do you want to ask why
25 is it significant?

23

24

25

0035

1

35

2

MR. OGINSKI: Yes, yes.

3

MR. : Why are you measuring that?

4

A I'm interested in the functional capacity of
5 the first ray. There are other factors
6 involved.

5

6

7

Q Would you agree, Doctor, as a general
8 matter, that before recommending a
9 particular surgical procedure that you have
10 to take into account the patient's entire
11 foot as to how surgery may affect the entire
12 foot and not just one particular toe?

7

8

9

10

11

12

13

A I would agree, yes.

14

Q And is it also important that, when choosing
15 a particular surgical procedure, to
16 understand how that particular procedure
17 will affect the forces on the other
18 remaining toes?

15

16

17

18

A I would agree, yes.

19

Q And tell me why that's important.

20

A Why is it important?

21

Q To understand how surgery on one toe might
23 affect the entire foot or other toes as
24 well.

22

23

24

A It's important --

25

0036

1

36

2

MR. : Well --

3 Okay, if you can answer it.
4 A It's important because in every surgery my
5 goal is a good functional foot. That's why
6 it's important.
7 Q And how does assessing the other toes or
8 other forces on the rest of the foot allow
9 you to do that?
10 A I'm not sure I understand.
11 MR. : Yes, I'm going to object to
12 form other than the obvious. I think
13 it questions the answer.
14 MR. OGINSKI: Okay.
15 Q Would you agree, Doctor, that if a
16 podiatrist such as yourself fails to take
17 into account how a surgery on one toe will
18 affect other toes, that that would
19 constitute a departure from general medical
20 practice?
21 MR. : I'm going to object.
22 MR. OGINSKI: Departure questions --
23 MR. : If you can answer that
24 question. All -- a variety of
25 patients, different patients. You're

0037

1 asking in a general sense if he
2 doesn't take into effect --
3 MR. OGINSKI: I'll rephrase.
4 Q You told me it's important for you, when
5 choosing a particular procedure, how that
6 procedure is going to affect other toes or
7 the foot. My question is now the reverse.
8 Would the failure to take that information
9 into account when choosing to do a
10 particular surgery, in your opinion would
11 that be a departure from general medical
12 practice?
13 A I would have to say yes.
14 Q Let's turn, please, to the first visit that
15 you had with Mrs. .
16 MR. : So we're on the same page,
17 April 15, ?
18 MR. OGINSKI: Yes.
19 Q By the way, Doctor, the notes that you have
20 in front of you, are those the original
21 records that were generated when you treated
22 Mrs. ?
23 A Yes, they are.
24 Q And those are computer-generated notes?

0038

1 They are, yes.
2 A Tell me the practice that you had at that
3 time about entering notes on the computer at
4 the time that you saw the patient.
5 MR. : Are you asking timing?
6 MR. OGINSKI: Yes.
7 MR. : If it you could be a little

37

38

9 more specific.
10 MR. OGINSKI: Okay.
11 Q Were you making the notes as the patient was
12 talking to you, did you wait until the visit
13 was over, or something else?
14 A Generally speaking, I meet with the
15 patient, gather all the information via
16 conversation. Patient leaves, I sit down at
17 my computer, write it in.
18 Q When you're having the conversation with the
19 patient, before ever entering any
20 information in on the computer, do you take
21 handwritten notes just to keep track of
22 certain responses that the patient makes?
23 A I don't take handwritten notes myself, but
24 the patient does fill out a questionnaire,
25 which is typically saved in the patient's

0039

1
2 electronic record.
3 Q And do you typically have an assistant or
4 nurse or someone else in the room with you
5 when you see a patient for the first time?
6 A Not --
7 Not usually, no.
8 Q And are there occasions when, because of
9 time constraints, you have to see another
10 patient immediately after, and you don't
11 have an opportunity to enter the information
12 from one patient right into the computer,
13 where you'll have to enter it at a later
14 time?
15 A There are always unexpected situations, yes.
16 Q And for Mrs. , specifically, do you
17 have any memory as to when it was that you
18 entered the information? Was it immediately
19 after she was seen by you? Was it at some
20 later time during the day or on some other
21 day?
22 A No, sir, I don't remember exactly when I
23 entered the information.
24 MR. : Well, each and every note,
25 but if you want generally --

39

0040

1
2 MR. OGINSKI: Yes, generally.
3 A Generally, it's at least the same day; maybe
4 not right after the visit.
5 Q If a patient calls you on non office hours,
6 whether on a weekend or evening, do you
7 customarily make a note in the patient's
8 notes, whether then or at some later time?
9 A Not customarily, but I'm trying to do that
10 now.
11 Q I'm not talking about now. I'm talking
12 about back in .
13 A Probably not.
14 Q Would there be any other individuals who

40

15 would make notes in the patient's chart
16 other than yourself?
17 A No, sir.
18 Q If your patient saw -- I'm sorry, was it he
19 or she?
20 A He.
21 Q Whenever he was working for you -- what's
22 his name by the way?
23 A (PHONETIC SPELLING).
24 Q If he had seen the patient, how would you be
25 able to distinguish that it was he who had

0041

1
2 seen the patient and not yourself?
3 A I honestly don't remember.
4 Q You --
5 A I know we had a system, but it was several
6 years ago.
7 Q Do you recall when he worked for you, from
8 when to when?
9 A No, I don't. Just several years ago.
10 Q He's no longer working for you?
11 A No, sir.
12 Q Now, at the top of each of your notes I see
13 it says "treating physician"?
14 A Yes, sir.
15 Q If this other doctor had treated her, would
16 you expect his name to appear there instead
17 of yours?
18 A I would expect that.
19 Q Turn, please, to the second page of your
20 first examination on April 15, . You
21 write that there was -- on the right bunion
22 exam that there was "periarticular cutaneous
23 signs," and then you have written "mild
24 arrhythmia."
25 Tell me what you mean by that.

41

0042

1
2 A The skin was a bit red.
3 Q And where was the skin red?
4 A This particular clarification is designed to
5 look at the skin directly over the first
6 metatarsal head.
7 Q And when you noted that there was "mild
8 arrhythmia," your understanding, from
9 looking at your notes now, is that it was
10 over the first metatarsal head?
11 A Yes, sir.
12 Q Then you write "right foot shows significant
13 lateral deviation of lesser toes."
14 Tell me what you mean by that.
15 A Normally, the toes sit perp -- excuse me,
16 parallel to the metatarsals. In this case,
17 the toes were laterally deviated, or
18 abducted, towards the outside of the foot
19 with respect to their respective
20 metatarsals.

42

21 Q And just so I'm clear, when you say
22 "abducted," meaning that they're heading
23 towards the pinky side?

24 A Yes, sir.

25 Q And you also note that there was

0043

1

"hyperkeratosis inferior to the second
metatarsal head."

2

3

Tell me what you meant by that.

4

5

A Thickening of the skin below the second
metatarsal head.

6

7

Q Had you formed an opinion on the cause of
that hyperkeratosis inferior to the second
metatarsal head at that time?

8

9

10

MR. : Based upon your note, can
you tell if you formed an opinion as
to the cause?

11

12

13

A Well, based -- based on my recollection I
formed an opinion, and my recollection was
that her foot was so terribly flat that her
first ray was not functional; therefore, she
was bearing body weight under the second
metatarsal.

14

15

16

17

18

19

Q When you say the foot was "flat," do you
mean the arch was flat?

20

21

A I'm talking about complete --

22

Well, let me see how I can best phrase this.

23

: Was that part of it?

24

THE WITNESS: Yes, yes, but --

25

MR. : It's not --

0044

1

A The arch is not important.

2

3

Q Tell me what you meant by the foot was flat.

4

A What's important is that her rear foot is
severely pronated.

5

6

Q And if you can just tell me what you mean.

7

A That means that the talus has slid down in
and forward on the calcaneus. Conversely,
the calcaneus has slid outward and up on the
talus. The first ray and the medial column
is left unstable, and completely elevates
with respect to the rest of the foot.

8

9

10

11

12

Q And on your left bunion exam you wrote that
"Left foot shows less lateral deviation of
the lesser digits."

13

14

Do you see that?

15

16

A Yes, sir.

17

Q Did you form any opinion as to why the right
foot had a greater deviation than the left?

18

19

A No, I did not. It was just an observation.

20

Q On the first page, Doctor, under "chief
complaint" you wrote "bunion at the first
metatarsophalangeal joint --

21

22

23

A Yes, sir.

24

Q -- on both feet; correct?

25

0045

43

44

1
2 A Yes, sir.
3 Q Did the patient have complaints of pain?
4 A Well, I don't recall.
5 Q Is there anything in your note that would
6 suggest that she had pain on this first
7 visit?
8 A There is, sir. Yes, there is.
9 Q Where?
10 A It says "She reports the quality of pain as
11 sharp and throbbing six over ten intensity.
12 Symptoms occur in shoes and ambulation."
13 Q And I'd asked you questions about prior
14 treatment and prior physicians, and you
15 write "no prior treatment"?
16 A That's what I wrote, yes, sir.
17 Q What was your diagnosis, Doctor?
18 MR. : Based on your note.
19 A In the medical record it says bilateral
20 bunion with hallux valgus. That was my
21 diagnosis, bilateral bunion with hallux
22 valgus.
23 Q And then you commented on the significant
24 intermetatarsal angle of the right foot; is
25 that correct, sir?

0046

1
2 A Yes, sir.
3 Q Had you taken X-rays on that visit?
4 A No.
5 Q How were you able to diagnose the
6 significant intermetatarsal angle of the
7 right foot without taking X-rays?
8 A Preliminary clinical evaluation is how I
9 give general information to the patient.
10 X-rays were not available. I wasn't booking
11 her surgery. I was simply giving her my
12 best clinical assessment at that time.
13 Q When you write this, "would require Lapidus
14 for correction," tell me what you meant.
15 How would the Lapidus correct the
16 deformities that you write here?
17 A Two considerations, two primary
18 considerations. Number one was that her
19 first ray was extremely unstable, which
20 means her first metatarsal was severely,
21 severely elevated.
22 Q Was that from the shortening of the first
23 metatarsal?
24 A No, sir. Had nothing to do with it.
25 Q When you said it was "severely elevated,"

0047

1
2 you don't mean that when she has her foot on
3 the floor that the toe is actually sticking
4 up?
5 A This is not an observation of the toe.
6 Q Okay. Tell me what you mean when you say

7 that the first metatarsal was "severely
8 elevated"?
9 A It means that when the foot is badly
10 pronated and the first metatarsal is
11 mal-positioned in that instead of having a
12 declination it actually has an elevation
13 with respect to the rear foot.
14 Q And how would a Lapidus correct her problem?
15 A Lapidus is designed to stabilize her first
16 metatarsal using arthrodesis.
17 Q Was there any other alternatives that were
18 available to you to treat this particular
19 condition other than a Lapidus procedure?
20 MR. : Surgically?
21 MR. OGINSKI: Whatever alternatives
22 there were.
23 A There are always other alternatives.
24 Q And what were they?
25 A Certainly the use of orthotic devices and

0048

1
2 accommodating shoe gear is a primary
3 alternative.
4 Q Any others?
5 A Well, there are lots of alternatives,
6 surgically speaking.
7 Q Okay.
8 A However --
9 Well, there are lots of alternatives. I'll
10 just leave it at that.
11 Q Tell me, please, what other surgical
12 alternatives were there other than the
13 Lapidus procedure to treat the condition
14 that you observed?
15 MR. : In this patient.
16 A To treat the condition I observed, that's a
17 very important statement.
18 Q I'm only asking based upon what you
19 observed.
20 MR. : He's just asking
21 surgically.
22 A Actually, actually, other than triple
23 arthrodesis I don't think there is another
24 alternative.
25 Q What is triple arthrodesis?

0049

1
2 A It's fusion of the entire unstable rear
3 foot.
4 Q In your career, Doctor, have you ever had
5 occasion to perform a triple arthrodesis?
6 A Yes, sir.
7 Q And in the five years before April 15, ,
8 had you performed a triple arthrodesis?
9 A Yes, sir.
10 Q Can you give me an idea of how many of those
11 procedures you had performed in your career
12 up until that time?

48

49

13 MR. : Approximately.
14 A Approximately five.
15 Q And can you tell me how many Lapidus
16 procedures you had performed in your career
17 up until April of ?
18 A Greater than 25.
19 Q And when you say "greater than 25," would it
20 be less? I mean, can you give me a range?
21 A Best guesstimate, between 25 and 30.
22 Q And was there any --
23 MR. OGINSKI: Withdrawn.
24 Q Now, you mentioned in your note that you
25 basically were recommending that the patient

0050

1 have a Lapidus procedure, correct, for the
2 right foot?
3
4 A Yes, sir.
5 Q Was there any particular reason as to why
6 you did not feel that a triple arthrodesis
7 would be beneficial for the patient?
8 A Yes, sir, there was.
9 Q Tell me.
10 A First of all, triple arthrodesis
11 significantly changes the patient's
12 mobility. It makes their foot rigid.
13 Certainly it's not a procedure that's done
14 on -- usually done, I should say, on a young
15 woman who is a propulsive walker.
16 MR. Okay.
17 Let him follow up.
18 Q And what is a "propulsive walker"?
19 A "Propulsive," simply means walking as any
20 normal person would as opposed to walking
21 completely flat-footed, and by
22 "flat-footed," I mean with no motion, not
23 the height of the arch.
24 Q So part of the foot is actually pushing up
25 off the ground to propel oneself as you

50

0051

1 walk?
2
3 A In a propulsive walker?
4 Q Yes.
5 A Yes, sir.
6 MR. OGINSKI: Let's take a two-minute
7 break.
8 (At which time, a brief recess was
9 taken.)
10 Q Doctor, you told me earlier that your
11 evaluation of the patient's X-rays indicated
12 a short first metatarsal compared to the
13 second and third metatarsal?
14 A No, sir, I didn't say that.
15 Q I'm sorry. If you can refresh my memory.
16 A My exact words were the first metatarsal was
17 shorter than the second metatarsal.
18 Q And what was the significance of that; if

51

19 any?
20 A Well, sir, almost everyone's first
21 metatarsal is shorter than the second
22 metatarsal. It's a common find.
23 Q And in performing a Lapidus procedure, would
24 you expect that the first metatarsal would
25 be shorter than it already was?

0052

1
2 A Yes, sir. 52
3 Q In choosing to do a Lapidus procedure, is
4 there some way for you to use, let's say, a
5 bone graft during the course of the
6 procedure so that the first metatarsal is
7 not made shorter than it already is?
8 A It has been tried historically. I did not
9 consider it to be a viable or an advisable
10 option.
11 Q Tell me why.
12 A Well, sir, the goal of Lapidus procedure is
13 to obtain stable arthrodesis between the
14 bones involved. Using a bone graft
15 significantly decreases your ability --
16 excuse me, the chances that you'll get a
17 completely stable arthrodesis.
18 Q Why is that?
19 A First of all, bone grafts are temporary
20 spacers. Bone grafts require complete
21 replacement of the patient's own tissue in
22 order to --
23 Well, as part of the healing process.
24 Having a bone graft in there significantly
25 increases the healing time before stability

0053

1
2 can be expected, so you increase the 53
3 potential risks and/or complications by
4 using a bone graft significantly.
5 Q Had there been any occasions in which you
6 performed a Lapidus procedure in which you
7 used bone graft in order to prevent
8 excessive shortening of the first
9 metatarsal?
10 A Not for shortening.
11 Q When would you use it?
12 A Bone graft is used in the first ray, when
13 pathologic bone is removed and we're trying
14 to replace it.
15 Q What would be the reason you would replace
16 it?
17 A Pathological bone; by that I mean infected
18 bone.
19 MR. : Yes, I wanted to know if
20 you wanted to --
21 A Bone grafting is not a standard part of
22 Lapidus procedure. It's an optional part.
23 Q When you measured the first and second
24 metatarsals on the X-rays from March 10,

5 was shortening of the first metatarsal?
6 MR. : Well --
7 A I don't know if I can answer that with the
8 word "practical" in there.
9 MR. : Do you want to know
10 ultimately or at the time he took the
11 X-rays or do you want to know as --
12 MR. OGINSKI: I'll rephrase it.
13 Q When you observed that the first metatarsal
14 post-operatively was shorter --
15 A Yes, sir?
16 Q -- did you form any opinion at that time as
17 to what effect that would have on the rest
18 of her toes or the rest of her foot?
19 MR. : Or possible effect, can you
20 word it that way?
21 MR. OGINSKI: Sure.
22 MR. : He didn't have a crystal
23 ball at the time.
24 A Well, sir, I took steps during the procedure
25 to minimize the effect of shortening on the

0057

1 first metatarsal.
2
3 Q What steps?
4 A The first metatarsal was plantar flexed with
5 respect to the rest of the foot. Plantar
6 flexion compensates for shortening to some
7 degree.
8 MR. : Okay.
9 Let him follow up with a question. I
10 don't want you to give a narrative.
11 Q But when you observed the shortened first
12 metatarsal, did you form any opinion as to
13 what effect that would have on the patient
14 from that point forward?
15 MR. : Or possible effect in the
16 future. He's asking when you first --
17 if you recall, when you first noticed
18 the shortening of the first
19 metatarsal, did you formulate an
20 opinion as to what possible effects
21 that would have on the patient in the
22 future? Is that okay, Gerald?
23 MR. OGINSKI: Yes.
24 A Not at the time -- not at the time of
25 reviewing the post-operative X-ray. I

0058

1 understand -- understood the ramifications,
2 but I did not have to form an opinion then.
3 Q What was your understanding of the
4 ramifications?
5 A My understanding was that the patient could
6 possibly develop increased pain below the
7 second metatarsal or possibly not. All
8 cases don't do that. All cases are not --
9 don't exhibit those kinds of symptoms.
10

57

58

11 Q Other than taking the steps that you
12 mentioned that you did intraoperatively,
13 were there any other steps that you could
14 take to minimize the shortening of the first
15 metatarsal any more than necessary?
16 A Post-operatively --
17 MR. : I don't know if he
18 testified that he did.
19 MR. OGINSKI: I'll rephrase.
20 Q You told me you took steps during the
21 surgery to minimize the effects of the
22 shortening of the first metatarsal.
23 A In the --
24 I did not say that.
25 Q You said the first metatarsal plantar

0059

1
2 flexion --
3 A I said that I took steps to compensate for
4 the shortening of the first metatarsal.
5 Q Okay. And is that because you expected that
6 this procedure would cause a shortening of
7 the toe?
8 A Yes.
9 Q And were there any other steps that you
10 took?
11 A Excuse me. Correction.
12 Q The metatarsal?
13 A The metatarsal, not the toe.
14 Q Thank you.
15 Were there any other steps that you took
16 intraoperatively that would compensate for
17 the shortening of the metatarsal?
18 A Plantar flexion of the first ray was the
19 step.
20 Q And how do you actually do that?
21 A That's a long answer.
22 MR. : Okay.
23 THE WITNESS: I can try. I can try in a
24 few words.
25 MR. : I'm thinking is there any

59

0060

1
2 way he can ask it --
3 MR. OGINSKI: As best you can.
4 MR. : Generally.
5 A I'll try to do this short and sweet.
6 The goal is to position the first metatarsal
7 in the right anatomic alignment. In order
8 to do so, you must take wedges out of the
9 bone so that the two bones meet flush and at
10 right angles, and this is what I did to
11 correct the position in both the transverse
12 plane and the sagittal plane.
13 MR. : Okay. We'll leave it at
14 that.
15 Q Did you have the ability, when choosing to
16 do this Lapidus procedure, to compensate for

60

17 what you expected to be a shortened first
18 metatarsal to also shorten the second and
19 third metatarsals as well? Was that one of
20 the options available to you?
21 A No.
22 MR. : I'll object to form but you can
23 answer.
24 A No, that was not an option available to me.
25 Q Tell me why.

0061

1
2 A First of all, pre-operatively I informed the
3 patient there was a possibility she could
4 get more pain below or more pressure, not
5 pain, more pressure below the second and
6 third metatarsals post-operatively. I
7 informed her it was a possibility but not a
8 certainty, therefore I did not obtain
9 consent to shorten those bones at that
10 surgical procedure, during that surgical
11 procedure. However, both the patient and I
12 knew that it was possible I would need to go
13 back and do that later.

61

14 MR. : Okay.
15 Q And is there any reason that you did not
16 feel it would be necessary to do everything
17 at once, you do the first metatarsal and
18 then you address the second metatarsal and
19 third metatarsal at the same time?
20 A Two reasons: Number one, the surgical
21 procedure performed was not guaranteed or
22 not certain to cause excessive pressure
23 below the second metatarsal, therefore I
24 didn't see it necessary to operate on a bone
25 that actually wasn't showing signs and

0062

1
2 symptoms of problems.
3 Q What is an arthroplasty?
4 A Removal of joint.
5 Q And did you ever consider performing an
6 arthroplasty in the second or third toes or
7 the metatarsals in order to help realign the
8 joints pre-operatively?

62

9 MR. : Okay.
10 Before the Lapidus procedure was
11 performed?
12 MR. OGINSKI: Yes.
13 MR. : Okay.
14 A Arthroplasty?
15 Q Yes.
16 A Absolutely not.
17 Q Is there anything else that was available to
18 you that would help decrease the lateral
19 deviation of the toes other than the Lapidus
20 procedure you described?
21 MR. : I'm going to object, object
22 to the form. You can --

23 A You're asking me about two different things.
24 Q Okay. What surgical treatment was available
25 to you in order to minimize or decrease the

0063

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0064

1

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0065

1

2

lateral deviation of the toes?

A The lesser toes?

Q Yes.

MR. : I'm not sure if I understand it.

THE WITNESS: I can answer that question, and it's pretty straightforward.

MR. : Okay.

A The conservative surgical procedure was simple lateral -- wait a minute -- medial joint capsule release and splintage of the toes, the conservative surgical procedure. The more aggressive surgical procedure would have been implant arthroplasty of the same toes and metatarsals.

MR. : Let him direct you now with a question.

Q You had mentioned that the Lapidus procedure did not guarantee that you were going to get pain or pressure on the second and third metatarsals?

A Yes, sir.

Q What do you do to take into account the

possibility that it could create that additional pressure, ultimately causing pain? In other words, how do you address that pre-operatively other than saying, you know, "If you develop pain later on, we'll take you back in for another procedure"?

MR. : Pre-operatively or post?

MR. OGINSKI: Pre-op.

MR. : Pre-op, okay.

A You're going to have to help me with your question again.

Q I'll ask it again.

You told me in performing a Lapidus procedure there is a possibility that a patient will experience pressure in the second and third metatarsals?

A Yes, sir.

Q But it's not guaranteed to occur?

A That's correct.

Q Is there anything else that you can do as a podiatrist, that you can remember or have in your arsenal of tools available to you to help minimize the pressure in the second and third metatarsals?

63

64

65

A Yes.

3 Q What is it?
4 A I could perform prophylactic surgery on
5 those two bones or I could plantar flex the
6 first ray.
7 Q And what effect would that have, if any?
8 MR. : With the second one?
9 MR. OGINSKI: Yes, the plantar flex of
10 the first ray.
11 A Plantar flexing the first ray is a counter
12 pronation correction, and the pronation I
13 described earlier.
14 Q And that's something that you do surgically
15 intraoperatively; correct?
16 A It was done surgically on this patient.
17 Q And you made a note of that in your
18 operative report?
19 A Made a note of what, sir.
20 Q Of doing that plantar flexion?
21 MR. : Does he remember from his
22 memory or --
23 Take a look at your chart.
24 A Can I remember it or should I be looking at
25 it?

0066

1
2 Q First of all, do you remember doing it?
3 A Yes, sir, I do.
4 Q Did you see it, that you made a note of that
5 in the patient's operative report?
6 A I don't remember. I'd have to look.
7 Q Take a look, please.
8 A All right. Let's see here. It seems the
9 appropriate paragraph is as follows --
10 Q What page?
11 A Page two.
12 Q Okay.
13 A Op report.
14 Q Which paragraph?
15 A Paragraph number two.
16 Q Okay.
17 MR. : Would you like him to read
18 the paragraph?
19 Q Just point out to me where it is, Doctor.
20 A Point out? Second paragraph on page two.
21 Q Where within the paragraph?
22 MR. : Why don't you just read it.
23 A Okay. Using the Micro-Aire sagittal saw, on
24 two planes an adductory wedge was cut into
25 the bone removing the distal articular

0067

1
2 surface.
3 Now, that's the sentence that eludes to the
4 sagittal plane correction that I made.
5 Q Is that the same as you were talking about,
6 putting in plantar flexion?
7 A Yes, sir.
8 Well, I was going to say it doesn't

66

67

9 actually use the words "sagittal plane." My
10 intention was two planes meaning sagittal
11 and transfers.
12 Q Just so we're clear, Doctor, the surgery was
13 performed March 25, ?
14 A According to the operative report.
15 Q Correct?
16 A Yes, sir.
17 Q And that was done at
18 Hospital in ?
19 A Yes, sir.
20 Q Did you have an assistant during that
21 procedure?
22 A I had a scrub nurse assistant.
23 Q Anybody assist you with the actual surgery?
24 A No, sir.
25 Q Let's go back, please, to your first office

0068

1
2 visit with Mrs. .
3 On the second page of your note at the
4 bottom of the second page you talk about --
5 I assume you were talking about counselling?
6 A Yes, sir.
7 Q The spelling is not right.
8 A Well, I'm not the best speller.
9 Q Okay. Tell me, the information that's
10 contained in this note, is this something
11 that you can push a button and you get a
12 template of what you discussed with the
13 patient, or is this something that you input
14 specifically for this particular patient?
15 A This is exactly what I told the patient.
16 Q And when you said "explain the influence of
17 pathomechanics and footgear on the
18 deformity," tell me exactly what you meant
19 by that.
20 A I explained to her that she had a
21 hyper-immobile flatfoot, and that that is a
22 primary cause of her severe bunion
23 deformity. I also explained that
24 controlling the hyper-immobile flatfoot is
25 extremely important in the overall health of

68

0069

1
2 her foot. And of course it's a lot easier
3 to type "explained pathomechanics" than it
4 is to type all of those other things, but in
5 the explanation, "pathomechanics and
6 footgear" means controlling the
7 hypo-immobile flatfoot as respect to how the
8 bunion, you know, manifested itself.
9 Q Now, you mentioned that you recommended
10 sneakers and accommodative footgear.
11 A Yes, sir; always do.
12 Q And was Mrs. . receptive to that?
13 MR. : From memory.
14 A From memory, if I remember correctly, she

69

15 told me that the only thing she could wear
16 without pain was her clogs. Nothing else.
17 Q Did you feel that custom orthotics would be
18 beneficial for her?
19 A I certainly did.
20 Q And did you prescribe them for her?
21 A I recommended them.
22 Q And what, if anything, happened as a result
23 of your recommendation?
24 A Mrs. did not comply with my request
25 to get orthotic devices as a first step.

0070

1
2 Q Do you know why?
3 A No, I wouldn't be able to tell you.
4 Q Did she tell you why?
5 A I don't remember.
6 Q Did you ask her why?
7 A Actually, I don't remember that either.
8 Sorry.
9 Q Did you have an opinion, when you made the
10 recommendation for the orthotics, that it
11 might stabilize her condition for a period
12 of time?
13 A Well, I'm not sure that I'd answer that.
14 Q Why did you make the recommendation for
15 orthotics?
16 MR. : He already testified that
17 he always does.
18 Q I'm asking in this particular case.
19 A I would love to answer that question.
20 Her rear foot and medial column instability
21 is a very damaging thing, generally
22 speaking, several ways. The current
23 acceptable commonly used method for
24 controlling such a weakness is a functional
25 foot orthotic.

0071

1
2 Q Now, jumping ahead to the surgery again --
3 A Yes, sir?
4 Q During the procedure, did you attempt to
5 realign toes two and three during the
6 procedure?
7 MR. : Are you asking is that his
8 custom and practice?
9 MR. OGINSKI: No, no. In this
10 particular case.
11 A I'm going to look at the operative report.
12 MR. : Just because it's not in
13 the operative report doesn't mean it
14 wasn't done, but --
15 A Let's see.
16 I did attempt to realign the second and
17 third toes, yes.
18 Q What was the reason for doing that?
19 A From memory, because they were laterally
20 deviated.

70

71

21 Q And in your note, in your operative report,
22 does it indicate the reason you were
23 attempting to realign them?
24 A Does it indicate the reason why I was trying
25 to realign them in my operative note?

0072

1 72

2 Q Yes.
3 A I don't recall mentioning that in the
4 operative note.
5 MR. : He's just asking does it
6 reflect that in your operative report.
7 A Well, I'll read to you the pre-operative
8 diagnosis. I'll get down to the second and
9 third toes, or the second and third
10 metatarsophalangeal joints.
11 It says, "subluxation of right second and
12 third metatarsophalangeal joints," so I
13 suppose that would be the reason.
14 Q How did you attempt to realign those toes?
15 A The extensor hood apparatus was increased --
16 incised, cut.
17 Q Where are you reading from, Doctor?
18 A Fourth paragraph, page two.
19 Q Okay.
20 A So last sentence, the extensor hood
21 apparatus of both second and third extensor.
22 Q You mean those tendons were cut?
23 A Not the tendons.
24 Q What was cut?
25 A The connective tissue that adheres the

0073

1 73

2 tendons to the joint.
3 Q And what effect would that have?
4 A Well, not too much on its own, but there's
5 more.
6 Q Go ahead.
7 A The tendons were then transposed one
8 centimeter laterally and sutured in a new
9 position. This caused adduction of the
10 toes. It should be "abduction" of the toes
11 though.
12 Q Adduction or abduction?
13 A A-B, abduction is right, on the transverse
14 plane. A lateral joint capsulotomy was
15 performed at the second and third metatarsal
16 joints, then superficial tissues were
17 closed, and so on and so forth.
18 The important facts are extensive hood
19 apparatus was cut, tendons were realigned
20 into a more advantageous position for
21 pulling, joint capsule was cut, and then
22 everything was sutured.
23 Q Is this known as a tendon transfer?
24 A A more accurate description would be tendon
25 transposition.

0074

1
2 Q When you observed the location of the
3 tendons that you were working on that you
4 just talked about, were they already
5 laterally deviated from their normal
6 anatomical position?
7 A No.
8 Q Was it your opinion that they were in the
9 correct anatomic position?
10 A They were, yes.
11 Q By doing this tendon transposition, did that
12 laterally deviate those tendons even more
13 laterally?
14 A Put them in a more advantageous position.
15 Q And why was that important?
16 A To help maintain the toes in a more
17 advantageous alignment.
18 Q By that you mean straightening them?
19 A Yes.
20 Q And how would that straighten them?
21 A By pulling you can actually influence the
22 toes to be straight.
23 Q Can doing that tendon transposition make the
24 position of the toes worse?
25 A No. Not possible.

0075

1
2 Q Tell me why not.
3 A It's not physically possible to change their
4 pull enough to make the toes go in an
5 abnormal position.
6 Q Do you recall after the surgery
7 post-operatively, when you examined
8 Mrs. in your office at any of the
9 post-operative visits, did you ever form an
10 opinion as to the second and third toes, as
11 to whether the alignment of those toes were
12 anatomically correct after the surgery?
13 A I don't remember.
14 Q I'll go through your notes in a little
15 while.
16 Is there anything you remember about the
17 positioning of the second and third toes
18 that you remember seeing after the surgery
19 that suggested the tendon transposition did
20 not work?
21 A Again, I don't remember. I'm sorry.
22 Q Was there anything that you saw in your
23 notes in review for preparation for today's
24 deposition that suggested that what you
25 observed indicated to you that the tendon

0076

1
2 transposition didn't work?
3 MR. : Do you recall seeing
4 anything in your notes?
5 A No, I'm sorry. I don't remember that
6 either.

7 Q Now, what's the cause, or what are the
8 causes as to why the lesser toes would be
9 laterally deviated?
10 A Okay.
11 MR. : It's not all-encompassing.
12 Give some of the causes.
13 A Bottom line, severe, severe flatfoot,
14 pronated foot.
15 Q And what are some of the other reasons that
16 you can think of that would cause --
17 A That is the reason. That was the reason for
18 her lateral deviation of the lesser digits,
19 it was her severe rear foot pathomechanics.
20 Q Could she experience this lateral deviation
21 of the second and third toes from long
22 second and third metatarsals?
23 A No, sir.
24 Q Why not?
25 A Just like the second and third metatarsals

0077

1
2 are a certain length, so are all the soft
3 tissues that connect her toes to the second
4 and third metatarsals.
5 So no, the answer is no. Nothing's changed.
6 Q And the tendons that pull those particular
7 toes --
8 MR. OGINSKI: Withdrawn.
9 Q Is the tendon transposition the same thing
10 as a tendon release?
11 MR. I think I'm going to
12 object.
13 A No, no.
14 MR. : Okay.
15 Q At any time pre-operatively did you consider
16 doing a tendon release?
17 A No.
18 Q Would a tendon release help with the
19 realignment of the second and third toes?
20 A No.
21 Q Tell me why.
22 A First, let me ask you to define "tendon
23 release."
24 Q I was going to ask you the question.
25 A Okay. Let me tell you how I define tendon

0078

1
2 release. Simple transection or cutting of
3 the tendon.
4 Q And then re-attaching?
5 A Not re-attaching, just cutting them.
6 Now, if I were to simply cut tendons --
7 MR. : Let him ask a question. I
8 don't want you to give a narrative.
9 Q Would a tendon release have assisted you in
10 realigning the second and third toes?
11 MR. : At what time?
12 MR. OGINSKI: Pre-operatively.

77

78

13 A No.
14 Q Tell me why.
15 A Tendon release, although it removes the pull
16 of a tendon, does not reposition a toe.
17 Q It eliminates the force that's causing it
18 to -- moving it from one side?
19 A One of the forces, but a minor force. Not
20 the main one.
21 Q Was it your opinion, Doctor, before doing
22 surgery that the second and third toes that
23 were laterally deviated were from, in part,
24 tendon pull?
25 A No.

0079

1
2 Q Let's talk about informed consent.
3 When you're discussing surgical options with
4 a patient --
5 A Yes, sir?
6 Q -- would you agree, Doctor, that it's
7 important for you to discuss with a patient
8 all the possible options available to them
9 in order for them to be fully informed about
10 what their options are?
11 A I would agree that it's generally necessary
12 to do exactly what you said.
13 Q And that would be good podiatric practice,
14 to discuss with them their options?
15 A Yes, sir.
16 Q And would it also be important, Doctor, to
17 discuss with them the risks and the benefits
18 and the alternatives to any surgical
19 procedure that you might be recommending?
20 A Yes, it will.
21 Q And why is that important? In other words,
22 why do you discuss the risks and the
23 benefits with the patient?
24 A I want my patient to understand what she's
25 doing.

0080

1
2 MR. : Okay.
3 Leave it at that.
4 Q And would you also agree that it's important
5 for you as a podiatrist to give sufficient
6 information to the patient to allow them to
7 make an educated decision about their
8 treatment options?
9 A Yes, sir, I'll agree that is true.
10 Q If a patient is not given sufficient
11 information about their treatment options,
12 the risks, the benefits and the
13 alternatives, would you agree then the
14 patient might be making a decision on
15 insufficient information?
16 A I would agree, yes.
17 Q Would you also agree it would be a departure
18 from good podiatric practice not to discuss

79

80

19 the risks, benefits and alternatives to any
20 surgery that you are recommending?
21 MR. : You can answer over
22 objection. "Departure" is a legal
23 term, but you can answer.
24 A Well, I agree that it's good to do it, yes.
25 Q Tell me about the risks of the Lapidus

0081

1
2 procedure in this case. 81
3 A Well --
4 MR. : Again, you can testify as
5 to the risks. I mean, your answer is
6 -- you don't have to detail every one.
7 THE WITNESS: Right.
8 A Failure of the arthrodesis to heal;
9 malposition of the big toe following the
10 surgical procedure; excessive force being
11 placed on the second or and/or third
12 metatarsal from below during walking;
13 excessive scar formation at the surgical
14 site. Of course there's always the
15 possibility of infection of some type.
16 MR. : Okay.
17 He'll follow up. Again, those are
18 not all-encompassing.
19 Q Are there any other risks that you're aware
20 of?
21 A There's a risk a patient can fall down on
22 her crutches and break her neck.
23 Q I'm asking about this particular Lapidus
24 procedure.
25 A I think that's -- that's a pretty

0082

1 comprehensive list. 82
2
3 Q What were the benefits to performing a
4 Lapidus procedure?
5 A Stabilization of the first ray; removal of
6 the bony prominence from the inside of the
7 foot.
8 Q That would be from the bunionectomy?
9 A Yes, sir.
10 And positioning of the big toe in a more
11 anatomically correct position.
12 Q Anything else?
13 A I think -- I think not.
14 Q And the alternatives that you told me about,
15 Doctor, other than the orthotics and the
16 shoe gear, were there any other alternatives
17 that you discussed with Mrs. on
18 that first visit?
19 MR. : That you can recall.
20 MR. OGINSKI: Well --
21 Q Well, also based upon your note.
22 MR. : Well, his note doesn't
23 necessarily mean he didn't discuss it
24 with her.

25
0083

A No, sir, I don't recall anything else.

83

1

Q Did you tell Mrs. during that first office visit that performing a Lapidus procedure could cause excessive force on the second and third metatarsals?

2

3

4

5

6

A I don't recall specifically, but I would usually do so.

7

8

Q Did you tell Mrs. that the Lapidus procedure would shorten her first metatarsal more than it was already shortened in comparison to the second metatarsal?

9

10

11

12

MR. : Are you asking on that first visit?

13

14

MR. OGINSKI: Yes.

15

A Again, I don't recall specifically, but normally I would not do so.

16

17

Q Now, you told me that everything that's written on the counselling section of your note is exactly what you discussed.

18

19

20

A No, sir. I didn't say -- I didn't use the word "exactly."

21

22

Q The information that you have contained about counselling the patient --

23

24

MR. : What visit is that?

25

MR. OGINSKI: The first visit, page two

0084

1

at the bottom.

2

3

MR. : Sorry.

4

Q Is there anything that you discussed with the patient that does not appear in your office note?

5

6

7

MR. : Again, from memory.

8

A I can think of one thing in particular from memory.

9

10

Q Go ahead.

11

A And the one thing that I remember, and I would do this with anyone but I specifically remember doing it with Mrs. , is that undergoing -- I told her that undergoing this surgical procedure was an extremely involved -- excuse me, it -- what's the right word? It encompasses, it involves, it's an extremely long and work-intensive recuperation period. She told me she's a mother of several children; I knew that, I treated her children.

12

13

14

15

16

17

18

19

20

21

22

23

I was very specific about how it was going to immobilize her and interfere with her regular lifestyle, especially during the

24

25

0085

1

recovery period, and I also told her that if she had any surgical complications that she would require a second operation, which

2

3

4

84

85

5 would increase her healing period for even
6 longer.
7 I was very careful, considering the fact
8 that I was treating her children, to warn
9 her about that.
10 Q What is overcorrection of the hallux?
11 A Well, overcorrection of the hallux typically
12 means that the big toe sticks out away from
13 the foot as opposed to too much over in the
14 other direction.
15 Q What did you tell Mrs. about the
16 chances of getting a good result from the
17 procedure you were recommending for the
18 right foot?
19 MR. : What do you remember
20 telling her?
21 A What I remember is that I told her that I
22 thought the chances were very high;
23 otherwise, I would not have attempted the
24 surgical procedure.
25 Q And Doctor, can you tell me, up until April

0086

1 of , how many Austin bunionectomies you
2 had performed in your career; approximately?
3 A I don't know.
4 Q Can you give me a range?
5 A I don't know, a couple hundred, over --
6 I don't know. A lot.
7 Q Other than having privileges to do surgery
8 at this particular hospital --
9 MR. : ?
10 MR. OGINSKI: Yes.
11 Q -- did you have hospital privileges anywhere
12 else at that time?
13 A At that time?
14 Q In .
15 A Honestly, I don't remember.
16 Q Currently, where are your hospital
17 privileges?
18 A Hospital, where this
19 procedure was performed;
20 Hospital in ; and I work in
21 an ambulatory surgery facility in
22 .
23 .
24 .
25 Q Did you have privileges at that surgery

0087

1 center in / ?
2 A No.
3 Q Is that a facility that you have an
4 ownership interest?
5 A No, sir.
6 Q Am I correct you're board certified?
7 A Yes.
8 Q In podiatric surgery?
9 A Yes.

86

87

11 Q The name of the certifying board?
12 A
13 Q When were you board certified?
14 A as I recall.
15 Q And did you have to retake --
16 MR. OGINSKI: Withdrawn.
17 Q Did you have to take your board examination
18 more than once, either written or oral?
19 A No, sir.
20 Q Where did you go to podiatry school?
21 A
22 Q When did you graduate?
23 A
24 Q And where did you go to college?
25 A

0088

1
2 Q When did you graduate?
3 A
4 Q What did you do between ' and '
5 Sorry.
6 MR. OGINSKI: Withdrawn.
7 Q After completing podiatry school, did you go
8 on to do any post-graduate training?
9 A I did.
10 Q Where?
11 A It was in
12
13 Q Where?
14 A It was with
15 Q How long did you do that?
16 A One year, sir.
17 Q Did you do any type of residency training or
18 internship?
19 A No, sir.
20 Q And other than the preceptorship with
21 , did you do any other
22 post-graduate training?
23 A No, sir.
24 Q And from to --
25 MR. OGINSKI: Withdrawn.

88

0089

1
2 Q As part of the board certification process
3 did you need to accumulate a certain number
4 of cases to submit to the Board to show that
5 you had competency in treating various types
6 of cases?
7 A Yes, sir, I had.
8 Q Do you recall, as you sit here now, how long
9 it took you to accumulate those cases?
10 A I think it was
11 Q Had you applied to the
12 for certification more
13 than once?
14 A No, sir.
15 Q Are you board certified in any other field
16 of podiatric medicine or subspecialty of

89

17 podiatry?
18 A No, sir.
19 Q In your career, Doctor, have you published
20 anything in the field of podiatry?
21 A No.
22 Q Have you --
23 That would include peer review journals,
24 textbooks, chapters of textbooks?
25 A No, sir. I'm not a writer. I treat

0090

1
2 people. Every day. Seven days a week.
3 Q In preparation for performing this Lapidus
4 procedure did you consult and review any
5 textbooks prior to performing the surgery?
6 A How prior?
7 Q Well, from the time you recommended the
8 procedure --
9 A No, sir.
10 Q -- up until the time you performed the
11 procedure?
12 A No, sir.
13 Q Did you review any medical literature prior
14 to performing the surgery?
15 A No, sir.
16 MR. : This surgery.
17 A Not between the time I recommended it and
18 the time I performed it, no.
19 Q In deciding what treatment would best be
20 suitable for Mrs. , did you utilize
21 any type of algorithm or chart to determine
22 what would be best for her, you know, like a
23 checklist to formulate your treatment
24 options or treatment plan?
25 A No, sir.

90

0091

1
2 Q Have you given any lectures to any national
3 bodies of podiatrists?
4 A National bodies? That's an interesting way
5 to put it.
6 Not pertaining national bodies, no, sir.
7 Q Like any national convention of podiatry or
8 physicians or the yearly meetings?
9 A No, nothing that large.
10 Q Are you required, as a podiatrist, to take
11 continuing education classes?
12 A Yes, sir.
13 Q And from to , did you take any
14 ongoing classes to keep you up to date?
15 A Yes, sir. I fulfilled all my requirements
16 for continuing medical education.
17 Q Have you ever testified before?
18 A Yes, sir.
19 Q How many times?
20 A As I recall, twice.
21 MR. : I'm not going to allow
22 questioning too much further on this.

91

23
24
25
0092

 You can establish that he testified.
Q Were either of those occasions that you testified, were they as expert witnesses,

92

1
2
3
4
5
6
7
8
9

where you were testifying on behalf of a patient or on behalf of another doctor, or something else?

MR. : You can establish that you did.

MR. OGINSKI: I'm not going to go into details.

A Both times as an expert witness, yes.

10

MR. : Okay.

11
12

Q Was that on behalf of a plaintiff or a defendant?

13
14
15
16
17
18
19

MR. : You know what? I'm going to stop the questioning on that here. He's told you he testified before, he told you he testified as an expert. I'm not going to let you get into whether he testified for a plaintiff or a defendant.

20

MR. OGINSKI: You can't --

21
22
23
24

MR. : You can mark it and we can go into court and do it on paper. I was just in court on this issue. It's prejudicial.

25
0093

Off the record.

93

1
2
3

(Discussion held off the record.)

4
5
6

Q Doctor, the times that you did testify as an expert witness, were they both in County?

7

A Yes.

8

Q How long ago were those occasions?

9

MR. : You can approximate.

10

A I don't know.

11
12

I'm sorry to be so vague, but somewhere between five and 15 years ago.

13
14
15
16

Q Separate and apart from the actual testifying, have you had occasion to review records on behalf of attorneys over the course of your career?

17

A Yes, sir, I have.

18

Q Let's turn, please, to your June 2nd

19

note.

20
21
22
23
24

Oh, I'm sorry. Before we get there, am I correct that after your initial consultation with Mrs. she chose to wait awhile before making any decision about the surgery?

25

A I believe that's correct.

0094

1
2

Q And she ultimately came back to you on May

94

3 6, and then she does not return to your
4 office until March 8, ; correct?
5 A Yes, I think that's correct.
6 Q And in March of , March 8th of , she
7 now is requesting the bunion correction on
8 the right foot; correct?
9 A I believe that's correct, yes.
10 Q And she made complaints that her bunion is
11 extremely painful?
12 A Yes, sir.
13 Q And she also had an ulceration where she had
14 the bunion?
15 MR. : I'm sorry. You said March
16 8th?
17 A 3/8/ .
18 Q You write "the bunion ulcerates the skin and
19 shoes and she can't stand the pain any
20 longer"?
21 A Correct.
22 These are the words she used.
23 Q Correct. That's what I'm asking.
24 A These are words on her part, not my
25 observations.

0095

1
2 Q Did you examine her on March 8th?
3 A Normally I would. I don't recall.
4 Q Is there anything on your March 8th note to
5 indicate that you performed the physical
6 examination?
7 A No, sir.
8 Q And does that suggest to you or indicate to
9 you that you did not perform a physical
10 examination at that time?
11 A It suggests to me that I didn't notice any
12 changes.
13 Q Would you agree, Doctor, when you perform a
14 physical examination it's important for you
15 to make a note of any findings?
16 A Yes, sir, I would.
17 Q Why is that important?
18 A Well --
19 MR. : Significant findings or --
20 Q When you do an exam, why is it important for
21 you to note it in the chart?
22 MR. : Why is it important to note
23 significant findings in the chart?
24 A These significant findings guide my
25 decision-making.

0096

1
2 Q And as far as record keeping and note taking
3 why is it important for you to make?
4 A It guides me in making decisions.
5 Q And does it also assist you to be able to
6 look back and see what a patient's condition
7 was at a particular time?
8 A Yes, sir, I believe that's a correct

95

96

9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
0097

statement.
Q Okay. And typically, in , Doctor, if
you had examined a patient and felt that
there were no changes to the patient's
condition, would you make no entries in the
chart about your examination?
MR. : Are you asking does he have
a custom and practice?
MR. OGINSKI: Yes.
Q Is that what you customarily did?
A Well, I have to admit you're confusing me.
I don't understand.
Q I'll rephrase the question. I'm sorry.
You've told me that there's nothing in your
March 8, note to indicate that there
was a physical examination. My question
is -- and you told me that if there's no

97

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
0098

significant changes you don't write a note,
or you may not write a note.
MR. : I think he said no
"significant" findings.
Q All I'm trying to establish is why isn't
there a note about your physical exam?
MR. : Is there a reason? If
there's not, there's not.
THE WITNESS: I'm trying to think of
a --
MR. : I don't want you to guess.
A I'm trying to think of an accurate way of
describing it to you.
Quite frankly, I was a bit concerned -- not
concerned, confused by what you were asking
me.
Generally speaking, when I have an encounter
with a patient, if the encounter shows me a
previously established parameters or signs
and symptoms or whatever or what have you, I
may or may not write them down depending on
whether or not I think they're significant
at the time.
Certainly if I think something is

98

1
2
3
4
5
6
7
8
9
10
11
12
13
14

significant, something I think I'm going to
need to remember, I write it down if I can,
and that's general practice.
Q Would one other explanation for not having
notes about a physical exam possibly be that
you did not conduct an exam on that
particular date?
MR. : I'll object. He can
answer, but he already asked and
answered that.
MR. OGINSKI: I understand that. I'm
asking as one other possibility.
A If I made an entry I gave her an exam.

15 Q The fact --
16 MR. : No.
17 A If I make this entry here (Indicating).
18 Q I see.
19 A It means that I did an exam, didn't find
20 anything different, didn't think I needed to
21 write anything down, and so I didn't.
22 Perhaps it wouldn't --
23 That's my answer.
24 Excuse me.

(At which time, a brief recess

0099

1

99

2

was taken.)

3

Q Doctor, again on the March 8, note,
4 when the patient mentioned that the bunion
5 ulcerates the skin, did you note any finding
6 of your own as to whether there was any
7 ulceration?

8

A I did not note it, no, sir.

9

Q And just so I'm clear, that does not mean
10 that you didn't observe it, it simply means
11 you didn't make a notation about it;
12 correct?

10

11

12

13

A I think that's a correct statement, yes.

14

Q Now, going to the next visit on March 15,
15 the patient --

15

16

Explain this to me. The note for the March
17 15th visit happens to be exactly the same as
18 the March 8th visit. Can you explain why
19 that is?

17

18

19

20

A Actually, it's not exactly the same.

21

Q In which way?

22

A On this day, according to the note, the
23 informed consent was obtained. Patient came
24 in for that purpose I would suspect.

23

24

25

Q Other than the informed consent on that

0100

1

100

2

date, was anything different about the note?

3

A No, sir.

4

Q And is there any particular reason why the
5 note would be the same except for the
6 informed consent?

5

6

7

A I'm sorry.

8

Q Sure.

9

Is there any reason as to why just the
10 informed consent matter was not listed as
11 the only reason the patient was there and
12 why the other information appears there?

10

11

12

13

A Oh, no.

14

MR.

15

the form. He can answer. He already
16 testified that he performs an exam
17 that corresponds with each entry.

16

17

18

A I simply attempted to give a reason for why
19 she was there. She told me her bunion was
20 bothering her and I noted that I explained

19

20

21 the Lapidus procedure to her yet again, and
22 then I obtained an informed consent for a
23 Lapidus procedure as noted there.
24 Q Did she then point out to you that she had
25 an ulceration of the skin at the bunion

0101

1
2 area?
3 A No, sir. She simply explained --
4 My note indicates that she complained that
5 it ulcerates, not that it was ulcerated at
6 that time.
7 Q You have noted in both the March 8th and
8 March 15th notes, it says the bunion
9 ulcerates the skin and shoes and she can't
10 stand the pain any longer. This is exactly
11 the same?
12 A Yes.
13 Q Did she make the exact same complaint on
14 each visit?
15 A I don't recall. I would assume yes.
16 Q Is there any reason that you can think of,
17 Doctor, as to why the first paragraph is
18 exactly the same on both visits?
19 A Because those are the facts I would imagine.
20 Q On your computer program that you have, when
21 you make an entry and notes, do you have the
22 ability to copy --
23 A Copy and paste?
24 Q Wait a minute.
25 -- paragraphs?

0102

1
2 A Sure. Of course.
3 Q Is that what happened in this particular
4 instance on March 15th?
5 MR. : I'm going to object.
6 Q Did that happen on March 15th?
7 A If I recall --
8 MR. : No, he's asking if you
9 recall.
10 A No, I don't recall.
11 Q Who was Mrs. with on the 8th?
12 A On the 8th?
13 Q If anyone.
14 MR. : If you recall.
15 A I don't recall, sir.
16 Q Who was Mrs. with, if anyone, on
17 March 15th?
18 A Sir, I don't recall that either.
19 Q Let's go, please, to your March 29th note.
20 A Okay.
21 Q 3/29 is now four days post-operatively;
22 correct?
23 A Yes, sir, that would make sense.
24 Q And your diagnosis number one was
25 subluxation of the left talonavicular joint;

0103

1
2 correct?
3 A Correct.
4 Q Number two is bunion with hallux valgus?
5 A Yes.
6 Q Three is dislocation of the
7 metatarsophalangeal joint?
8 A Yes, sir.
9 Q Which toe, which foot? Do you indicate
10 that?
11 A It's not indicated there, no.
12 Q Am I correct that you were referring to the
13 right foot?
14 A Yes, sir.
15 Q Which toe are you referring to?
16 A Well, I would imagine that the toes that are
17 operated on would be the toes that I was
18 referring to.
19 Q And the dislocation, specifically which toe
20 or toes are you referring to?
21 A Well, first of all, let me say that these
22 diagnoses refer to their corresponding
23 procedures below, so it's assumed that it's
24 a second and third -- second and third
25 toes.

0104

1
2 Q Okay. Now, under the procedure of right
3 foot you have as number one "arthrodesis of
4 the first metatarsal cuneiform joint";
5 correct, sir?
6 A Yes, sir.
7 Q And number two you have "bunionectomy,
8 Austin"; do you see that?
9 A Yes, sir.
10 Q Did you perform an Austin bunionectomy?
11 A No.
12 Q Why does that appear here now?
13 A Well, I have my computer set --
14 MR. : Was that an error?
15 A Well, I have my computer set so it puts
16 things in automatically in the diagnosis and
17 procedures, and that was an error; an error
18 that I didn't catch.
19 Q And when you make these entries, is there
20 some way for you to print out the page and
21 then sign it, indicating that you have read
22 it and reviewed it?
23 A It's not my practice to print them, sir, but
24 I do make every attempt to review them and
25 make sure they're correct; like anyone else,

0105

1
2 I'm not perfect.
3 Q And underneath a little further down when
4 you say the site, you put down "right first
5 metatarsophalangeal joint, first MPJ";
6 correct?

7 A Yes, sir.
8 Q So we're only talking about the right foot
9 that you operated on; correct?
10 A Yes.
11 Q All right. Now, on the second page under
12 "Patient Reports," what is that section for?
13 A Patient's telling me how she's doing.
14 Q And number four you write "not walking at
15 all, using crutches, non weight-bearing."
16 A Yes, sir.
17 Q Tell me what that means.
18 A Patient was in a cast and she was instructed
19 to use crutches and not walk to protect her
20 first metatarsal cuneiform joint
21 arthrodesis.
22 Q And as far as you were aware, Doctor, did
23 Mrs. indicate to you that she was
24 complying with your instructions
25 post-operatively?

0106

106

1 A I was under the impression from her that she
2 was very compliant.
3 MR. : I think he said did she
4 indicate to you. Do you recall that?
5 Did she tell you, I think that's what
6 you meant.
7 MR. OGINSKI: Either way.
8 MR. : Okay.
9 A That was my impression. I didn't have any
10 complaints with her compliance.
11 Q At any time while you were treating her
12 post-operatively, did you have any issue
13 with her compliance in following your
14 instructions?
15 A No, I don't recall any.
16 Q Going to the April 5th, note, under
17 "Procedure, right foot," again you have
18 listed "Austin bunionectomy"?
19 A Yes.
20 Q Again, that's incorrect?
21 A Incorrect.
22 Q You performed the Lapidus procedure?
23 A I surely did, yes.
24 Q Going now to the May 2nd visit, under

0107

107

1 "Procedure, right foot," again you still
2 have listed "Austin bunionectomy"; correct?
3 A Yes, uh-huh.
4 Q Now, at the bottom, the very last line of
5 the page under "Patient Reports."
6 MR. : This is still 5/2?
7 MR. OGINSKI: Yes.
8 Q On number two you write, "tolerable amount
9 of pain."
10 This was something the patient was
11 expressing to you?
12

13 A I suppose she was; that's why I wrote it
14 there.
15 Q And do you ever, in your notes, indicate a
16 scaling, a scale of the amount of pain
17 they're experiencing? Let's say on a scale
18 of one to five, one to ten?
19 MR. : Are you asking has he ever
20 done that?
21 MR. OGINSKI: In this time period.
22 A I suppose on occasion I would, if I thought
23 it was appropriate.
24 Q Is there anything in this particular note to
25 suggest to you the extent of the pain that

0108

1 she was experiencing other than that it was
2 tolerable?
3
4 A "Tolerable," was a general term, and it has
5 the connotation to me of not significant to
6 her.
7 Q Was she weight-bearing as of May 2nd of
8 ?
9 A I don't remember, sir.
10 Q Look at the second page.
11 A Yes. It says, "not walking at all. Using
12 crutches. Non weight-bearing."
13 Q How long did you intend for her to be non
14 weight-bearing?
15 A My standard instructions in cases like this
16 are two months minimum.
17 Q Can you tell me why Mrs. returned
18 to your office on the following day?
19 A No, I can't. It may have been an error.
20 Q What may have been an error?
21 A The date.
22 Q Is there anything in your note that is
23 listed as the following day, May 3rd, ,
24 to indicate any complaints the patient may
25 have had on this particular visit?

108

0109

1
2 A No, sir. Nothing.
3 MR. : He's just asking -- can you
4 read it back, or do you remember the
5 question?
6 MR. OGINSKI: I just want to know what
7 complaints she made, if any, on May
8 3rd.
9 A She didn't really make many in the way of
10 complaints. She just told me she was okay.
11 Q Now, under "Patient Reports," under May 2nd
12 and May 3rd they're exactly the same;
13 correct?
14 A Uh-huh.
15 Q What does that mean, if anything, that
16 they're exactly the same?
17 A It means that the situation was the same.
18 Q Right above where you have "Patient

109

19 Reports," for the May 3rd visit under
20 post-op it says "18 days"?
21 A Yes.
22 Q That means the patient is now 18 days
23 post-surgery; correct?
24 A I will expect that to be true, yes.
25 Q Go back one more visit to the May 2nd visit,

0110

1
2 and under "post-op" it says the exact same
3 thing, "18 days."
4 Now, there's --
5 A Like I said, I have a suspicion that this
6 note was an error, and I can't give you an
7 explanation why.
8 MR. : Okay.
9 That's all. It's a mistake.
10 Q Do you have your billing records with you,
11 Doctor?
12 A Yes, sir.
13 Q Can I see them, please?
14 A (Handing.)
15 Q Was it customary that you billed the
16 patient's health insurance company after
17 each visit?
18 A It's not customary. I don't think I do.
19 Q On what occasions do you bill and what
20 occasions don't you bill?
21 A Well, sir, first of all, that's a very broad
22 -- that's a very broad question.
23 MR. : I don't think he is
24 concerned with what you bill and what
25 you don't. I think it's just a timing

0111

1
2 question.
3 Q Okay. If a patient comes in to see you and
4 you examine them, am I correct that at some
5 point you bill for your services?
6 A I do, yes, sir.
7 Q Doctor, in going through your billing notes
8 that your attorney has provided, there
9 appears to be a bill for May 3rd, , and
10 nothing for May 2nd, .
11 Does that suggest to you that the error in
12 the entry in the notes would have been the
13 May 2nd visit and not the May 3rd visit?
14 A That's a likely explanation.
15 Q Let's turn please to the 6/2/ note.
16 I'm sorry, Doctor. Go back one moment.
17 On the May 3rd visit on the second page
18 under the plan you write " is to be
19 semi-weight bearing. Off-loading as
20 previously described."
21 Tell me what you mean by that.
22 A Mrs. had a walking heel
23 incorporated into the bottom of her cast. A
24 walking heel allows her to bear some body

110

111

25 weight on the, you know, the mid foot or the
0112
1
2 heel of the cast, but not full body weight.
3 Q Okay.
4 A Semi weight-bearing, which means not full
5 body weight.
6 Q On the following visit, on May 26, , go
7 down to the bottom, please, under "Patient
8 Reports," number four.
9 A Okay.
10 Q Same page it says, "Not walking at all.
11 Using crutches. Non weight-bearing."
12 Is that consistent with the note you had up
13 top?
14 A The note I had up top, semi-weight bearing,
15 were my instructions to her. If she chose
16 to do something differently, I may have
17 reported that.
18 Q Did you bill Mrs. or her insurance
19 company for the May 26, visit?
20 A I don't know, sir. You'd have to look at
21 the file.
22 MR. : He's not going to know.
23 Q I'm looking at your report, generator
24 report, your billing records that your
25 attorney has provided to me, and I can't

112

0113
1
2 find any bill for the May 26 visit, Doctor.
3 If you can take a look at that, please,
4 Doctor, and see if you can find one.
5 A I don't see it either.
6 Q The fact that there's no billing record for
7 the May 26, noted visit, what, if
8 anything, does that suggest to you?
9 A It suggests I may have forgotten or maybe I
10 didn't think there was a billable service at
11 the time. I couldn't say which.
12 MR. : Again, he's surmising.
13 I'll object, but I'll let the answer
14 stand.
15 Q Did you perform an examination of
16 Mrs. on May 26?
17 A I did.
18 Q And you have your findings listed, correct,
19 on the second page?
20 A I did, yes.
21 Q And customarily, Doctor, when you conduct an
22 examination, you would typically bill the
23 patient or her insurance company for that
24 exam; correct?
25 A No, sir, not necessarily.

113

0114
1
2 Q Under what circumstances would you not bill
3 her?
4 MR. : Including making a mistake,

114

5 as he already testified to?
6 MR. OGINSKI: Yes.
7 A Some post-operative services are billable;
8 some are not. During the post-operative
9 period, if you're simply checking the
10 patient, it's usually not a billable
11 procedure. If I perform some service, it's
12 possibly billable.
13 MR. : Let him follow up.
14 Q Under the "Treatment" section of the May 26
15 visit you write down you're prescribing
16 semi-weight bearing, correct, and that the
17 patient will wear her shoes?
18 A Yes, that's what's written there.
19 Q And did you learn from Mrs. as to
20 whether she had started to weight-bear as
21 you had instructed her?
22 MR. : From recollection or your
23 note.
24 A Well, I don't recall, but according to the
25 note, she has not been weight-bearing.

0115

1
2 Q And what makes you conclude that?
3 A It says so right here (Indicating).
4 Q Okay. Now, on June 2nd, , under
5 "Procedure, right foot" --
6 MR. OGINSKI: Withdrawn.
7 Q Under the June 2nd, note, under
8 "Diagnosis" you write down "subluxation left
9 talonavicular joint"; is that correct?
10 A Yes, that's correct.
11 Q Is it the right joint or the left joint?
12 A Well, it says left joint.
13 Q And --
14 MR. : When you say "is it," you
15 mean Mrs. s condition is it
16 concerning the right or the left;
17 correct?
18 MR. OGINSKI: Correct.
19 MR. : He's just asking is it the
20 right or the left.
21 THE WITNESS: It says the left.
22 MR. : Yes, but he's asking is it
23 the left or the right.
24 THE WITNESS: Well, all of these notes
25 should be referring to the right foot.

0116

1
2 Q So am I correct, Doctor, that technically
3 the diagnosis should be "subluxation right
4 talonavicular joint"; right?
5 A I would expect that to be the case.
6 Therefore, this is just an error.
7 Q And then again you have listed Austin
8 bunionectomy?
9 A Correct.
10 Q We talked about that earlier. It should be

115

116

11 the Lapidus procedure, not the Austin
12 bunionectomy?
13 A We talked about that, yes.
14 Q You have "Patient not walking at all, using
15 crutches, non weight-bearing"?
16 A That's correct.
17 Q That tells you what, that the patient was
18 not weight-bearing?
19 A That's what it says; however, again, that
20 might be an error.
21 Q Tell me why.
22 A Because I may have copied it from the
23 previous note.
24 Q Is there anything on June 2nd, to
25 indicate that the patient had begun her

0117

1
2 semi-weight bearing ever?
3 A There's nothing --
4 Wait a minute. Let's see.
5 There's nothing in my note that I can see
6 that suggests to me that the patient began
7 semi-weight bearing.
8 MR. : Prior to that visit; right?
9 MR. OGINSKI: Yes.
10 MR. : Okay.
11 Q I'm going to ask you to go back with me for
12 a few visits, Doctor.
13 A Uh-huh.
14 Q We talked about earlier the March 10,
15 X-rays that you had reviewed prior to
16 performing surgery. Is there anything in
17 your notes anywhere to suggest that you
18 reviewed the patient's X-rays and you
19 reached certain conclusions about what was
20 shown there?
21 MR. : I'll object. I think you
22 asked and he answered this, but you
23 can review all your records again.
24 He'll review, but I think he answered
25 that he didn't.

117

0118

1
2 A There's nothing in a note to signify that I
3 reviewed the X-rays; however, I do recall
4 doing that.
5 Q Now, on June 2nd, , on your second page
6 you indicate that you did take X-rays;
7 correct?
8 A No.
9 Q Or that X-rays were taken?
10 A Yes, sir.
11 Q And did you review the X-rays at that time?
12 A I did, yes.
13 Q And according to your note, it says shows
14 normal anatomic position of the first ray
15 and hallux; correct?
16 A Well --

118

17 Q I'm going to continue in a moment.
18 A Okay.
19 Q But this was --
20 A Yeah, okay, I see it now.
21 Yes.
22 Q This was your reading of the post-operative
23 X-rays?
24 A Yes.
25 Q And you also noted that there was mild

0119

1 lateral deviation of the lesser toes? 119
2
3 A Yes, sir.
4 Q Did you form any opinion, as of that visit,
5 as to the reason why there was mild lateral
6 deviation of the lesser toes?
7 A Did I form an opinion on that day?
8 MR. : At that time.
9 MR. OGINSKI: Yes.
10 MR. : If you can recall.
11 A I can recall.
12 Soft tissue procedures for transverse plane
13 lesser toe correction are never perfect, and
14 I simply noted that her lesser toe
15 positioning on the transverse plane was not
16 perfect.
17 Q In your initial discussion or discussions
18 with Mrs. about this particular
19 procedure, did you ever advise her that the
20 outcome was certainly not going to be as you
21 mentioned, it would not be perfect?
22 A I certainly did.
23 Q You mentioned also that there was
24 significant first metatarsal shortening
25 noted as expected; right? That's what you

0120

1 wrote? 120
2
3 A Well, I'm not looking at it.
4 Q Let's look at it, Doctor.
5 The first four lines down the top paragraph,
6 it says "significant first metatarsal
7 shortening is noted as expected"?
8 A Uh-huh.
9 Q This is something that you expected to occur
10 with this Lapidus procedure; correct?
11 A The expectation came intraoperatively.
12 Q Am I correct that you did not have a
13 discussion with Mrs.
14 pre-operatively --
15 A No, that is not correct.
16 Q Let me finish.
17 That this is one possible outcome as a
18 result of the Lapidus procedure?
19 MR. : I'll object as to asked and
20 answered, but you can answer it again.
21 A Well, that is incorrect, because I clearly
22 remember explaining that the first

23 metatarsal would be shortened, increasing
24 the chances of excessive pressure below the
25 second.

0121

1

121

2

Q Would you agree with me, Doctor, that if you
3 did not discuss with Mrs. the
4 possibility of this first metatarsal
5 shortening could occur as a result of this
6 Lapidus procedure prior that would be
7 insufficient informed consent?

8

MR. : I'm going to object.

9

Please rephrase.

10

Q Doctor, you told me during your discussion
11 with Mrs. about the surgery about
12 the Lapidus procedure you told her that she
13 could have a shortening of the first
14 metatarsal; is that correct?

15

A That's correct.

16

Q Again, turning the question around --

17

A May I ask you a question, sir?

18

MR. : No, no.

19

Q -- if you had not discussed that aspect of
20 the procedure with her, would you agree,
21 then, that she would not have had sufficient
22 enough information in order to make an
23 educated enough decision about the
24 particular surgery that you were
25 recommending; that she wouldn't have full

0122

1

122

2

and informed consent?

3

MR. : I'm not going to let him
4 answer. You can't box him into --
5 you can ask him if he discussed it or
6 not, it's a factual question, but he's
7 not going to make a legal
8 determination.

9

MR. OGINSKI: I'm not asking him to make
a legal --

10

11

MR. : You're asking him a legal
12 opinion as to whether he discussed
13 that one thing and whether or not that
14 would be -- you can ask him the facts.

15

Q Doctor, you told me previously that failure
16 to disclose and discuss with a patient about
17 the patient's risks, benefits, options and
18 alternatives would be departure from good
19 care. I'm only asking specifically, when
20 you were discussing a procedure such as a
21 Lapidus procedure to Mrs. , if you
22 did not disclose to the patient that you
23 expect there would be a shortening of the
24 first metatarsal, would that be improper and
25 improper informed consent?

0123

1

123

2

MR. : I'm going to object.

3 Q Would the failure to disclose --
4 A Sir, all I'll say --
5 Q Sir, let me finish.
6 MR. : I can see you're still
7 asking it the way I'm uncomfortable
8 with.
9 MR. OGINSKI: I am, because I'm entitled
10 to ask departure questions and his
11 opinion about the treatment that was
12 rendered. I'll try again.
13 MR. : You cannot disclose every
14 risk. You can go through --
15 MR. OGINSKI: I'll tell you why I'm
16 asking. The reason I'm asking it is
17 the patient has specifically testified
18 about those things she believes and
19 remembers the doctor telling her.
20 MR. : I understand.
21 MR. OGINSKI: So now my question is
22 focused solely to that issue, that if,
23 in fact, you discussed with her only
24 certain things and did not disclose to
25 Mrs. the fact she would have

0124

1
2 a shortened first metatarsal, in your
3 opinion would that be a departure from
4 good practice.
5 MR. : I'm not going to let him
6 testify to that. He's not going to
7 determine what's legally informed
8 consent. You can ask him factually.
9 Did you tell her about this risk? Did
10 you tell her about that risk? He can
11 say "I don't recall," or "it's not
12 itemized," but I'm not going to let
13 you pin him in.
14 MR. OGINSKI: I'm entitled to ask him
15 departure questions, that if certain
16 things weren't done would he, in his
17 opinion, think that was a departure.
18 Now I'm asking if, in fact, and here's
19 the question --

20 Q If, during your discussion with
21 Mrs. when you were talking about
22 the Lapidus procedure, you did not disclose
23 to her the fact that the first metatarsal
24 would be shortened, would that, in your
25 opinion, be a departure from good care?

0125

1
2 MR. : I'm going to object. Can
3 you answer that question?
4 THE WITNESS: I can answer.
5 MR. : Okay. Answer over my
6 objection.
7 THE WITNESS: It sounds to me like it's
8 a legal question, and I'm going to

124

125

9 take your advice.
10 MR. : Okay.
11 Q When you discussed the Lapidus procedure
12 with Mrs. , would it be good
13 podiatric care to leave out the fact that
14 you expected that she would have a shortened
15 first metatarsal?
16 MR. : Would it be good --
17 I'm sorry, can you read it back?
18 (At which time, the requested
19 portion of testimony was read back
20 by the stenographer.)
21 MR. : Would it be good podiatric
22 care to leave that out? Keep in mind
23 that he testified he did tell her
24 that.
25 MR. OGINSKI: I know.

0126

126

1
2 MR. : Can you answer that?
3 THE WITNESS: I can.
4 A It would not be good podiatric care.
5 Q Why?
6 A Primarily because of the risk of getting
7 excessive pressure below second and third
8 metatarsals.
9 Q You continue your note by stating that "the
10 arthrodesis seems intact with normal healing
11 for the period."
12 I just want to see if we can clarify or I
13 can clarify that.
14 So you're saying that even though this first
15 metatarsal shortening the arthrodesis was
16 still in place?
17 A Yes, sir.
18 Q And it was holding in place; correct?
19 A Yes, sir.
20 Q Why did you recommend orthotics at this
21 point under the treatment plan?
22 A For the same reason I recommended orthotics
23 initially, because the patient needs rear
24 foot control. She did initially and she did
25 now at this point, and I took this point in

0127

127

1 her treatment to recommend it again to her
2 because I thought it was important.
3 Q The surgery that you performed, wasn't that
4 to stabilize the foot as you had observed it
5 on the first visit?
6 A It was the surgical approach to
7 stabilizing. It was one aspect of many.
8 Q Right. And once the surgery had been --
9 MR. OGINSKI: Withdrawn.
10 Q Once the foot had been totally healed, why,
11 then, would orthotics continue to help?
12 A Orthotics treat her rear foot function.
13 The surgery treats her forefoot function.
14

15 And they work synergistically.
16 MR. OGINSKI: Off the record.
17 (Discussion held off the
18 record.)
19 Q Doctor, besides the loose computer sheets
20 that you have in front of you, do you have a
21 patient chart, an actual chart, like a
22 folder?
23 A No, sir.
24 Q Everything is electronic?
25 A Yes, everything is electronic records.

0128

1
2 Q Okay.
3 MR. OGINSKI: Why don't we mark your set
4 of records as Plaintiff's Exhibit 1
5 for identification.
6 (Marked for identification, Plaintiff's
7 Exhibit 1, Doctor's Notes.)
8 Q Do you know a Dr. (PHONETIC
9 SPELLING)?
10 A I don't know Dr. personally, but
11 certainly I have interaction with
12 Dr.
13 Q From time to time he refers patients to
14 you?
15 A Yes, sir.
16 Q Did you ever speak to him on the telephone
17 about Mrs. and her treatment?
18 MR. : Do you recall ever speaking
19 to him?
20 A No, I don't recall.
21 Q Do you recall speaking to him in person
22 about Mrs. 's treatment?
23 A Again, I do not recall doing that.
24 Q In your review of the records, did you see
25 any notes or letters that you may have sent

0129

1
2 to Dr. informing him of the
3 patient's progress?
4 A I sometimes do that.
5 MR. : He's asking if you recall
6 doing that for this patient. Can he
7 look through his chart?
8 MR. OGINSKI: Yes.
9 MR. : Just for the record,
10 there's some -- forget it.
11 Withdrawn. I'll let him answer.
12 Q Doctor, I'm not asking about letters to him
13 for pre-op clearance. I'm just asking for
14 updates and on her progress.
15 MR. : Post-op?
16 MR. OGINSKI: Yes.
17 A I see none other than the request for post
18 -- pre-op clearance.
19 MR. : Just for the record,
20 there's a letter "To whom it may

21 concern." I'm not sure who that's
22 referring to. It could possibly be
23 Dr. or someone else.
24 Q Okay. Let's go to the June 14,
0130
1 130
2 A Did you say June 14th?
3 Q Yes.
4 Under the "Patient Reports," do you see that
5 the "Patient Reports" you have listed there
6 are the identical ones as you have listed
7 for the June 2nd visit?
8 MR. : Would you hold up for a
9 second. Did you see this?
10 THE WITNESS: Yes. That's
11 pre-operative.
12 MR. : Okay.
13 Sorry.
14 Q Do you see that the June 14th, "Patient
15 Reports," the five things listed there are
16 the identical things listed on the June 2nd
17 visit?
18 A Yes, sir, I see that.
19 Q Do you know why they are identical?
20 Is this a cut and paste situation or did the
21 patient make the exact same complaints on
22 that visit?
23 MR. : Did the patient make the
24 same complaints?
25 THE WITNESS: I would suspect that the
0131
1 131
2 patient gave the same report;
3 therefore, I wrote the same stuff or
4 cut and pasted the same stuff.
5 Q Now, you mentioned that the patient was not
6 walking or "the patient's not walking at
7 all, using crutches, non weight-bearing"?
8 A Yes. That may have been an error in my
9 haste to cut and paste and not change it.
10 Q Did you learn from that she had
11 been walking as you had directed?
12 MR. : Do you recall?
13 A Yes, as I recall she had been walking.
14 Q Did she have any pain when she was doing the
15 limited weight-bearing that you instructed?
16 MR. : If you recall, or refer to
17 your note.
18 A I can't recall.
19 Q Let's go to the July 7, note.
20 A Okay.
21 Q Under "Patient Reports" on number three
22 she's wearing sneakers at that time;
23 correct?
24 A That's what it says, yes, sir.
25 Q Number four it says, "right foot is
0132
1 132

2 significantly thicker than left"?
3 A Yes, sir.
4 Q Did you observe that?
5 A Yes, sir.
6 Q Did you form an opinion as to why that
7 condition existed?
8 A Yes, sir, I did.
9 Q What was that opinion?
10 A Post-operative fibrosis.
11 Q And that's normal post-operative healing?
12 A Yes, sir. It's not considered an
13 abnormality.
14 Q And that's something that you would expect
15 to dissipate over time?
16 A Yes.
17 Q How are you able to determine that there is
18 subcutaneous fibrosis?
19 A It's a clinical assessment.
20 Q What is it that you observe that suggests to
21 you it's fibrosis as opposed to some other
22 reason for the swelling?
23 A Well, first of all, swelling is not a
24 correct answer -- a correct description. It
25 wasn't swelling, it was thickening.

0133

1
2 Thickening has the connotation of growth or
3 proliferation of fibrosis around the
4 surgical site, which is a common
5 post-operative finding, and this certainly
6 appeared to be a quite, you know, common
7 appearance post-operatively. She had a lot
8 of fibrosis.
9 Q Under "Treatment" it says, "sports orthotics
10 were dispensed"?
11 A Yes, sir.
12 Q You created those?
13 Were they custom?
14 A Yes. They were ordered from a laboratory
15 secondary to casting.
16 Q When you tried to put them in the shoes they
17 didn't fit?
18 A I don't remember that.
19 Q It says under "Treatment," Doctor --
20 MR. : According to your notes,
21 Doctor.
22 A Yes, they didn't fit in the shoes she had on
23 that day.
24 Q Let's go, please, to the August 9,
25 note.

0134

1
2 Second page, please.
3 A Yes, sir.
4 Q Your examination where you write "dorsal
5 skin scar contracture keeps hallux in
6 dorsiflex position," tell me what you mean
7 by that.

133

134

8 A Well, the surgical incision involved
9 crossing the joint in order to expose the
10 joint obviously, and she had developed what
11 I considered to be a significant amount of
12 fibrosis below the skin at the surgical
13 incision, and when scars or fibrosis start
14 to consolidate, they frequently contract,
15 and the contracture of those scars is a part
16 of normal healing of scar tissue. And
17 sometimes it can be excessive, just like the
18 scar tissue development can be excessive,
19 and when that happens and it contracts, it
20 can sometimes pull a digit out of place.
21 Q You write that "it is reducible by plantar
22 manipulation"?
23 A Yes.
24 Q You mean by massaging the area it would
25 soften up?

0135

135

1
2 A No.
3 Q Tell me what you mean.
4 A "Reducible" means that the big toe can be
5 pulled down into normal anatomical position.
6 Q Is there any way to minimize scar
7 contracture?
8 A Well, pre-operatively or post-operatively?
9 Q Either post-operatively or pre-operatively.
10 A Well, the most specific way to minimize it
11 is to exert physical forces to it to guide
12 it.
13 Q Like what?
14 A Like stretching, and that's why you go to
15 physical therapy.
16 Q Other than stretching, is there any other
17 way to do it or to minimize it?
18 A There are surgical ways. There are
19 injection methods, injection of steroids. I
20 understand now there are topical methods
21 that are available, but --
22 Q I'm only talking about back in ' .
23 A Okay. Yeah, injections, surgery and
24 stretching.
25 Q Okay. Let's go to the December 15,

0136

136

1
2 visit.
3 A Okay.
4 Q Under "Patient Reports" number five she
5 continues to go for weekly therapy
6 treatments; correct?
7 A Yes.
8 Q And you note "She's been unable to improve
9 plantar flexion capability of the first
10 MPJ."
11 First, Doctor, which direction, if you could
12 show me on your hand, is plantar flexion?
13 A Downward (Indicating).

14 Q And did you form an opinion at that time as
15 to why the patient was having that
16 particular problem back in December of ?
17 A Again, it was because of scar contraction.
18 Q And the patient also reported difficulty
19 with propulsive running.
20 Do you see that?
21 A Yes.
22 Q Did you form an opinion as to why she was
23 having that type of problem?
24 A I did.
25 Q What was your opinion?

0137

1
2 A The hallux, the big toe, because it was
3 dorsiflexed somewhat, wasn't purchasing the
4 ground sufficiently.
5 Q Did you form an opinion as to why it wasn't
6 purchasing the ground sufficiently?
7 A I did.
8 Q What was your opinion?
9 A Dorsal scar contracture of the --
10 Dorsal scar contracture.
11 Q You write in your note on your examination
12 that "On weight-bearing, the hallux fails to
13 purchase the ground."
14 When you examined her before weight-bearing,
15 what did you observe as far as whether her
16 toes were in normal position?
17 A Please be more specific with that question.
18 Q Sure.
19 When you examine a patient post-operatively,
20 am I correct that you examine them when
21 they're non weight-bearing and also
22 weight-bearing?
23 A No.
24 Q You only examine them when they're
25 weight-bearing?

137

0138

1
2 A Non weight-bearing. Non weight-bearing.
3 Q Let me rephrase the question.
4 You performed an examination where the
5 patient was weight-bearing; correct?
6 A Yes.
7 Q Am I also correct that you performed an
8 examination when the patient was non
9 weight-bearing as well?
10 A On this day?
11 Q On this visit.
12 A Yes, sir.
13 Q On the portion of your exam when the patient
14 was non weight-bearing, what conclusions or
15 observations did you make regarding the
16 hallux?
17 A Well, it says here in my note, and it makes
18 sense to me, that the dorsiflexion ability
19 of that big toe was 90 degrees. Means it

138

20 goes up 90 degrees, and that's a good thing,
21 but it's plantar flexion ability was zero
22 degrees, and that's -- that was abnormal.
23 Q And it was your opinion that the reason for
24 this abnormality was from the scar tissue?
25 A It was.

0139

1
2 Q Was there any other possibility you
3 considered, other than the scar tissue, to
4 account for this abnormality?
5 A Please ask that again.
6 Q Other than the scar tissue, was there
7 anything else that could be causing this
8 abnormality regarding the zero-degree
9 plantar flexion?
10 MR. : Possible causes.
11 A Possible contributory cause could be
12 shortening of the first metatarsal, but that
13 doesn't always cause this problem.
14 Q But in this case had you formed any opinion
15 that there was contributory factors other
16 than the scar tissue to account for the
17 abnormality in the plantar flexion?
18 A It was my opinion that the combination of
19 both factors were contributory, yes.
20 Q The X-rays that you observed and noted in
21 this visit, were these additional X-rays
22 separate and apart from the films that you
23 had talked about earlier, back in June?
24 MR. If you know.
25 A I'm not entirely sure.

0140

1
2 Q Can we take a look, please?
3 THE WITNESS: May I?
4 MR. : Yes.
5 (At which time, there was a brief
6 pause on the record.)
7 Q Doctor, you've now looked through all of
8 your X-rays and you've told me off the
9 record that the only X-rays post-operatively
10 are the June 1st, X-rays; correct?
11 MR. : The ones that he's in
12 possession of?
13 MR. OGINSKI: Yes.
14 A Yes. I'm in possession of that one.
15 Q Are you aware of any other X-rays that you
16 had ordered or the patient had taken after
17 June 1st, ?
18 A No, sir.
19 Q And when you comment in your December 15
20 note that "the X-rays show relative
21 shortening of the first metatarsal that's
22 visible," you're referring now to the June
23 1st X-rays; is that correct?
24 A I would surmise that to be true, yes.
25 Q Is there a particular view that you're

0141

1
2 looking at that shows that?
3 A The AP view.
4 Q You also mention in your note that
5 "Hyperkeratosis was present inferior to
6 prominent right metatarsal."
7 Is that a clinical examination that you made
8 or is that something based upon the X-rays?
9 A Clinical.
10 Q And that mild tenderness that is noted on
11 palpation, that's also clinical examination;
12 correct?
13 A That's correct, yes.
14 Q Did you form an opinion as to why the
15 patient was experiencing the mild tenderness
16 on the second and third metatarsal heads?
17 A Yes, sir, I did.
18 Q What was that opinion?
19 A The shortening of the first ray was a likely
20 explanation for why.
21 Q You again, under your impression and
22 assessment, you make a recommendation that
23 additional surgery would fix this problem;
24 correct?
25 A Yes, sir.

141

0142

1
2 Q Why would the additional surgery fix this
3 problem?
4 A Well --
5 MR. : Or how.
6 A The surgical procedures that I recommended
7 at the time were shortening of the second,
8 third metatarsals to lessen their
9 weight-bearing load.
10 Q You're talking about recommending on
11 December 15th?
12 A That's correct.
13 Q Go ahead.
14 A I recommended that shortening the second and
15 third metatarsals would be appropriate to
16 lessen their weight-bearing load, and that
17 soft tissue, cutting of the scar tissue,
18 elongation of the dorsal structures on the
19 top of the first metatarsal would get the
20 hallux back in normal anatomic position.
21 Q When you first recommended the Lapidus
22 procedure with Mrs. , did you
23 discuss with her the percentage of the
24 likelihood that she could experience this
25 outcome?

142

0143

1
2 A I -- I recall saying that it was a
3 possibility. I do not --
4 MR. : Okay.
5 Q Other than possibility, did you actually use

143

6 any numbers or percentages as to what you
7 believed chances were that she had of
8 experiencing this particular outcome?
9 A No, sir.
10 Q Did you have any further discussion with
11 Mrs. about that particular
12 possibility of this complication?
13 A Well --
14 Q Did you go into any more detail about this
15 particular possibility?
16 MR. : At any time?
17 MR. OGINSKI: No, no. Just during the
18 initial consultation or the
19 consultations prior to the actual
20 surgery.
21 MR. : If you recall.
22 A I recall telling her that the second and
23 third metatarsals may become more painful
24 after the surgery. Each time I discussed
25 Lapidus procedure, I said the same thing.

0144

1
2 Q And in your impression and assessment,
3 Doctor, you write "the resulting shortening
4 of the first ray has lead to; correct?
5 The middle of the paragraph under
6 "Impression."
7 A I'm sorry, I'm looking at the wrong
8 paragraph.
9 I'll be okay, here.
10 Q It's right here.
11 A That's one of two sentences that apply to
12 the situation.
13 Q Right. Then you indicate that the soft
14 tissue contractures contribute to that?
15 A Yes.
16 Q I had asked you a question about whether or
17 not you felt it was appropriate to recommend
18 a shortening of the second and third
19 metatarsal in the initial procedure, and you
20 said you didn't feel it was appropriate at
21 that point --
22 A That's true.
23 Q -- because the person hadn't experienced
24 those problems.
25 MR. : You don't have to comment.

0145

1
2 The testimony will stand the way it
3 is. You can ask your question.
4 Q Are there occasions, Doctor, when you will
5 perform a shortening of the second and third
6 metatarsals as a preventive measure so that
7 the patient would not have the complications
8 that Mrs. experienced?
9 In other words if you're going and
10 recommending a Lapidus procedure and you
11 know that she's got a short first metatarsal

144

145

12 in relation to the second, could you perform
13 a second and third metatarsal shortening at
14 the same time in order to prevent the
15 possibility that she'll get the type of
16 outcome that she did?
17 MR. : I'll object to form, asked
18 and answered, but he's asking could
19 you. You can answer.
20 A Anything could be done. It's not my
21 practice to perform prophylactic surgery on
22 things that aren't problems that could
23 become problems.
24 Q Would it be considered good podiatric
25 practice if a podiatrist did that?

0146

1
2 A You could argue that pro and con.
3 Q And when you said you wouldn't do that, tell
4 me why?
5 A You increase the risk of surgical
6 complications by performing -- possible
7 complications. You increase pain and
8 discomfort.
9 MR. : Note my objection on that
10 because it was asked and answered and
11 he explained his reasoning.
12 Q Now, the procedures that you were
13 recommending, that she have the shortening
14 of the second and third metatarsals, are
15 there risks involved with that procedure?
16 A Yes, sir, there are risks.
17 Q What are those risks?
18 A More scar; infection; failure of bone to
19 heal; malposition of toes; pain under
20 adjacent metatarsals.
21 Q Were there any alternatives other than the
22 surgery that you were recommending on this
23 visit?
24 A Yes, there were.
25 Q What were they?

146

0147

1
2 A Functional foot orthotics.
3 Q And how would that be different than the
4 orthotics that you had already prescribed
5 and recommended for Mrs. ?
6 A Not different.
7 I might -- I might add a forefoot
8 accommodation below the second and third
9 metatarsals; take weight off of it.
10 Q Was Mrs. receptive to those
11 orthotics?
12 MR. : If you recall.
13 A Well, I don't remember.
14 Q Okay. Did you indicate in your note that
15 orthotics would be an alternative?
16 MR. : You can review your note.
17 A Well, I dispensed orthotics.

147

18 Q I'm only asking about your December 15,
19 note, as to whether you indicated anywhere
20 in there the alternatives to the surgeries
21 that you were now recommending.
22 A No, no. That's not applicable.
23 MR. : All right. Then you can
24 answer his question.
25 A It's not noted in the counselling that I --

0148

1 it's not noted in the counselling. 148
2
3 Q Do you remember who, if anyone, was with
4 Mrs. y on this occasion?
5 A I do not.
6 Q Now, on the February 8, note, that is a
7 note about a phone call that you received;
8 is that correct?
9 A Yes, sir.
10 Q And that was from Mrs. ?
11 A It was.
12 Q And during that conversation you --
13 A I --
14 I'm --
15 Q What, Doctor?
16 A I'm sorry, I shouldn't have interrupted you.
17 Q During that conversation, you suggested that
18 she should consult with someone at
19 ?
20 A I did make that recommendation.
21 Q Now, regarding this post-operative
22 complication, which one are you referring to
23 here?
24 A All of the ones made in the previous note at
25 the previous encounter.

0149

1 At any time while you were treating 149
2 Mrs. did you ever take photographs
3 of her foot or feet pre-operatively?
4 A I don't think so.
5 Q Did you post-operatively?
6 A No, I don't think so.
7 Q If you had taken photographs, would you
8 expect one of two things, to have a note in
9 the chart about it and a photograph
10 somewhere in the chart or somewhere in your
11 office?
12 A The photographs, in my practice, my general
13 practice, it's my custom to keep the
14 photographs in the patient's medical
15 records.
16 Q Your computer record that would be?
17 A Yes. They're digital.
18 But I don't recall taking photographs on
19 Mrs. , and if I did, I would have
20 included them in these records.
21 Q Did you ever have a conversation with --
22 MR. OGINSKI: Withdrawn.
23

24 Q Did you ever learn, from Mrs. or
25 anyone else, whether she had gone to
0150
1
2 at your suggestion?
3 A I do not --
4 I did not learn that, no.
5 Q Did you ever have a conversation with any
6 doctor that Mrs. saw after you for
7 any reason about her condition?
8 A No, sir, I don't recall.
9 Q Do you know a Dr. ?
10 A I do yes.
11 Q Who is Dr. ,sir?
12 A A podiatrist in , if I recall
13 correctly.
14 Q Did you ever have a conversation with
15 Dr. about Mrs. ?
16 A I did not.
17 Q Did you ever receive any documents or
18 correspondence from Dr. about
19 Mrs. ?
20 A No.
21 Q Did you ever learn from anyone, other than
22 your attorney, that Mrs. had gone
23 to Dr. on February 3rd, , for a
24 consultation?
25 A No, sir.

150

0151
1
2 Q Did you ever review a copy of Dr. 's
3 findings for his exam on February 3rd, ?
4 A No, sir.
5 Q In preparation for today's deposition,
6 Doctor, did you review any textbooks?
7 A No, sir.
8 Q In preparation for today's deposition,
9 Doctor, did you review any medical or
10 podiatric literature?
11 A No, sir.
12 Q Did you have any other notes regarding
13 Mrs. other than the
14 computer-generated notes that you have in
15 front of you?
16 A No, sir.
17 Q Do you know a Dr. , an
18 orthopedist?
19 A No, I don't.
20 Q Have you ever spoken with a Dr.
21 regarding Mrs. ?
22 A No, sir.
23 Q Have you ever learned from anyone, other
24 than your attorney, that Mrs.
25 underwent a second surgical procedure to her

151

0152
1
2 foot in April of by Dr.
3 ?

152

4 A No.
5 Q Did you ever review or read any operative
6 report by Dr. from the
7 regarding a procedure done
8 on April 28, ?
9 A No, sir.
10 Q Do you know what "cavus" is, C-A-V-U-S?
11 A Yes, sir.
12 Q What is that?
13 A "Cavus" is a term applied to the foot.
14 Might signify a high-arched foot.
15 Q Did Mrs. have evidence of cavus at
16 any time while you were treating her?
17 A No, sir.
18 Q Are you familiar with the term "iatrogenic
19 cavus"?
20 A Yes, sir.
21 Q What does that mean to you, sir?
22 A Means that a cavus foot was caused.
23 Q What is metatarsalgia?
24 A Pain under the metatarsal.
25 Q In the complaints of pain that Mrs.

0153

1 exhibited, did she have evidence of
2 metatarsalgia?
3 A I would say yes.
4 Q Can this condition, metatarsalgia, cause
5 abnormal weight distribution?
6 A Yes, it could.
7 Q How?
8 A In an attempt to protect sore metatarsal
9 bones, a person could walk differently.
10 Q And could that cause a patient to
11 overpronate?
12 A No.
13 Q Can abnormal weight distribution --
14 MR. OGINSKI: Withdrawn.
15 Q Can metatarsalgia cause abnormal weight
16 distribution due to overpronation?
17 A Well, sir, that question doesn't make
18 sense. You're giving me two causes.
19 Q Okay. Can overpronation cause pain under
20 the metatarsals?
21 A Yes, sir, it can.
22 Q Did Mrs. have overpronation?
23 A She had a significant case of it, yes.
24 Q And in a situation where a patient has

0154

1 metatarsalgia, do you often see a patient
2 develop cavus in the affected joint?
3 A In a case of overpronation?
4 Q No, no.
5 In a case of metatarsalgia do you often see
6 cavus?
7 A Yes. They're frequently linked.
8 Q What's the treatment for metatarsalgia?
9

153

154

10 A Physical protection using orthotics and shoe
11 gear or surgical correction of the
12 metatarsal in some way.
13 Q Are you familiar with a condition known as
14 claw toe?
15 A Yes, sir.
16 Q What is that?
17 A It's plantar flexion of two joints in a toe.
18 Q Did Mrs. have evidence of claw toe
19 at any time while she was under your care?
20 MR. : If you recall.
21 A I don't think --
22 I don't recall.
23 Q Anything you recall reviewing in your notes,
24 anything we discussed that suggests she had
25 claw toe?

0155

1
2 A I had no reason to use that term. It's not
3 in my note.
4 Q Is that a term that you've used in the past?
5 A It's a term that I'm familiar with. I don't
6 commonly use it.
7 Q When you explain to patients about the
8 condition, do you use the term "claw toe"?
9 A Occasionally I have, yes.
10 Q Are you familiar with a condition known as
11 equinus deformity?
12 A Yes, sir.
13 Q What is that?
14 A It's a tightness of the Achilles' tendon as
15 it applies to the foot.
16 Q Did Mrs. have equinus deformity in
17 her right foot?
18 A I don't recall that she did.
19 Q What is "pens planus" (sic)?
20 A It's pes planus.
21 Q I'm sorry, P-E-S?
22 A Yes.
23 Q What is that?
24 A Very bad flatfoot.
25 It's very bad flatfoot.

155

0156

1
2 Q Was it your opinion that the patient had
3 this condition, pes planus?
4 A Yes, sir.
5 Q And what lead you to believe that she had
6 this condition?
7 MR. : I'll object. You can
8 answer over objection. I think he
9 testified to this already.
10 A I observed it on her first encounter with me
11 and multiple times afterwards.
12 Q Did the surgery you perform make any attempt
13 to correct that condition?
14 A No.
15 Q When you performed the Lapidus procedure,

156

16 how do you know how much plantar flexion to
17 put the toes in?
18 A Plantar flexion to put the toes? I don't
19 plantar flexion the toes.
20 Q What do you plantar flexion?
21 A The metatarsal.
22 Q Let me rephrase.
23 When you performed the Lapidus procedure,
24 how do you know how much plantar flexion to
25 put the metatarsal in?

0157

1
2 A I want the metatarsal on the sagittal plane
3 to be aligned with the rest of the medial
4 column.
5 MR. : Okay.
6 Q Other than eyeballing it during the course
7 of surgery, is there any device that you use
8 to line up the planes?
9 A No, sir.
10 Q If the metatarsal --
11 MR. : Other than his hands?
12 MR. OGINSKI: What?
13 MR. : Other than his hands?
14 MR. OGINSKI: Eyeballing it, using your
15 hands.
16 MR. : Okay.
17 Q If the metatarsals are put into too much
18 plantar flexion, how do the toes heal in
19 terms of positioning?
20 A One does not affect the other, sir.
21 Q Post-operatively Mrs. had a, I
22 think you mentioned, a mild deviation of the
23 lesser toes?
24 A Yes, sir.
25 Q Did that have anything to do with the

157

0158

1
2 positioning of the metatarsals during the
3 surgery?
4 A No, sir. Unrelated.
5 Q Did that have anything to do with the actual
6 procedure that you performed, the Lapidus
7 procedure?
8 A The positioning of the toes?
9 Q Of the lesser toes, yes, sir.
10 A No, sir. Unrelated.
11 Q Did Mrs. have excessive rear foot
12 pronation?
13 A She did.
14 Q What is a "transfer lesion"?
15 A Transfer lesion is a common term to describe
16 a callous that begins to develop under a
17 metatarsal bone when an adjacent metatarsal
18 bone is not bearing enough weight.
19 Q So there's a weight shifting?
20 A Yes, sir.
21 Q I'd like you to take a look, please, at

158

22 photographs I have of Mrs. 's right
23 foot, which were taken on March 22nd, .
24 Let's start with the first one.
25 MR. : You might as well mark

0159

1

159

2

them.

3

MR. OGINSKI: Fine.

4

MR. : Well, let's mark it.

5

You're going to ask him about every

6

one?

7

MR. OGINSKI: There's only four.

8

MR. : You can mark them as one.

9

MR. OGINSKI: There's four of them.

10

Plaintiff's Exhibit 2-A B, C, and D.

11

(Marked for identification, Plaintiff's

12

Exhibits 2-A, 2-B, 2-C and 2-D,

13

Photographs.)

14

Q

Okay. Doctor, I want you to assume that the

15

four photographs you have in front of you

16

are of

taken on March

17

22nd,

18

This is pre second surgery.

19

Tell me what you observe just looking at the

20

photograph in Plaintiff's Exhibit 2-D for

21

identification.

22

MR. : I'm going to let the

23

Doctor answer, but it's assuming they

24

were taken on March 22nd.

25

MR. OGINSKI: In fact, the plaintiff did

0160

1

160

2

testify about that.

3

MR. : Okay.

4

He wants to know what you observed.

5

A

Should I take them one at a time?

6

Q

Yes, please.

7

A

2-D I see a foot positioned in a pronated

8

orientation, mild lateral shift of all her

9

digits, and a relatively well-healed scar on

10

the dorsal aspect of the right foot

11

(Indicating).

12

MR. : Okay.

13

Do you want him to move to the next one?

14

Q

Is there any evidence of a hallux present on

15

the photograph?

16

A

A hallux?

17

Q

A hallux valgus.

18

MR. : Can you answer that

19

question?

20

A

In this particular view there's mild hallux

21

valgus, but let me just qualify that by

22

saying the orientation of the foot

23

predisposes to that type of appearance.

24

Q

Tell me what you mean.

25

A

When you rotate the foot down like this and

0161

1

161

2 take a picture, the big toe always looks
3 like it's on the side.
4 Q You believe the picture as taken was not
5 with her foot flat?
6 A This picture is a bit misleading, yes.
7 Q Let's take a look at Plaintiff's Exhibit 2-C
8 for identification, and tell me what you can
9 observe about that photograph.
10 A I see -- first thing I see ask a
11 non-purchased hallux. I see a mild lateral
12 deviation.
13 Q That would be of the lesser toes?
14 A Of all the toes.
15 And I see a first metatarsal that nicely
16 purchases the ground.
17 MR. : Do you want him to comment
18 on the bunion or lack thereof?
19 MR. OGINSKI: Whatever he sees.
20 MR. : I mean, he sees a lot of
21 things, but I don't know how extensive
22 you want him to be.
23 MR. OGINSKI: Okay.
24 A I think that's sufficient.
25 Q At the time of your last visit with

0162

1
2 Mrs. , in December of , were
3 your observations about her condition
4 consistent with the two photographs you just
5 described and see in front of you?
6 A As I recall somewhat similar, very similar.
7 Q Let's take a look at the third and fourth
8 photographs, and tell me what you see
9 please.
10 That's 2-B?
11 A 2-B.
12 Q Let's start with the right foot
13 specifically.
14 A Okay. In this foot this orientation I see
15 very similar situation, lateral deviation
16 of -- mild lateral deviation of all the toes
17 and failure of the hallux to purchase.
18 Q What causes deviation of the large toe,
19 Doctor, in this particular case?
20 A Well --
21 MR. : What can cause it?
22 A I believe in this particular case scar
23 contracture on the toe pulled the toe up and
24 in.
25 Q When you say --

0163

1
2 A Laterally.
3 Q Laterally deviated?
4 A Yes.
5 Q Was it your opinion, as of the 15th of
6 December, by doing some type of contractual
7 release that that would release or eliminate

162

163

8 the lateral deviation?
9 A Yes, I believe that it would have.
10 Q What do you observe on the left foot in that
11 photograph?
12 A Left foot I see bunion lateral deviation of
13 all the toes similar to the right.
14 Q And is the deviation on the right foot
15 greater, less than, or equal to the left
16 foot as is shown in 2-B?
17 A I would say it's about equal, more or less.
18 Q Let's take a look at the last photograph
19 please, 2-A.
20 A 2-A.
21 Q Tell me what you see.
22 A I think the only thing visible is the plan
23 -- the failure of the hallux to purchase,
24 and perhaps a slighter shortening of the
25 hallux with -- compared to the second digit.

0164

1
2 Q Explain to me why or how the second and
3 third toes, if they are shortened, would
4 correct the problem or problems that
5 Mrs. was experiencing.
6 A The second and third toes need not be
7 corrected. I never described that type of
8 correction.
9 But the second and third metatarsals were
10 determined to be exerting a little bit more
11 force than appropriate, and therefore she
12 was sensitive or painful underneath there.
13 Shortening them would allow them to bear
14 less body weight and therefore make her more
15 comfortable.
16 Q Would that allow the toe to lay flat and
17 purchase the ground?
18 A Depending on whether or not it was a
19 flexible toe.
20 Q How would you determine that?
21 A Clinical examination would show that.
22 Q Did you make any assessment on December 15th
23 as to whether or not this was a flexible
24 toe?
25 A I did not. Toe was not the compliant.

164

0165

1
2 Q What is "sesamoiditis"?
3 MR. : I'm going to just make
4 copies.
5 MR. OGINSKI: Yes, please.
6 A "Sesamoiditis" is a term used to describe
7 pain below the sesamoid bone, or actually
8 pain in the sesamoid bone itself.
9 Q And at any of the times that Mrs.
10 made complaints to you about pain she was
11 experiencing, did you ever conclude that she
12 had pain in the sesamoid bone area?
13 A No, sir.

165

14 Q Was there evidence in any of your
15 post-operative visits that Mrs. had
16 evidence of a hallux hammertoe?
17 MR. : If you recall. Now, he's
18 not asking what's just in the chart
19 but he's asking during all your
20 post-operative examinations.
21 A Mrs. never showed signs of a hallux
22 hammertoe. She does show sign of hallux
23 extension.
24 Q What do you mean by that?
25 A Big toe not touching.

0166

1
2 Q Did you discuss with Mrs. during
3 the conversations about the procedure that
4 there was a possibility --
5 A I discussed with her specifically that the
6 big toe may not stay in the desired anatomic
7 position.
8 Q When you said that, were you any more
9 descriptive as to the fact that the toe
10 might be sticking up, it may not be
11 purchasing the ground, or something along
12 those lines?
13 MR. : If you recall.
14 A I don't recall saying anything more specific
15 than what I said to you before.
16 Q In your opinion, Doctor, was the physical
17 therapy that was going for, did
18 that help her condition?
19 A My impression was that it did not.
20 Q Did you form an opinion as to why it did
21 not?
22 A No.
23 Q At any time from the time that she was under
24 the care of the physical therapist up until
25 today, did you form an opinion as to why the

166

0167

1
2 physical therapy wasn't helping?
3 A No. I have not formed an opinion about
4 that.
5 Q You had mentioned that stretching would
6 reduce the scar tissue, the fibrosis that
7 had built up.
8 Do you have any reason to believe or
9 conclude as to why the stretching would not
10 have reduced or minimized the fibrosis?
11 A I have no -- I have no theory as to why it
12 did not work.
13 Q Was it your understanding that Mrs.
14 was going to therapy on a regular basis?
15 A That's what she reported to me.
16 Q Did you receive copies of the physical
17 therapy progress notes from time to time?
18 A I did not. I did receive a report, a single
19 report.

167

20 Q Did you learn that she had been undergoing
21 the exercises that were recommended?
22 A Well, I don't recall that.
23 Q Did you ever have any conversation with any
24 physical therapists about her progress at
25 Sports Med Rehab?

0168

1 MR. : Do you recall any
2 conversations.
3

4 A No, sir, I don't recall any conversation.
5 Q Did you ever consult with any orthopedists
6 about Mrs. ?
7 A No, sir.
8 Q On any of the photographs that you looked
9 at, Doctor, is there evidence of a claw toe?
10 A One might consider this photograph, item
11 2-A, to be representative of a minor claw
12 toe. Extremely minor. I think it's a
13 stretch, but yes, the toe is not perfectly
14 flat (Indicating).

15 Q What happens to the foot or toes or
16 metatarsals, any way you want to describe
17 it, if you have too much plantar flexion in
18 the metatarsal? In other words, more than
19 what should be?

20 A Too much plantar flexion in the metatarsal?

21 Q Yes.

22 A Well, not necessarily anything, but possibly
23 excessive pressure, and therefore callous
24 and pain below that metatarsal.

25 Q Doctor, in your letterhead, your office

0169

1
2
3
4
5
6
7 letterhead, I think there's another typo.
8
9 It says, "Fellow, of
10
11 " That should be
12
13 " "; correct?

14
15 A Certainly should be.

16
17 Some of those common things I do over and
18
19 over.

20
21 MR. : , plural.

22
23 MR. OGINSKI: Thank you.

24
25 (Time noted: 1:18 P.M.)

23

24 NOTARY PUBLIC, STATE OF NEW YORK

25

0174

1

2

C E R T I F I C A T E

174

3

4

5

STATE OF NEW YORK)

6

) ss.

7

COUNTY OF)

8

9

I, , a Court Reporter and

10

Notary Public of the State of New York, do

11

hereby certify that I recorded

12

stenographically the proceedings herein at

13

the time and place noted in the heading

14

hereof, and that the foregoing transcript is

15

true and accurate to the best of my

16

knowledge, skill and ability.

17

IN WITNESS WHEREOF, I have hereunto set my hand.

18

19

20

21

22

23

24

25