

**REDACTED DEPOSITION OF PHYSICIAN'S ASSISTANT  
FAILURE TO DIAGNOSE HOLE IN EYE**

1 SUPREME COURT OF THE STATE OF NEW YORK 1

2 COUNTY OF NASSAU

3 -----x

4 & ,  
5 Plaintiffs,  
6 -against-  
7 , P.A., , INC.,  
8 d/b/a HOSPITAL, ,  
9 M.D., and , P.C.,  
10 Defendants.  
11

12 Index No.: XXXX/09  
13 -----x

14 & ,  
15 Plaintiffs,  
16 -against-  
17 , INC.  
18 Defendant.

19 Index No.: 21399/08  
20 -----x

21 Roslyn, New York  
22 December 7, 2009  
23 11:07 a.m.

24 EXAMINATION BEFORE TRIAL of  
25 P.A., s/h/a , P.A.

0002 1 EXAMINATION BEFORE TRIAL of 2

2 , P.A., s/h/a , P.A.,  
3 one of the Defendants in the above-entitled  
4 action, held at the above time and place,  
5 taken before Cynthia A. Laub, a Notary  
6 Public of the State of New York, pursuant  
7 to Court Order and stipulations between  
8 Counsel.  
9

10 \* \* \*  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

23  
24  
25  
0003

1  
2 APPEARANCES: 3

3  
4 LAW OFFICE OF GERALD M. OGINSKI, LLC  
Attorneys for Plaintiffs  
5 25 Great Neck Road, Suite 4  
Great Neck, New York 11021

6 BY: GERALD M. OGINSKI, ESQ.

7  
8  
9 , &  
Attorneys for Defendants

10 J. , P.A., s/h/a  
11 , P.A.,  
12 , M.D., and  
13 , P.C.

14 , New York 11576

15 BY: , ESQ.

16 &  
17 , ESQS.  
Attorneys for Defendant  
Hospital

18, New York 11783

19 BY: , ESQ.

20  
21 , LLP  
Attorneys for Defendant  
22 Inc.

23 New York, New York 10270-0110

24 BY: , ESQ.

25  
0004

1 4  
2 STIPULATIONS

3 IT IS HEREBY STIPULATED, by and between the  
4 attorneys for the respective parties hereto, that:

5 All rights provided by the C.P.L.R., and Part 221  
6 of the Uniform Rules for the Conduct of Depositions,  
7 including the right to object to any question, except  
8 as to form, or to move to strike any testimony at this  
9 examination is reserved; and in addition, the failure  
10 to object to any question or to move to strike any  
11 testimony at this examination shall not be a bar or  
12 waiver to make such motion at, and is reserved to, the  
13 trial of this action.

14 This deposition may be sworn to by the witness

15 being examined before a Notary Public other than the  
16 Notary Public before whom this examination was begun,  
17 but the failure to do so or to return the original of  
18 this deposition to counsel, shall not be deemed a  
19 waiver of the rights provided by Rule 3116, C.P.L.R.,  
20 and shall be controlled thereby.

21 The filing of the original of this deposition is  
22 waived.

23 IT IS FURTHER STIPULATED, a copy of this  
24 examination shall be furnished to the attorney for the  
25 witness being examined without charge.

0005

1  
2 , the witness  
3 herein, having first been duly sworn by the  
4 Notary Public, was examined and testified  
5 as follows:

6 EXAMINATION BY  
7 MR. OGINSKI:

8 Q. State your name for the record,  
9 please.

10 A. .  
11 MR. OGINSKI: Can you mark this  
12 as 1.

13 [Whereupon, the original  
14 hospital record was hereby marked as  
15 Plaintiff's Exhibit 1 for  
16 identification, as of this date, by the  
17 reporter.]

18 MR. OGINSKI: Just for the  
19 record, defense counsel has agreed to  
20 accept service on behalf of

21 .  
22 Q. Good morning.  
23 Did you see and treat Mr.  
24 on November 13, at  
25 Hospital?

0006

1  
2 A. I don't remember. According to  
3 the chart I did.

4 Q. On November 13, , did you  
5 diagnose a penetrating eye injury to  
6 Mr. ?

7 MR. : Note my objection to  
8 form.

9 You can refer to the chart and  
10 answer over my objection.

11 A. I diagnosed him with a corneal  
12 abrasion.

13 Q. I'll get to your notes. But my  
14 question is did you diagnose a penetrating  
15 eye injury?

16 MR. : Same objection.  
17 You can answer.

18 A. A corneal abrasion, yes.

19 Q. Is a corneal abrasion the same

20 as a ruptured globe?  
21 A. I guess, yes.  
22 Q. You are not an ophthalmologist,  
23 correct?  
24 A. Correct.  
25 Q. You are not an optometrist?

0007

1  
2 A. No.  
3 Q. You are not a physician?  
4 A. No.  
5 Q. You are not Board certified in  
6 emergency medicine, correct?  
7 A. I am not Board certified in  
8 emergency medicine.  
9 Q. In other words you're not a  
10 Board certified emergency room physician?  
11 A. Correct.  
12 Q. You are not licensed as a  
13 medical doctor, correct?  
14 A. Correct.  
15 Q. Did you ever tell  
16 that you were a physician?  
17 A. I don't recall the case, but I  
18 never introduce myself as a physician.  
19 Q. Do you have any independent  
20 memory as you sit here now of telling this  
21 patient, Mr. , that you were a  
22 physician?  
23 A. I never tell anybody that I'm a  
24 physician.  
25 Q. Did you perform any type of

7

0008

1  
2 residency in ophthalmology?  
3 A. No.  
4 Q. Did you perform any type of  
5 fellowship in ophthalmology?  
6 A. No.  
7 Q. You are not and do not consider  
8 yourself to be an eye doctor, correct?  
9 A. Correct.  
10 Q. Did Mr. specifically ask  
11 for an eye doctor to see him?  
12 A. I don't recall the case.  
13 Q. Okay. In November of , did  
14 Hospital have eye doctors who  
15 are on staff and on call available to you  
16 to call for consultation?  
17 MS. : Objection to form.  
18 A. Do they have ophthalmologists on  
19 call for me to call, yes.  
20 Q. All of my questions all relate  
21 to the November period unless I  
22 indicate otherwise.  
23 During that time frame, am I  
24 correct that you worked under the

8

25 supervision of an emergency room attending?

0009

1

9

2 A. Yes.

3 Q. In the instances where you would  
4 see and examine a patient, would the  
5 emergency room attending physician be  
6 present with you when you conduct your  
7 examination?

8 A. I just want to make sure I  
9 understand the question.

10 Q. I'll rephrase it.

11 MR. : If you don't  
12 understand the question, please ask.

13 Q. You told me that you worked  
14 under the supervision of an emergency room  
15 attending.

16 A. Yes.

17 Q. When you would see and examine a  
18 patient, would the emergency room attending  
19 be present with you at the time that you  
20 were examining the patient?

21 A. It depends.

22 Q. Are there instances where the  
23 emergency room attending physician will be  
24 present with you when you examine a  
25 patient?

0010

1

10

2 A. Yes.

3 Q. And are there also instances  
4 where the emergency room attending  
5 physician will not be present?

6 A. Yes.

7 Q. Under what circumstances would  
8 an emergency room attending be present for  
9 an examination?

10 A. Can I just make sure I  
11 understand the question? So you want to  
12 know at what time an emergency room doctor  
13 is present while I do my examination.

14 Q. Correct.

15 A. Okay, when I work in fast track,  
16 there is an attending assigned to fast  
17 track, and if I do an exam, he would be  
18 present in the fast track area and  
19 different parts of the exam, unless it's  
20 personal and behind closed doors, he would  
21 be subject to.

22 Q. Describe for me what is fast  
23 track.

24 A. Fast track is an area that is --  
25 people are sent to where you don't believe

0011

1

11

2 that they will need a lot of -- a lot of --  
3 I don't know how to explain it. Let me

4 just take a minute.  
5 MR. : Take your time.  
6 A. Resources. If they do not need  
7 a lot of resources, where there would more  
8 than likely not be an admission.  
9 Q. Was Mr. seen in a fast  
10 track setting?  
11 A. Yes.  
12 Q. How do you know that?  
13 A. Because I saw him.  
14 Q. Is there something in the  
15 hospital record which would confirm or  
16 indicate that he was seen in the fast track  
17 setting?  
18 A. Yes.  
19 Q. Show me where.  
20 MR. : What are we looking  
21 at.  
22 A. We're looking at the triage  
23 note.  
24 Q. What is it on the note that  
25 suggests that you saw him in fast track or  
0012  
1 S. 12  
2 he was assigned to fast track?  
3 A. It says area, fast track.  
4 MR. : To be clear, it says  
5 area, FT.  
6 A. FT.  
7 Q. Was that an area that you were  
8 assigned to on that particular day?  
9 A. Yes.  
10 Q. When you saw Mr. on  
11 November 13, , was an emergency room  
12 attending present with you at the time that  
13 you were doing the examination of  
14 Mr. ?  
15 MR. : Note my objection to  
16 form.  
17 Over my objection, you can  
18 answer.  
19 A. I don't recall.  
20 Q. Is there anything in any note of  
21 this hospital record which would indicate  
22 to you whether an attending physician was  
23 present at the time that you examined  
24 Mr. on November 13, ?  
25 MR. : Refer to the chart  
0013  
1 S. 13  
2 if you need to.  
3 A. No.  
4 Q. I want you to assume that  
5 Mr. has given testimony in this case  
6 and indicated that you were the only one  
7 who examined him, and that there was no  
8 other physician who ever examined him.



14 A. For another person to come by  
15 and read the chart and know what was done.  
16 Q. Is there anything in this  
17 hospital record to indicate that  
18 Dr. saw and examined this  
19 particular patient?  
20 A. No.  
21 Q. Is there anything in this  
22 hospital record to indicate that any  
23 attending physician saw and examined this  
24 patient on November 13, ?  
25 A. No.

0016

1  
2 Q. Did you discuss this patient's  
3 physical exam and findings with any  
4 attending physician before discharging him?  
5 A. I don't recall.  
6 Q. Do you have any note in this  
7 hospital record that would confirm that you  
8 had discussed your examination and your  
9 findings with any attending physician on  
10 November 13, ?  
11 A. No.  
12 Q. Did you have a procedure or a  
13 requirement that before discharging a  
14 patient that you had examined, that you  
15 obtain approval from the emergency room  
16 attending who was supervising you?  
17 A. No.  
18 Q. Was it possible for you to see,  
19 examine and treat a patient and discharge  
20 them without ever discussing that patient's  
21 care and treatment with any attending  
22 physician?  
23 A. Yes.  
24 Q. Did any emergency room attending  
25 physician confirm the diagnosis and

0017

1  
2 conclusion that you came to regarding this  
3 patient's -- I think you had noted corneal  
4 abrasion?  
5 MR. : Refer to the chart.  
6 A. What was the question?  
7 Q. I'll rephrase it.  
8 Did any emergency room doctor  
9 confirm your physical examination findings?  
10 MR. : Please refer to the  
11 chart.  
12 A. I don't recall.  
13 Q. Is there anything in the notes,  
14 in the hospital record, to reflect that any  
15 emergency room physician confirmed your  
16 physical examination findings?  
17 A. No.  
18 Q. Is there anything in the

19 hospital record to confirm that any  
20 emergency room attending confirmed your  
21 diagnosis of a corneal abrasion?

22 A. No.

23 Q. What is a corneal laceration?

24 A. It's a cut in the eye.

25 Q. Is it a penetrating cut?

0018

1

18

2 A. A laceration is.

3 Q. Where does it extend to?

4 A. You want anatomy?

5 Q. Yes.

6 A. It extends through the cornea  
7 into the -- I don't know if I'm going to  
8 pronounce it right, the vicious -- the  
9 viscus.

10 Q. In the course of your career,  
11 have you ever diagnosed a patient with  
12 corneal lacerations?

13 A. Yes.

14 Q. And before November 13,  
15 had you ever diagnosed a patient with  
16 corneal abrasion?

17 A. Yes.

18 Q. Now, in your opinion, is a  
19 corneal abrasion the same as a corneal  
20 laceration?

21 A. No.

22 Q. What is the difference?

23 A. Abrasion is into the outer  
24 surface without penetrating into the globe  
25 itself.

0019

1

19

2 Q. And the laceration?

3 A. Goes deeper.

4 Q. How do you define a ruptured  
5 globe?

6 A. A ruptured globe is a  
7 penetrating injury into the eye itself.

8 Q. Have you ever diagnosed a  
9 ruptured globe in your career?

10 A. Yes.

11 Q. What diagnostic tools do you  
12 need in order to see a hole in an eye?

13 MR. : Note my objection to  
14 form.

15 Over objection, you can answer.

16 A. Could you repeat that again.

17 Q. When you examine a patient's  
18 eye, there are various diagnostic tools  
19 that you use to examine an eye, correct?

20 A. Okay.

21 Q. What tools do you need in order  
22 to conclude that there is a rupture in  
23 someone's eye?

24 MR. : Note my objection --  
25 Q. Ruptured globe.

0020

1 20

2 MR. : Same objection.

3 You can answer.

4 A. You know, if you have a ruptured  
5 globe and it's significant enough, I can  
6 use -- just with my own eyesight you could  
7 see it.

8 Q. Tell me what you mean by  
9 "significant enough."

10 A. Well, if you have any kind of  
11 findings, if you have a finding where your  
12 pupil is unequal, if there's blood in the  
13 anterior chamber, if there's a penetrating  
14 injury with something sticking out of it, I  
15 don't need any diagnostic tool to see that.

16 Q. If it's not so obvious, what  
17 diagnostic tools can you use to make such a  
18 diagnosis?

19 MS. : Just note my  
20 objection.

21 MR. : Same objection.

22 A. A fundoscope, a Wood's lamp.

23 Q. Anything else?

24 A. Slit lamp.

25 Q. Anything else?

0021

1 21

2 A. Are you just asking for  
3 instruments?

4 Q. Yes, correct.

5 I'm sorry, was there anything  
6 else? Any other diagnostic instruments?

7 A. Instruments you're looking for.

8 Q. Yes.

9 MR. : Anything else, other  
10 than what you've already testified to.

11 Q. Is that a yes, no?

12 A. You're just looking for  
13 instruments, is that it?

14 Q. Yes.

15 Is that it?

16 A. Uh-huh.

17 MR. : You have to say yes  
18 or no.

19 A. Yes.

20 Q. What is a fundoscope?

21 A. It is an attachment on a light  
22 that you can magnify and you can look into  
23 the anterior chamber. You can -- you can  
24 adjust it to magnify it. It also has a  
25 color on it that if you stain somebody's

0022

1 22

2 eye, you can look at it and look at the

3 stain through it.  
4 Q. Is that also known as an  
5 ophthalmoscope?  
6 A. Yeah. Sorry.  
7 Q. What is a Wood's lamp?  
8 A. A Wood's lamp is a hand-held --  
9 it's a hand-held light, black light, two  
10 black lights, and in the middle is a  
11 magnification area that you hold over the  
12 person's eye.  
13 Q. What is a what a lamp?  
14 A. A slit lamp is -- is -- I'm  
15 going to say it's a bigger more  
16 sophisticated version of a Wood's lamp with  
17 magnification.  
18 Q. Have you used a fundoscope in  
19 your career?  
20 A. Yes.  
21 Q. Have you used a Wood's lamp?  
22 A. Yes.  
23 Q. Have you used a slit lamp?  
24 A. Yes.  
25 Q. Now, you've told me that you've  
0023

23

1  
2 had occasion to diagnose corneal abrasions  
3 before.  
4 A. Yes.  
5 Q. Tell me generally what corneal  
6 abrasions look like.  
7 MR. : Objection to form.  
8 Over my objection, you can  
9 answer.  
10 A. They're usually an area that has  
11 an uptake in Fluorescein.  
12 Q. What is Fluorescein?  
13 A. Fluorescein is a little dye that  
14 you put into somebody's eye, and it  
15 enhances any abrasions, foreign bodies.  
16 Q. It allows you to see any  
17 possible injury?  
18 A. Yes.  
19 Q. You learned from the patient  
20 himself that he suffered some type of  
21 trauma to his eye immediately prior to  
22 coming into the hospital, correct?  
23 A. Yes.  
24 Q. And as a result of your  
25 examination of the patient, you diagnosed a  
0024

24

1  
2 corneal abrasion, correct?  
3 A. Yes.  
4 Q. This was in the right eye?  
5 A. Yes.  
6 Q. Where within the right eye did  
7 you observe the corneal abrasion?

8 A. (Indicating.)  
9 Sorry. The medial aspect of the  
10 outer rim of the iris.  
11 Q. You have that noted under the  
12 procedure, comments and assessments section  
13 on the bottom left side of the page?  
14 A. Yes.  
15 Q. What would you call this  
16 particular page?  
17 A. The back of the chart.  
18 Q. Is it a multi-page form? What  
19 is it? Is it history, physical? You tell  
20 me.  
21 MR. : The page or that  
22 aspect of it.  
23 MR. OGINSKI: I just want to  
24 know what that form is part of.  
25 A. It's part of the -- it's part of

0025

25

1  
2 my note in the hospital.  
3 Q. And the form itself, does it  
4 have a name?  
5 MR. : I think he's  
6 specifically referring to this form  
7 where you have the area of the injury  
8 noted.  
9 Does it have a name? The other  
10 page. Specifically this page. Does  
11 this page specifically have a name.  
12 A. Not that I know of.  
13 Q. Together with your diagram of  
14 the location of the abrasion that you  
15 observed, you have some other notes next to  
16 that, correct?  
17 A. Yes.  
18 Q. What is a cornea?  
19 A. It's the outer layer of the eye.  
20 Sorry, I'm just a little  
21 nervous.  
22 MR. : Take your time.  
23 Listen to the question and then answer  
24 what he's asking you.  
25 I believe the question is what a

0026

26

1  
2 cornea.  
3 A. The cornea is a lens.  
4 Q. Now, you've told me what a  
5 corneal abrasion is.  
6 How do you diagnose a corneal  
7 abrasion?  
8 A. History and physical exam.  
9 Q. Can you be more specific?  
10 A. Diagnoses are based on patient's  
11 history, what they tell you, and your  
12 physical exam, your findings.

13 Q. What is it about a patient's  
14 history or physical that would suggest to  
15 you that they had a corneal abrasion?  
16 A. I took the history --  
17 Q. I'm not asking specifically yet.  
18 I'll get to that.  
19 I just want to know --  
20 MR. OGINSKI: I'll rephrase it.  
21 Q. When you do a physical  
22 examination, you look at the patient  
23 grossly, with your own eyes?  
24 A. Uh-huh.  
25 Q. You have to answer verbally.

0027

1  
2 A. Sorry. Sorry. Yes. 27  
3 Q. In addition, you use various  
4 diagnostic tools to assess a patient's eye  
5 specifically, correct?  
6 A. Uh-huh.  
7 MR. : You have to say yes  
8 and wait until he's done with his  
9 question, please.  
10 Q. Are you able to diagnose a  
11 corneal abrasion just by looking at a  
12 patient with your own eyes without any  
13 tools?  
14 A. I have never.  
15 Q. You have never diagnosed a  
16 patient with an abrasion just by your own  
17 eyes?  
18 A. No. I've never.  
19 Q. What tools do you need in order  
20 to conclude that a patient has a corneal  
21 abrasion?  
22 A. Fluorescein, something to  
23 activate the Fluorescein and Wood's lamp.  
24 Q. In November of , did you  
25 have the ability to use a Wood's lamp in

0028

1  
2 Hospital? 28  
3 MS. : Note my objection.  
4 A. Yes.  
5 Q. Did you have the ability to use  
6 a slit lamp?  
7 MR. : What time period.  
8 MR. OGINSKI: The date the  
9 patient was seen.  
10 A. Yes.  
11 Q. Did you have a Fundoscope  
12 available to you?  
13 A. Yes.  
14 Q. How do you treat corneal  
15 abrasion?  
16 MR. : Note my objection to  
17 form.

18 Over my objection, you can  
19 answer.  
20 A. With antibiotic drops.  
21 Q. Why do you prescribe antibiotic  
22 drops for an abrasion?  
23 A. It helps speed the healing  
24 process.  
25 Q. Is an abrasion similar to a

0029

1  
2 scrape or scratch on any other part of the  
3 body?

29

4 MR. : Note my objection to  
5 form.  
6 Over my objection, you can  
7 answer.

8 MR. OGINSKI: I'll rephrase it.  
9 Q. A corneal abrasion is a scratch  
10 to the cornea, correct?

11 A. It's an abrasion to the cornea.

12 Q. How would you define abrasion?

13 A. There's a little bit of a  
14 difference between an abrasion and a  
15 scratch. An abrasion is more of a  
16 superficial injury.

17 Q. How is a scratch not  
18 superficial?

19 A. A scratch can penetrate through  
20 layers.

21 Q. How does the administration -- I  
22 assume it's antibiotic drops?

23 A. Uh-huh.

24 Q. How does the administration of  
25 antibiotic drops aid with healing?

0030

1 S.  
2 A. Whenever you have any kind of  
3 injury to your eye, it's your eye's own  
4 makeup to have it tear. And that tearing  
5 can become infectious. So the antibiotic  
6 drops help stop that process and helps  
7 allow the healing process to speed up,  
8 whereas your own eye won't -- where your  
9 own eye has that natural tearing and  
10 discharge going on. It stops that and it  
11 helps quicken.

30

12 Q. How do you know what type of  
13 antibiotic drops to prescribe?

14 A. In monthly or every couple of  
15 months, you get -- people subscribe to  
16 literature and you get handouts on  
17 literature and on drugs, and on drug  
18 medication. And there is a number of  
19 antibiotics that you could use for a  
20 corneal abrasion.

21 Q. We talked a little bit about  
22 ruptured globe. How would you define a

23 ruptured globe?  
24 A. A penetrating eye injury through  
25 the layers into the viscus.

0031

1

31

2 Q. In an instance where the  
3 penetrating eye injury through the layers  
4 into the viscus are not so obvious, does a  
5 fundoscope help you diagnose a ruptured  
6 globe?

7

MR. : Note my objection to  
8 form.

9

Over my objection, you can  
10 answer.

11

MR. OGINSKI: I'll rephrase it.

12

13 Q. Can you see a ruptured globe  
with a Fundoscope?

14

A. Yes.

15

16 Q. Can you see a ruptured globe  
with a Wood's lamp?

17

A. Yes.

18

19 Q. Can you see a ruptured globe  
with a slit lamp?

20

A. Yes.

21

22 Q. In November of , for how  
many years had you been a physician's  
23 assistant?

24

A. Six.

25

Q. If a patient has a ruptured

0032

1

S.

32

2 globe, what type of symptoms would you  
3 expect them to have?

4

A. I would expect an unequal pupil.

5

6 Q. That's a diagnostic finding,  
correct?

7

A. Symptoms?

8

9 Q. I'm talking about the patient's  
symptoms.

10

A. Sorry.

11

Pain.

12

Q. Anything else?

13

A. Visual changes.

14

Q. Anything else?

15

A. (No response.)

16

17 Q. If you have an answer, you have  
to verbalize.

18

19 Would you expect to see fluid  
coming from that rupture from within the  
20 globe?

21

A. Yes.

22

23 Q. What type of fluid would be that  
be?

24

A. Viscus.

25

Q. Is the viscus fluid the same as

0033

1

33

2 tears.  
3 A. A little thicker.  
4 Q. Looking at the viscus fluid  
5 compared to tears, when you say "a little  
6 thicker," is it clear?  
7 MR. : The viscus fluid.  
8 MR. OGINSKI: I'll rephrase it.  
9 Q. Is viscus fluid clear?  
10 A. The question, is viscus fluid  
11 clear?  
12 Q. Yes.  
13 A. Yes.  
14 Q. Are tears clear?  
15 A. Yes.  
16 Q. How do you distinguish between a  
17 patient who is expressing viscus fluid from  
18 their eye as opposed to tears?  
19 A. So the lacrymal sack in your eye  
20 is in this area right here. So when I  
21 would stain the eye over the abrasion or  
22 the uptake of the fluid, I would expect  
23 some pickup of the fluid coming out of that  
24 area.  
25 Q. When you say pickup of that

0034

34

1 fluid, is that going to be a dyed or  
2 colored fluid?  
3 A. Yes.  
4 Q. So let's assume for a moment  
5 that a patient has a ruptured globe and  
6 there is some viscus fluid coming out from  
7 that rupture, and at the same time there  
8 are tears that are being produced as well  
9 because of the irritation.  
10 How do you distinguish from  
11 whether the patient is experiencing viscus  
12 fluid from the rupture itself as opposed to  
13 tears?  
14 A. When a person comes in and they  
15 have an injury to their eye, one of the  
16 first things I do after I get an acuity and  
17 do an exam, is I give them some pain  
18 medicine.  
19 MR. : That's not the  
20 question.  
21 Listen to the question and then  
22 give the answer to the question.  
23 MR. OGINSKI: Could I have it  
24 read back, please.

0035

35

1 MR. : Just answer the  
2 question.  
3 [The requested portion of the  
4 record was read by the reporter.]  
5 A. The site of the drainage.  
6

7 Q. If the tears are coming out,  
8 where would you expect to see the drainage?  
9 A. Excuse me?  
10 Q. You said you would distinguish  
11 the two based upon the site of the  
12 drainage.  
13 So where would the tears be  
14 coming from?  
15 A. Tears would be coming from the  
16 medial aspect of the eye.  
17 Q. That's the corner of the eye?  
18 A. Where the lacrymal pond is  
19 (indicating).  
20 Q. You're indicating the corner of  
21 the eye closer to the nose or the bridge of  
22 the nose.  
23 If it was coming from the  
24 ruptured globe, where would you see it?  
25 A. From the point of rupture.

0036

36

1  
2 Q. What treatment is required for a  
3 patient who has a ruptured globe?  
4 A. Ophthalmology consult.  
5 Q. Other than calling in an  
6 ophthalmology consult, are you aware of any  
7 specific treatment that would be needed in  
8 order to repair the rupture?  
9 A. No.  
10 Q. Did you call an ophthalmology  
11 consult for this patient?  
12 A. No.  
13 Q. Is there a specific reason as to  
14 why you did not call an ophthalmology  
15 consult for this patient?  
16 A. I didn't feel it was necessary.  
17 Q. What symptoms or problems would  
18 the patient need to have in order for you  
19 to call an ophthalmology consult?  
20 A. (No response.)  
21 MR. : Do you need to have  
22 the question read back?  
23 A. Could you ask me again, because  
24 I just --  
25 Q. Sure.

0037

37

1  
2 MR. : We can have the  
3 question read back. Just listen to the  
4 question.  
5 [The requested portion of the  
6 record was read by the reporter.]  
7 A. Loss of vision, a pattern of  
8 vision loss, like a shade coming down, not  
9 being able to see in the periphery.  
10 Foreign body in their eye. A tearing  
11 pupil.

12 Q. What is that?  
13 A. It's a pupil -- if you could  
14 picture a tear of a pupil, a tear, instead  
15 of the pupil being round, it makes the  
16 pupil look like a tear. Or maybe better,  
17 an irregular pupil.

18 Q. What would that suggest to you  
19 if anything?

20 A. It would suggest that he had a  
21 corneal abrasion -- not corneal abrasion.  
22 It would suggest that he had a ruptured  
23 globe.

24 Q. Anything else?

25 A. Blood in the anterior, posterior

0038

1

38

2 chamber of the eye. An ulcer on their eye.

3 Q. A what?

4 A. An ulcer on their eye, or a  
5 lesion.

6 MR. : Anything else?

7 A. If they had a laceration or a  
8 flap in their eye.

9 Q. Is this laceration different  
10 from what we talked about earlier, about a  
11 corneal laceration?

12 A. You have to tell me what we  
13 talked about before.

14 Q. If a patient -- if you come to  
15 the conclusion that the patient has a  
16 corneal laceration, would that -- in that  
17 instance, would you call an ophthalmology  
18 consult for an evaluation?

19 A. Yes.

20 Q. If a patient has a corneal  
21 abrasion, would you call an ophthalmology  
22 consult?

23 A. No.

24 Q. If a patient specifically asks  
25 for an eye doctor, would you call for an

0039

1

39

2 S.  
3 ophthalmology consult?

4 A. Yes.

5 Q. Why?

6 A. Because they specifically asked.

7 Q. I want you to assume that

8 Mr. specifically asked to see an eye  
9 doctor. Assuming that fact to be true, do  
10 you have any reason as you sit here now to  
11 know why an eye doctor was not called on  
12 November 13, ?

13 MR. : Objection to form.

14 Over my objection, you can  
15 answer.

16 A. I have never not called somebody  
when somebody has asked me to call.

17 Q. I'm not asking about what you  
18 normally do.  
19 I'm asking specifically, in this  
20 particular case, I want you to assume that  
21 Mr. has testified that he  
22 specifically asked to see an eye doctor for  
23 his eye injury. Do you have any reason to  
24 know at this point, while you sit here now,  
25 why an eye doctor was not called?

0040

1 40  
2 MR. : Objection to form.  
3 MS. : Note my objection.

4 A. No.  
5 Q. You mentioned if a patient  
6 exhibits a loss of vision or a pattern of  
7 vision loss like shading, that you would  
8 call for a consultation by an  
9 ophthalmologist.

10 Why?

11 A. (No response.)

12 Q. In other words, why are those  
13 significant enough to require a consult by  
14 an ophthalmologist.

15 A. I'm just trying to think --

16 MR. : Think to yourself  
17 and answer the question.

18 A. Because of the disease processes  
19 that they could be leading you to believe  
20 are going on.

21 Q. Would it be fair to say that you  
22 are not qualified to evaluate or treat a  
23 loss of vision?

24 MR. : Objection to form.  
25 Over my objection, you can

0041

1 41  
2 answer.

3 MR. OGINSKI: I'll withdraw it.

4 Q. The reason you call for an  
5 ophthalmology consult is because they have  
6 certain expertise in treating certain eye  
7 conditions, correct?

8 A. Yes.

9 Q. Certain eye conditions that you  
10 may not be qualified to treat, correct?

11 A. Yes.

12 Q. And loss of vision and partial  
13 loss of vision would be two of those  
14 examples, correct?

15 MR. : Objection to form.

16 A. (No response.)

17 Q. I'll rephrase it.

18 Are you qualified to treat a  
19 patient who has total vision loss?

20 A. No.

21 Q. Are you qualified to treat a

22 patient who has partial vision loss as a  
23 result of a trauma to the eye?  
24 A. Could you be more specific.  
25 Q. Sure. If a patient, after your

0042

1  
2 evaluation, you determine that the patient 42  
3 has only partial vision as a result of some  
4 trauma to their eye. As of November  
5 were you qualified to treat a patient's  
6 partial vision loss?

7 MR. : Objection to form.  
8 Over my objection, you can  
9 answer.

10 A. It depends.

11 Q. On what?

12 A. On why -- why they're -- they  
13 have vision loss.

14 Q. Would you agree that a physician  
15 with experience in treating patients in the  
16 field of ophthalmology would be better  
17 qualified to evaluate and treat patients  
18 with partial vision loss?

19 A. Absolutely.

20 Q. At any time while this patient  
21 was in Hospital on November 13,  
22 , did you ever call or request an  
23 ophthalmology consult for this patient?

24 MR. : Objection.  
25 Asked and answered.

0043

1 S. 43  
2 Over my objection, you can  
3 answer.

4 A. No.

5 Q. A patient comes into the  
6 emergency room in November of . You  
7 make a decision, you need to get an  
8 ophthalmology consult in to see the patient  
9 before they leave.

10 How do you actually go about  
11 getting that consult?

12 A. I call the on-call  
13 ophthalmologist.

14 Q. Were those on-call  
15 ophthalmologists present within the  
16 hospital or did they have outside offices  
17 and they would then come in to see the  
18 patient?

19 A. Outside offices.

20 Q. You had mentioned to me that you  
21 did not feel that the patient needed an  
22 ophthalmology consult.

23 Tell me why.

24 A. I felt he had a corneal  
25 abrasion, and the eyedrops would work, and

0044

1  
2 he did not need an immediate ophthalmology  
3 consult.  
4 Q. Did you recommend to the patient  
5 that he see an ophthalmologist that day?  
6 A. No.  
7 Q. Now, did you personally measure  
8 this patient's visual acuity?  
9 A. I don't recall.  
10 Q. If you had measured the  
11 patient's visual acuity, would you have  
12 made a note that you had examined the  
13 patient's visual acuity?  
14 A. Yes.  
15 Q. And do you have a note in the  
16 patient's chart reflecting that you  
17 conducted a visual acuity test?  
18 A. Yes.  
19 Q. And does that note reflect that  
20 it was you who conducted the test as  
21 opposed to the triage nurse?  
22 A. No.  
23 Q. In fact, if you look at the  
24 triage note, you'll see that the visual  
25 acuity was tested when he came into triage,  
0045

44

1  
2 correct?  
3 A. No.  
4 Q. Can you point to me where the  
5 patient's visual acuity is noted in the  
6 record, please.  
7 A. It's noted on my note, in the  
8 back of the chart. Left eye, 20/50, right  
9 eye, 20/100. It is noted on the triage  
10 note, left eye, 20/50, right eye, 20/100.  
11 Q. The notes on the triage record,  
12 is that in your handwriting?  
13 A. No.  
14 Q. Yes or no.  
15 A. No. I said no.  
16 Q. Did you learn from Mr.  
17 that he had no problem with his right eye  
18 before this incident on November 13th?  
19 A. No.  
20 Q. On November 13,  
21 employed by  
22 P.C., correct?  
23 A. Yes.  
24 Q. For how long had you been an  
25 employee of that group?  
0046

45

1  
2 A. I don't recall.  
3 Q. Approximately. How many days,  
4 weeks, months, years.  
5 A. Three or four years.

46

6 Q. And you were a physician's  
7 assistant, correct?  
8 A. Yes.  
9 Q. Before working for  
10 , where did you work?  
11 A. I worked at .  
12 Q. In what department?  
13 A. The emergency room.  
14 Q. From when to when?  
15 A. From until , as a  
16 physician's assistant.  
17 Q. Before becoming a physician's  
18 assistant, were you in the medical field?  
19 A. Yes.  
20 Q. As what?  
21 A. I was a nurse's aide.  
22 Q. What does a nurse's aide do?  
23 A. They help -- they actually are  
24 sort of an extension of a nurse. They  
25 don't prescribe medications or anything,

0047

1  
2 but they will take vitals, they'll do EKGs,  
3 they'll do patient care, take people to  
4 their rooms.

5 Q. How long did you work as a  
6 nurse's aide?

7 A. I worked from to .

8 Q. And before obtaining your  
9 physician's assistant degree, did you have  
10 any degree before that?

11 A. No.

12 Q. What do you do as a physician's  
13 assistant?

14 A. Do you mean what do I do as a  
15 physician' assistant in the emergency room,  
16 or what do physician's assistants do?

17 Q. Let's take your role as a  
18 physician's assistant in the emergency  
19 room.

20 A. Okay, it's going to depend on  
21 the hospital that I work in, what my --  
22 what I'm allowed to do in each emergency  
23 room.

24 Q. Let me be more specific. At  
25 Hospital, what did you do as a

0048

1  
2 physician's assistant in the emergency  
3 room?

4 A. Patient care.

5 Q. Be more specific.

6 A. I would see a patient, take a  
7 history and physical, do minor procedures,  
8 sutures, suture removals. I might start an  
9 IV, give medication, order labs, interpret  
10 those results, order X-rays, interpret

47

48

11 those X-rays. I might order other  
12 diagnostic modalities. Treat the patient.  
13 Write prescriptions.  
14 Q. Were there certain types of  
15 patients that you were not permitted to see  
16 at Hospital as a physician's  
17 assistant?  
18 MR. : At any time in  
19 November?  
20 Q. In .  
21 A. That they specifically said you  
22 can't see them.  
23 Q. In other words, a patient comes  
24 in with a particular problem. Do they  
25 assign usually to a physician as opposed to

0049

1 49  
2 you, because of the severity of the  
3 problem?  
4 How does it work where a patient  
5 will get assigned to you as opposed to a  
6 medical doctor?  
7 MS. : Objection to form.  
8 MR. : Objection.  
9 You may answer.  
10 A. It's really going to depend on  
11 the triage nurse the person that I see.  
12 In general, more severe, cardiac  
13 arrests, MI, strokes, they would go into  
14 the main treatment area.  
15 Q. As a physician's assistant, do  
16 you participate in surgery?  
17 A. Me in particular, I do not at  
18 this time. I have in the past.  
19 Q. I'm only talking about in  
20 November of .  
21 A. No.  
22 Q. When Mr. was seen at  
23 Hospital, was there any equipment  
24 that you had available to you that was not  
25 working that you needed in order to

0050

1 50  
2 evaluate his condition?  
3 A. No.  
4 Q. Was all of the equipment that  
5 you used to examine him, in your opinion,  
6 working properly?  
7 A. Yes.  
8 Q. Now, if a patient specifically  
9 requests for a specialist, would you agree  
10 that you have an obligation to then request  
11 and obtain a consult for that specialty?  
12 A. Yes.  
13 Q. What is the iris?  
14 MR. : Asked and answered.  
15 Over and objection, you may

16 answer.  
17 MR. OGINSKI: We talked about  
18 the pupil.  
19 A. The iris is the color part  
20 around the eye that constricts the pupil.  
21 Actually it's the iris that is going  
22 around, that brown, on me it's brown.  
23 Q. What is the purpose of the iris?  
24 A. Purpose of the iris. The  
25 purpose is the layer that constricts around

0051

1  
2 the pupil.  
3 Q. What is its function?  
4 A. To help -- to help -- to help  
5 the pupil -- I don't know the terminology.  
6 To help the pupil sharpen -- accommodate.  
7 Q. If there is it a corneal  
8 abrasion, would you expect to see any  
9 impact on the iris or any change in the  
10 iris?  
11 A. If the corneal abrasion is over  
12 the iris, then I would expect the  
13 Fluorescein to be taken up.  
14 Q. What would you see in that  
15 instance?  
16 A. An uptake of the Fluorescein.  
17 Q. What does that mean?  
18 A. That means that there is an  
19 abrasion, and Fluorescein is taken up in  
20 that area, and it's only in the  
21 conjunctiva.  
22 Q. Are you saying that if there is  
23 an issue with the iris, that dye is going  
24 to be visible with the iris?  
25 A. What I'm saying is that if

0052

1  
2 there's an injury to the iris through the  
3 globe, what's going to happen, because the  
4 globe -- your eye is kind of dome shaped  
5 and the iris kind of goes this way, so it  
6 has a layer like that. If the injury is  
7 significant enough to go down to the iris,  
8 then the viscus fluid, when you uptake it  
9 with the dye, would take up the dye and be  
10 coming out of that part.  
11 So would I necessarily see an  
12 uptake in the Fluorescein at that point,  
13 no. I would probably see the Fluorescein  
14 coming out of the area itself.  
15 Q. You talked about a ruptured  
16 globe. My question was about a corneal  
17 abrasion.

18 Would you see any impact on the  
19 iris?

20 MR. : Asked and answered.

51

52

21 Over objection, you can answer.  
22 Do you want it read back?  
23 MR. OGINSKI: I'll rephrase it.  
24 Q. In the event that you observe a  
25 corneal abrasion, will that have any impact

0053

1

53

2 on the iris?

3 A. No.

4 Q. Have you ever seen a distorted  
5 iris as a result of eye trauma?

6 A. Yes.

7 Q. Did you make any observation  
8 that you recorded in the patient's record  
9 about the iris?

10 MR. : Refer to the chart.

11 Q. Just for the record, you're  
12 looking at the patient's original hospital  
13 record which was marked Plaintiff's 1 for  
14 identification.

15 A. No.

16 Q. Do you have an independent  
17 memory as you sit here now of the  
18 examination that you conducted of

19 Mr. on November 13th?

20 A. No.

21 Q. Do you have a memory of who he  
22 is and what he looked like on that date?

23 A. No.

24 Q. Separate and apart from your  
25 notes regarding this patient's examination,

0054

1

54

2 do you have any records anywhere else about  
3 your treatment of this patient on November  
4 13, ?

5 A. No.

6 Q. Are there any notes which you  
7 took in the hospital that are not included  
8 within the original hospital record in  
9 front of you?

10 A. Any notes that I've handwritten?

11 Q. Yes.

12 A. No.

13 Q. Did you dictate any notes  
14 regarding this patient?

15 A. No.

16 Q. In preparation for today, did  
17 you review any documents?

18 A. Outside of with my lawyer?

19 Q. I'll rephrase it.

20 In preparation for today, you  
21 looked at the hospital record, correct?

22 A. Yes.

23 Q. In addition to the hospital  
24 record, did you review any other documents?

25 A. No.

0055

1  
2 Q. Did you review any medical  
3 literature?  
4 A. No.  
5 Q. Any textbooks?  
6 A. No.  
7 Q. Any journals?  
8 A. No.  
9 Q. Did you have any discussion with  
10 any emergency room physician, letting them  
11 know about this particular deposition?  
12 A. No.  
13 Q. Did you have any conversation  
14 with Dr. about this patient?  
15 A. No.  
16 Q. In preparation for today.  
17 A. No.  
18 Q. Do you know a Dr.  
19 ?  
20 A. Yes.  
21 Q. Who is he?  
22 A. He's one of the emergency room  
23 attendings, and I believe a co-director of  
24 the E.R.  
25 Q. In your review of this patient's

0056

1  
2 chart, did you see his name appear as the  
3 attending physician for this particular  
4 patient?  
5 A. Yes.  
6 Q. Can you tell me, did Dr.  
7 ever see or examine this patient on  
8 November 13, ?  
9 A. I have no idea.  
10 Q. Did Dr. ever see or  
11 examine this patient on November 13, ?  
12 A. I have no idea.  
13 Q. Was anyone present with you at  
14 the time that you examined Mr. in  
15 the emergency room?  
16 A. I have no idea.  
17 Q. Was there any friend or family  
18 member with him at the time?  
19 A. I have no idea.  
20 Q. Let's talk about fundoscopic eye  
21 exam.  
22 How do you actually do a  
23 fundoscopic eye exam?  
24 A. Depending on the lighting in the  
25 room, you may need to turn the light off to

0057

1  
2 see. You have the person look at the  
3 wall -- a point on the wall, so they're  
4 looking. You come -- if it is your left

5 eye, then I'm coming in with my left eye.  
6 I usually come from the lateral aspect and  
7 look into the pupil.  
8 Q. What are you looking for?  
9 A. I'm looking for the  
10 vascularization, the physiological cup, the  
11 macular, the papula, arteries, veins, the  
12 cup-to-disc ratio.  
13 Q. What is that?  
14 A. What?  
15 Q. The cup-to-disc ratio.  
16 A. Two to one.  
17 Q. Tell me what it represents.  
18 What does it mean?  
19 A. If you have an enlarged -- it  
20 can mean extra pressure, it can mean  
21 pressure behind the eye.  
22 Q. What does the ratio represent?  
23 A. The ratio represents the  
24 pressure in the eye.  
25 Q. Did you obtain the patient's

0058

1  
2 pressure in the emergency room?  
3 A. With my thumbs, I usually do it  
4 all the time. When you...  
5 Q. Tell me what you mean.  
6 A. So when somebody comes in, as  
7 part of your eye exam, you should assess  
8 their pressure, so what you do is you just  
9 push on the top of their eyes to make sure  
10 that they're equal and they're not too  
11 boggy or not too tense.  
12 Q. You're talking about with their  
13 eyelids closed?  
14 A. No.  
15 Q. You're not putting your fingers  
16 on their eyes themselves?  
17 A. Not on their eyes themselves.  
18 Q. Are you aware that there are  
19 diagnostic tests or equipment to help you  
20 to evaluate and measure a patient's  
21 pressure -- their intraocular pressure?  
22 A. Yes.  
23 Q. Do you have training to use  
24 those types of diagnostic tools?  
25 A. Yes.

0059

1  
2 Q. Did you have that equipment  
3 available to you in the emergency room on  
4 November 13, ?  
5 A. No.  
6 Q. Did you obtain the patient's  
7 intraocular pressure in the emergency room?  
8 A. You want to know if I obtained  
9 it.

58

59

10 Q. Yes.  
11 A. Not assessed.  
12 Q. Did you obtain it.  
13 A. No.  
14 Q. Did you do this thumb test that  
15 you told me about?  
16 A. Yes.  
17 Q. What was the result of that  
18 thumb test?  
19 A. The thumb test would have been  
20 okay. I must have -- I assessed it as it  
21 being normal and equal.  
22 Q. You told me that you have no  
23 independent memory of this patient.  
24 Looking at the hospital record  
25 for this patient, is there anything in the

0060

1  
2 record to confirm, number one, that you did  
3 this thumb test that you just told me  
4 about?  
5 MR. : If you need to  
6 refresh your recollection, you can.  
7 A. No.  
8 Q. Is there anything in the  
9 patient's record to confirm that the result  
10 of any thumb test you may have performed  
11 was negative?  
12 A. No.  
13 Q. Or normal.  
14 A. No.  
15 Q. Now, other than this fundoscope  
16 that you used to do this examination, do  
17 you use any other tool to perform this  
18 fundoscopic eye exam?  
19 A. No.  
20 Q. In the course of becoming a  
21 physician's assistant, you learned how to  
22 perform a fundoscopic exam, yes?  
23 A. Yes.  
24 Q. In the course of your career  
25 working as a physician's assistant, you had

0061

1  
2 an opportunity to perform fundoscopic eye  
3 exams?  
4 A. Yes.  
5 Q. There have been issues where you  
6 noted abnormalities or problems with a  
7 patient's eye based on your fundoscopic  
8 exam, yes?  
9 A. Yes.  
10 Q. If you had determined that this  
11 patient had a ruptured globe as a result of  
12 your examination of the patient, would you  
13 agree that good treatment would require  
14 that the patient would need immediate

60

61

15 surgery with an eye specialist?  
16 MR. : Objection to form.  
17 Over my objection, you can  
18 answer.  
19 MS. : Note my objection.  
20 A. I would agree that he would need  
21 an ophthalmology consult.  
22 Q. And you would leave it up to the  
23 ophthalmologist in order to determine the  
24 course of treatment?  
25 A. Yes.

0062

1 62  
2 Q. Now, when Mr. came into  
3 the emergency room, did you observe tearing  
4 from his right eye?  
5 A. I don't recall.  
6 Q. Is there anything in your record  
7 to reflect whether you observed any tearing  
8 from his eye?  
9 A. No.  
10 Q. Is there anything in the chart,  
11 whether triage or anywhere else, to suggest  
12 that the patient had tearing in his eyes?  
13 A. Could you repeat the question.  
14 Q. Does the record reflect that the  
15 patient had tearing in his right eye?  
16 A. No.  
17 Q. He was complaining of eye pain,  
18 correct?  
19 A. Yes.  
20 Q. It was noted to be 10 out of 10?  
21 A. Yes.  
22 Q. And how would you describe 10  
23 out of 10?  
24 A. I can't describe 10 out of 10.  
25 Q. What does the scale mean to you?

0063

1 63  
2 A. The scale is the worst pain of  
3 his life. The most horrific pain in the  
4 world.  
5 Q. Did you have any reason to  
6 disagree with the patient's assessment of  
7 the pain that he was experiencing?  
8 A. Pain is subjective.  
9 Q. I'm only asking whether you had  
10 any reason to disagree about the patient's  
11 interpretation of his pain.  
12 A. Yes.  
13 Q. Tell me the basis for why you  
14 disagreed that the patient's assessment of  
15 his pain was not as significant as he  
16 described.  
17 MR. : Objection to form.  
18 Over my objection, you can  
19 answer.

20 A. I don't recall the case.  
21 Q. Then let me stop you.  
22 What do you base that statement  
23 on that you believe the patient was not  
24 accurately describing the extent of his  
25 pain?

0064

1  
2 A. End of my exam, I wrote his  
3 distress is mild.  
4 Q. Is this your handwriting on the  
5 top left hand of the page?  
6 A. Yes.  
7 Q. The 10 out of 10 represents the  
8 patient's opinion of his own pain.  
9 A. Yes.  
10 Q. And your notation, you circled  
11 mild.  
12 In this form, under the physical  
13 examination, am I correct that there are  
14 different items, there's constitutional,  
15 correct?

64

16 A. Yes.  
17 Q. There is alert and NAD, no  
18 apparent distress, correct?  
19 A. Uh-huh.  
20 Q. The area that you circled, mild,  
21 does that refer to constitutional, does  
22 that refer to alert, does that refer to no  
23 apparent distress, or something else?  
24 A. It's right next to distress, so  
25 it refers to his level of distress in my

0065

1  
2 opinion at that time.  
3 Q. Other than that observation, do  
4 you have any reason to believe that the  
5 patient's extent of his pain was not as  
6 significant as he was describing?  
7 A. No.  
8 Q. Did you observe any bleeding  
9 from his eye?  
10 A. No.  
11 Q. Did you observe any foreign body  
12 within the eye?  
13 A. No.  
14 Q. Did you ask the patient what  
15 happened to him?

65

16 A. Yes.  
17 Q. And specifically in response to  
18 your question, what did the patient say,  
19 based upon your record you have in front of  
20 you? And I'm not asking about triage. I'm  
21 asking specifically your examination.  
22 A. While at \_\_\_\_\_, felt like  
23 something popped in it, in his eye.  
24 Q. Did Mr. \_\_\_\_\_ wear contact

25 lenses?

0066

1

66

2 A. Not at the time that I saw him.

3 Q. Did you inquire whether he wore  
4 contact lenses?

5 A. No.

6 Q. Did you inquire whether he wore  
7 corrective lenses?

8 A. No.

9 Q. Is there anything in your note  
10 to reflect that you inquired about any  
11 corrective lenses?

12 A. No.

13 Q. Would you agree that when  
14 examining a patient with eye trauma, it's  
15 important to obtain a thorough and detailed  
16 history?

17 MR. : Objection to form.

18 Over my objection, you can

19 answer.

20 A. Yes.

21 Q. Why?

22 A. To give you a base.

23 Q. For what?

24 A. For your exam.

25 Q. Why is it important for you as a

0067

1

67

2 medical treater to know what the patient's  
3 baseline is?

4 A. So you could tell if there's any  
5 changes.

6 MR. OGINSKI: Let's go off the  
7 record.

8 [Discussion held off the  
9 record.]

10 Q. Now, when you examined the  
11 patient, you learned that his visual acuity  
12 was 20/50 in his left eye, correct?

13 A. Yes.

14 Q. And 20/100 in his right eye,  
15 correct?

16 A. Yes.

17 Q. How would you describe the  
18 acuity in the left eye? Is it normal,  
19 abnormal?

20 A. It's different from the right  
21 eye.

22 Q. I'm not asking you to compare.  
23 I'm just asking that visual acuity of  
24 20/50, is it normal?

25 MR. : Objection to form.

0068

1

68

2 Over my objection, you can

3 answer.

4 A. I don't know if it's normal for  
5 him or not.  
6 Q. The 20/100, would you agree that  
7 that is an abnormal reading for this  
8 patient?  
9 A. Yes.  
10 Q. Did the patient express to you  
11 that he had blurriness and difficulty  
12 seeing out of the right eye?  
13 A. I have no idea.  
14 Q. You have no idea because you  
15 don't recall?  
16 A. Because I don't recall.  
17 Q. Is there anything in your notes  
18 to suggest that the patient had blurriness  
19 or blurry vision in his right eye?  
20 A. No.  
21 Q. In a patient who has a vision of  
22 20/100 that is not corrected, would you  
23 expect them to have blurry vision in that  
24 eye?  
25 MR. : Note my objection to

0069

69

1 form.  
2  
3 Over my objection, you can  
4 answer.  
5 A. Just repeat it again.  
6 MR. OGINSKI: Can I have it read  
7 back.  
8 [The requested portion of the  
9 record was read by the reporter.]  
10 A. Yes.  
11 Q. How was this visual acuity test  
12 taken?  
13 A. I don't know if I understand the  
14 question.  
15 Q. How do you take a patient's  
16 visual acuity?  
17 A. Okay. All right. There's a --  
18 in Hospital, there is a visual  
19 acuity chart on the wall and it's marked on  
20 the floor, and the patient stands behind  
21 the mark that we have on the floor, and we  
22 do a visual acuity. It's customary to do  
23 the eye of injury first and then the next  
24 eye, and then the opposite eye.  
25 Q. It's an eye test, an eye chart?

0070

70

1 Uh-huh.  
2 A. Uh-huh.  
3 Q. You stand 20 feet away and ask  
4 the patient to cover the unaffected eye and  
5 ask them to read the smallest line?  
6 A. In .  
7 Q. Then you cover the affected eye  
8 and ask them to read out of their good eye?

9 A. Yes.  
10 Q. In treating a patient with a  
11 suspected eye injury, would you agree that  
12 it's good medical practice to determine  
13 whether they have or use corrective lenses?  
14 MR. : Note my objection to  
15 form.  
16 Over my objection, you can  
17 answer.  
18 A. Yes.  
19 Q. Why?  
20 A. For your baseline.  
21 Q. Explain what you mean. Why is  
22 it important for you to know whether or not  
23 the patient wears corrective lenses?  
24 A. Because if their vision during  
25 their visual acuity isn't as good as you

0071

71

1  
2 would like it to be, that could be the  
3 reason for it. Because they've already  
4 been diagnosed with an impairment.  
5 Q. If you don't inquire about  
6 whether or not they are wearing or have  
7 corrective lenses, how would that impair  
8 your ability to accurately assess the  
9 patient's visual acuity?  
10 A. Excuse me?  
11 Q. I'll rephrase it.  
12 To what, if anything, did you  
13 attribute the visual acuity in the  
14 patient's right eye of 20/100?  
15 A. So you want to know why --  
16 MR. : We can have the  
17 question read back if you want.  
18 Do you want the question read  
19 back?  
20 THE WITNESS: Please.  
21 [The requested portion of the  
22 record was read by the reporter.]  
23 A. The fact that he said something  
24 popped into his eye and his pain was a 10  
25 out of 10.

0072

72

1  
2 Q. Why would that cause a change in  
3 visual acuity?  
4 A. Most people, when they have a  
5 corneal abrasion, or something pop into  
6 their eye, tend to hold and rub their eye,  
7 tearing, rubbing, rubbing away tears can  
8 blur your vision.  
9 Q. Now, you told me that there was  
10 no evidence that you observed any tearing  
11 in this patient.  
12 At the time that his visual  
13 acuity was obtained, was there any evidence

14 that he was tearing or had some impairment?

15 A. No.

16 Q. To what, if anything, did you  
17 attribute his decreased visual acuity in  
18 his right eye in the absence of tearing?

19 A. I don't recall.

20 Q. Now, would you agree that if, in  
21 fact, this patient had a ruptured globe on  
22 November 13, , that you should have  
23 been able to see that rupture? Correct?

24 MR. : Note my objection to  
25 form.

0073

1

73

2 You can answer over my  
3 objection.

4 MR. OGINSKI: I'll rephrase.

5 Q. If the patient had a ruptured  
6 globe in his right eye, you would be able  
7 to see that just by gross examination, just  
8 by looking at him, correct?

9 MR. : Note my objection to  
10 form.

11 You can answer.

12 A. No.

13 Q. If the patient had a ruptured  
14 globe and you used Fluorescein and  
15 performed a fundoscopic examination, you  
16 should have been able to see any ruptured  
17 globe, correct?

18 MR. : Note my objection to  
19 form.

20 Over objection, you can answer.

21 A. No.

22 Q. Explain why.

23 MR. : Do you need to have  
24 the question read back.

25 THE WITNESS: Do you mind, I'm

0074

1

74

2 sorry.

3 [The requested portion of the  
4 record was read by the reporter.]

5 MR. WITNESS: Can I go back, I  
6 think I misunderstood one of the  
7 questions.

8 MR. : Sure.

9 A. If I performed a fundoscopic  
10 exam on somebody, would I be able to see if  
11 they had a ruptured globe.

12 Q. Yes.

13 A. Based on a fundoscopic exam.

14 All ruptured globes, or the  
15 average run-of-the-mill ruptured globe?

16 Q. We're talking generally.

17 A. Yes.

18 MR. : So you want to

19 change your answer.  
20 A. Yes. I want to change that.  
21 THE WITNESS: I thought he said  
22 if you just looked at it.  
23 MR. : That was before  
24 that.  
25 Q. Would you agree that if you

0075

1  
2 perform a fundoscopic examination using  
3 Fluorescein and the patient does have a  
4 ruptured globe, that the failure to see  
5 that rupture would be a departure from good  
6 and accepted medical practice?  
7 A. I would not necessarily perform  
8 a fundoscopic exam with Fluorescein.  
9 MR. : That wasn't the  
10 question that was asked of you.  
11 MR. OGINSKI: I'll rephrase it.  
12 MR. : Just listen to what  
13 he's saying.  
14 Q. If the patient comes in with a  
15 ruptured globe and you perform a  
16 fundoscopic exam, you've told me that you  
17 should be able to see it and recognize it.  
18 My question is a little bit of  
19 the opposite. If you failed to recognize  
20 it, would you agree that that would be  
21 departure from good care?  
22 MR. : Objection to form.  
23 Over my objection, you can  
24 answer.  
25 A. Yes.

0076

1  
2 Q. Why?  
3 A. You failed to provide the care  
4 that the patient needed.  
5 Q. If the patient, in fact, had a  
6 ruptured globe and you failed to refer the  
7 patient to an ophthalmologist for a  
8 consultation, would you agree that that  
9 also would be departure from good care?  
10 MR. : Objection to form.  
11 Over my objection, you can  
12 answer.  
13 A. Yes.  
14 Q. Would that be for the same  
15 reason?  
16 A. Yes.  
17 Q. Did you learn from any physician  
18 that after Mr. had left  
19 Hospital, that two days later, he was  
20 diagnosed with a ruptured globe?  
21 A. No.  
22 Q. Did you learn from anybody,  
23 except your attorney, that Mr. was

24 diagnosed with a ruptured globe on November  
25 15, ?

0077

1

77

2 A. No.

3 Q. Do you have an opinion as to  
4 whether an ophthalmologist, if an  
5 ophthalmologist had been called in to  
6 examine this patient, whether or not his or  
7 her findings would have been different from  
8 your findings on November 13, ?

9 MS. : Objection.

10 MR. : Objection.

11 She's not an ophthalmologist,  
12 and objection to form.

13 But over my objection, you can  
14 answer the question, if you can.

15 A. I have no idea.

16 Q. When evaluating a patient's  
17 visual acuity, would you agree that it's  
18 important not just to take it when the  
19 patient first comes in, but at a later  
20 point in time while the patient is still in  
21 the hospital?

22 A. No.

23 Q. To see whether there's any  
24 improvement?

25 MR. : Objection to form.

0078

1

78

2 Over my objection, you can  
3 answer.

4 A. No.

5 Q. Can you tell me whether there is  
6 any benefit to you as a treating medical  
7 provider to know whether the patient's  
8 visual acuity has changed over a period of  
9 time?

10 MR. : Objection to form.

11 You can answer.

12 A. No.

13 Q. Tell me why.

14 A. It's not going to change my  
15 treatment.

16 Q. Was it your opinion that the  
17 patient's blurriness in his right eye was  
18 attributable to what you had concluded was  
19 a corneal abrasion?

20 A. Yes.

21 Q. Did you consider any other  
22 possibility for the patient's blurriness in  
23 his right eye, other than the corneal  
24 abrasion?

25 MR. : At that time?

0079

1

79

2 MR. OGINSKI: Yes.

3 THE WITNESS: Would you repeat  
4 that question, I'm sorry.  
5 [The requested portion of the  
6 record was read by the reporter.]  
7 MR. : And it was at that  
8 time.  
9 A. No.  
10 Q. What information does a slit  
11 lamp give you different from a fundoscopic  
12 exam?  
13 A. More options.  
14 Q. Can you be more specific?  
15 A. You could have the patient  
16 stationary, where with a fundoscopic exam,  
17 you have to rely on the patient to stay  
18 still. You have the ability to just -- to  
19 not move around when looking at both -- at  
20 either eye.  
21 With a fundoscopic exam, you  
22 literally have to go from the right eye and  
23 then go and look at the left eye. Whereas  
24 in a slit lamp, the patient's head is like  
25 this, so you have two almost like

0080

1 binoculars (indicating).  
2  
3 Q. When you say "like this," you  
4 mean sitting on some stationary piece?  
5 MR. : For their chin.  
6 A. Yes, so you don't have to worry  
7 about them moving around, and you have the  
8 opportunity to look at each eye  
9 simultaneously.  
10 Q. Tell me why did you not obtain a  
11 slit lamp examination for this patient?  
12 A. I didn't feel one was necessary.  
13 Q. What would the patient have  
14 needed or required in order to perform a  
15 slit lamp examination?  
16 MR. : You mean what would  
17 make her think one was necessary?  
18 MR. OGINSKI: Yes.  
19 A. If I didn't have a finding.  
20 Q. The Wood's lamp that you told me  
21 about earlier, how was that different from  
22 the slit lamp?  
23 A. The Wood's lamp is a  
24 magnification that you can't control. It's  
25 just a magnification. It's a big area, so

0081

1 you can see the whole eye. It has the  
2 black light that's the only source of  
3 light, is a black light. So it enhances  
4 the Fluorescein.  
5  
6 Q. Did you use a Wood's lamp to  
7 examine this patient?

8 A. Yes.  
9 Q. Did you find any foreign object  
10 at all of any material in this patient's  
11 right eye?  
12 A. No.  
13 Q. What is ptosis, P-T-O-S-I-S?  
14 A. It's the lateral looking down.  
15 Q. It's the what?  
16 A. You look down laterally. Not  
17 straight down, but you look down laterally.  
18 Q. Are you familiar with something  
19 that is called lid ptosis?  
20 A. Lid ptosis, extropian is a form  
21 of lid ptosis where the bottom lid curves  
22 out, like this. It kind of -- instead of  
23 being straight up, it comes down  
24 (indicating).  
25 Q. What about the top eyelid?

0082

82

1  
2 A. You can have an entropian, so  
3 that goes inwards. That's the top lid  
4 going under.  
5 Q. Did you observe any ptosis on  
6 Mr. ?  
7 A. No.  
8 Q. What is corneal edema?  
9 A. Corneal edema is the puffing of  
10 the -- corneal edema is swelling within the  
11 cornea.  
12 Q. Did you observe any corneal  
13 edema on Mr. ?  
14 A. No.  
15 Q. What is descemet's fold?  
16 D-E-S-C-E-M-E-T-S.  
17 A. I have no idea.  
18 Q. Do you know what nuclear  
19 sclerosis is?  
20 A. No.  
21 Q. Do you know what cataract is?  
22 A. Yes.  
23 Q. Did Mr. have evidence of  
24 a cataract in his right eye?  
25 A. No.

0083

83

1  
2 Q. He had none or nothing is  
3 reported about a cataract?  
4 A. Nothing is reported about a  
5 cataract.  
6 Q. What is a cataract?  
7 A. I can't explain.  
8 Q. Did Mr. have a cataract  
9 in his left eye?  
10 A. I did not note it.  
11 Q. What is a corneal tear?  
12 A. A corneal tear would be the

13 uplifting of the outer area of the cornea  
14 or the conjunctiva of the cornea. It would  
15 be just the lifting up. It could still be  
16 attached, but you would -- it would be a  
17 lifting up or it could be -- it could be  
18 taken completely away.

19 Q. Would you consider a corneal  
20 tear to be the same as a corneal  
21 laceration?

22 A. I would consider a tear the same  
23 as a laceration.

24 Q. Did Mr. \_\_\_\_\_ have evidence of  
25 a corneal tear?

0084

1

84

2 A. Not according to my chart.

3 Q. Do you know a Dr.

4?

5 A. No, I don't.

6 Q. Do you know a Dr.,

7?

8 A. No, I don't.

9 Q. Do you know a,

10?

11 A. No, I don't.

12 Q. Do you know a Dr.,

13?

14 A. No.

15 Q. Do you know whether

16 Dr. \_\_\_\_\_ 's first name is \_\_\_\_\_ ?

17 A. Yes.

18 Q. What are the cranial nerves?

19 A. The cranial nerves, usually you  
20 check two through 12 because one is  
21 olfactory.

22 MR. \_\_\_\_\_ : He's asking what  
23 they are.

24 A. They're the nerves that  
25 innervate muscles. They're nerves that

0085

1

85

2 innervate certain muscles and organs.

3 Q. Can you tell me specifically  
4 what cranial nerve number three is. I'm  
5 just going to go through three through  
6 eight.

7 MR. \_\_\_\_\_ : Right now you're  
8 asking three.

9 MR. OGINSKI: Yes.

10 MR. \_\_\_\_\_ : Take your time.  
11 Whatever you need to do.

12 A. No.

13 Q. Do you know what cranial nerve  
14 number four is?

15 A. Trochlear. T-R-O.

16 Q. How about cranial nerve number  
17 five?

18 A. No.  
19 Q. Cranial nerve number six?  
20 A. No.  
21 Q. Seven?  
22 A. Facial.  
23 Q. Number eight?  
24 A. No. Sorry. No.  
25 Q. You don't know.

0086

86

1  
2 A. No.  
3 Q. Why is it important when  
4 performing a physical examination to  
5 evaluate a patient's cranial nerves?  
6 MR. : Objection to form.  
7 Over my objection, you can  
8 answer.  
9 A. Can you repeat the question.  
10 Q. I'll rephrase it.  
11 Is it important when performing  
12 a history or physical examination to  
13 evaluate a patient's cranial nerves?  
14 A. It depends on -- it depends on  
15 the complaint.  
16 Q. What is tonometry?  
17 A. It's a pen to evaluate pressure  
18 in the eye.  
19 Q. Did you ever perform any type of  
20 tonometry test on Mr. ?  
21 A. No.  
22 Q. What is pachymetry?  
23 A. I have no idea.  
24 Q. Where did you go to become a  
25 physician's assistant?

0087

87

1  
2 A. College.  
3 Q. When did you graduate?  
4 A. .  
5 Q. How many year program was that?  
6 A. Two year.  
7 Q. As a nurse's aide, did you get  
8 any credit for being a nurse's aide or  
9 working in that field for any period of  
10 time?  
11 A. No.  
12 Q. After graduating from , did  
13 you then go to work directly for  
14 ?  
15 A. No.  
16 Q. Where did you work right after  
17 school?  
18 A. .  
19 Q. After , where did you work?  
20 In other words, after you left ,  
21 did you go directly to ?  
22 A. I worked in both places.

23 Q. In , in November, were you  
24 working anyplace else besides  
25 ?

0088

1 S. 88

2 A. College.

3 Q. What were you doing there?

4 A. I'm the academic coordinator.

5 Q. In what division, department?

6 A. PA. The physician's assistant

7 program.

8 Q. Does that involve teaching  
9 students any substantive courses in the  
10 field of physician's assistants?

11 A. Yes.

12 Q. Which courses?

13 A. Physical diagnosis.

14 Q. What year students do you teach?

15 A. Junior.

16 Q. This is a four-year program?

17 A. It's a two-year program.

18 Q. A junior would be considered  
19 what? Someone in their second year, first  
20 year?

21 A. First year.

22 Q. Do you teach anything else?

23 A. A portion of emergency medicine,  
24 correlative medicine.

25 Q. What is correlative medicine?

0089

1 89

2 A. Correlative medicine is  
3 developing a differential diagnosis.

4 Q. Anything else?

5 A. No.

6 Q. Are you licensed as a physician  
7 in New York?

8 A. As a physician's --

9 Q. My apologies. As a physician's  
10 assistant.

11 A. Yes.

12 Q. When were you licensed?

13 A. .

14 Q. Are you licensed in any other  
15 state as physician's assistant?

16 A. No.

17 Q. Has your license ever been  
18 revoked?

19 A. No.

20 Q. Has your license ever been  
21 suspended?

22 A. No.

23 Q. When you worked at  
24 Hospital in November of , did you  
25 receive any compensation directly from New

0090

1 90

2 Hospital?  
3 A. No.  
4 Q. Am I correct that all of your  
5 compensation came from Medical  
6 Physicians PC?  
7 A. Yes.  
8 MR. : Working at New  
9  
10 MR. OGINSKI: Yes.  
11 Q. Did you hold any title as  
12 other than as a PA?  
13 A. No.  
14 Q. Have you published anything?  
15 A. Yes.  
16 Q. What have you published?  
17 A. I published a paper.  
18 Q. In what?  
19 A. Sudden cardiac death.  
20 Q. When was it published?  
21 A. .  
22 Q. In what journal or text was it  
23 published?  
24 A. It was published in a  
25 magazine.

0091

91

1  
2 Q. What's the name?  
3 A. I don't recall.  
4 Q. Do you have a copy of that?  
5 A. No.  
6 Q. Do you recall the name of the  
7 article that you wrote?  
8 A. Sudden cardiac death. Comatose  
9 cortace (phonetic), sudden cardiac death.  
10 MR. : Tell her the title.  
11 A. Sudden cardiac death in young  
12 adults.  
13 Q. Were you the only author?  
14 A. No.  
15 Q. How many others were there?  
16 A. Two.  
17 Q. What were their names?  
18 MR. : Note my objection.  
19 Over my objection, you can  
20 answer.  
21 A. .  
22 Q. Any others?  
23 A. , .  
24 MR. : Do you know the  
25 spelling?

0092

92

1 THE WITNESS: No.  
2  
3 Q. Have you ever testified before?  
4 A. No.  
5 Q. Let's go through the original  
6 hospital record, please.

7 MR. : Before we do that,  
8 can we take a two-second break.

9 [A recess was taken.]

10 Q. When you were in physician's  
11 assistant school, how much time did you  
12 spend on learning to take an eye  
13 examination?

14 MR. : Note my objection.  
15 Over my objection, you can  
16 answer.

17 A. You are taught -- the actual  
18 exam?

19 Q. Yes.

20 A. It's taught in part in lecture  
21 and part in lab. I believe that lecture is  
22 about 18 hours, and the lab would consist  
23 of one lab on the eye exam, which would be  
24 about four hours.

25 Q. Would it be fair to say that the

0093

1 93  
2 type of training that you receive as a  
3 physician's assistant is significantly less  
4 than an ophthalmologist receives in their  
5 residency training?

6 MR. : Just note my  
7 objection.

8 A. Yes.

9 MR. : Note my objection.  
10 She's not an ophthalmologist.

11 She can answer the question.

12 She already did.

13 Q. Let's turn, please, to the  
14 original hospital record. To the second  
15 page, please.

16 The handwriting that appears on  
17 this form, whose handwriting is that?

18 A. Mine.

19 Q. I would like you to read your  
20 notes, please, and if there are  
21 abbreviations, just tell me what they are.

22 MR. : Just go through it  
23 nice and slow so the court reporter can  
24 get you clear and loud.

25 A. Do you want me --

0094

1 94  
2 MR. : Start from the top  
3 and go down the left and then the  
4 right, just as if we would normally --

5 A. I circled my name, I circled  
6 that I was a PA, I wrote my name. Eye  
7 pain, right eye.

8 Q. Let me just stop you for a  
9 second. It says seen by and circled PA,  
10 correct?

11 A. Yes.

12 Q. And it has your name, ?  
13 A. Yes.  
14 Q. Under HPI, that's history of  
15 present illness.  
16 A. Yes.  
17 Q. That's a form. Tell us what you  
18 wrote.  
19 A. So after the HPI, it says chief  
20 complaint, CC is chief complaint. I wrote  
21 eye pain.  
22 Location, right eye.  
23 Quality, 10 out of 10.  
24 Duration, in parenthesis, a  
25 couple of hours ago.

0095

1  
2 Q. Couple hour ago, right? 95  
3 A. Yes.  
4 Q. Go ahead.  
5 A. Severity, moderate.  
6 Context, while at  
7 felt like something popped in it.  
8 Modifier, denies taking  
9 anything.  
10 Associated, positive pain,  
11 positive tearing, timing continuous.  
12 Then I wrote last tetanus, two  
13 to three years ago.  
14 Q. When you wrote "moderate," what  
15 was that referring to?  
16 A. When -- severity.  
17 Q. Severity of what?  
18 A. Severity of the injury.  
19 Q. That's your assessment?  
20 A. It's a standard assessment.  
21 Q. But is that your assessment as  
22 opposed to the patient's assessment?  
23 A. It is my assessment.  
24 Q. What was it about his injury  
25 that you felt was of moderate severity?

0096

1  
2 A. Well -- I'm sorry. I would like 96  
3 to go back.  
4 The severity being moderate is  
5 based on what the patient stated. The 10  
6 out of 10 pain. I shouldn't say it's my  
7 assessment. It's based on what they say.  
8 It's not something that I make a judgment  
9 call on.  
10 Q. And the history is obtained  
11 solely by talking to the patient, correct?  
12 A. Yes.  
13 Q. Were you talking to anybody else  
14 at the same time in the room with the  
15 patient?  
16 A. I don't recall.

17 Q. Does your note reflect that you  
18 were obtaining in information from someone  
19 other than the patient?

20 A. It does not reflect that I  
21 obtained this information from somebody  
22 other than the patient.

23 Q. Did you speak to any ambulance  
24 attendants who transported him in the  
25 ambulance from ?

0097

1

97

2 A. I did not.

3 Q. Did you review any of their  
4 notes at the time that you were talking to  
5 Mr. ?

6 A. I don't recall.

7 Q. Is there anything in your note  
8 to indicate that you had reviewed the  
9 ambulance call sheet?

10 A. No.

11 Q. In your note, when you indicate  
12 eye pain, do you indicate which eye?

13 MR. : It indicates  
14 positive pain.

15 Q. I'm going back up to the top  
16 line, where you say eye pain.

17 A. Yes.

18 Q. Now, is it correct to say that  
19 the positive pain and positive tearing  
20 would also be in the right eye?

21 A. Yes.

22 Q. And you told me earlier in  
23 response to a question, I asked you whether  
24 there was any evidence to reflect the  
25 patient had tearing at the time you saw

0098

1

98

2 him.

3 Would you agree that this  
4 reflects the fact that he was tearing at  
5 the time you saw him?

6 A. No.

7 Q. You're saying that the patient  
8 told you he was tearing.

9 A. Yes.

10 Q. What does it say under that line  
11 after positive tearing?

12 A. It says in association to the  
13 timing on the HPI, the timing, it says  
14 continuous. So he's in continuous pain and  
15 tearing.

16 Q. Can you read what it says after  
17 that, continuous what?

18 A. Continuous, last tetanus, two to  
19 three years ago.

20 Q. You then performed a physical  
21 examination, correct?

22 A. Yes.  
23 Q. In the course of your exam or  
24 immediately afterwards, you made certain  
25 notes on this form, correct?

0099

1

99

2 A. Yes.

3 Q. And under the left side of this  
4 chart, under the eye section, in the  
5 middle?

6 A. Yes.

7 Q. You circled the word pain,  
8 correct?

9 A. Yes.

10 Q. Then you also circled discharge.

11 A. Yes.

12 Q. What does that mean?

13 A. It means something is coming out  
14 of his eye.

15 Q. Did he have viscus fluid coming  
16 out of his eye?

17 A. This is not my physical exam.  
18 The left side of the chart is based upon  
19 the patient's statement. This is  
20 subjective information.

21 Q. Did you ask the patient what  
22 type of discharge he had come from his eye?

23 A. I don't recall.

24 Q. Did you record any questions you  
25 may have asked or responses from the

0100

1

100

2 patient about the type of discharge he had  
3 from his eye?

4 A. Positive tearing.

5 Q. Anything about the quality or  
6 the type of discharge he had?

7 A. I don't recall.

8 Q. Would you expect a patient who  
9 is not in the medical field to know the  
10 distinction between viscus fluid and fluid  
11 from tears?

12 MR. : Objection.

13 Over my objection, you can  
14 answer.

15 A. No.

16 Q. The vital signs that are  
17 recorded on the top right of that form, did  
18 you obtain those vitals or were they from  
19 the triage nurse?

20 A. From the triage.

21 Q. And on the right side, this  
22 represents your physical examination,  
23 correct?

24 A. Correct.

25 Q. Now, when you circled corneal

0101

1  
2 abrasion under the eye section, you had  
3 already conducted that part of the exam?  
4 A. Yes.  
5 Q. And you had already applied  
6 Fluorescein?  
7 A. Yes.  
8 Q. And you did your fundoscopic  
9 exam?  
10 A. Yes.  
11 Q. Before putting in Fluorescein,  
12 what did you observe about this patient's  
13 eye grossly?  
14 MR. : Objection to form.  
15 Over my objection, you can  
16 answer.  
17 A. Before applying the Fluorescein?  
18 Q. Yes.  
19 A. I observed no abnormality.  
20 Q. Was the application of the  
21 Fluorescein the next thing that you did in  
22 order to evaluate his eye?  
23 A. No.  
24 Q. What did you do after the gross  
25 examination?

0102

1  
2 A. I just don't know what you mean  
3 by the gross examination.  
4 Q. You've told me that there are  
5 multiple ways to examine a patient's eye.  
6 First looking at it with no instruments at  
7 all, just using your own eyes.  
8 Would you agree that that is a  
9 gross examination?  
10 A. Okay.  
11 MR. : Listen to what he's  
12 asking you.  
13 If you could just reask the  
14 question.  
15 Q. When you look at a patient's  
16 eyes with your own eyes, without any  
17 diagnostic tools, would you agree that that  
18 is a gross eye examination?  
19 A. Yes.  
20 Q. After looking at the patient's  
21 eyes with your own in the form of a gross  
22 examination, what is the next step that you  
23 did in order to evaluate this patient's  
24 eye?  
25 A. I checked, as my procedure is,

0103

1  
2 what I do next with an eye exam.  
3 Q. Hang on. We've already  
4 established that you have no specific  
5 memory of this exam and this patient,

6 correct?  
7 Now, I'm asking you based  
8 upon -- putting aside what you customarily  
9 do, I'm only asking you to focus on what  
10 you actually did in this patient's case on  
11 November 13th.

12 Based upon the notes that you  
13 have in front of you, can you tell me what  
14 is the next thing you did after the gross  
15 eye exam.

16 MR. : If you can tell by  
17 the notes. Can you tell what you did  
18 next after doing a visual or gross eye  
19 examination of the patient's eye? Do  
20 the notes in front of you indicate?

21 You need to look at the notes.  
22 He's specifically asking you if the  
23 notes indicate that.

24 A. The notes don't indicate what I  
25 did next.

0104

1

104

2 Q. Now, on the second page, where  
3 you have notes written, there is a check  
4 mark box in the top third on the left side,  
5 it says all above tests ordered, supervised  
6 and interpreted by me. Do you see that?

7 A. Yes.

8 Q. There is some signature that  
9 appears there.

10 A. Yes.

11 Q. Is that your signature?

12 A. Yes.

13 Q. Now, Mr. came into the  
14 emergency room at what time?

15 A. Two o'clock.

16 Q. And what document are you using  
17 to provide you with that information? Just  
18 tell me what that is.

19 A. Triage note.

20 Q. And the time that he was  
21 discharged at 1615?

22 A. 4:15.

23 Q. Did you actually give him  
24 Gentamycin drops?

25 A. Yes.

0105

1

105

2 Q. Was there an instance where you  
3 discharged Mr. from the emergency  
4 room and he returned back to you to speak  
5 to you about five minutes later, five or 10  
6 minutes later?

7 MR. : Objection to form.

8 Over my objection, you can  
9 answer.

10 A. I have no idea.

11 Q. Did you give Mr. any  
12 additional medications or drops for his  
13 eye, other than what you have recorded  
14 here?  
15 A. Not that I know of.  
16 Q. Did you tell him that the drops  
17 would help stop his tearing?  
18 A. I have no idea.  
19 Q. Did you tell him that the drops  
20 would help his visual acuity improve?  
21 A. I have no idea.  
22 Q. You told him to follow up with  
23 an ophthalmologist in two days, correct?  
24 A. I told him to follow up with an  
25 ophthalmologist.

0106

1 106  
2 Q. When?  
3 A. I have no idea.  
4 Q. It says follow up with optho and  
5 it has a number?  
6 A. Yes. Number seven.  
7 Q. Is that your handwriting?  
8 A. Yes.  
9 Q. When did you want the patient to  
10 follow up with an ophthalmologist?  
11 A. I tell my patients to follow up  
12 with an ophthalmologist without fail within  
13 two days. So return if they're not getting  
14 better or if they're getting worse.  
15 Q. Is there anything in the note  
16 that you have in front of you that  
17 indicates that you wanted the patient to  
18 return in two days?  
19 A. I didn't want him to return to  
20 me in two days.  
21 Q. No. To return -- I'm sorry. Is  
22 there anything in your note that they  
23 should follow up with an ophthalmologist in  
24 two days?  
25 A. No.

0107

1 107  
2 Q. There is a computer printout,  
3 discharge instructions given to the  
4 patient. I'm just going to ask you to look  
5 at that.  
6 MR. : Just for the record,  
7 this is the first time that we're  
8 seeing this document. I believe the  
9 plaintiff testified at his examination  
10 before trial that he was not in  
11 possession of a discharge instruction.  
12 But that being noted.  
13 MS. : Can we see it  
14 also, please.  
15 MR. OGINSKI: It can't be the

16 first time, because in response to a  
17 request for records, I provided  
18 everybody with copies of whatever  
19 records I had.  
20 MR. : That's fine.  
21 Q. Who provides this computer  
22 printout to the patient?  
23 A. I do.  
24 Q. Who enters the information  
25 contained on that printout to the patient?

0108

1 108  
2 A. I do.  
3 Q. And you provide that to them  
4 before they're discharged?  
5 A. Yes.  
6 Q. What is the purpose of this  
7 document that you have in front of you?  
8 Why do you give it to the patient?  
9 A. It's not complete.  
10 Q. I'm just asking why you give  
11 this type of document to a patient.  
12 MR. : Why you give a  
13 discharge summary.  
14 MR. OGINSKI: That's not a  
15 summary.  
16 Q. Why do you give discharge  
17 instructions to a patient.  
18 A. It's not the complete discharge  
19 that I gave him.  
20 Q. How then would the patient have  
21 a copy of that?  
22 A. It's not the complete.  
23 MR. : It's not the  
24 complete. What you just handed to her  
25 is not the complete.

0109

1 109  
2 A. It's not the complete.  
3 Q. Let me ask you this, did you  
4 give Mr. a copy of this sheet?  
5 A. Yes. But it's not --  
6 MR. : You answered.  
7 Q. Why do you give him this sheet?  
8 MR. OGINSKI: I'm going to  
9 rephrase it.  
10 Q. Why did you give him this sheet?  
11 MR. : In this instance,  
12 why she gave him or why do you give it  
13 in general.  
14 Q. Why did you give him this sheet?  
15 MR. : He's asking if you  
16 recall why did you --  
17 A. I wouldn't just give him this  
18 sheet. I'm just telling you. In order for  
19 me to answer what the purpose of this sheet  
20 is, it has to have the other sheets with

21 it. Because the purpose of the discharge  
22 summary is so the patient is with knowledge  
23 of what to do for follow-up care. So  
24 without the rest of the sheet, this is  
25 really nothing, because it doesn't explain

0110

1

110

2 all the rest of the things that he needs to  
3 do for discharge. And that's the purpose  
4 of the discharge sheet. So that the  
5 patient knows what to do in case different  
6 things happen. And this is not the  
7 complete sheet that he gets.

8

Q. What else does he get?

9

A. He gets -- which is here, a  
10 documentation along with this on corneal  
11 abrasions (indicating).

10

11

12

MR. OGINSKI: Can I have this  
13 marked as Plaintiff's 2.

13

14

[Whereupon, the discharge  
15 instructions, patient's copy, was  
16 hereby marked as Plaintiff's Exhibit 2  
17 for identification, as of this date, by  
18 the reporter.]

19

20

MR. OGINSKI: And let's mark  
21 this as 3.

21

22

[Whereupon, the discharge  
23 instructions, chart copy, was hereby  
24 marked as Plaintiff's Exhibit 3 for  
25 identification, as of this date, by the  
reporter.]

0111

1

111

2 Q. I'm showing you two documents,  
3 Plaintiff's 2 and 3 for identification.  
4 The first one that we've been talking about  
5 is titled your discharge instructions,  
6 that's Plaintiff's 3. The other one is  
7 titled patient copy, patient discharge  
8 instructions.

9

Is this the second page that  
10 you're referring to, the corneal abrasion?

10

11

MR. : Let's just look at  
12 this.

12

13

I think the question is is this  
14 the second page.

14

15

A. Uh-huh.

15

16

MR. : Is that a yes or no.

17

A. Yes. Sorry. Yes.

17

18

Q. You provide that information for  
19 the diagnosis to the patient?

19

20

A. Yes.

20

21

Q. Now, on the page that's marked  
22 as Plaintiff's 3, it says follow-up  
23 physician, it's listed here,

24

, correct?

25

A. Yes.

0112

1

112

2 Q. How did you select that  
3 individual?

4 A. He is the ophthalmologist that  
5 is on our on-call list.

6 Q. Did you ever speak to him about  
7 this patient?

8 A. No.

9 Q. Now, go back, please, to your  
10 written note. Under medications, you  
11 have -- what does it say, two grams?

12 A. Two drops.

13 Q. Gentamycin, right eye?

14 A. Yes.

15 Q. You have the visual acuity  
16 again, right?

17 A. Yes.

18 Q. It says prior to exam.

19 A. Yes.

20 Q. Did you ever take a visual  
21 acuity after your examination?

22 A. No.

23 Q. The diagnosis you wrote is  
24 corneal abrasion?

25 A. Yes.

0113

1

113

2 Q. Is that your signature that  
3 appears at the right side?

4 A. Yes.

5 Q. Now, under procedures and  
6 comments, I would like you to read what  
7 that says.

8 A. Two drops of Tetracaine,  
9 positive Fluorescein, right eye, no foreign  
10 body noted. Positive abrasion.

11 Q. The diagram to the left of that  
12 represents the patient's right eye?

13 A. Yes.

14 Q. Did you observe any deformity or  
15 misshapeness of the patient's pupil?

16 A. No.

17 Q. Would that be a significant  
18 finding for you if you had seen it?

19 A. Yes.

20 Q. Did you observe any deformity of  
21 the patient's iris?

22 A. No.

23 Q. Did you have a discussion with  
24 Mr. about when he could expect his  
25 visual acuity to improve?

0114

1

114

2 A. I don't recall.

3 Q. Did you have a conversation with

4 Mr. as to how he was feeling in his

5 right eye in terms of pain at the time of  
6 discharge?  
7 A. I don't recall.  
8 Q. Did you have a conversation with  
9 Mr. 's wife at any time?  
10 A. I don't recall.  
11 Q. Now, in the right-hand side of  
12 the page, under progress and extended  
13 critical care notes, tell me what you wrote  
14 there, please.  
15 A. Gentamycin, one drop every four  
16 hours while awake for seven days, follow up  
17 with ophthalmology, number given.  
18 Q. On the bottom right-hand side  
19 your signature appears, correct?  
20 A. Yes.  
21 Q. On the physician order sheet  
22 under medication reconciliation list.  
23 A. Yes.  
24 Q. You have the same exact  
25 instructions, right, about the taking of

0115

1 S. 115  
2 the Gentamycin?  
3 MR. : Note my objection.  
4 Answer the question, please.  
5 A. I noted that when the next dose  
6 was due.  
7 Q. That would be at 8:00 p.m.,  
8 correct?  
9 A. Yes.  
10 Q. You told me earlier that the  
11 patient came into the hospital in triage at  
12 two p.m., 1400, correct?  
13 A. Yes.  
14 Q. Can you turn to the triage  
15 record, please. According to the nurse --  
16 the triage nurse, the triage acuity level  
17 was four?  
18 A. Yes.  
19 Q. What is the most severe?  
20 A. One.  
21 Q. Can you read the chief complaint  
22 as recorded by the triage nurse?  
23 A. Right eye pain. Felt something  
24 go into his eye while at .  
25 Q. Did you have any conversations

0116

1 116  
2 with the triage nurse prior to examining  
3 Mr. ?  
4 A. I don't recall.  
5 Q. Did you have any conversation  
6 with Mr. after he left  
7 Hospital on November 13th?  
8 A. I don't recall.  
9 Q. Did you have any conversation

10 with Mrs. at any time after November  
11 13, ?

12 A. I don't recall.

13 Q. Do you have an opinion with a  
14 reasonable degree of medical probability as  
15 to whether this patient had a ruptured  
16 globe at the time that you examined him on  
17 November 13, ?

18 MR. : Note my objection.  
19 Over my objection, you can  
20 answer the question.

21 A. You're asking me --

22 MR. : Do you want the  
23 question read back?

24 THE WITNESS: Yes.

25 MR. : Listen to the

0117

1 S. 117  
2 question.

3 MR. OGINSKI: I'm going to  
4 rephrase it.

5 Q. Before November 13, , how  
6 many occasions have you diagnosed a  
7 ruptured globe? How many times before?

8 A. I have to tell you the truth. I  
9 can't recall -- it's been more than five.

10 Q. Can you be any more specific?

11 A. No.

12 Q. Less than 10?

13 A. I don't know.

14 Q. When you say "more than five," I  
15 just want to get some idea as to a range,  
16 if you don't know specifically. Can you  
17 say more than five and less than a certain  
18 number?

19 MR. : If you can say.

20 A. I can't.

21 Q. Can you tell me how many corneal  
22 lacerations you have observed?

23 MR. : Prior to 11/08?

24 MR. OGINSKI: Yes.

25 A. I cannot.

0118

1 118  
2 Q. More than five?

3 A. I'm going to say yes.

4 Q. More than 10?

5 A. I don't recall.

6 Q. When was the last ruptured globe  
7 that you had diagnosed before November 13,  
8 ?

9 A. I don't know.

10 Q. Was it within the year ?

11 MR. : Note my objection.  
12 Over my objection, you can

13 answer.

14 A. I don't know.

15 Q. Did you ever see Mr.  
16 again after November 13, ?  
17 A. I have no idea.  
18 Q. Were you ever asked to give any  
19 type of written report about Mr. 's  
20 care and treatment to any medical provider  
21 at Hospital?  
22 MS. : Objection.  
23 MR. : Note my objection.  
24 Over my objection, you can  
25 answer the question.

0119

1 119  
2 A. No.  
3 Q. Were you ever present for any  
4 discussion or conversation of Mr. 's  
5 care and treatment at Hospital  
6 after November 13th?  
7 MS. : Objection.  
8 MR. : Same objection.  
9 Over my objection, you can  
10 answer.  
11 A. I don't know what you mean by  
12 that.  
13 Q. Are you familiar with something  
14 called the morbidity and mortality  
15 conferences?  
16 A. Yes.  
17 Q. Do you ever attend those?  
18 A. No.  
19 Q. Were you ever present when any  
20 physician was discussing Mr. 's care  
21 and treatment at Hospital?  
22 MS. : Objection.  
23 MR. : Note my objection.  
24 Over my objection, you can  
25 answer the question.

0120

1 S. 120  
2 A. I'm still not sure I understand  
3 the question.  
4 Q. After the patient left and was  
5 discharged on November 13, , were you  
6 ever present during a conversation where  
7 someone, a physician, was discussing  
8 Mr. 's care and treatment that  
9 occurred at on November 13th?  
10 MS. : Objection.  
11 MR. : Note my objection.  
12 Over my objection, you can  
13 answer the question.  
14 A. With me is this.  
15 Q. Either with you or whether you  
16 were present and overheard the  
17 conversation.  
18 A. I -- yes.  
19 Q. Tell me about that.

20 A. I was working --  
21 MS. : Note my objection.  
22 MR. : Same objection.  
23 Over my objection, you can  
24 answer the question.  
25 A. I was working in the emergency

0121

1 121  
2 room and the chief medical officer went by,  
3 it was after I was formally sued, and he  
4 said that they reviewed the chart.  
5 Q. What else did he say?  
6 MS. : Objection.  
7 MR. : Same objection.  
8 Over my objection, you can  
9 answer.  
10 A. Nothing.  
11 Q. What did he say as a result of  
12 reviewing the chart?  
13 MR. : Same objection.  
14 Over my objection, you can  
15 answer.  
16 MS. : Note my objection.  
17 A. He said not to worry about it.  
18 Q. Who was he having this  
19 conversation with?  
20 A. Me.  
21 Q. Did he indicate who had reviewed  
22 the chart?  
23 MR. : Note my objection.  
24 MS. : Note my objection.  
25 MR. : Over my objection,

0122

1 122  
2 you can answer.  
3 A. No.  
4 Q. Did he indicate that he was  
5 aware of what the claims were in the case?  
6 MS. : Objection.  
7 MR. : Note my objection.  
8 Over my objection, you can  
9 answer.  
10 A. No.  
11 Q. I want you to assume that two  
12 days after Mr. left  
13 Hospital, he was diagnosed with a ruptured  
14 globe in his right eye.  
15 Do you have any knowledge as you  
16 sit here today as to how that condition was  
17 diagnosed on November 15th and was not  
18 diagnosed when you saw him on November  
19 13th?  
20 MR. : Note my objection.  
21 Over my objection, you can  
22 answer his question.  
23 A. No.  
24 MR. OGINSKI: Thank you very

25 much.

0123

1

123

2

MS. : I have no

3

questions.

4

MS. : I have a couple of

5

questions.

6

EXAMINATION BY

7

MS. :

8

Q. Good afternoon, Ms. . My

9

name is from the Law Firm of

10

. We represent

11

in this action.

12

Just a handful of questions.

13

The same rules apply as co-counsel. I ask

14

that you speak up when you answer and let

15

me finish the question before you answer.

16

And if you don't understand something, just

17

let me know and I will rephrase.

18

Now, you testified earlier that

19

there were no foreign bodies noted upon

20

examination of the plaintiff. Correct?

21

A. Yes.

22

Q. If a patient comes into the

23

emergency room and indicates that something

24

went in his eye, would the patient be asked

25

what it was that went into his eye?

0124

1

S.

124

2

MR. : Note my objection.

3

MS. : Generally. By her.

4

MR. : Note my objection.

5

A. Yes.

6

Q. Yes, they would be asked what

7

went into their eye?

8

A. Yes.

9

Q. Do you recall if Mr. was

10

asked what went into his eye?

11

MR. : Note my objection.

12

She's already testified she has

13

no recollection, but over my objection,

14

she can answer.

15

MR. OGINSKI: You mean what she

16

asked or what someone else may have

17

asked.

18

MS. : What she asked.

19

MR. : Note my objection.

20

You can answer.

21

A. I wrote that he had something --

22

MR. : She asked you if he

23

was asked what went into his eye.

24

If you know, you can answer that

25

question.

0125

1

125

2

A. Yes.

3

Q. I'm sorry, what was the

4 answer --  
5 MR. : Yes, that he was  
6 asked if something had gone into his  
7 eye.  
8 Q. Based on either your  
9 recollection or your review of the chart,  
10 was that something ever revealed? Did he  
11 ever indicate what that something was?  
12 MR. OGINSKI: Objection.  
13 She indicated she doesn't have  
14 any recollection.  
15 MS. : It's based on --  
16 MR. : You can put his  
17 objection on the record.  
18 Just rephrase the question.  
19 Q. Based on either your independent  
20 recollection or on your review of the  
21 chart, is there an indication if the  
22 patient ever answered what that something  
23 was?  
24 MR. OGINSKI: Objection.  
25 The witness indicated she has no

0126

1 126  
2 recollection.  
3 MR. : Same objection.  
4 Over my objection, you can  
5 answer the question.  
6 A. My documentation writes  
7 something. If he would have told me what  
8 it was, I would have wrote that.  
9 Q. Just to clarify, since you  
10 didn't write anything specific based on  
11 your custom and practice, the patient did  
12 not say specifically what it was.  
13 A. I don't recall.  
14 MR. OGINSKI: Objection.  
15 MR. : Objection.  
16 MS. : Nothing further.  
17 [Time noted: 1:40 p.m.]  
18

19 \_\_\_\_\_, P.A.  
20 Subscribed and sworn to  
21 before me this \_\_\_\_\_ day  
22 of \_\_\_\_\_, 2009.  
23 \_\_\_\_\_  
24 Notary Public  
25

0127

1 127  
2 I N D E X  
3 WITNESS EXAMINATION BY PAGE  
4 , P.A. Mr. Oginski 5  
5 Ms. 123

6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
0128  
1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
0129  
1  
2

E X H I B I T S

PLAINTIFF'S	DESCRIPTION	PAGE
Exhibit 1	Original hospital record	5
Exhibit 2	Discharge instructions, Patient's copy	110
Exhibit 3	Discharge instructions, Chart copy	110

[Attorney Oginski from the Law Office of  
Gerald M. Oginski has retained all  
exhibits.]

128

CERTIFICATION

I, Cynthia A. Laub, a Notary Public for  
and within the State of New York, do hereby  
certify:

That the witness(es) whose testimony as  
herein set forth, was duly sworn by me; and  
that the within transcript is a true record  
of the testimony given by said witness(es).

I further certify that I am not related  
to any of the parties to this action by  
blood or marriage, and that I am in no way  
interested in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set  
my hand this 16th day of December, 2009.

\_\_\_\_\_  
Cynthia A. Laub

\* \* \*

129

3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

1-800-727-6396  
200 OLD COUNTRY ROAD 1350 BROADWAY  
MINEOLA, NEW YORK 11501 NEW YORK, NEW YORK 10018

NAME OF CASE: v. , et al.  
DATE OF DEPOSITION: December 7, 2009

NAME OF DEPONENT:  
PAGE LINE (S) CHANGE REASON

SUBSCRIBED AND SWORN TO BEFORE ME  
THIS DAY OF , 20 .

---