

**DEIDENTIFIED DEPOSITION
ATTENDING CARDIOLOGIST TESTIFIES IN PRE-TRIAL HEARING
IN FAILURE TO DIAGNOSE SEPSIS CASE RESULTING IN DEATH OF PATIENT**

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPREME COURT OF THE STATE OF NEW YORK

COUNTY OF

- - - - -x

, as Administrator of the
Estate of , Deceased, and
, individually,

Plaintiff,

-against-

Defendants.

- - - - -x

June 23,
10:10 a.m.

EXAMINATION BEFORE TRIAL of

, M.D., a Defendant in the

above-entitled action, held at the above
time and place, taken before

, a Notary Public of the State of

New York, pursuant to Order.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

LAW OFFICES OF GERALD M. OGINSKI, LLC
Attorneys for Plaintiff
25 Great Neck Road
Great Neck, New York 11021

BY: GERALD M. OGINSKI, ESQ.

Attorneys for all Defendants

BY:

Attorneys for Defendant

BY:

Attorneys for Defendant

BY:

* * *

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STIPULATIONS

IT IS HEREBY STIPULATED, by and among
the attorneys for the respective parties
hereto, that:

All rights provided by the C.P.L.R.,
and Part 221 of the Uniform Rules for the
Conduct of Depositions, including the
right to object to any question, except
as to form, or to move to strike any
testimony at this examination is
reserved; and in addition, the failure to
object to any question or to move to
strike any testimony at this examination
shall not be a bar or waiver to make such
motion at, and is reserved to, the trial
of this action.

This deposition may be sworn to by the
witness being examined before a Notary
Public other than the Notary Public
before whom this examination was begun,
but the failure to do so or to return the
original of this deposition to counsel,
shall not be deemed a waiver of the
rights provided by Rule 3116, C.P.L.R.,

1 , M.D.

2 A Good morning.

3 Q What is atrial fibrillation?

4 A It's an arrhythmia generated by
5 chaotic impulses from the atrium.

6 Q How do you treat that?

7 A It depends on the company it
8 keeps.

9 Q Tell me what you mean?

10 A There is stable atrial
11 fibrillation and there is unstable atrial
12 fibrillation. So our course of action is
13 determined by the clinical picture.

14 Q How do you treat stable atrial
15 fibrillation?

16 A Typically we would use agents
17 that help control rate, increase your
18 chances of the patient going back to the
19 regular rhythm. There is a need to
20 evaluate for possible anticoagulation.

21 Q And the agents you talk about,
22 what type of medications are those known
23 as?

24 A Most of them fall into groups
25 called AV nodal blocking agents or

1 , M.D.

2 antiarrhythmics.

3 Q What is a beta blocker?

4 A It's a drug that we use for
5 cardiac issues, including hypertension,
6 coronary disease, arrhythmias.

7 Q What does it do, in general
8 terms?

9 A It slows heart rate, decreases
10 myocardial demand.

11 Q Are you familiar with a
12 medication known as Metoprolol?

13 A Yes.

14 Q What is that?

15 A It's a beta blocker.

16 Q Are you aware or familiar with
17 the different methods or the different
18 types of -- withdrawn.

19 Does Metoprolol come in a
20 regular dose and also an extended release
21 dose?

22 A Yes.

23 Q What is the difference between
24 a regular dose and an extended release
25 dose?

1 , M.D.

2 A Extended release delivers drug
3 levels for prolonged period of time or
4 longer period of time than the shorter
5 acting equivalent.

6 Q Generally, why would you
7 administer an extended release form of
8 Metoprolol, as opposed to a regular type
9 of Metoprolol?

10 MR. : I'll just object
11 to the form, in general, but you can
12 answer.

13 Q Why would you do extended
14 release over any other form of
15 administration of that medication?

16 A It's simpler for patients to
17 take once a day.

18 Q And is there any other -- if
19 they don't take it once a day, how often
20 do they take the regular Metoprolol?

21 A Typically it's prescribed for
22 twice a day.

23 Q What is Amiodarone?

24 A It's an antiarrhythmic.

25 Q What is Heparin?

1 , M.D.

2 A It's a blood thinner.

3 Q In the course of your career,
4 have you had occasion to prescribe
5 Metoprolol to patients?

6 A Yes.

7 Q And have you also had occasion
8 to prescribe or administer Amiodarone?

9 A Yes.

10 Q And have you had occasion to
11 treat patients who had atrial
12 fibrillation?

13 A Yes.

14 Q Did you ever treat this
15 patient, Mrs. , at ?

16 A No.

17 Q Did you ever see and examine
18 this patient when she was at

19 ?

20 A No.

21 Q In preparation for today's
22 questioning, did you have an opportunity
23 to review this patient's medical chart?

24 A I looked at several notes on
25 the night in which I was involved in her

1 , M.D.

2 care at .

3 Q In any of the records that you
4 saw, did you see any notes that you had
5 written?

6 A No.

7 Q Did you ever perform a physical
8 examination, at any time, on this
9 patient?

10 A No.

11 Q In November and December
12 of , what was your connection or
13 affiliation with

14 ?

15 A I was an employee of the
16 hospital.

17 Q How long had you been an
18 employee?

19 A I started at July of
20 that year.

21 Q Which year, ?

22 A .

23 Q And before that, where were
24 you?

25 A I was at

1 , M.D.

2 Hospital in .

3 Q In what capacity?

4 A As a clinical cardiologist and
5 nuclear cardiologist.

6 Q How long had you been a nuclear
7 cardiologist and clinical cardiologist at
8 ?

9 A Five years.

10 Q Just so I'm clear, Doctor, you
11 had been an employee of ?

12 A Yes.

13 Q Now, in addition to --
14 withdrawn.

15 In November of , in
16 addition to being an employee at
17 , were you employed
18 anywhere else in the capacity of a
19 physician or cardiologist?

20 A No.

21 Q Were you part of the faculty
22 practice there?

23 A Yes, I'm employed on faculty.

24 MR. : I don't know if
25 they call it faculty practice as you

1 , M.D.

2 were thinking.

3 MR. OGINSKI: Yes.

4 Q In what department were you
5 affiliated with?

6 A I'm part of cardiology.

7 Q And did you have any specific
8 title there?

9 A I'm assistant chief of
10 cardiology.

11 Q Is that the title you had when
12 you first started working there?

13 A Yes.

14 Q Are you still working there
15 now?

16 A Yes, I am.

17 Q How many cardiologists, to your
18 knowledge, are employed at
19 currently?

20 MR. : Currently or ?

21 MR. OGINSKI: Currently.

22 A We are eight.

23 Q And in -- I'm sorry,
24 November of , how many cardiologists
25 were there?

1 , M.D.

2 A Six.

3 Q And do you still hold the title
4 of assistant chief of cardiology
5 currently?

6 A Yes.

7 Q Are you employed anywhere else?

8 A No.

9 Q At any time in November or
10 December of , did you ever review or
11 evaluate any EKGs for Mrs. ?

12 A On December -- the day after
13 the date in question.

14 Q Are you referring to the day
15 after she was transferred to ?

16 A I am.

17 Q Were you involved in the
18 decision making process to transfer the
19 patient to ?

20 A Yes.

21 Q And how was it that you came to
22 review or evaluate the patient's EKG the
23 day after she had been transferred to
24 ?

25 MR. : You mean

1 , M.D.

2 physically review it; right?

3 MR. OGINSKI: Yes.

4 A As the cardiologist on call for
5 that weekend, all EKGs that are done in
6 the hospital, are reviewed by the
7 cardiologist on call.

8 Q And how do you gain access to
9 that EKG?

10 Do you do it by computer at a
11 remote location; do you do it by the
12 patient's bedside or somewhere else?

13 MR. : In .

14 A Somewhere else. We get a stack
15 of EKGs compiled for us by a tech who was
16 on that weekend.

17 Q Do you typically do the reviews
18 while you are in the hospital or at home
19 or somewhere else?

20 A While we're in the hospital.

21 Q And when you evaluate an EKG in
22 that stack that you described, tell me
23 the process that you go through from the
24 time that you get them, you evaluate
25 them, what do you do after you interpret

1 , M.D.

2 them?

3 A We interpret them; we confirm
4 them with a signature, make whatever
5 corrections we deem necessary and hand
6 the pile back to the technician who
7 confirms them in the electronic medical
8 record.

9 Q Do you make any entries in the
10 electronic medical record after you have
11 evaluated and interpreted an EKG?

12 A I don't personally enter
13 anything in the record.

14 Q When you review a particular
15 EKG, are you saying the technician is
16 with you?

17 A No, I give them back to the
18 technician.

19 Q And how does the technician
20 know what information you have
21 interpreted -- withdrawn.

22 How does the technician know
23 what your interpretation is?

24 A We write on the EKG.

25 Q What happens to that actual

1 , M.D.

2 EKG, the ones that you have written on?

3 A I don't know what happens to
4 the ones we've written on.

5 Q Does that EKG that you have
6 written notes on, get put into the
7 patient's chart?

8 A The confirmed report is sent to
9 the electronic medical record. The
10 actual physical piece of paper, I don't
11 know what happens to that.

12 Q When you say the confirmed EKG,
13 tell me what you mean by that?

14 A After I get the printout of the
15 EKG, I look at the reading and determine
16 whether I agree with it, make whatever
17 adjustments I deem necessary and sign.
18 That document is then taken by the
19 technician, whatever adjustment needed to
20 be made are made and that's entered into
21 the electronic medical record.

22 Q Do you have any knowledge about
23 what happens to that actual sheet which
24 you have made notes on?

25 A No.

1 , M.D.

2 Q Have you ever seen, in
3 reviewing patient's charts, whether those
4 documents are scanned into the patient's
5 record or the electronic medical records?

6 MR. : Which documents?

7 Q The one you have written on
8 notes on.

9 A That's not typically what's in
10 the electronic medical record.

11 Q When an EKG is done, am I
12 correct that the computer reads and
13 interprets on its own, what the EKG
14 shows?

15 A Yes.

16 Q And when you say that you
17 compare, you look to see whether you
18 agree, are you talking about the readout
19 that was presented or generated by the
20 computer, to see whether you agree with
21 that conclusion?

22 A Yes.

23 Q Have there been occasions when
24 you have looked at EKGs and you did not
25 agree with the conclusion reached by the

1 , M.D.

2 computer?

3 A Yes.

4 Q If a patient is no longer
5 physically within the hospital and you
6 now are asked to review an EKG, do you
7 have any follow-up -- withdrawn.

8 At the time that you get those
9 EKGs to review, that stack of EKGs, do
10 you have knowledge as to whether the
11 patient is still physically within the
12 hospital?

13 A Not on all patients, no.

14 Q When you were involved in the
15 decision making process to transfer the
16 patient to , this patient to
17 , did you review any of the
18 patient's medical records before reaching
19 a conclusion about your plan of action?

20 MR. : Physically review
21 them?

22 MR. OGINSKI: Correct.

23 A No.

24 Q Am I correct that you spoke
25 with a cardiology resident as part of

1 , M.D.

2 your evaluation and decision making
3 process?

4 A Yes.

5 Q And was the resident a person
6 by the name of Dr. ?

7 A Yes.

8 Q And I believe the first name is
9 ?

10 A That I don't recall.

11 Q What was your understanding as
12 to what year resident this person was?

13 A Typically they are --

14 MR. : Note my objection.

15 Q I don't want to know typically.
16 I want to know specifically, do you know
17 what year this resident was?

18 A I don't specifically recall.

19 Q Was this individual a fellow?

20 A No.

21 Q Did the Department of
22 Cardiology have their own residents that
23 rotated through ?

24 A We have -- yes.

25 Q And how many cardiology

1 , M.D.

2 residents would there be in any given
3 year?

4 MR. : In a year, total?

5 MR. OGINSKI: Yes.

6 Q Would there be four first-year
7 residents, four second-year residents or
8 some other?

9 A We don't have our own
10 cardiology fellowship. We have a
11 cardiology fellow who rotates with us,
12 but we don't have our own independent
13 fellowship.

14 Q I'm talking about residency
15 training program.

16 A We don't have a cardiology
17 residency training program.

18 Q The cardiology residents who
19 rotate through ,
20 are you aware what hospitals or
21 institutions they come from?

22 A There are no cardiology
23 residents. They're internal medicine
24 residents, who are doing cardiology
25 consults.

1 , M.D.

2 Q Was Dr. one of the
3 internal medicine residents?

4 A Yes.

5 Q Do you know what year Dr.
6 was?

7 A I don't recall.

8 MR. : Just note my
9 objection to the prior
10 characterization of Dr. as a
11 cardiology resident.

12 Q Had you ever spoken to Dr.
13 before dealing with this particular
14 patient?

15 A Not that I recall.

16 Q Now, as part of the
17 conversation with Dr. , did you make
18 any notes as a result of the
19 conversation?

20 A No.

21 Q Did you make any entries on the
22 patient's electronic medical records, as
23 a result of that conversation?

24 A No.

25 Q Do you have a specific memory

1 , M.D.

2 of the conversation that you had with Dr.
3 about this particular patient?

4 A I don't have a specific memory
5 of one conversation versus the other. I
6 have a collective recollection.

7 Q How many different
8 conversations did you have with Dr.
9 about this patient?

10 A With Dr. , I think one.

11 Q Did you speak to any other
12 doctors at about
13 this patient?

14 A I spoke with , who was
15 the nurse practitioner from
16 Team.

17 Q And I'm sorry, what was her
18 name?

19 A , .

20 Q And how is it that this nurse
21 practitioner contacted you about this
22 patient?

23 MR. : How, you mean by
24 what means?

25 MR. OGINSKI: I'll rephrase it.

1 , M.D.

2 Q Why did she call you?

3 A Because she saw the patient in
4 her capacity of Team and
5 would be communicating with me since the
6 patient had a cardiac issue.

7 Q How many times did you speak
8 with about this patient?

9 A About four or five times.

10 MR. : Is that an
11 estimate?

12 THE WITNESS: It's an estimate.

13 Q Where was the patient at the
14 time that these conversations took place?
15 Was the patient in the cardiac care unit,
16 the intensive care unit, on the floor,
17 somewhere else?

18 A The patient was on a floor.

19 Q Do you have any memory as to
20 which floor?

21 A I don't recall.

22 Q Did you speak with Dr.
23 first or with first about this
24 patient?

25 A Dr. .

1 , M.D.

2 Q Was that the very first
3 conversation in which you learned about
4 this patient's condition?

5 A Yes.

6 Q How long did that first
7 conversation last?

8 A I don't recall.

9 Q What time of day or night did
10 that conversation take place?

11 A Somewhere between 7 or 8 at
12 night.

13 Q Were you physically within the
14 hospital at the time or were you home or
15 somewhere else?

16 A I was not in the hospital.

17 Q When you're on call for the
18 weekend, are you required to remain
19 in-house?

20 A No.

21 Q Are you board certified in
22 cardiology?

23 A Yes.

24 Q When were you board certified?

25 A I recertified in --

1 , M.D.

2 Q Originally, Doctor.

3 A Originally certified, in .

4 Q And you said you were recently

5 recertified?

6 A Yes.

7 Q Are you board certified in any

8 other field of medicine?

9 A Nuclear cardiology.

10 Q When did you receive your board

11 certification in nuclear cardiology?

12 A .

13 Q And the cardiology boards, did

14 you have to take your exam more than

15 once?

16 A No, not the recert.

17 Q Originally?

18 A The original, yes.

19 Q How many times?

20 A Twice.

21 Q Was that a combination, written

22 and oral exam?

23 A We don't have oral.

24 Q And the nuclear cardiology

25 board certification, did you have to take

1 , M.D.

2 that more than once?

3 A No.

4 Q Are you licensed to practice
5 medicine in the State of New York?

6 A Yes, I am.

7 Q When were you licensed?

8 A Originally, in about .

9 Q Are you licensed anywhere else?

10 A No, I'm not.

11 Q Has your license ever been
12 suspended?

13 A No.

14 Q Has your license ever been
15 revoked?

16 A No.

17 Q In addition to your on call
18 duties at , do
19 you see patients, private patients at the
20 hospital?

21 A They're not private patients.

22 Q Are you part of a consult
23 service?

24 A Yes, we are.

25 Q Do you have a memory of the

1 , M.D.

2 information that Dr. gave to you
3 during that first conversation?

4 By the way, is that a man or a
5 woman?

6 A I don't know. I have a
7 collective memory of the case.

8 Q Now, did you make any notes of
9 your own about --

10 MR. : Now I'm lost,
11 which question did you want her to
12 answer? There were two questions.
13 The second question was, by the
14 way --

15 MR. OGINSKI: I'll rephrase it.

16 MR. : So I want to make
17 sure we're all clear on what we got.

18 Q When you spoke to Dr. , did
19 you make any notes of your own that you
20 kept?

21 A No.

22 Q On any of the conversations you
23 had with Nurse Practitioner ,
24 did you make any notes of your
25 conversation?

1 , M.D.

2 A No.

3 Q After the patient was
4 transferred to , did you have any
5 communication with any physician at
6 about the patient's progress?

7 A No.

8 Q Did you have any communication
9 with any physicians who were at
10 , about the patient's
11 progress at ?

12 A No.

13 Q At some point after December 3,
14 , did you learn that this patient had
15 died?

16 A Yes.

17 Q When?

18 A When I was asked to -- when I
19 was told I was part of a suit.

20 Q At any time before learning
21 about this particular lawsuit, had you
22 learned that this patient died?

23 A No.

24 Q Do you know Dr. ?

25 A No.

1 , M.D.

2 Q Do you know Dr. ?

3 A No.

4 Q Do you know Dr. ,

5 ?

6 A No.

7 Q Or Dr. , ?

8 A No.

9 Q What is telemetry?

10 A Cardiac monitoring, continuous

11 EKG monitoring.

12 Q Does

13 have the ability for patients to be on

14 continuous telemetry?

15 A Yes.

16 Q What floor or floors do they

17 have that capacity?

18 A The 12th floor, 14th floor and

19 the 17th floor.

20 Q When you first learned about

21 this patient through Dr. , did Dr.

22 give you a history as to what was

23 going on with this patient?

24 A Yes.

25 Q What information did Dr.

1 , M.D.

2 relate to you about this patient?

3 A Again, I have a collective
4 memory so --

5 Q Tell me what you learned from
6 Dr. .

7 MR. : Note my objection,
8 in that she says she doesn't remember
9 what she discussed.

10 MR. : I don't think she
11 said she doesn't remember what she
12 discussed. She said she has a
13 collective memory.

14 A So Dr. presented to me a
15 patient who cardiology was consulted on
16 for chest pain and EKG changes. Patient
17 who had -- was post-op abdominal surgery.
18 Patient who had -- she was 52 years old.
19 Dr. 's assessment was that the
20 patient was somewhat tachypneic, blood
21 pressures were borderline and patient was
22 in sinus tachycardia at that time.

23 Q What is sinus tachycardia?

24 A It's arrhythmia with an
25 elevated heart rate.

1 , M.D.

2 MR. : Can I have the
3 prior answer read back?

4 [At this time, the requested
5 portion of the record was read.]

6 Q When you use the word
7 tachypneic, what do you mean?

8 A Short of breath.

9 Q And what do you consider to be
10 borderline blood pressure?

11 A I would say a blood pressure
12 hovering around 100 systolic, depending
13 on your baseline.

14 Q Did Dr. give you any
15 information about the patient's
16 preoperative cardiology history?

17 A Yes.

18 Q What information did you learn
19 from Dr. about the patient's
20 preoperative history?

21 MR. : Objection.

22 A I believe Dr. told me the
23 patient had a history of hypertension and
24 arrhythmia in the past.

25 Q Did you learn from Dr. as

1 , M.D.

2 to whether those conditions were
3 controlled by medication prior to her
4 surgery?

5 A Yes, I believe Dr. told me
6 patient was on beta blocker.

7 Q And did Dr. relate to you
8 whether the beta blockers had been
9 efficient or had adequately controlled
10 her cardiac condition preoperatively?

11 MR. : Objection to form.

12 MR. : Note my objection.

13 MR. : Did Dr.
14 convey that information to you, as
15 you recall?

16 A It would have been customary.

17 Q Did Dr. discuss with you
18 whether he had -- he or she --

19 MR. OGINSKI: Off the record.

20 [At this time, a discussion was
21 held off the record.]

22 Q Did Dr. tell you that he
23 had reviewed the patient's preoperative
24 EKG and compared it with the EKG that was
25 done on the day he was calling you?

1 , M.D.

2 A Yes.

3 Q And what information did you
4 learn about that?

5 A There were EKG changes
6 suggestive of ischemia.

7 Q What is ischemia?

8 A Ischemia is a term we use to
9 describe inadequate blood supply to
10 tissue of the heart.

11 Q Did Dr. offer any opinions
12 as to why this patient was short of
13 breath?

14 MR. : Opinion,
15 assessment.

16 MR. : Note my objection.

17 A I don't recall specifically
18 about tachypnea.

19 Q Did Dr. offer any opinion
20 or assessment about why this patient was
21 in sinus tachycardia?

22 MR. : Note my objection.

23 A Yes.

24 Q What did Dr. say?

25 A Dr. had a number of

1 , M.D.

2 possibilities, that I recall, on his
3 differential which could cause sinus
4 tachycardia.

5 Q And what were those
6 possibilities?

7 A Acute coronary syndrome.

8 Q Anything else?

9 A And he also referenced
10 tachycardia secondary to beta blocker
11 withdrawal.

12 Q Did you learn for what period
13 of time the patient's beta blocker had
14 been discontinued prior to her surgery?

15 A Only from review of the chart.

16 Q During your conversation, did
17 you learn from Dr. how long the
18 patient's beta blocker had been withheld?

19 A I do not recall.

20 Q What is acute coronary
21 syndrome?

22 A It's a title that we give to
23 describe situations where there is
24 inadequate blood supply to the heart.

25 Q Is that a form of ischemia?

1 , M.D.

2 A It includes different types of
3 ischemic syndromes, yes.

4 Q What triggers that acute
5 coronary syndrome?

6 A It can have many triggers.

7 Q Did the patient have a history
8 of atrial fibrillation?

9 A Yes, the patient was on beta
10 blockers for that arrhythmia.

11 Q Did you learn that those
12 medications that she was taking,
13 adequately controlled her atrial
14 fibrillation?

15 MR. : I think that was
16 asked and answered.

17 MR. OGINSKI: It's a little
18 different.

19 MR. : What's the
20 difference?

21 MR. OGINSKI: Here I'm asking
22 about the atrial fibrillation.

23 MR. : Note my objection.
24 You are referring to preoperatively?

25 MR. OGINSKI: Yes.

1 , M.D.

2 that during the course of surgery, there
3 was an enterotomy made?

4 A I don't recall hearing that.

5 Q Did you learn that during the
6 course of this patient's surgery, there
7 was a section of bowel removed and an
8 anastomosis done?

9 A I don't recall.

10 MR. : Just note my
11 objection regarding the questions
12 that you are asking, regarding her
13 recollections with Dr. because
14 she already said she doesn't have
15 specific memory, except for things
16 that she has testified about, her
17 collective recollection.

18 MR. : I'm not sure about
19 that.

20 MR. : That's what it
21 was. I specifically noted that.

22 MR. : That's not what
23 she said.

24 Q Did you ever learn from any
25 physician -- withdrawn.

1 , M.D.

2 Before this patient was
3 transferred to , did you ever
4 learn from any physician that this
5 patient had undergone a bowel anastomosis
6 during the course of her surgery on
7 November 30, ?

8 MR. : Bowel anastomosis?

9 MR. OGINSKI: Yes.

10 MR. : Do you remember if
11 you learned that?

12 A I remember somewhere in
13 conversations that she had small bowel
14 resection, so yes.

15 Q What other information did you
16 learn, that you told me you had a
17 collective memory about, regarding these
18 conversations with Dr. and the nurse
19 practitioner?

20 MR. : Do you want her to
21 go over everything she remembers from
22 beginning to end?

23 MR. OGINSKI: I'm going to
24 rephrase it. I'll get back to that.

25 Can I have this marked

1 , M.D.

2 Plaintiff's Exhibit 1 and this as
3 Plaintiff's Exhibit 2.

4 [The documents were hereby
5 marked as Plaintiff's Exhibit 1 and
6 Plaintiff's Exhibit 2, for
7 identification, as of this date.]

8 Q Doctor, let me show you what's
9 been marked as Plaintiff's Exhibit 1,
10 which is an EKG which has a date of
11 November 27, , for this patient,
12 .

13 MR. : Do you want to
14 show her the others?

15 MR. OGINSKI: Yes, I will in a
16 moment.

17 MR. : Because I think
18 she did the reverse.

19 MR. OGINSKI: Right, I know.

20 Q When you reviewed this
21 patient's EKG done on December 1, ,
22 did you have the benefit of also seeing
23 the preoperative EKG as well?

24 A We would have the most recent
25 prior EKG.

1 , M.D.

2 Q As far as you know, was that
3 the EKG that you have in front of you
4 now?

5 A I don't know without looking at
6 the one I read.

7 Q Looking at this document in
8 front of you, the EKG, can you tell me
9 your interpretation of what is seen
10 there?

11 A It looks to me like sinus
12 bradycardia.

13 Q What is that?

14 A That's a P wave followed by a
15 QRS complex, with normal intervals at a
16 rate of under 60 beats per minute.

17 Q Is that a normal EKG, as a
18 general question?

19 A Yes.

20 Q Now, the interpretation
21 rendered by the computer, were you in
22 agreement with the information that's
23 reported on that record?

24 A I'm in agreement -- this is an
25 EKG report by a cardiologist.

1 , M.D.

2 Q Let me show you an EKG report
3 dated December 1, , Plaintiff's
4 Exhibit 2.

5 MR. : By the way, I'll
6 copy all of these.

7 MR. OGINSKI: Sure.

8 Q That consists of three pages.

9 MR. : Plaintiff's
10 Exhibit 2 is three pages?

11 MR. OGINSKI: Yes.

12 Q And this particular report has
13 your name that appears in the computer
14 generated portion; correct?

15 A Yes.

16 Q And does that indicate that you
17 had reviewed and confirmed the findings
18 on here?

19 A Yes.

20 Q And the information that's
21 recorded at the top of that EKG, is that
22 information based -- placed there, based
23 upon your interpretation of this EKG?

24 MR. : On all three
25 sheets?

1 , M.D.

2 MR. OGINSKI: Yes.

3 A This -- what is confirmed is
4 based on my assessment.

5 Q Is there anything in that
6 assessment that is different than either
7 what a computer generated EKG reported or
8 any other physician?

9 MR. : Objection to form.
10 What do you mean, any other
11 physician?

12 MR. OGINSKI: I'll rephrase it.

13 Q When you reviewed this EKG on
14 December 2nd, what was listed on there,
15 what did the computer readout show?

16 A There is no way for me to know.

17 Q Is there anyway to tell from
18 looking at your notes -- I'm sorry, is
19 there anyway to tell from looking at your
20 assessment, as to whether the original
21 EKG computerized interpretation was in
22 agreement with your conclusion?

23 A No, there is no way to tell.

24 Q What was your assessment based
25 upon this EKG?

1 , M.D.

2 A The EKG is sinus tachycardia
3 with premature atrial complexes. There
4 are ST and T wave abnormalities
5 suggestive of anterior wall ischemia,
6 compared with the prior EKG, premature
7 atrial complexes are noted, ventricular
8 rate has increased and T wave present in
9 the anterior leads.

10 Q Would it be correct to say --
11 withdrawn.

12 Are these findings different
13 than what is observed on the November 27,
14 EKG?

15 A Yes.

16 Q These are new changes?

17 A Yes.

18 Q Did you form an opinion as to
19 why these changes occurred?

20 A On the EKG, suggestive of
21 ischemia.

22 Q Was there any particular --
23 withdrawn.

24 Did you come to any conclusion
25 or opinion as to why these changes now

1 , M.D.

2 showed up on the December 1, EKG, in
3 comparison to the November 27, EKG?

4 MR. : In terms of her
5 diagnostic --

6 MR. OGINSKI: Correct.

7 MR. : What diagnosis did
8 you attribute that to?

9 A Acute coronary syndrome and
10 ischemia.

11 Q Did you form any opinion as to
12 why this patient developed this acute
13 coronary syndrome?

14 A The patient was post-op and
15 patients can have postoperative MIs.

16 Q What led you to the opinion or
17 conclusion that this patient might have
18 an MI?

19 A EKG changes suggest ischemia
20 and the patient had chest pain.

21 Q What else could possibly be
22 going on with this patient to cause this
23 condition?

24 MR. : Other than MI?

25 MR. OGINSKI: Yes.

1 , M.D.

2 MR. : That you
3 considered.

4 A There is nothing else that
5 typically gives these EKG changes and
6 chest pain.

7 Q Was the chest pain associated
8 with inspiration or expiration of the
9 patient's breathing?

10 A Someone references that in
11 their note.

12 Q Is that distinct from chest
13 pain that's unrelated to a patient's
14 breathing?

15 A Chest pain that's related to
16 breathing is more typical in certain
17 scenarios than chest pain not related to
18 breathing.

19 Q What is it more typical of?

20 A Inflammation.

21 Q Of what?

22 A Of the lung or the sac around
23 the heart.

24 Q Are you able to tell from an
25 EKG, whether a patient has

1 , M.D.

2 cardiomyopathy?

3 A No.

4 Q In order to formulate a
5 treatment plan for this patient, am I
6 correct that you were relying on the
7 information that Dr. provided to
8 you?

9 A That I was provided by Dr.
10 and Dr. and the surgical team.

11 Q You mentioned a little earlier
12 that there was a Nurse Practitioner ,
13 as opposed to a doctor?

14 A Yes, nurse practitioner.

15 Q Who else was it besides Nurse
16 and Dr. that you had contact
17 with?

18 A Through the nurse practitioner
19 and the resident, the surgical resident.

20 Q Who was that?

21 A I don't recall the name, and
22 the ICU attending.

23 Q Do you know who that was?

24 A That's Dr. , .

25 Q And what was the reason as to

1 , M.D.

2 why you had contact with the surgical
3 resident?

4 MR. : She didn't say it
5 that way.

6 A I didn't say contact.

7 MR. : She said
8 information was conveyed through, but
9 she didn't have contact with the
10 surgeon.

11 Q How did you learn about
12 information transmitted from the surgery?

13 A Through my surrogates,
14 and Dr. .

15 Q And the same would be true of
16 Dr. ?

17 A Yes.

18 Q What specific information did
19 you learn about whatever they had to tell
20 you?

21 A Information including clinical
22 picture of the patient, how she was
23 responding to interventions, discussions
24 about candidacy for antiplatelet agents
25 and cath lab.

1 , M.D.

2 Q Why was this patient
3 transferred to ?

4 A For better monitoring and
5 possible cardiac catheterization.

6 Q Did have the
7 ability to perform cardiac
8 catheterization?

9 A No.

10 Q When you say better cardiac
11 monitoring, tell me what specifically you
12 mean?

13 A has all that
14 has, but also has CCU. It has a cath
15 lab. And so our custom and practice is
16 to transfer patients in whom we suspect
17 ACS to , so that if they need to
18 go to the cath lab quickly, they can do
19 so.

20 Q Are there any other symptoms
21 associated with acute coronary
22 syndrome -- I'll rephrase that.

23 What symptoms do you typically
24 see in a patient with acute coronary
25 syndrome?

1 , M.D.

2 A Presentation can vary. It can
3 include chest discomfort, shortness of
4 breath, nausea, vomiting, can be
5 nonspecific presentation, EKG changes.

6 Q Anything else associated with
7 ACS?

8 A Those are typical things.

9 Q I'm sorry?

10 A Those are the typical things.

11 Q And is this type of syndrome
12 that you described, is that something
13 that you learned about in your cardiology
14 training?

15 A We learned about it in our
16 medicine training.

17 Q And is that something that, to
18 your knowledge, that all medical
19 residents learn about?

20 MR. : I have to object
21 to the form. How is she going to
22 speak for all medical residents?

23 Q Is that part of the curriculum
24 for medicine training in --

25 MR. : In cardiology?

1 , M.D.

2 MR. OGINSKI: Medicine, in
3 general.

4 MR. : I'll object to the
5 form.

6 Q Would you except an internal
7 medicine resident, doing a cardiology
8 consult, to recognize the symptoms of
9 ACS?

10 MR. : You can answer
11 over objection.

12 A Yes.

13 Q In learning information from
14 the surgical resident through Dr.
15 and the nurse practitioner, was that how
16 you learned -- withdrawn.

17 At the time that you completed
18 your interpretation of the December 1,

19 EKG, once you have signed off on
20 that report, do you learn -- withdrawn.

21 Did you have any contact with
22 anybody about that EKG?

23 A No.

24 Q What is premature atrial
25 complexes?

1 , M.D.

2 A They are sinus appearing beats
3 that come early.

4 Q What causes that?

5 A The heart not beating as
6 regularly as a clock and so one beat
7 comes early.

8 Q You had mentioned earlier that
9 there was the suggestion or the
10 possibility that this patient's EKG
11 changes may have been triggered by
12 this -- withdrawn.

13 The beta blocker the patient
14 had been on prior to surgery, did you
15 attribute the withholding of that beta
16 blocker to what you observed on the
17 December 1st EKG change?

18 MR. : He's asking what
19 you attributed.

20 A No.

21 Q Did Dr. mention that these
22 changes may have been attributed to the
23 patient's withholding of her beta
24 blocker?

25 MR. : Note my objection.

1 , M.D.

2 A No, Dr. mentioned thinking
3 that the heart rate may be secondary to
4 beta blocker withdrawal, not the EKG
5 changes.

6 Q Now, you mentioned -- we talked
7 about Metoprolol, that was, I believe,
8 you said a beta blocker?

9 A Yes.

10 Q Did you order any physician to
11 prescribe or to give or administer the
12 patient Metoprolol?

13 A I believe the patient had
14 already received Metoprolol when we were
15 consulted.

16 Q And would it make any
17 difference as far as the EKG changes you
18 observed on December 1st, as to whether
19 the patient's medication of Metoprolol
20 was given in regular format or extended
21 release?

22 A No, it wouldn't make any
23 difference.

24 Q Tell me why it would not make a
25 difference?

1 , M.D.

2 A Because those types of EKG
3 changes have nothing to do with beta
4 blocker administration.

5 Q Can a bowel resection cause
6 exacerbation of atrial fibrillation?

7 A Anything can cause exacerbation
8 of atrial fibrillation.

9 Q If there is a bowel leak, an
10 anastomotic bowel leak, can that cause
11 tachycardia?

12 A Again, anything can cause
13 tachycardia.

14 Q Did this patient have evidence
15 of tachycardia preoperatively?

16 MR. : Base on the EKG?

17 MR. OGINSKI: Yes.

18 A Not on the pre-op EKG.

19 Q Did Dr. or Nurse
20 Practitioner ever relay information
21 to you that the patient had tachycardia?

22 MR. : By EKG or history?

23 MR. OGINSKI: Yes.

24 A I had to be told that the
25 patient had an arrhythmia, for which she

1 , M.D.

2 was on beta blockers.

3 MR. : Objection.

4 Q Is an arrhythmia synonymous
5 with tachycardia?

6 A No.

7 Q Does an anastomotic bowel leak
8 cause shortness of breath?

9 A Not typically.

10 Q If there are enteric contents
11 that leak from an anastomotic perforation
12 or leak, are you aware whether that
13 releases cytokines?

14 A Yes.

15 Q You are aware it does?

16 A Yes.

17 Q What are cytokines?

18 A They are products of
19 degradation in a setting of infection,
20 that trigger a cascade of inflammation.

21 Q Can the release of cytokines
22 cause irritation or exacerbation of a
23 previously dormant or asymptomatic
24 cardiac condition?

25 A Yes.

1 , M.D.

2 Q In your discussion with Dr.
3 and Nurse Practitioner , did you
4 ever consider the possibility that the
5 patient's cardiac issue was caused by
6 some form of postoperative surgical
7 complication?

8 MR. : Read back the
9 question.

10 [At this time, the requested
11 portion of the record was read.]

12 A Post-op MIs are a known
13 complication of surgery and they
14 typically happen in the first 48 hours.

15 MR. : Can you read back
16 the answer?

17 [At this time, the requested
18 portion of the record was read.]

19 Q As part of a workup to evaluate
20 a patient for an MI, one of the tests
21 that you perform is drawing of bloods;
22 correct?

23 A Yes.

24 Q And you test something known as
25 troponins?

1 , M.D.

2 A Yes.

3 Q Why do you do that? What does
4 that tell you?

5 A It tells us if there has been
6 myocardial damage.

7 Q And those are known as enzymes?

8 A It's one of the enzymes.

9 Q Do you often take serial
10 enzymes, to see if there are changes in
11 the levels?

12 A Yes.

13 Q Can you give me a general idea
14 of how long it takes to get results back?

15 A Typically, under an hour.

16 MR. : After you draw the
17 blood, to get the results back?

18 A After they hit the lab. I
19 should say, if they're sent stat.

20 Q Did the patient also experience
21 palpitations at the time that you were
22 contacted?

23 A I'm not sure if they were at
24 the time I was contacted, but there is a
25 record that she experienced palpitations.

1 , M.D.

2 Q How do you treat acute coronary
3 syndrome?

4 A There are a number of therapies
5 that we implement. Again, it depends on
6 the clinical picture. They include
7 things like aspirin, beta blockers,
8 Heparin, cath.

9 Q If you transfer a patient from
10 across the street to
11 , do you have any further contact
12 with the patient at ?

13 A No.

14 Q Do you have any privileges to
15 treat and see patients at ?

16 A No.

17 Q In order to make and accomplish
18 the transfer of a patient with this type
19 of cardiac condition to , am I
20 correct that there has to be some
21 communication with the corresponding
22 physician at ?

23 A We communicate with the
24 cardiology fellow at .

25 Q In this instance, who made that

1 , M.D.

2 communication, was it Dr. , was it
3 you or someone else?

4 MR. : Or more than one?

5 Q Or more than one?

6 A I had a conversation with the
7 cardiology fellow, but so did, I believe,
8 Nurse Practitioner .

9 Q And who was the individual that
10 you spoke to?

11 A I don't recall.

12 Q What information did you tell
13 this cardiology fellow at about
14 this patient?

15 MR. : You mean, what was
16 the discussion?

17 MR. OGINSKI: Yes.

18 MR. : Tell us about your
19 recollection of the discussion.

20 A My discussion would include
21 clinical history --

22 Q I'm sorry, Doctor, I don't mean
23 to interrupt you. I'm not asking
24 generally, I'm asking specifically.

25 MR. : What stands out in

1 , M.D.

2 your mind in terms of that
3 conversation, things you talked
4 about?

5 A All right, so amongst the
6 things we discussed was that the patient
7 was having an arrhythmia, which we had
8 tried to control, but was difficult to
9 control. I felt that she was too
10 unstable to be treated at , that
11 she would be better off with the services
12 of CCU and possible cath lab. And that
13 we had stabilized her as best that we
14 could and that her speedy transfer was
15 prudent.

16 Q From the time of that
17 conversation -- withdrawn.

18 Do you have a memory as to when
19 that conversation took place?

20 A I don't recall the exact time.

21 Q Was this still within the same
22 evening that you had first been notified
23 about this patient's condition?

24 A Yes.

25 Q From that time frame, how long

1 , M.D.

2 was it before the patient was actually
3 transferred to ?

4 A I don't recall exactly what
5 time the conversation was with the
6 fellow.

7 Q Do you have a memory as to when
8 this patient was actually transferred to
9 ?

10 A I don't have any recollection,
11 no.

12 Q Were you contacted throughout
13 the night of December 1st about this
14 patient's progress, by either Dr. or
15 the nurse practitioner?

16 MR. : At various times?

17 MR. OGINSKI: Yes.

18 MR. : Note my objection.

19 A Yes, and I also called them.

20 Q And what was the
21 from --

22 MR. : I think he's
23 objecting to this.

24 MR. : It was compound.
25 It was unclear who you were referring

1 , M.D.

2 to.

3 MR. : He's objecting to
4 a compound nature, but she's just
5 answering that she had contact with
6 people.

7 Q What did the cardiology fellow
8 say, if anything?

9 MR. : Are you talking
10 about at ?

11 MR. OGINSKI: Yes.

12 A They said that they would
13 expedite making a bed for the patient.

14 Q Was there any discussion with
15 you and Dr. about what it was that
16 may have triggered this patient's EKG
17 changes?

18 MR. : Objection.

19 A Yes, we thought it was
20 ischemia.

21 Q Was there a discussion as to
22 what triggered this ischemia at the time
23 that you were having this conversation?

24 A Again, post-op MIs are common.

25 Q Now, in order to evaluate

1 , M.D.

2 whether or not the patient has an MI,
3 what is the cardiac workup that you
4 perform on a patient?

5 A We do physical exam; we do
6 clinical exam, EKG, blood work, sometimes
7 cardiac catheterization. It depends on
8 the clinical picture.

9 Q Did this patient have a cardiac
10 sonogram or echocardiogram?

11 A Not that I know of.

12 Q Did you learn whether this
13 patient had ever had a cardiac
14 catheterization in the past?

15 A I don't recall.

16 Q Did you ever learn from any
17 physician on December 1st or
18 December 2nd, that this patient's surgery
19 was an elective hernia repair?

20 A That would have been presented
21 in a history.

22 Q And you had mentioned that you
23 learned that the patient had abdominal
24 surgery or intraabdominal surgery, do you
25 have a specific memory of being told that

1 , M.D.

2 the patient had an elective hernia
3 repair?

4 A I don't have specific memory,
5 but it would be part of the case
6 presentation.

7 Q Now, you told me what Dr.
8 's differential diagnosis was and the
9 different possibilities that this
10 patient's problems might have
11 represented.

12 Did you suggest or recommend to
13 Dr. , any other possibilities for
14 this patient's condition?

15 A No.

16 Q Did you agree with Dr. 's
17 assessment as to what this patient's
18 likely condition was?

19 MR. : ACS versus beta
20 blockers, something or other?

21 MR. OGINSKI: Yes.

22 A I believe the patient was
23 having ACS.

24 Q Now, you mentioned that one of
25 the possibilities was tachycardia

1 , M.D.

2 secondary to beta blocker withdrawal.

3 MR. : That was Dr.

4 's.

5 MR. OGINSKI: Yes, correct.

6 Q Now, tell me, can you explain

7 what you mean by that? What is your

8 understanding of that?

9 MR. : What is her

10 understanding of what Dr. meant

11 by that?

12 MR. OGINSKI: Thank you.

13 MR. : Note my objection

14 to that.

15 MR. : Objection to what?

16 MR. : She can't speak to

17 what Dr. 's understanding was in

18 his mind.

19 MR. : She can speak to

20 her understanding of what that refers

21 to.

22 MR. : The condition,

23 right?

24 MR. : Yes, the

25 condition. I don't think he was

1 , M.D.

2 asking what was in her brain.

3 MR. : That's the way it
4 was phrased.

5 MR. : Do you follow all
6 of this, Doctor?

7 Q Let me ask it again. You told
8 me that Dr. suggested to you that
9 this patient's condition may have -- that
10 her tachycardia may have been secondary
11 to beta blocker withdrawal.

12 Tell me what your understanding
13 is of that?

14 A Tachycardia secondary to beta
15 blocker withdrawal is a syndrome that we
16 see in people who take significant doses
17 of beta blockers, who have them stopped
18 abruptly for long periods of time and
19 they can have a rebound increase in heart
20 rate.

21 Q Now, you also mentioned to me
22 earlier that you were unaware as to how
23 long this patient was off her beta
24 blocker prior to surgery; correct?

25 A Not prior to surgery, after

1 , M.D.

2 surgery.

3 Q Did you learn from anyone that
4 this patient's beta blocker had been
5 withheld for a period of time prior to
6 surgery?

7 A I don't recall being told it
8 was held prior to surgery.

9 Q Was it your understanding that
10 following surgery, her beta blocker had
11 been withheld?

12 A I understood that.

13 Q And did you learn why it had
14 been withheld?

15 A Her blood pressure was low.

16 Q And was it your recommendation
17 that the patient's beta blocker should be
18 restarted?

19 A It had already been restarted
20 when I was consulted.

21 Q And you were in agreement with
22 that; correct?

23 A Yes.

24 Q Did Dr. ever present to
25 you the possibility that this patient's

1 , M.D.

2 cardiac problems may have been the result
3 of intraoperative complications?

4 MR. : Note my objection.

5 A Post-op MIs, in our mind, are
6 complications.

7 Q Did Dr. discuss with
8 you -- withdrawn.

9 Did you learn from Dr.
10 whether the patient's troponin levels had
11 showed that there was elevation or
12 evidence of an MI?

13 MR. : Note my objection
14 as to whether it was Dr. , Doctor
15 anybody.

16 MR. OGINSKI: I'll rephrase it.

17 Q Did anyone tell you what the
18 patient's troponin levels were?

19 A In the first conversation,
20 there were no troponins. Subsequent
21 conversations would have updated me on
22 the status of troponins.

23 Q What did you learn about the
24 patient's troponin levels during the
25 course of the evening?

1 , M.D.

2 A Troponins were negative.

3 Q Does that rule out an MI?

4 A No.

5 Q Did that change your treatment
6 plan for this patient?

7 A No.

8 Q Did that change your initial
9 assessment as to what underlying
10 condition or problem this patient had?

11 A No.

12 Q The fact that the patient's
13 troponin levels were, I'm sorry, you said
14 negative?

15 A Yes.

16 Q That they were negative, did
17 that lead you to conclude or believe that
18 the patient had some other cause that was
19 triggering her cardiac problems?

20 A No.

21 Q In your experience, Doctor --
22 I'm sorry, you have been practicing as a
23 cardiologist for how long?

24 A Over ten years.

25 Q And in your career, have you

1 , M.D.

2 ever seen a patient experience cardiac
3 changes -- withdrawn.

4 In the course of your medical
5 career, have you ever encountered a
6 patient who has had an anastomotic bowel
7 leak?

8 A Yes.

9 Q In those particular patients,
10 have you ever seen those patients who
11 have had cardiac changes as a result of
12 an anastomotic bowel leak?

13 MR. : Objection to form.

14 When you say "cardiac changes," you
15 mean EKG changes like we saw here?

16 MR. OGINSKI: Yes.

17 A This is not typical of anything
18 but ischemia.

19 Q Did you ever learn from anybody
20 as to whether the studies and tests that
21 were done to rule out an MI, were ever
22 conclusive on whether or not this patient
23 suffered an MI?

24 A I don't know about what
25 happened after she left .

1 , M.D.

2 Q The December 1, EKG that
3 you interpreted the following day, is
4 there anything in these three pages of
5 EKGs, that suggest that this patient has
6 an MI, an acute MI?

7 A They suggest ischemia.

8 Q Separate and apart from the
9 ischemia, is there any suggestion of an
10 acute MI?

11 A The MI is not made based solely
12 on EKG changes.

13 Q Is there anything in this
14 December 1, EKG to suggest the
15 patient had a prior infarct?

16 A No.

17 MR. OGINSKI: Off the record.

18 [At this time, a discussion was
19 held off the record.]

20 Q Did you have any conversations
21 with the patient's husband, Mr. ?

22 A No.

23 Q Did you learn how long the
24 patient had been experiencing chest pain
25 at the time that you had been contacted?

1 , M.D.

2 A It would have been presented to
3 me.

4 Q As you sit here now, do you
5 have a memory as to how long the patient
6 had chest pain?

7 A I don't recall.

8 Q Did you learn that the patient
9 had a history of ovarian cancer, stage
10 three?

11 A That would have been told to me
12 in a history.

13 Q Did you learn that the patient
14 had undergone chemotherapy following the
15 ovarian cancer treatment?

16 A Yes.

17 Q Do you know Dr. ?

18 A No.

19 Q Did you ever speak with Dr.
20 ?

21 A No.

22 Q Did you ever speak with the
23 medical examiner who performed the
24 autopsy on this patient?

25 A No.

1 , M.D.

2 Q A troponin of 0.07, is that
3 normal?

4 A Yes.

5 Q In a patient with acute
6 coronary syndrome, why would you
7 administer Heparin?

8 A To help stabilize plaque,
9 increase progression of the event.

10 Q Is there a suggestion or
11 possibility that the patient could have
12 some type of embolism or stroke as a
13 result of that acute coronary syndrome?

14 MR. : I don't understand
15 what you mean by a suggestion. You
16 mean, is that within the possibility
17 that can occur?

18 Q What is the risk to the patient
19 if Heparin is not given?

20 A The patients who have acute
21 coronary syndromes, do better with
22 anticoagulants, such as Heparin.

23 Q Why?

24 A They have less extension of
25 their infarcts. They live longer. They

1 , M.D.

2 have better survival.

3 Q I would like you to turn please
4 to a note in the chart dated December 1,
5 .

6 MR. : GYN fellow?

7 MR. OGINSKI: Yes.

8 Q 20:30. Am I correct, you never
9 spoke to Dr. , the GYN fellow?

10 A Yes, I don't recall having a
11 conversation with Dr. .

12 Q In the middle of the first
13 paragraph, Dr. writes "EKG was
14 compared to pre-op and evidence of
15 tachycardia and ST segment changes," and
16 you confirmed that when you compared the
17 two; correct?

18 A Yes.

19 Q What is ASA?

20 A Aspirin.

21 Q Why is an I.V. fluid bolus
22 given?

23 A They give fluids because her
24 blood pressure -- I'm not sure why fluids
25 were given. Her blood pressure at the

1 , M.D.

2 time this is documented says 119

3 systolic.

4 Q Now, the note at the very

5 bottom, the first paragraph says "Dr.

6 contacted and the -- contacted the

7 Team and cardio attending,

8 Dr. , for suspected acute cardiac

9 event."

10 Did anyone from the

11 Team contact you?

12 MR. : She said that.

13 A , the nurse

14 practitioner.

15 Q And did you review any notes

16 that Nurse made as a result of the

17 Team?

18 MR. : On that day?

19 MR. OGINSKI: No, in

20 preparation for today.

21 MR. : Did she look at

22 anything?

23 A Yes.

24 Q Before we get to that, let's go

25 to Dr. 's note, 12/1/ , the

1 , M.D.

2 medicine consult.

3 Can you turn please to the
4 second page?

5 MR. : She said actually
6 cardiology consult. You labeled it
7 medicine consult.

8 MR. OGINSKI: That's what it
9 says.

10 MR. : It says
11 cardiology/medical consult.

12 MR. : I'm not disputing
13 what it says. In the question he
14 referred to it as the medicine
15 consult, where the witness previously
16 testified it was a cardiology
17 consult.

18 MR. : For the purpose of
19 this question, I think he just wants
20 her to turn to a note.

21 MR. : That's fine, but
22 the preamble, I'm objecting because
23 it's incorrect of the testimony.

24 Q Was it your understanding that
25 this patient's hypotension had --

1 , M.D.

2 withdrawn.

3 Did this patient have evidence

4 of hypotension prior to this cardiac

5 event?

6 A Prior to the cardiac event?

7 MR. : She would have to

8 go back.

9 MR. OGINSKI: Withdrawn.

10 Q During your conversations with

11 Dr. and Nurse , did you learn

12 whether this patient had any history of

13 hypotension?

14 A Yes, the patient had low blood

15 pressure, for which the beta blocker had

16 been held initially postoperative.

17 Q In Dr. 's note, he

18 indicates, "Exam significant for relative

19 hypotension"?

20 A Yes.

21 Q What does relative hypotension

22 mean?

23 A He notes systolic blood

24 pressure in the 80s. Relative refers to

25 the fact that that's not where the blood

1 , M.D.

2 pressure normally sits, not where the
3 patient's blood pressure normally sits.

4 Q He continues further on by
5 noting the ECG changes with diffuse ST-T
6 segment changes and T wave inversions in
7 the anterolateral leads.

8 Did you observe that?

9 A Yes.

10 Q And you concur with that?

11 A Yes.

12 Q What is that suggestive or
13 evidence of?

14 A Ischemia.

15 Q Directly underneath, to the
16 right, Dr. writes, "Concern for ACS
17 in immediate post-op period."

18 That's the acute coronary
19 syndrome you told me about?

20 A Yes.

21 Q "Versus rebound tachycardia in
22 setting of held BBs," which is beta
23 blockers; right?

24 A Yes.

25 Q "With secondary ischemia, open

1 , M.D.

2 parentheses, rate-related, closed

3 parentheses."

4 What does that mean to you,

5 Doctor?

6 MR. : I think she's

7 asked and answered that.

8 Q The part about the --

9 MR. : She told you what

10 her understanding of that term might

11 mean.

12 Q When it says "rate related
13 secondary ischemia," what does that mean?

14 MR. : What is your
15 understanding?

16 MR. : Note my objection,
17 to the extent you are asking her for
18 an interpretation of Dr. 's note.

19 If you are asking what that means in
20 a medical sense, that's another
21 matter.

22 A So in a medical sense, we use
23 that to describe situations where
24 patients have ischemia, not because of
25 obstructive coronary disease, but because

1 , M.D.

2 their heart rate has gone up. The heart
3 rate is high.

4 Q Did you form any opinion as to
5 which of these conditions the patient was
6 experiencing throughout the course of the
7 night?

8 MR. : I think she's
9 asked and answered that. I'm sure
10 she has.

11 Q Did you ever come to a
12 conclusion that this patient's --
13 withdrawn.

14 What is Lopressor?

15 A It's a beta blocker.

16 Q And tell me about the
17 discussion that was held with Dr.
18 about whether to give the patient
19 antiplatelets and anticoagulants?

20 A In someone whom we suspect
21 acute coronary syndrome, we would like to
22 use aspirin and Heparin. Someone who is
23 post-op, that needs to be approved by the
24 surgeons.

25 Q And it mentions here, "as per

1 , M.D.

2 were, ongoing EKG?

3 A Not in realtime, no.

4 Q Let's turn to the

5 Team note, dated December 1,

6 .

7 I'm going to ask you to read

8 that as best you can, Doctor?

9 A Note is dated, "12/1/ ,

10 Team, 7:50 p.m. 52 year old

11 female with, I think it says history of

12 ovarian cancer. I don't know what that

13 is. Admitted on 11/30/ for hernia

14 repair. Tonight we were called for

15 patient complaints of chest pain, with 12

16 lead showed T wave inversions V-1 through

17 V-6 at 118 beats per minute."

18 Q Let me stop you for a second,

19 Doctor.

20 What is the significance of T

21 wave inversions?

22 A Suggests ischemia.

23 Q Continue, please.

24 A "Patient said two hours ago she

25 experienced sharp pain under her left

1 , M.D.

2 breast."

3 MR. : Sweat?

4 A A word I cannot make out,
5 something, it looks like sweat, "and
6 became pail as per husband. Patient
7 appeared short of breath. Denies any --
8 I'm not sure what that word is, nausea,
9 vomiting, maybe radiation."

10 Q As in radiating pain?

11 A Yes, "radiation from the pain.
12 Patient said she still feels discomfort
13 and her chest -- in her chest. If she
14 moves, her discomfort gets worse.
15 Patient appears sick with increased
16 respiratory rate as per nurse. Patient,
17 I'm not sure what that word is, complain
18 of chest pain. She took her vitals and
19 blood pressure was 80 over 50; pulse,
20 118; respiratory rate, 28; O2 sat, 96,"
21 maybe.

22 Q Doctor, let me stop you for a
23 second.

24 The blood pressure reading, is
25 that, in your opinion, abnormal?

1 , M.D.

2 Michelle paged, notified, advised to
3 start patient on Amiodarone and Digoxin
4 and start procedure for transfer.

5 Patient to Medical Center for
6 further cardiac workup."

7 Q Let me stop you. What is
8 Digoxin?

9 A Digoxin is a drug we use to
10 help control atrial fibrillation and
11 heart rate.

12 Q Why was that prescribed here?

13 A Because she was going fast and
14 we had constraints of blood pressure.

15 Q What does that do for a patient
16 of that condition?

17 A It will hopefully slow her
18 heart rate.

19 Q Continue, please.

20 A "Patient received till now,
21 1,500 cc's of normal saline bolus with no
22 improvement in her blood pressure."

23 Q What does that indicate to you?

24 MR. : What does that
25 suggest, that finding or consistent

1 , M.D.

2 with or whatever?

3 A It doesn't point in any one
4 direction. She's volume depleted.

5 Q Continue.

6 A "We started transfer procedure.
7 Cardiology fellow at requests
8 patient to be stabilized heart rate
9 prior" --

10 Q Heart rate first?

11 A "Heart rate first, prior to
12 transfer. I spoke with Dr. , we,
13 something on -- I can't make out that
14 word. On Amiodarone, 150 milligram
15 bolus. I'm not sure what's after that.
16 Digoxin 0.25 milligram I.V. push times
17 once with minimal improvement. Heart
18 rate decreased to 160. Blood pressure
19 with no improvement. Another bolus
20 ordered."

21 Q During this course of events,
22 when you were being notified periodically
23 about this patient, under what --
24 withdrawn.

25 Under what circumstance do you

1 , M.D.

2 continue to be more aggressive to get her
3 cardio averted.

4 Q That means restoring her back
5 to normal?

6 A That's what it refers to.

7 Q It says, "after 15 minutes"?

8 A "After 15 minutes, Dr.
9 called back and said to go ahead with the
10 transfer. I spoke with the transfer
11 center and they said something, discussed
12 this issue with the cardiology fellow.
13 They will activate EMS vitals at this
14 time, 12:40 p.m., blood pressure, 80 over
15 56; heart rate, 148 -- 80 over
16 56-148-99 percent on two liters." I'm
17 not sure what this is, "pending EMS for
18 transfer, 28, 36 and .3.

19 Q Doctor, as far as the timing
20 directly above that, there is a
21 11:55 p.m. note, would it be correct to
22 say that the 12:40 note would be 12:40
23 a.m., as opposed to p.m.?

24 A Yes, that would make sense.

25 Q Did you have any conversations

1 , M.D.

2 with any of the nursing staff where this
3 patient was on the floor?

4 A No.

5 Q I would like you to take a look
6 please at an order -- withdrawn.

7 There is a computerized
8 printout of orders and specifically I ask
9 you to take a look at the last one by Dr.
10 .

11 MR. : Which part?

12 Q That is an order for a chest
13 X-ray; correct?

14 A Yes.

15 Q And that was requested stat,
16 according to that order?

17 A I'm not sure where it reflects
18 that.

19 Q It says, "time priority, stat"?

20 A Okay, yes.

21 Q It's listed here, primary
22 diagnosis, as adrenal cancer.

23 Was that your understanding as
24 to what this patient's history was?

25 A No.

1 , M.D.

2 A I did not look at the chest
3 X-ray results.

4 Q Were there any notes that
5 referred to that chest X-ray that had
6 been ordered on December 1st?

7 A I don't recall seeing any
8 reference.

9 Q Now, I would like you to look
10 at this order sheet and it involves the
11 Metoprolol being given.

12 The top one is the regular form
13 of Metoprolol; is that correct?

14 A This is the extended release.

15 Q Thank you, the extended
16 release. But a little further down,
17 there is one that is administered by I.V.
18 push, do you see that?

19 A Yes.

20 Q Is that two different forms of
21 administration of that same medication?

22 A Yes.

23 Q And do you have any knowledge
24 as to why one was given in the form of
25 extended release and later on at some

1 , M.D.

2 point after, it was given in the form of
3 an I.V. push?

4 A The -- I'm not involved with
5 the decision making for the first, but as
6 it is the medication the patient was on
7 as an out-patient, so this in my
8 estimation, the surgical team determined
9 at that time that she could resume her
10 preoperative medications.

11 And the second order is the
12 form in which we typically give beta
13 blockers at the time of this event,
14 typically give beta blockers in acute
15 coronary syndromes.

16 Q Where did you go to medical
17 school, Doctor?

18 A I went to .

19 Q When did you graduate?

20 A .

21 Q And after completing medical
22 school, where did you go?

23 A I did residency at
24 Medical Center.

25 Q That was a medicine residency?

1 , M.D.

2 A Yes.

3 Q That was three years?

4 A Yes.

5 Q And you completed that in ' ?

6 A Yes.

7 Q And what did you do after that?

8 A I did a cardiology fellowship.

9 Q Where?

10 A Also at

11 Center.

12 Q That was two years?

13 A It's a three-year fellowship.

14 Q And you completed that in '98?

15 A Yes.

16 Q And in , what did you do?

17 A , I worked at

18 Medical Center in the Department of

19 Cardiology, on faculty.

20 Q For how long?

21 A Two years.

22 Q And then what?

23 A Then I was employed at

24 Hospital.

25 Q Have you written any articles

1 , M.D.

2 or have you written anything in the field
3 of your specialty?

4 A I have been coauthor.

5 Q On how many different articles
6 or journal articles?

7 A About four or five.

8 Q Those were peer review
9 journals?

10 A Not all of them.

11 Q How many were in peer review?

12 A Two or three.

13 Q Have you ever testified before?

14 A Deposition.

15 Q How many times?

16 A Two.

17 Q Was that as a person who was
18 being sued in a lawsuit?

19 A One.

20 Q And the other one was what?

21 A I don't know what you call it.

22 Q Were you an expert?

23 A No.

24 Q Were you a witness to something
25 that occurred?

1 , M.D.

2 A No.

3 Q Were you a person bringing a
4 lawsuit?

5 A No.

6 MR. OGINSKI: Off the record.

7 [At this time, a discussion was
8 held off the record.]

9 Q The second deposition that you
10 gave, was as a result of participating in
11 someone's care, but you were not actually
12 sued; correct?

13 A I was named in the suit for
14 another one.

15 MR. : One she was named
16 and one she was not.

17 Q Have you ever testified at
18 trial?

19 A No.

20 Q And those two depositions, do
21 you know in what county those cases were
22 pending?

23 A One was in and I
24 have to say, I don't know county. I
25 can't tell you that. Both cases were in

1 , M.D.

2 A I don't recall specifically,
3 but typically we would know that the
4 patient has ultimately left the building.

5 Q Doctor, going back to the
6 December 1, EKG report, it says
7 "vent rate has increased by 53 BPM," what
8 does that mean?

9 A That the heart rate has gone up
10 by 53 beats per minute.

11 Q In your opinion, is that a
12 significant finding?

13 A It is a relevant finding, but
14 it doesn't point me in any one direction.

15 Q And the T wave inversion that
16 was evident in the anterior leads, what
17 does that mean to you?

18 A It means ischemia.

19 Q And the premature atrial
20 complexes, what does that indicate?

21 A That's not particularly
22 indicative of anything.

23 Q How do you rule in or rule
24 out -- withdrawn.

25 It's noted here in the EKG

1 , M.D.

2 report, ST and T wave abnormality,
3 consider anterior ischemia, and that's
4 your interpretation; correct?

5 A That's right.

6 Q How do you rule in or rule out
7 whether this patient truly has anterior
8 ischemia?

9 A It's a clinical diagnosis
10 that's made in combination with how the
11 patient presents, EKG findings, blood
12 work.

13 Q Is there any diagnostic tests
14 that you can perform, that will
15 conclusively tell you whether this
16 patient's ischemia is in the anterior
17 section of the heart?

18 A The Gold Standard is cardiac
19 cath.

20 Q Do you perform
21 catheterizations?

22 A I do not.

23 Q In your career, have you
24 performed catheterization?

25 A We had to train as fellows, but

1 , M.D.

2 I've never operated as a cardiac
3 catheterization.

4 Q Who typically performs that
5 type of procedure? Is that an
6 interventional cardiologist?

7 A Yes.

8 Q This condition that you
9 described, the acute coronary syndrome,
10 are you aware of any literature that
11 suggests or refers to the cause or a
12 cause of that condition being from
13 enteric contents into the abdomen?

14 MR. : Objection. She's
15 not answering that question.

16 MR. OGINSKI: What is the basis
17 for the objection?

18 MR. : Well, it's
19 entirely improper.

20 MR. OGINSKI: Is it a form
21 objection?

22 MR. : No, it's palpably
23 improper, you know that.

24 MR. OGINSKI: I get palpably.

25 MR. : Yes, how far do

1 , M.D.

2 you want me to go?

3 Q Do you have an opinion as you
4 sit here now, as to whether this
5 patient's cardiac condition was
6 precipitated by a bowel leak that she
7 experienced after her hernia surgery of
8 November 30, ?

9 MR. : Objection to form.

10 A I have no such information.

11 MR. OGINSKI: Thank you very
12 much.

13 MR. : I have some
14 follow-up questions.

15 EXAMINATION BY

16 MR. :

17 Q Doctor, I represent Dr. .
18 I just have some follow-up questions. If
19 you don't understand any of my questions.
20 Please let me know.

21 A Okay.

22 Q Other than what you already
23 testified to, do you have a memory of
24 anything else regarding any discussion
25 that you had with Dr. ?

1 , M.D.

2 MR. : That you can
3 remember here.

4 A Not specifically discussions
5 with Dr. .

6 Q So there is nothing else, other
7 than what you already testified about,
8 that you recall regarding any discussion
9 that you had with Dr. ?

10 A That's true.

11 Q Can you just refer to the 12/1
12 note that Dr. wrote in the chart,
13 please?

14 A Yes.

15 Q And in reading through that
16 note, is there anything that's contained
17 in that note, that refreshes your
18 recollection as to anything that you
19 discussed with Dr. ?

20 A No.

21 MR. : When you say
22 refresh, refreshes other than what
23 she said?

24 MR. : Yes, anything
25 about her memory regarding her

1 , M.D.

2 discussion with Dr. .

3 Q And as the cardiology
4 attending, were you supervising Dr. ,
5 with respect to the cardiology
6 consultation?

7 MR. OGINSKI: Objection.

8 MR. : Objection to form.

9 What do you mean by supervising?

10 Q Doctor, customarily, why would
11 Dr. , as the resident, be contacting
12 you as the on call cardiology attending?

13 A Because they're seeing --
14 customarily medicine residents do the
15 consults and present them to us for
16 further evaluation and input.

17 Q In terms of the plan of care
18 that's reflected in the note that Dr.
19 wrote, did you agree with the plan
20 as reflected in that note?

21 A I don't agree with everything
22 in the plan.

23 Q What did you not agree with?

24 A I don't agree with transfer to
25 telemetry and the other bit we already

1 , M.D.

2 A Yes.

3 Q Was there any time when you
4 were involved with respect to this
5 patient, Mrs. , that you
6 considered using the telemetry at
7 for her monitoring?

8 A No.

9 Q And, Doctor, can you just tell
10 me why it is that you felt that the
11 tachycardia was not secondary to the beta
12 blocker control?

13 A Typically seen, rebound
14 tachycardia happens in patients who are
15 on higher doses of beta blockers, who
16 have been off their beta blocker for
17 longer periods of time. She had also
18 already received beta blockers.

19 Q Referring to higher doses than
20 what this patient received and for a
21 longer period of time?

22 MR. : Longer period of
23 time that she's off it.

24 A Correct.

25 Q And do you recall approximately

1 , M.D.

2 how long your discussion was with Dr.
3 , when you were contacted at around
4 the time of Dr. 's note?

5 A I do not recall.

6 Q Did you speak with Dr. any
7 other time, other than when you were
8 contacted around the time of Dr. 's
9 note?

10 A Not that I recall.

11 MR. : Off the record.

12 [At this time, a discussion was
13 held off the record.]

14 MR. : Do you remember,
15 only what you remember here today
16 now, do you remember more than one
17 conversation with Dr. or only
18 one conversation with Dr. ?

19 THE WITNESS: I don't remember
20 more than one conversation with Dr.
21 .

22 MR. : Do you remember
23 more than one conversation with the
24 nurse practitioner?

25 THE WITNESS: Yes.

1 , M.D.

2 Q And did you, at any time after
3 speaking with Dr. around the time
4 when he wrote his note, did you at any
5 time attempt to contact Dr. after
6 that?

7 A I don't think so.

8 Q Or did you contact Dr. at
9 any time after the conversation with him,
10 around the time of his note?

11 A I had no other conversation
12 that I recall with Dr. .

13 Q I'm not certain if in your
14 earlier testimony, I might have misheard
15 you, you made a reference earlier to
16 having spoken with Dr. again or
17 contacting him again.

18 But if there is testimony about
19 that earlier in the transcript, you are
20 now correcting that to clarify that you
21 only recall the one conversation with Dr.
22 ; is that right?

23 A That's right.

24 MR. : Thank you.

25 Nothing further.

1 , M.D.

2 Team and the patient was
3 not transferred to telemetry.

4 MR. : Thank you.

5 (Time noted: 12:00 p.m.)

6 _____

7 , M.D.

8

9 Subscribed and sworn to before me

10 this day of , 20__.

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXAMINATION BY	PAGE
MR. OGINSKI	4
MR.	99
MR. OGINSKI	106
MR.	107

E X H I B I T S

PLAINTIFF'S EXHIBIT	DESCRIPTION	PAGE
Exhibit 1	11/27/07 EKG	38
Exhibit 2	12/1/07 EKG	38

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T I O N

I, _____, a Shorthand
Reporter and a Notary Public, do hereby
certify that the foregoing witness, was
duly sworn on the date indicated, and
that the foregoing is a true and accurate
transcription of my stenographic notes.

I further certify that I am not
employed by nor related to any party to
this action.

1

2

ERRATA SHEET
VERITEXT/NEW YORK REPORTING, LLC

3

CASE NAME: VS.
DATE OF DEPOSITION: JUNE 23,
WITNESS' NAME: , M.D.

5

PAGE/LINE(S) /	CHANGE	REASON
6	/ /	/
7	/ /	/
8	/ /	/
9	/ /	/
10	/ /	/
11	/ /	/
12	/ /	/
13	/ /	/
14	/ /	/
15	/ /	/
16	/ /	/
17	/ /	/
18	/ /	/
19	/ /	/

20

21

, M.D.

22

23

SUBSCRIBED AND SWORN TO
BEFORE ME THIS _____ DAY
OF _____, 20__.

24

NOTARY PUBLIC

25

MY COMMISSION EXPIRES _____