

**DE-IDENTIFIED DEPOSITION OF AN ORTHOPEDIST  
IN A NY MEDICAL MALPRACTICE CASE**

1  
2 SUPREME COURT OF THE STATE OF NEW YORK  
3 COUNTY OF QUEENS  
4 Index No.  
5 - - - - -x  
6  
7 Plaintiff,  
8 -against-  
9

10  
11 Defendants.  
12 - - - - -x  
13 June 21, 20  
14 1:30 p.m.

15  
16 EXAMINATION BEFORE TRIAL of  
17 taken by  
18 Plaintiff, pursuant to Order, held at the  
19 offices of , L.L.P.,  
20 ,  
21 before , a Notary Public  
22 of the State of New York.  
23  
24 \* \* \*  
25

0002

1  
2 A p p e a r a n c e s :  
3  
4 THE LAW OFFICE OF GERALD M. OGINSKI, LLC  
5 25 Great Neck Road, suite 4  
6 Great Neck, New York 11021  
7 Attorneys for Plaintiff  
8  
9 LLP  
10  
11 Attorneys for Defendant  
12 , M.D.  
13 BY: ESQ.  
14  
15 ESQS.  
16  
17 Attorneys for Defendant  
18  
19 BY: , ESQ.  
20  
21  
22  
23  
24 \* \* \*

25  
0003

1

2

STIPULATIONS

3

4 IT IS HEREBY STIPULATED AND AGREED BY  
5 and between counsel for the respective  
6 parties hereto that:

7 All rights provided by the C.P.L.R.,  
8 and Part 221 of the Uniform Rules for the  
9 Conduct of Depositions, including the  
10 right to object to any question, except  
11 as to form, or to move to strike any  
12 testimony at this examination, are  
13 reserved; and, in addition, the failure  
14 to object to any question or to move to  
15 strike any testimony at this examination  
16 shall not be a bar or waiver to make such  
17 motion at, and is reserved for, the trial  
18 of this action.

19 This deposition may be sworn to by  
20 the witness being examined before a  
21 Notary Public other than the Notary  
22 Public before whom the examination was  
23 begun, but the failure to do so or to  
24 return the original of this examination  
25 to counsel, shall not be deemed a waiver

0004

1

2 of the rights provided by Rules 3116,  
3 C.P.L.R., and shall be controlled  
4 thereby.

5 The filing of the original of this is  
6 waived.

7 IT IS FURTHER STIPULATED, a copy of  
8 this examination shall be furnished to  
9 the attorney for the witness being  
10 examined without charge.

11

12

\* \* \*

13

14

15

16

17

18 having been first duly sworn by a Notary  
19 Public of the State of New York, upon  
20 being examined, testified as follows:

21

22

23

24

25

0005

1

2

3

4

Q Please state your address for  
the record.

A , M.D.

5  
6 Q Good afternoon, Doctor.  
7 A Good afternoon.  
8 Q On January 11, 20 , you  
9 performed surgery on Ms. , correct?  
10 A Yes.  
11 Q You performed a unicompartmental  
12 knee replacement?  
13 A Yes.  
14 Q While in the recovery room, did  
15 you order x-rays be taken of her knee?  
16 A Yes.  
17 Q What?  
18 A You always take x-rays after you  
19 do a knee replacement to see where the  
20 components are.  
21 Q Before January 11, 20 , when  
22 you performed this type of surgery, would  
23 there ever be an occasion where you would  
24 perform x-rays intraoperatively?  
25 A Not usually with the knee

0006

1  
2 replacement.  
3 Q How do you know during surgery  
4 whether the components that you are  
5 inserting are correctly positioned?  
6 A You are looking pretty much  
7 right at them.  
8 Q And what is purpose, then, of  
9 taking x-rays following the surgery?  
10 A Well, you need to see the  
11 alignment of the femur and the tibia and  
12 to make sure everything -- you can't see  
13 everything, especially with a  
14 unicompartmental knee replacement, the  
15 incision is very small and you can't see  
16 behind the knee. So you need x-rays to  
17 confirm.  
18 Q Is there any benefit to taking  
19 x-rays intraoperatively at the conclusion  
20 of the procedure, rather than waiting for  
21 the patient in recovery?  
22 A You mean, with the wound open?  
23 Q Yes.  
24 A Well, that would expose her to  
25 more chance of infection, which you worry

0007

1  
2 about with knee replacement or any joint  
3 replacement. You can certainly do it with  
4 the knee closed, but I don't think that's  
5 going to offer you any more benefit. Then  
6 the x-ray you are going to get in the  
7 operate room isn't usually as good either.  
8 Q Why? Is it because it's a  
9 portable x-ray?  
10 A Well, because everything is in

11 the way there. You are in the operating  
12 room, not in the recovery room where you  
13 can move the patient around properly.

14 Q In terms of addressing the  
15 comment you made about the possibility of  
16 infection if you leave a patient open  
17 longer, how long does it usually take to  
18 get an x-ray?

19 A It's bringing this machine in  
20 that's been out in the hallway and all  
21 those sort of things. It increases the  
22 risk of infection.

23 Q When Mrs. had her  
24 postoperative x-rays in the recovery room,  
25 do you recall which views it was that were

0008

1  
2 taken?

3 A AP and lateral.

4 Q And did you read and interpret  
5 those films?

6 A I did.

7 Q At , Doctor, where those  
8 films were taken, do those films come up  
9 or the x-ray images come up on a computer  
10 screen, or do you actually have to have  
11 the actual films?

12 A No. They come up on the  
13 computer screen.

14 Q And you are able to visualize  
15 that from any computer station at the  
16 hospital?

17 A Well, certainly most of them.

18 Q And am I correct that over the  
19 course of your career, you have had many  
20 occasions to read and interpret x-rays?

21 A Yes.

22 Q And you just told me that you  
23 did, in fact, read and interpret Mrs.

24 's immediate postoperative x-rays?

25 A Yes.

0009

1  
2 Q What were your findings, Doctor?

3 A My findings were that the x-ray  
4 wasn't a good x-ray, and I was worried  
5 that the tibial component wasn't properly  
6 placed.

7 Q And can you explain to me why it  
8 wasn't a particularly good x-ray?

9 A Well, because when you want a  
10 good lateral, you like to see the tibia  
11 flat and here you could see one plateau  
12 and the other plateau and because an x-ray  
13 is only two-dimensional, it's not  
14 three-dimensional, so I didn't know which  
15 plateau I was really looking at with the  
16 tibial component.

17 Q Were there other tests that you  
18 could perform separate and apart from the  
19 x-ray, such as an MRI, a CAT scan or other  
20 diagnostic test that would assist you in  
21 evaluating that particular issue?

22 A Well, first of all, an MRI is  
23 out of the question, because of the metal  
24 components. A CT scan also probably  
25 wouldn't have been of very much benefit,

0010

1

2 again, because of the scatter from the  
3 metal. The best thing is an x-ray, and so  
4 we ordered new x-rays that were done more  
5 with the -- more properly aligned and the  
6 tibial component looked more level with  
7 the tibial plateau.

8 Q When were those new x-rays done?

9 A I'm not sure exactly whether  
10 they were done within a few hours or the  
11 next morning in the x-ray department,  
12 where you could get good x-rays.

13 Q Do you recall having a  
14 conversation with Mrs. while she  
15 still remained in the recovery room about  
16 your reading an interpretation of the  
17 x-rays?

18 A Yes.

19 Q Tell me what it is you remember  
20 about that conversation.

21 A I recall that I told her I did  
22 not like what I saw in the x-ray, that I  
23 thought the tibial component might not be  
24 properly placed, and that we might have to  
25 go back and replace it.

0011

1

2 Q And what, if anything, did she  
3 say in response?

4 A I don't know what she said at  
5 that particular time. I know within her  
6 hospital stay, either her daughter or she  
7 said, well, if had has to be done, let's  
8 do it now or soon or something to that  
9 effect.

10 Q Did you agree with a comment  
11 like that?

12 A If it had to be done, I would  
13 certainly agree that it should be done  
14 sooner rather than later, if it still  
15 remained displaced.

16 Q Intraoperatively, am I correct  
17 that you use cement to hold certain  
18 components in place?

19 A Yes.

20 Q How long does it take for that  
21 comment to cure and harden?

22 A About -- well, the whole process

23 takes about eighteen minutes.  
24 Q And once it's cured and  
25 hardened, do you expect those components  
0012

1  
2 to move at all?

3 A No.

4 Q If you had made a decision while  
5 she was still in recovery a component was  
6 not in the correct position, what is the  
7 next step that you would have done?

8 A The next step, I would have put  
9 it back.

10 Q What is the risk of leaving the  
11 patient with a component that is not in  
12 the correct position?

13 A Well, there's not a huge risk as  
14 far as life or limb is concerned, but if  
15 the components aren't properly positioned  
16 or flat with the tibia, then it could lead  
17 to a less satisfactory result.

18 Q And what types of symptoms would  
19 you expect to see in a patient who does  
20 not have, as you just described, a less  
21 than satisfactory result, where there may  
22 be a malposition?

23 A Well, in her, I thought the  
24 component was loose, not necessarily  
25 malpositioned. And you would find  
0013

1  
2 instability, you would find clicking, you  
3 would find popping, because the component  
4 would be flopping around in there.

5 Q Would the patient exhibit any  
6 type of complaints based upon a loose  
7 component?

8 A Not at that particular time. I  
9 mean, the patient just had an operation,  
10 there's a lot of pain.

11 Q Fair enough. At some point down  
12 the road, after the pain medication has  
13 worn off and they discharged her, at some  
14 point afterwards?

15 A Once the acute surgical -- most  
16 people after knee replacement have pain  
17 for at least three months. So yes,  
18 loosening of a component will be and can  
19 be painful.

20 Q In the course of your career,  
21 have you seen instances where a component  
22 was, in fact, loose --

23 A Yes.

24 Q -- after insertion?  
25 And what do you do to fix that?

0014

1  
2 A You revise it.

3 Q How? You have to re-operate?

4 A Yes.

5 Q And when you revise it, do you  
6 remove the hardware, or do you try and  
7 affix it with something else?

8 A I have to remove the loose  
9 component and start over again.

10 Q When you do a revision, do you  
11 need to use new hardware and new implant  
12 material, or do you use the same ones that  
13 were in the patient?

14 A I think in most cases, you would  
15 use new implant material.

16 Q Did you make a note in the  
17 patient's chart after you had read and  
18 interpreted the January 11, 20 immediate  
19 postoperative x-rays?

20 A Did I? No.

21 Q Can you explain to me why?

22 A Well, there is a note from the  
23 resident the next morning that suggested  
24 that we might have to reoperate. And, you  
25 know, I don't write -- until I'm sure of

0015

1

2 something, I am not going to write a note  
3 saying bad x-ray, looks funny, get new --  
4 I mean, I don't see any purpose to write a  
5 note like that.

6 Q When this next set of x-rays  
7 were taken on January 12th, according to  
8 the hospital record and the x-rays  
9 themselves, did you read and interpret  
10 those personally?

11 A Yes.

12 Q And what was your opinion about  
13 those x-rays?

14 A The tibial component looked  
15 perfectly flush with the tibial plateau,  
16 and did not appear to be loose at that  
17 time.

18 Q Was there something done  
19 differently with the January 12th x-ray  
20 that made it a better film than the  
21 January 11th x-rays?

22 A Yes. It was taken in the x-ray  
23 department, where they can do a better  
24 job, rather than taking portables.

25 Q And based upon your review and

0016

1

2 interpretation of the January 12th films,  
3 did you make a note in the chart about  
4 your interpretation and findings?

5 A I did not make a note, but we  
6 discussed it with the team and no further  
7 surgery was indicated at this time.

8 Q And can you just explain to me

9 why you did not make a note about your  
10 reading and interpreting the January 12th  
11 films?

12 A Well, I don't usually put notes  
13 in the chart about my interpretation of  
14 x-ray films. The radiologists usually do  
15 that.

16 Q How would another physician  
17 looking at the patient's chart know that  
18 you had read and interpreted the patient's  
19 films from either the 11th or the 12th of  
20 January?

21 MR. : I'm going to  
22 object.

23 MR. : When you say  
24 "another physician," you mean?

25 MR. OGINSKI: In the

0017

1  
2 hospital, who is caring for the  
3 patient.

4 MR. : An orthopaedic  
5 surgeon?

6 MR. OGINSKI: I will rephrase  
7 the question.

8 Q Am I correct, Doctor, that you  
9 have orthopaedic residents that rotate  
10 through your hospital?

11 A Yes.

12 Q And they do different rotations  
13 at various times?

14 A Yes.

15 Q And in addition, do you have  
16 attending physicians that work with you in  
17 seeing and treating patients?

18 A Yes.

19 Q And in your own practice, do you  
20 have either partners or associates that  
21 are attendings who also care for your  
22 patients when you are away or not  
23 available?

24 A Yes.

25 Q In this particular case, were

0018

1  
2 you the only attending physician in your  
3 practice that saw and cared for Mrs. ?

4 A To the best of my knowledge,  
5 yes.

6 Q If a resident were to look at a  
7 patient's chart, again, an orthopaedic  
8 resident, that may not have been present  
9 for any conversation you had on rounds or  
10 elsewhere, how would that particular  
11 individual know that you had read and  
12 interpreted the films for January 11th or  
13 even January 12th?

14 MR. : I'm going to



15 object.  
16 A Because we all make rounds  
17 together.  
18 Q I'm saying if someone was not  
19 present.  
20 MR. : I'm going to  
21 object.  
22 MR. : It's okay.  
23 A If they are not present, they  
24 have to call me or call one of the  
25 residents that was present. I mean, it's  
0019

1  
2 not like it's 3 miles away.  
3 Q In your review of the patient's  
4 chart before coming here today, did you  
5 see any mention in the resident's note for  
6 January 12th about the reading and  
7 interpretation of the x-rays done on  
8 either the 11th or the 12th?

9 MR. : I'm going to  
10 object again.  
11 MR. : For the  
12 record, I don't have an original  
13 hospital chart here today, but for the  
14 purposes of the deposition, we will  
15 use my copy, if that's okay.  
16 Doctor, take a look at the  
17 January 12th notes so that you can  
18 answer Mr. Oginski's question.

19 A The resident wrote them the  
20 morning of the 12th. "No acute events.  
21 Question need for re-operation."  
22 Q Let me stop you for a second,  
23 Doctor. You are reading now from what  
24 would be the progress notes, continuation  
25 sheet for January 12th.

0020  
1  
2 A (Indicating.)  
3 Q Okay.  
4 A Everything is normal. And then  
5 the last thing says: "Question OR today."

6 Q My question, Doctor, is a little  
7 more basic, and I'm sorry if I wasn't  
8 clear. Is there anything in the  
9 January 12th orthopaedic resident note to  
10 say that anyone had looked at the x-ray  
11 films?

12 A No.  
13 MR. : I'm going to  
14 object to that.

15 Q Can you turn, please, to the  
16 January 11th orthopaedic resident note.

17 A Do we have that?

18 Q If you don't, I will give you my  
19 copy.

20 MR. : Which one?

21 MR. OGINSKI: January 11th.  
22 A Okay.  
23 Q And by the way, can you tell  
24 from the writing on this note which  
25 orthopaedic resident it was that wrote the

0021

1  
2 note?

3 A No.

4 Q Do you have a memory as to who  
5 was caring for Mrs. on the 11th or  
6 the 12th?

7 A No.

8 Q Can you read the first two lines  
9 of that note, please?

10 A I can read --

11 MR. : To the best of  
12 your ability, given that you didn't  
13 write it, Doctor. So if you can't  
14 read something, you will tell us.

15 MR. : Please note my  
16 continuing objection.

17 A He is objecting --

18 MR. : That's okay.  
19 Over objection, you can read the note.

20 A It says -- I can't read exactly,  
21 but there is something about "OR again  
22 tomorrow for a revision of unicondylar  
23 knee replacement."

24 Q Just so the record is complete  
25 Doctor, am I correct that the first five

0022

1  
2 words, what appear to be the first five  
3 words are --

4 MR. : Well, don't do  
5 that. I'm going to object. He can't  
6 read it, so --

7 MR. OGINSKI: Okay.

8 Q Can you go down, please, toward  
9 the bottom-third of the page, where it  
10 says "XR." Does that represent x-ray?

11 MR. : I'm going to  
12 object again.

13 A Yes.

14 Q Can you tell me what that says,  
15 those two lines there?

16 A It says: "Implant in place.  
17 Question retro --" or I can't --

18 MR. : Don't guess.

19 A Something about "tibial  
20 prosthesis."

21 Q Can you tell from this note,  
22 Doctor, who, if anyone, read and  
23 interpreted the January 11, '06 x-ray?

24 MR. : Other than  
25 himself, because he has already said

0023

1  
2 himself?  
3 MR. OGINSKI: Just based on  
4 his note.  
5 MR. : I'm going to  
6 object again.  
7 Q Anyone else?  
8 A No.  
9 Q Under "Assessment and Plan,"  
10 what is written under number 1?  
11 A "OR to --" I guess -- "tomorrow,  
12 for revision."  
13 Q Do you recall having any  
14 discussion with the orthopaedic residents  
15 that the patient, Mrs. , was going to  
16 be returned to the operating room to have  
17 a repair or a revision of her knee  
18 surgery?  
19 A Do I recall?  
20 Q Yes.  
21 A No.  
22 Q Do you know of any reason why  
23 this particular resident would indicate in  
24 the note that the patient would be  
25 returned to the operating room?

0024

1  
2 MR. : I'm going to  
3 object.  
4 A Do I know?  
5 Q Yes.  
6 A I don't know, but I can suppose.  
7 MR. : No.  
8 Q I don't want you to guess,  
9 Doctor.  
10 After you had reviewed the  
11 January 12th x-ray films, did you have a  
12 conversation with Mrs. about your  
13 review?  
14 A I don't know -- sometime  
15 afterward, I did. I don't know which day  
16 or at what time, but I think it was very  
17 close to the 12th.  
18 Q And based upon your  
19 interpretation of the films, do you  
20 recall, as you sit here, now, what you  
21 told her about whether she would or would  
22 not need any further surgery to correct  
23 what you had thought earlier might be the  
24 need for a revision?

25 A I don't exactly, obviously,

0025

1  
2 recall, but the explanation that I gave  
3 her and her daughter was that the new  
4 x-rays showed the components to be  
5 satisfactorily placed and that I did not  
6 see a need for emergency surgery and that

7 we should try to see what happens with  
8 physical therapy and see if it does okay.  
9 Now, prior to surgery, I told  
10 her that doing unicompartmental knee  
11 replacements might not work at all because  
12 the arthritis in the other compartments  
13 might be more than what could be helped  
14 with the unicompartmental knee  
15 replacement.

16 Q And how would that affect the  
17 insertion of the unicompartmental  
18 hardware?

19 A It doesn't affect the insertion,  
20 particularly, but it certainly affects the  
21 end result.

22 Q How?

23 A People who have painful  
24 arthritis that involves the other joints  
25 also have the pain from the arthritis in

0026

1  
2 the other part of the joint.

3 Q Now, postoperatively, when Mrs.  
4 followed you in the office, when she  
5 came back for follow-up visits, she was  
6 complaining of pain in a particular part  
7 of her knee, do you recall that?

8 A Yes. She was complaining in a  
9 different part than preoperatively,  
10 according to my notes.

11 Q We are going to go through your  
12 notes in a little while, but do you  
13 remember what, if anything, you attribute  
14 that new location of pain to, if anything?

15 A I thought she was having  
16 patellofemoral pain.

17 Q And why would she be having that  
18 type of pain postoperatively?

19 A Because that part of the knee  
20 was not replaced with a unicompartmental  
21 knee replacement.

22 Q Was it your opinion, Doctor,  
23 that the pain she was experiencing was  
24 related to arthritis in the other  
25 compartments?

0027

1  
2 A I certainly thought that was a  
3 good part of it.

4 Q If Mrs. had the hardware or  
5 the implant device that you put in her  
6 knee had been incorrectly positioned into  
7 an area that it should not be, could that  
8 cause pain?

9 A If it were markedly out of  
10 place, I suppose it could cause pain, yes.

11 Q Did you ever make any  
12 determination on any of the office visits,

13 either clinically or looking at x-rays,  
14 that the positioning of the hardware that  
15 you inserted was not in the correct  
16 position?

17 A I didn't think that the position  
18 of the components were what were causing  
19 her pain as much as the other parts of her  
20 knee.

21 Q And again, you are referring to  
22 the arthritis?

23 A The arthritis, the  
24 patellofemoral pain and that the operation  
25 hadn't worked and she would be better with

0028

1

2 a knee replacement, total knee  
3 replacement.

4 Q When you initially discussed  
5 with Mrs. the various options  
6 available to her for her condition in her  
7 left knee, was one of the options doing a  
8 total knee replacement?

9 A Yes.

10 Q And other than the total knee  
11 and the unicompartmental insertion, what  
12 other options were available to her to  
13 treat her condition?

14 A Therapy or injections of various  
15 materials.

16 Q Are you talking more like  
17 steroids or --

18 A Well, steroids is one, but the  
19 newer materials, Synvisc, which give  
20 temporary relief sometimes.

21 Q Were you aware that she had  
22 previously been to another orthopaedist  
23 who had tried certain conservative  
24 efforts?

25 A She had even had an arthroscopy

0029

1

2 before.

3 Q And what was your opinion as to  
4 the type of surgery she required?

5 A I thought she was a fairly good  
6 candidate for unicompartmental knee  
7 replacement.

8 Q Had there been no complications  
9 associated with the insertion of that  
10 device, how long would you expect that  
11 hardware to remain in the patient?

12 A You know, that's very variable.  
13 A lot of the surgeons have stopped doing  
14 this operation because of the  
15 unsatisfactory results. There are reports  
16 that some of them -- or even many of them  
17 are revised within the first year, and  
18 there are reports that they last twelve,

19 fifteen years. But these are theories  
20 done by single surgeons, and no one seems  
21 to be able to reproduce the results.

22 Q In your experience, Doctor, what  
23 has been your experience with this type of  
24 device and the length of time in which  
25 this lasts?

0030

1

2 A Well, this one is the first one  
3 that's needed to be revised this soon.

4 Q And typically, based upon your  
5 experience using this device, how long  
6 would you say this hardware and this  
7 particular device tends to last?

8 A I can't answer that question  
9 with any accuracy.

10 Q Can you give me a range?

11 A It's hard to say, because I  
12 don't have -- haven't tabulated the  
13 results.

14 Q Is there any literature that you  
15 are aware of that has studied and looked  
16 at the length of time this hardware, on  
17 average, has been able to stay in a  
18 patient assuming no other complications?

19 A There are a lot of other  
20 articles, as I said, by single surgeons.  
21 Some have had revisions in less than a  
22 year and some think it lasts eight to  
23 twelve years.

24 Q Did you tell Mrs. , before  
25 the surgery was done, again, when you were

0031

1

2 discussing with her her options, how long  
3 she could expect this device to last?

4 A I think the discussion was that  
5 it would have to be revised at some time  
6 in the future because all of them seem to  
7 have to be revised and that it may last  
8 maybe up to eight to twelve years.

9 Q And when doing a total knee  
10 replacement, when you discussed that  
11 option with Mrs. , did you give her  
12 any opinion as to how long a total knee  
13 replacement would last assuming no  
14 complications?

15 A Well, I don't know exactly.  
16 What I am doing is telling you what I  
17 would tell most patients. At her young  
18 age, there would have to be some sort of  
19 revision, and a total knee replacement as  
20 well at least to replace the plastic  
21 component, the gliding surface and whether  
22 that lasted in her twelve, fifteen,  
23 eighteen years, there are some that last  
24 that long, but either one, should she live

25 to a normal life expectancy, would need  
0032

1  
2 some sort of revision.

3 Q From a recuperation standpoint,  
4 is there any difference between a  
5 unicompartmental procedure and a total  
6 knee replacement procedure?

7 A I have not seen it particularly,  
8 no.

9 Q Are your instructions,  
10 postoperative instructions the same for  
11 either procedure?

12 A Yes.

13 Q And the healing period or length  
14 of time that you expect to see improvement  
15 for either procedure, are they generally  
16 the same?

17 A As a matter -- there are, there  
18 are some people with total knees that are  
19 much better within three to four weeks and  
20 there are some people with uni's that  
21 don't get better for four to six months.  
22 It's a very variable, patient-dependant  
23 thing.

24 Q Going back to Mrs. 's  
25 hospitalization, at any point while she

0033

1  
2 was a patient at from  
3 January 11th to, I believe, January 18th,  
4 did you ever have a conversation with her  
5 about whether you thought that the  
6 components that were in her knee would  
7 move or had moved at that point?

8 A I'm sure we had many  
9 conversations about whether they had or  
10 hadn't moved, that I thought they had, but  
11 the x-ray on the 12th, which was a good  
12 x-ray, seemed to indicate they haven't.

13 Q I'm talking about afterwards.

14 A We had the same conversation, I  
15 believe, almost daily. I don't -- you  
16 know. Sorry, I can't recall exactly.

17 Q Did you write a note at any time  
18 while Mrs. was a patient in the  
19 hospital?

20 A I don't see any in my  
21 handwriting.

22 Q Did you see Mrs. every day?

23 A I would see the patients on a  
24 daily basis during the week with the  
25 residents. We would all make rounds

0034

1  
2 together.

3 Q And when you would see her,  
4 would you typically examine her?

5           A       I would look at her leg, make  
6       sure she didn't have a phlebitis, it  
7       wasn't inflamed. The residents would  
8       round with me.  
9           Q       I would assume you talked with  
10      her also?  
11          A       Yes. More talking than  
12      examining.  
13          Q       And at some point after you had  
14      seen Mrs.       , would you customarily make  
15      a note of your examination and your  
16      findings?  
17          A       No. The residents would do  
18      that.  
19          Q       Is there any particular reason  
20      why you, yourself, did not make any notes?  
21          A       The reason is I don't see the  
22      reason to write the same thing twice. I  
23      mean, we would discuss it and they would  
24      transcribe it.  
25          Q       Can you turn, please, to the  
0035

1  
2      January 14th orthopaedic resident note.  
3      It says: "Ortho II."  
4              Do you see that?  
5          A       Yes.  
6          Q       Can you tell from this note who  
7      wrote the note?  
8          A       No.  
9          Q       Do you recognize the signature?  
10       A       No.  
11       Q       Under "Assessment/Plan," can you  
12      read what number 3 says?  
13       A       I can't read the first word.  
14      The second word says "home today or  
15      tomorrow."  
16       Q       And going up one line for number  
17      2, can you tell me what those initials  
18      mean, "WBAT"?  
19       A       "Weightbearing as tolerated."  
20       Q       And do you know or recall why  
21      Mrs.       was not released either that day  
22      or the following day, but instead was  
23      released four days later?  
24       A       It looks to me like she  
25      developed some cardiac problems. "Denies  
0036

1  
2      dizziness and shortness of breath, but she  
3      does complain of diaphoresis," and I think  
4      it says -- I don't know. Do you want me  
5      to continue?  
6       Q       No. That's okay, Doctor.  
7              Let me go back, Doctor, to the  
8      original surgery and your initial thoughts  
9      while the patient was in the recovery  
10     room. When doing a procedure, after you



11 have completed a procedure, but before  
12 closing the patient, if you took an x-ray  
13 at that point, intraoperatively, and found  
14 that there was an incorrect positioning,  
15 am I correct that you would simply reopen  
16 the patient at that point and do the  
17 repair?

18 A Yes. If -- I mean, if there was  
19 something obviously wrong in the x-ray.

20 Q Did you speak to any of the  
21 radiologists who reviewed either the  
22 January 11th or the January 12th x-rays at  
23 any time during Mrs. 's hospital stay?

24 A I don't recall.

25 Q I would like you to turn,

0037

1

2 please, to the x-ray reports, which are  
3 contained within the hospital record.  
4 Specifically page 47 of 91.

5 A (Complying.)

6 Q Doctor, if you go back even one  
7 page more, to page 46 of 91.

8 A (Complying.)

9 Q At the very bottom of the page,  
10 in black, it says "x-rays knee portable  
11 January 11, 20 ," and it's timed at  
12 16:40.

13 A Okay.

14 Q Now, if you turn the page,  
15 Doctor --

16 A We are on 47 now?

17 Q Yes. We now have the  
18 radiologist's interpretation. Is there  
19 anything in the interpretation that  
20 describes the positioning of the hardware?

21 A No.

22 Q Now, turn back, please, to page  
23 46 of 91.

24 A (Complying.)

25 Q And now we look at the x-rays

0038

1

2 taken the following day on January 12,  
3 20 at 18:00 hours, which is the same, I  
4 believe, as 6:00 p.m.?

5 A Well, that's -- yes. No -- I  
6 don't understand what -- oh, okay. All  
7 right. I get it. I'm just trying to  
8 figure out --

9 MR. : Why this one  
10 is before that one.

11 MR. : It's just the way  
12 it prints out I think.

13 A Okay.

14 Q Is there anything in this  
15 particular x-ray report which discusses  
16 the positioning of the hardware?

17 A No.  
18 Q According to this report, it  
19 indicates that the films were taken at  
20 6:00 p.m. the following day, when you had  
21 requested that additional films be taken  
22 when the patient was in the recovery room  
23 on January 11th. Was there any urgency or  
24 need to do the films on any kind of  
25 expedited basis?

0039

1  
2 A I wanted them done in the  
3 radiology department. Not another  
4 portable. I wanted them done properly.  
5 She was already in the recovery room with  
6 dressing was on, wound was closed, the  
7 anesthesia was -- so I didn't -- I didn't  
8 think it made a huge difference, because  
9 I -- obviously, when you do the operation,  
10 before you close it, you look at the  
11 components. And we looked at them. They  
12 looked very good. So I was surprised to  
13 look at the x-ray and think that maybe it  
14 wasn't right. I thought it was the x-ray,  
15 not the components.

16 Q And based upon the possibility  
17 that she would be returned back to the  
18 operating room, did you keep her NPO?

19 A We did the next day, yes, until  
20 we got the appropriate films, looked at  
21 them, decided that it wasn't necessary.

22 Q And then you put her back on her  
23 regular diet, when she was able to  
24 tolerate?

25 A Yes.

0040

1  
2 Q I would like you to turn,  
3 please, to page 45 of 91.

4 A (Complying.)

5 Q These are the next set of x-rays  
6 that were taken on January 17, 20 . Do  
7 you see that?

8 A Yes.

9 Q Is there any comment by the  
10 radiologist in this report that discusses  
11 the placement of the hardware?

12 A Just that it's there.

13 Q Did you, personally, read and  
14 interpret these January 17th notes?

15 A Yes.

16 Q Tell me what your thoughts were  
17 when you read those films.

18 A My thoughts, again, were that  
19 the components were satisfactorily placed.  
20 There was no evidence that it had come  
21 loose and was floating around.

22 Q When Mrs. followed up in

23 your office, there were occasions when you  
24 had x-rays requested or ordered them for  
25 her, correct?

0041

1

2 A Yes.

3 Q And there is a radiology report  
4 dated April 4, 20 , on page 43.

5 By the way, Doctor, is your  
6 office located within the hospital?

7 A Yes.

8 Q And if you wanted a patient to  
9 have an x-ray, did they typically have the  
10 x-rays taken in the hospital?

11 A Yes.

12 Q Looking at this particular  
13 report, the radiologist compared the  
14 January 12th films with the January 17th  
15 films and the ones done on April 4th,  
16 correct?

17 A Yes.

18 Q And the radiologist made a  
19 notation, and I'm going to read it: "The  
20 hemiarthroplasty of the medial compartment  
21 is re-demonstrated and appears stable in  
22 position on the lateral projections.  
23 However, a frontal projection needs to be  
24 provided in order to comment on the  
25 relative position of the prosthesis."

0042

1

2 Do you see that?

3 A Yes.

4 Q What does that mean to you?

5 MR. : I'm going to  
6 object.

7 A What does it mean? It means  
8 that they wanted an AP x-ray.

9 Q And is there any reason, to your  
10 knowledge, that an AP x-ray was not done?

11 A No.

12 Q When you would ask Mrs. to  
13 get x-rays, did you tell her specifically  
14 or give her a note saying what x-rays you  
15 wanted done?

16 A Do I give them a note. I think  
17 the AP x-ray did get done. I don't know  
18 if the radiologist saw it. The problem  
19 that we are discussing here was seen  
20 always on the lateral x-ray, not on the AP  
21 x-ray to begin with.

22 Q Let's take a look, Doctor, at  
23 the April 4th x-rays, just to make sure we  
24 know exactly what was done.

25 A (Complying.)

0043

1

2 MR. : Just so it's

3 clear for the record, Counsel for the  
4 hospital has provided original films  
5 from the hospital for the purposes of  
6 the deposition at the request of  
7 Plaintiff's Counsel.

8 Q How many films do you have,  
9 Doctor, for that April 4th?

10 A Two.

11 MR. : Hold on. He  
12 wants to know how many in total from  
13 April 4th. I think there are more.

14 A Well, there are at least AP and  
15 lateral.

16 MR. : Let's just be  
17 clear now. How many x-rays do we have  
18 that are marked April 4th of the knee?

19 THE WITNESS: This one.

20 MR. : That's three.

21 THE WITNESS: There's another  
22 one, the same one. It's a copy of the  
23 same one.

24 MR. : That's four.

25 THE WITNESS: There is

0044

1  
2 another one.

3 MR. : Let's see how  
4 many we have, and then we  
5 differentiate what they are.

6 MR. OGINSKI: I have six.

7 MR. : There are three  
8 and three.

9 THE WITNESS: Here's another  
10 one.

11 MR. : That's six.

12 Q Doctor, upon your review of the  
13 AP film that was taken on April 4th, did  
14 you form any opinion as to whether there  
15 was any problem with the placement of the  
16 hardware?

17 A On the AP film?

18 Q Yes.

19 MR. : Can we  
20 establish that there is an AP film for  
21 4/4? Did we establish that?

22 MR. OGINSKI: He said that  
23 there was.

24 MR. : Do you have  
25 that?

0045

1  
2 THE WITNESS: It's right  
3 here.

4 MR. : Good. Okay.

5 MR. : Can we establish  
6 how many AP films there are?

7 THE WITNESS: There is really  
8 only one AP film.

9 MR. : For April 4th.  
10 MR. : And there is a  
11 copy of it.  
12 THE WITNESS: Yes.  
13 MR. : No. He means  
14 are there two of them? Are there two  
15 of the same?  
16 THE WITNESS: No. The rest  
17 of them are -- this is a patellar  
18 view. This is posterior/anterior, not  
19 an AP view. This is -- I don't know  
20 what kind of view this is, but it's  
21 not a true AP.  
22 MR. : So there is  
23 one?  
24 THE WITNESS: There is one  
25 really AP, which is this.

0046

1  
2 Q And based upon your review of  
3 the AP film from April 4, 20 , Doctor,  
4 what is your opinion about the placement  
5 of the hardware at that point?  
6 A It looks good.  
7 Q You had mentioned earlier that  
8 you had wanted to see the patient start  
9 physical therapy and see how she did after  
10 the surgery, correct?  
11 A Yes.  
12 Q Am I correct that if the  
13 hardware is either loose or not positioned  
14 correctly, that physical therapy won't  
15 correct that condition?  
16 A Well, certainly, physical  
17 therapy is not going to correct loosening.  
18 I suppose you could figure a proper way  
19 that physical therapy might re-position  
20 the components, but that would be unusual.  
21 Q Let's take a look, please, at  
22 your office notes.  
23 A (Complying.)  
24 Q Before I get to that, I just  
25 want to ask a few quick questions.

0047

1  
2 Doctor, take a look at the anesthesia  
3 record for the January 11th surgery. On  
4 the top right area, in the notes that I  
5 have highlighted, it says "total knee  
6 replacement."  
7 Is that correct?  
8 A No.  
9 Q And under "Perioperative  
10 Services Department," which again looks  
11 like an anesthesia record, on number 6, it  
12 says "operation," it says, "left total  
13 knee replacement."  
14 That's not correct, is it?

15 A No.  
16 : Off the  
17 record.  
18 [Discussion held off the  
19 record.]  
20 Q Can you tell me, Doctor, which  
21 piece of the implant actually gets  
22 cemented?  
23 A Both.  
24 Q Give me the material or what  
25 device gets attached to which device and  
0048  
1  
2 cemented together.  
3 A Okay. The tibia gets cemented,  
4 the metal part of the tibia gets cemented  
5 to the tibia and the metal part of the  
6 femur gets cemented to the femur and then  
7 the plastic gliding part gets slipped into  
8 the metal tray.  
9 Q In the year before January of  
10 20 , can you estimate for me the number  
11 of unicompartmental surgical procedures  
12 you have performed?  
13 A Probably about ten.  
14 Q How about in the year before  
15 that?  
16 A I don't know, I think maybe five  
17 or seven.  
18 Q In the course of your career,  
19 how many would you say you have done,  
20 unicompartmental procedures?  
21 MR. : Up through the  
22 time of this patient's procedure.  
23 MR. OGINSKI: Correct.  
24 MR. : Not after.  
25 A Well, between twenty and  
0049  
1  
2 twenty-five.  
3 Q And in the year before this  
4 procedure, can you tell me how many total  
5 knee replacements you had done, well?  
6 MR. : All right.  
7 Over objection, go ahead. It's okay.  
8 A Fifty or more.  
9 Q Do you know Dr. ?  
10 A Yes.  
11 Q How do you know him?  
12 A He was a fellow at our  
13 institution.  
14 Q Did you ever speak to Dr.  
15 about Mrs. t any time after  
16 January 18, 20 ?  
17 A No.  
18 Q Did you ever learn from Mrs.  
19 or anyone in her family that she had  
20 gone to Dr. for additional care

21 after leaving your care in April of 20 ?

22 A No.

23 Q Did you ever learn from Mrs.  
24 or anyone else besides your attorney  
25 that she had corrective surgery to her

0050

1

2 knee after April 20 ?

3 A All I knew is she was going to  
4 have.

5 Q Am I correct that in April of  
6 20 , a decision was made between you and  
7 her that she would now undergo a total  
8 knee replacement?

9 A Yes.

10 Q Tell me what led to that  
11 decision.

12 A Persistent pain and disability.  
13 The x-rays which showed significant --  
14 showed arthritis of the patellofemoral  
15 joint. Some arthritis at the lateral  
16 compartment. And her failure to do well  
17 with the unicompartmental knee  
18 replacement.

19 Q Before surgery, did you know  
20 that she had arthritis in the  
21 patellofemoral joint?

22 A Well, we knew she had some. I  
23 did not think that was what was bothering  
24 her.

25 Q Did she tell you how the pain

0051

1

2 disabled her?

3 A She said that her knee gave out  
4 a lot and there was a lot of swelling  
5 and --

6 Q And just to be clear, Doctor,  
7 you are looking at your office record?

8 A Yes, I am.

9 Q That is from her initial visit  
10 with you?

11 A Yes. She said she was unable to  
12 walk upstairs foot over foot. She  
13 couldn't get up from a chair without  
14 difficulty. She couldn't tie her shoes.  
15 She couldn't cut her toenails on the left  
16 side.

17 Q And you had mentioned a moment  
18 ago that one of the things that led to the  
19 decision to have a total knee replacement  
20 was that there was a problem with the  
21 lateral compartment; is that correct?

22 A The main -- okay. The main  
23 reason for me to recommend total knee  
24 replacement was the fact that she had  
25 persistent disabling-type pain and

0052

1  
2 couldn't go back to work. The pain she  
3 had complained to me of mostly was  
4 patellofemoral pain. She told me that the  
5 preoperative discomfort had improved, but  
6 now she was having a different pain. And  
7 I felt that now even though in the  
8 beginning I thought she was a good  
9 candidate for the unilateral or  
10 unicompartmental knee replacement, that  
11 that didn't turn out to be true.

12 Q When she was complaining of pain  
13 in the patellofemoral joint, where was the  
14 pain; was it in the back of the knee, top  
15 of the knee or somewhere else?

16 A When I saw her, for instance, on  
17 March 24th, she complained of pain on the  
18 outside of the knee posteriorly and pain  
19 in the area of the kneecap in the front of  
20 the knee.

21 Q And tell me how doing a total  
22 knee replacement would alleviate those  
23 particular symptoms?

24 A Because then you replace the  
25 lateral compartment and the patellofemoral

0053

1  
2 joint. You replace them all.

3 Q Was it your opinion that those  
4 problems that she was experiencing was  
5 primarily a result of the arthritis?

6 A Yes.

7 Q Is that also known as  
8 osteoarthritis?

9 A In her case, yes.

10 Q Is there a particular  
11 distinction between osteoarthritis and  
12 arthritis, itself?

13 A Well, there are different types  
14 of arthritis. There is a difference  
15 between osteoarthritis, rheumatoid  
16 arthritis, inflammatory arthritis,  
17 traumatic arthritis.

18 Q And is your opinion that she had  
19 the osteoarthritis in that particular --

20 A She has osteoarthritis.

21 Q And in your opinion, Doctor, is  
22 that a degenerative condition?

23 A Yes.

24 Q Not something brought about, in  
25 her case, by any trauma?

0054

1  
2 A Well, I can't say trauma didn't  
3 aggravate her condition, but she had no  
4 history of fractures, which you would  
5 expect to cause posttraumatic arthritis.

6 Q Did you ever tell Mrs. that



7 she had a bone spur in the back of her  
8 knee?

9 A I don't know if I said something  
10 like that. It's possible.

11 Q Was there evidence that she had  
12 a bone spur at the back of her knee?

13 A She has bone spurs on the side  
14 of her knee, I know. And she has bone  
15 spurs on her patella. Whether or not I  
16 said back of the knee or -- yes, she has  
17 bone spurs.

18 Q Tell me, what is a bone spur?

19 A Well, something you see on an  
20 x-ray that's calcified, but it's usually  
21 caused by minor incidents of trauma,  
22 ligaments calcify or sometimes when you  
23 have an arthritic joint that has a little  
24 bit of instability, the body tries to make  
25 more of a joint surface to take some of

0055

1  
2 the weightbearing forces.

3 Q And what is the significance in  
4 a patient having a bone spur like you  
5 observed in Mrs. ?

6 A That means that they have some  
7 arthritis.

8 Q Is there any functional defect  
9 or disability as a result of the bone  
10 spur?

11 A Well, they can if they are in  
12 the way, or the fact that they are there  
13 may mean that the joint itself is  
14 degenerating.

15 Q Did you form any opinion about  
16 whether the bone spurs that you just told  
17 me about had any functional disability for  
18 her knee?

19 A I think that they were  
20 indicative that the other parts of her  
21 knee were degenerated enough that total  
22 knee replacement was indicated when I saw  
23 her in April.

24 Q But other than coming to that  
25 conclusion, did you feel that the bone

0056

1  
2 spur, itself, causes a functional problem  
3 with the use of her knee?

4 A That's a very difficult question  
5 to answer. The bone spurs weren't in the  
6 gliding part, but on the side and they  
7 could irritate ligaments and synovium and  
8 cause discomfort and swelling.

9 Q When you do a procedure like a  
10 total knee replacement, you remove those  
11 bone spurs as well, correct?

12 A Yes.

13 Q Can bone spurs cause pain?

14 A Yes.

15 Q How?

16 A By irritating the tissue around  
17 it.

18 Q Do you recall ever telling Mrs.  
19 , again, not in these exact words, but  
20 after looking at the x-rays that one or  
21 more of the pieces involved with her  
22 hardware moved into position after the  
23 procedure?

24 Let me rephrase the question.

25 You have already told me that your

0057

1

2 evaluation of the x-rays showed that  
3 everything looked good on January 12th.

4 A Yes.

5 Q Did you ever tell her in sum and  
6 substance the pieces that are in your knee  
7 have moved and now you don't knee the  
8 further surgery?

9 A I would doubt that I would have  
10 said that, because if I thought that they  
11 had moved, the reason I got the x-ray was  
12 to show that they weren't in the bad  
13 position that I thought they might have  
14 been with the recovery room x-ray.

15 Q When you examined Mrs. in  
16 your office postoperatively for follow up,  
17 did you ever make any clinical  
18 determination that any of the hardware was  
19 loose?

20 A The hardware was never  
21 significantly loose, and perhaps maybe at  
22 the end there may have been some evidence,  
23 but at that time I thought she had needed  
24 knee replacement for lots of reasons.

25 Q Tell me what you meant by you

0058

1

2 didn't feel it was "significantly loose."

3 A There was no gross movement. It  
4 was adequately positioned. It wasn't  
5 flopped up or anything like that.

6 Q Did you observe any instability?

7 A No.

8 Q Did you observe any clicking or  
9 noises emanating from the hardware,  
10 itself?

11 A No.

12 Q Did she have good range of  
13 motion?

14 A She had pretty good range of  
15 motion, yes, the last time I saw her.

16 Q That was on the April 4th visit?

17 A Yes. She had fairly good range  
18 of motion on the March 14th visit. I

19 didn't exactly record the motion on the  
20 April 4th visit.

21 Q Do you recall as you sit here  
22 now that she had good range of motion?

23 A I can only go by the March  
24 visit.

25 Q If components of the hardware

0059

1

2 are loose, would you expect that the  
3 patient's range of motion will be  
4 restricted?

5 A Well, if they are really loose,  
6 they have pain, which will restrict the  
7 motion. If the -- I mean, there were some  
8 total knees that never cemented, so we  
9 knew that the components were loose, and  
10 some of them did quite well.

11 Q When you had the conversation  
12 with Mrs. about the decision to do a  
13 total knee replacement, do you recall that  
14 conversation as you sit here now?

15 A Not in any detail.

16 Q Is there anything in your notes  
17 that would refresh your memory about the  
18 details of that particular conversation?

19 A No.

20 Q Did you ever tell Mrs. ,  
21 again, in sum and substance, that even  
22 with a total knee replacement, her knee  
23 will never be a hundred percent?

24 A Yes.

25 Q Tell me why that is.

0060

1

2 A Never are.

3 Q Why?

4 A It's artificial.

5 Q And the fact that it's  
6 artificial, what is it about that  
7 artificial knee that doesn't make it  
8 100 percent?

9 A You don't have any nerves in it.

10 Q What does that mean functionally  
11 on a day-to-day realistic basis?

12 A People after knee replacement do  
13 remarkable well, they do much better, but  
14 as far as knowing exactly where their knee  
15 is in space or things that you would know  
16 if you were to try to go out with a total  
17 knee or run around first base or  
18 something, you wouldn't exactly feel  
19 right.

20 Q Do people who have these  
21 procedures, either the unicompartmental  
22 procedure or the total knee, are they able  
23 to participate in sports?

24 A Allegedly, the once with

25 unicompartmental knee replacements are

0061

1

2 more able to participate in sports than  
3 the total knee, but I have patients that  
4 play, you know, doubles tennis and things  
5 like that. But nobody goes back to  
6 something like running around third base.  
7 That would just tear it up.

8 Q Let's go to your first follow-up  
9 visit with Mrs. . That was on  
10 January 31st, 20 , correct?

11 A Yes.

12 Q Doctor, in your office, was it  
13 customary that after you examined a  
14 patient, you made notes of your findings  
15 and your examination?

16 A Yes.

17 Q And after you did that, or at  
18 some point after, you dictated your notes  
19 and sent the letter off to one of her  
20 doctors?

21 MR. : Well --

22 A Yes.

23 MR. : Every time?

24 A If I didn't do it, it was  
25 because they didn't give it to me. That

0062

1

2 was the standard I tried to do.

3 Q Typically, if you sign a  
4 particular transcribed notation, does that  
5 mean that you read the dictation, and you  
6 felt it was accurate?

7 A That's that I dictated it, and  
8 there may be some misspellings or  
9 something.

10 Q Aside from typographical errors,  
11 the substance would be accurate?

12 A Hopefully, yes.

13 Q Did Mrs. have any  
14 complaints of pain on January 31st visit?

15 A Sure.

16 Q Where was she complaining of  
17 pain?

18 A In the knee.

19 Q Can you be any more specific as  
20 to the location of the pain in the knee?

21 A No.

22 Q And to what, if anything, did  
23 you attribute those complaints to at that  
24 point?

25 A She was three weeks after knee

0063

1

2 replacement surgery. To be expected.

3 Q Now, she had mentioned that she  
4 had run out of Vicodin?

5 A Yes.  
6 Q And you re-prescribed her the  
7 Vicodin, correct?  
8 A Yes.  
9 Q That was for pain?  
10 A Yes.  
11 Q And do you recall that she had  
12 also been on Vicodin before your surgery  
13 as well?  
14 A Yes.  
15 Q The fact that she was still  
16 taking the Vicodin, that was not a  
17 significant finding on your part, correct?  
18 A Correct.  
19 Q She also made note that she was  
20 using a cane in her right hand, correct?  
21 A Yes.  
22 Q And is that something that you  
23 recommended she use following the  
24 procedure?  
25 A Yes.

0064

1  
2 Q Why?  
3 A Because, again, she had had a  
4 knee replacement. Very, very few people  
5 at this point in time can be walking  
6 around normally.  
7 Q And she also had, you observed,  
8 2+ swelling?  
9 A Yes.  
10 Q Where was that?  
11 A In the knee.  
12 Q Was that customary and something  
13 to be expected following surgery two weeks  
14 out?  
15 A Yes.  
16 Q What is Diclofenac?  
17 A It's the generic name for  
18 Voltaren. It's an anti-inflammatory.  
19 Q And am I correct that you  
20 prescribed physical therapy for Mrs. ?  
21 A Yes.  
22 Q And there is a note from the  
23 physical therapist, Doctor, dated February  
24 15, 2006. At the top, the assessment, it  
25 says: "Presents with gait abnormality."

0065

1  
2 Do you see that?  
3 A Yes. Well, that's after the  
4 other part. "Patient status post left  
5 unicompartmental knee replacement.  
6 Presents with gait abnormality."  
7 Q Is that something you would  
8 expect to see postoperatively at this  
9 point?  
10 A Yes.

11 Q The decreased range of motion  
12 that is also noted in the left knee,  
13 here --

14 A Yes.

15 Q -- is that also expected  
16 postoperatively?

17 A Yes.

18 Q And the decreased strength in  
19 the left knee, is that common?

20 A Yes.

21 Q It also says, let me just read  
22 it: "Decreased strength left knee and  
23 left hip."

24 Is it common to see a left hip  
25 being involved?

0066

1

2 A Well, it depends on how it is  
3 tested. It often can cause pain, if your  
4 testing strength of the hip puts stress on  
5 the knee.

6 Q Did you have any conversations  
7 with the physical therapist at any time  
8 while you were caring for Mrs. ?

9 A I don't recall.

10 Q If you had spoken to the  
11 physical therapist, would you have made a  
12 note in your chart about your  
13 conversation?

14 A No. Not unless there was some  
15 specific unusual event.

16 Q Let's turn, please, to the next  
17 follow-up visit in your office. That was  
18 the March 24, 20 visit?

19 A 14th.

20 MR. : The 14th.

21 There is a letter of March 24th.

22 Q On that visit, she complained of  
23 persistent posterolateral and  
24 retropatellar pain, correct?

25 A Yes.

0067

1

2 Q And where exactly is the  
3 posterolateral position?

4 A On the outside part of the knee.  
5 Not the replaced part.

6 Q Was that something that she had  
7 had preoperatively, or is this a new  
8 complaint?

9 A Well, this wasn't her main  
10 complaint preoperatively. Her main  
11 complaint was pain on the inside of the  
12 knee.

13 Q But preoperatively, did you ever  
14 make any notation or finding that she had  
15 pain on the outside of the knee,  
16 specifically in the area that you have

17 noted here?  
18 A No. Most of her pain, as I  
19 said, was on the inside of the knee.  
20 Q And the retropatellar pain, that  
21 would be behind the knee or underneath the  
22 knee?  
23 A Underneath the kneecap.  
24 Q Had she made any complaints of  
25 pain similar to that --

0068

1  
2 A No.  
3 Q -- preoperatively?  
4 A No. Most of her pain, as I say,  
5 was on the in -- the medial compartment.  
6 Q Just so we are clear, am I  
7 correct that these are basically new  
8 complaints of pain?  
9 A To me, they were newer  
10 complaints of pain that really weren't  
11 bothering her enough to complain about  
12 preoperatively.  
13 Q In your note, Doctor, your  
14 handwritten note or in your typed note to  
15 Dr. , dated March 24th, did you  
16 ever indicate a particular reason as to  
17 why you felt she was experiencing these  
18 new complaints of pain?  
19 A When I talk about retropatellar  
20 pain and posterior lateral pain, I am  
21 indicating there is something in the other  
22 two compartments causing pain.  
23 Q Did you ever indicate in your  
24 transcribed letter to Dr. the  
25 cause for that posterolateral and

0069

1  
2 retropatellar pain?  
3 A I never said what the cause was.  
4 Q Mrs. was still using a  
5 cane, correct, to get around?  
6 A Yes.  
7 Q And her extension was lacked  
8 10 degrees with motion?  
9 A Yes.  
10 Q What did that mean to you, if  
11 anything?  
12 A She couldn't get it quite fully  
13 extended.  
14 Q Was there any significance to  
15 that finding?  
16 A That's not terrible uncommon  
17 following knee replacement at this point  
18 in time. It's painful to go through the  
19 therapy, and I thought she needed more  
20 therapy.  
21 Q And that is why you recommended  
22 the physical therapy be increased?

23 A Yes.  
24 Q What is Elavil?  
25 A It's a -- well, when it first  
0070

1  
2 came out, it was an antidepressant, but it  
3 doesn't do much for antidepressing.

4 Q What do you use it for?  
5 A I use it for pain and sleeping.

6 Q Why did you prescribe it for  
7 Mrs. on that visit?

8 A Because she was having trouble  
9 sleeping, and I thought it might help with  
10 her discomfort.

11 Q Was she still taking the Vicodin  
12 on a regular basis?

13 A It says that she was.

14 Q And the same with the  
15 Diclofenac?

16 A Yes.

17 Q Did Mrs. indicate to you  
18 that the medications, either the Vicodin  
19 or the Diclofenac, were having any  
20 profound effect in either resolving or  
21 relieving her symptoms?

22 A Well, I'm sure the Vicodin did  
23 help. I don't know if the Diclofenac was  
24 doing a good job as well as the Vicodin,  
25 obviously.

0071

1  
2 Q Doctor, your attorney has given  
3 me two message notes, copies that came  
4 from your office chart. Specifically  
5 looking at the top one, do you see that  
6 there is a message, I believe it's  
7 addressed to you, about a phone call from  
8 the physical therapist?

9 A Yes.

10 Q Did you ever speak to the  
11 physical therapist on that date or shortly  
12 after about that call?

13 A I can't answer that question.

14 Q The information that is  
15 contained in that note dated March 14th,  
16 do you know who took that information  
17 down?

18 A One of my secretaries.

19 Q It has a comment there saying  
20 that the patient is noncompliant, do you  
21 see that?

22 A Yes.

23 Q In your opinion, Doctor, during  
24 the course of time that you treated Mrs.  
25 , did you ever feel that the patient

0072

1  
2 was not compliant?



3 MR. : Well,  
4 "noncompliant," you mean in any way?  
5 MR. OGINSKI: In any respect.  
6 A Well, I can only -- I didn't go  
7 to therapy with her and I don't --  
8 Q I understand.  
9 A So all I can say is that the  
10 therapist thought that she was  
11 noncompliant.  
12 Q Based upon your interactions  
13 with Mrs. , at any time you saw her,  
14 examined her, talked to her, did you ever  
15 form an opinion that you felt that she was  
16 noncompliant?  
17 A She came to my appointments all  
18 the time, and so that's what I can say.  
19 Q So you found no reason, just  
20 based on your interaction with her, that  
21 she was noncompliant?  
22 A She was never noncompliant with  
23 me.  
24 Q Let's turn to the last office  
25 visit, that is the April 4th visit.

0073

1  
2 A (Complying.)  
3 Q What was your custom and  
4 practice, Doctor, as to how frequently  
5 follow-up x-rays would be taken of  
6 patients who had unicompartmental knee  
7 procedures?  
8 A If they are asymptomatic, I  
9 wouldn't take them quite frequently. If  
10 they still complained of pain, things like  
11 that, then I would take them more  
12 frequently.  
13 Q Based upon Mrs. 's  
14 complaints of pain that you observed on  
15 March 14, 20 , you noted that you will  
16 reevaluate her in one month and x-rays  
17 will be taken at that point?  
18 A Yes.  
19 Q Was there any particular reason  
20 why you did not recommend or suggest that  
21 x-rays be done as of the March 14th visit?  
22 A At that time -- you know, her  
23 postoperative course wasn't so abnormal.  
24 If you take 100 patients with knee  
25 replacements, at two months, they are

0074

1  
2 all -- very few of them are ready to  
3 dance. They all have some pain and some  
4 discomfort. Unless they are really very,  
5 should I say, compulsive with their home  
6 exercise program -- they are still going  
7 to have some lack of motion and some  
8 discomfort when you stress them.

9 Q Let's turn, please, to the last  
10 follow-up visit.

11 A (Complying.)

12 Q She had made complaints to you  
13 of activity, especially stairs?

14 A Yes.

15 Q And did you determine from her  
16 where this pain was coming from?

17 A Well, again, it's the  
18 retropatellar pain, which is aggravated  
19 especially with stairs.

20 Q Were there any new complaints of  
21 pain that she had made on this April 4th  
22 visit?

23 A Not that I have recorded.

24 Q And you made a note that you  
25 reviewed the x-rays and the components

0075

1  
2 were in proper position?

3 A I felt that they were fairly  
4 satisfactory, yes. I didn't think they  
5 were causing her pain, let me put it that  
6 way.

7 Q And you also felt that there was  
8 no loosening of the components?

9 A I think that there was  
10 significant loosening.

11 Q And, again, I know you mentioned  
12 the word "significant" before. Did you  
13 find that there was any loosening?

14 A There may be a little -- little  
15 lucency around the cement.

16 Q What does that indicate to you?

17 A That would indicate there could  
18 be some loosening, there could be some  
19 blood between the cement and the bone.

20 Q And did you feel at any point  
21 that this lucency that you observed which  
22 could be either the blood or the loosening  
23 of the bone might be a cause of her  
24 ongoing complaints?

25 A At that time I felt that the  
0076

1  
2 cause of her ongoing complaints was the  
3 arthritis in the other part of her knee.  
4 That is the major problem.

5 Q Did you form any opinion  
6 clinically as to whether there was  
7 loosening or a problem with the hardware  
8 on the April 4th visit?

9 A My opinion of the April 4th  
10 visit is that she needed to have a total  
11 knee replacement, and my feeling was the  
12 main indications for that were the  
13 degenerative changes in the other  
14 compartments of her knee.

15 Q But clinically, were you able to  
16 determine if there was any loosening of  
17 the hardware?

18 A Clinically, you really can't  
19 determine that.

20 Q Did you learn from anyone, other  
21 than your attorney, that Mrs.  
22 gone to another orthopaedist on  
23 April 13th, a week after she had seen you?

24 A What I do know is that -- I do  
25 know that she went to see another

0077

1

2 orthopaedic surgeon. I did not know who  
3 it was.

4 Q Did you have a conversation with  
5 her about the fact that she had cancelled  
6 your recommended procedure for total knee  
7 replacement?

8 A Yes.

9 Q And do you recall when that  
10 conversation took place?

11 A Shortly after she cancelled it,  
12 whenever that was.

13 Q And tell me what it was she said  
14 as to why she was cancelling the  
15 procedure.

16 A She said she was going to  
17 another orthopaedist.

18 Q Did she say why?

19 A I don't recall if she said why,  
20 but I figured out why.

21 Q Why?

22 A Well, she wanted another  
23 opinion, and she obviously felt  
24 dissatisfied or whatever.

25 Q Did you ever ask her who the

0078

1

2 other orthopaedist was that she had been  
3 to?

4 A No, but I had told her I hope  
5 everything goes well.

6 Q Is there a requirement at  
7 that if you see and examine a  
8 patient, you are required to make a note  
9 in the chart about your exam?

10 MR. : I'm going to  
11 object.

12 Q Guideline, rules, policy,  
13 regulations, that you are aware of?

14 MR. : I'm going to  
15 object.

16 A That I have to make a note?

17 Q In other words, if you do an  
18 examination of a patient, that you are  
19 required to make a notation in the  
20 patient's chart that you did an exam, and

21 to list what your findings were?  
22 MR. : Just note my  
23 objection.  
24 A I don't believe that there is a  
25 requirement that I have to write a note

0079  
1  
2 unless -- the requirement is more federal  
3 regulation if I bill for a visit --  
4 MR. : Move to strike  
5 those portions that are not  
6 responsive.

7 Q My question is limited only to  
8 rules, regulations and policies that were  
9 in place at your hospital in January of  
10 20 .

11 MR. Please note my  
12 continuing objection. It's not his  
13 hospital, but go ahead, we know what  
14 you mean.

15 THE WITNESS: What am I  
16 supposed to do here?

17 Q I will rephrase the question.  
18 In January 20 , Doctor, am I  
19 correct that you were the Vice Chairman of  
20 ?

21 A Yes.

22 Q And that you were also the Chief  
23 of the Orthopaedic Service at  
24 ?

25 A Yes.

0080  
1  
2 Q And I believe you had one or two  
3 other titles; is that right?

4 A Okay.

5 Q In any event, from time to time,  
6 were there certain policies and rules and  
7 regulations that were drafted for the  
8 Department of Orthopaedics?

9 MR. : I'm going to  
10 object.

11 A No.

12 Q Are you aware --

13 A I mean, are there any rules?  
14 Yes, there are rules. But were there  
15 rules for me to write a note?

16 Q No. No.

17 A There are rules, yes.

18 MR. : Just answer  
19 the specific question.

20 Q What is the purpose of those  
21 rules and regulations that that particular  
22 hospital formulates and promulgates?

23 MR. : Objection.

24 MR. : Even I will  
25 object to that one. You want to know

0081

1  
2 whether he is aware of a rule and  
3 regulation that an attending such as  
4 himself has to write a note? I think  
5 he's answered that, but you can get  
6 that answer again.  
7 Doctor, is there a  
8 regulation, a rule, at the hospital at  
9 that time when you treated this  
10 patient that you, as the attending  
11 orthopaedic surgeon, when you examined  
12 the patient, had to author a note?  
13 MR. : Note my  
14 objection.  
15 MR. : Pretty  
16 well-phrased question, though.  
17 A To my knowledge, no.  
18 Q In your April 10th letter to Dr.  
19 , discussing the April 4th visit,  
20 you said that there were various options  
21 that were discussed with Ms. . Other  
22 than having her undergo the total knee  
23 replacement, what other options were  
24 available to her at that point?  
25 A Well, if you went in there and

0082

1  
2 found some other problem that could be  
3 revision of the unilateral knee  
4 replacement, you could continue with  
5 physical therapy, you could do Cortizone  
6 injections. I mean, those are other  
7 options you could have done.  
8 Q Did you feel that any of those  
9 other conservative options would have any  
10 effect on Mrs. 's condition?  
11 A Well, I think if we had injected  
12 it with Cortizone, she may have had some  
13 temporary relief, but she was not so  
14 anxious to have the final solution and get  
15 better.  
16 Q On the visits that she came to  
17 you for follow up, did she come alone or  
18 was she with a family member?  
19 A You know, I don't recall. I  
20 think some of them she was alone, but I  
21 don't recall.  
22 Q Do you recall having any  
23 conversations with any family member,  
24 whether it be a daughter or anybody else?  
25 A Certainly postoperatively, we

0083

1  
2 had some conversations.  
3 Q Not in the hospital. I'm  
4 talking about in your office.  
5 A I can't recall.  
6 Q Doctor, I am going to show you a

7 January 16th orthopaedic resident note  
8 timed at 9:20 a.m. (Handing.)

9 A (Reviewing note.)

10 Q Do you see that the resident  
11 wrote -- it says left "TKA."

12 A I see it.

13 Q What does that stand for?

14 A Total knee arthroplasty.

15 Q That is incorrect; am I right?

16 A Yes.

17 MR. : I am going to  
18 object.

19 Q As of the last visit, did you  
20 make any observation as to whether she was  
21 still walking with an antalgic gait?

22 MR. : Just repeat  
23 that, I didn't hear it.

24 THE WITNESS: He wanted to  
25 know from when I saw her the last time

0084

1

2 she had an antalgic gait.

3 A I didn't note it that she did.

4 Q Would you have expected to make  
5 a note of it if you observed it?

6 MR. : I object to  
7 the form of it.

8 You can answer it.

9 A On the last visit when she still  
10 had all the things that she had and I  
11 examined her, I didn't think that was a  
12 very -- if it was there -- significantly  
13 important. I would imagine she still had  
14 a limp simply because I recommended she  
15 have a knee replacement.

16 Q Typically when you would come in  
17 and see the patient, did you ask her to  
18 walk or did you observe her walking in?

19 A I would watch them walking out  
20 more than I would watch them walking in.

21 Q Was there effusion or swelling  
22 that you observed on the last visit?

23 A I can't answer that. I didn't  
24 note it in my chart.

25 Q The fact that there was no

0085

1

2 notation about any swelling in the chart,  
3 could that mean one of two things: Either  
4 there was one, or there was and you simply  
5 didn't document it?

6 A It could mean either one.

7 Q The last set of x-rays that were  
8 done that we talked about earlier, the  
9 April 4th x-rays, did you form any opinion  
10 as to whether there was any malalignment  
11 of the components or the hardware?

12 A The alignment of the knee was

13 quite satisfactory.

14 Q Were there any components that  
15 were sitting in the popliteal place, in  
16 your opinion, that should not have been  
17 there, based upon your review of the  
18 April 4th x-ray?

19 A Well, the tibial component may  
20 have been somewhat posterior, but that  
21 depends on how the rotation of the knee  
22 is, you can place the tibial component if  
23 you rotate the knee one way to look like  
24 it's inside the knee and rotate it the  
25 other way to look outside.

0086

1

2 Q And did you feel that that was a  
3 significant finding?

4 A I thought the significant  
5 finding on those x-rays were the spurs and  
6 the other arthritis that I saw in the  
7 other two compartments and that, I felt,  
8 was what the major cause of her pain was.

9 Q Doctor, if, in fact, the  
10 positioning of her unicompartmental  
11 hardware was not in the correct place,  
12 would it be a departure not to go back in  
13 and fixed it and repair it?

14 A If it were markedly, markedly  
15 displaced and not on the tibia and, you  
16 know, floating around, that would be a  
17 departure. If it's flat on the tibia and  
18 within reasonable -- along with good  
19 alignment and everything, no.

20 Q Are you licensed to practice  
21 medicine in the State of New York?

22 A Yes.

23 Q How long have you been licensed?

24 A 19 .

25 Q Has your license ever been

0087

1

2 revoked?

3 A Thank God, no.

4 Q Has it ever been suspended?

5 A No.

6 Q You are board certified in  
7 Orthopaedics?

8 A Yes.

9 Q When were you first board  
10 certified?

11 A ' .

12 Q And have you had to get  
13 recertified over time?

14 A No.

15 Q You are grandfathered in?

16 A Yes.

17 Q Did you pass your boards the  
18 first time?

19 MR. : Objection.  
20 But go ahead.  
21 A Yes.  
22 Q Did you ever read Mrs. 's  
23 hospital records from about any  
24 postoperative care that she had?  
25 A No.

0088

1  
2 Q In preparation for today, did  
3 you review any textbooks or literature?  
4 A No.  
5 Q In order to treat Mrs. , did  
6 you rely on any guidelines or any  
7 algorithms in treating her, or deciding  
8 what to do for her?  
9 A Algorithms?  
10 Q Written documented algorithms.  
11 A No.  
12 Q Are you affiliated with any  
13 other hospitals other than ?  
14 A No.  
15 Q Other than  
16 , are you affiliated with any  
17 other hospitals?  
18 A No.  
19 Q How long have you held the  
20 position of of Orthopaedics?  
21 A You know, it seems like just  
22 yesterday. It was probably five years,  
23 six years ago. I don't know.  
24 Q Has that title or position  
25 changed since January of ' up until now?

0089

1  
2 Are you ? Are you something else?  
3 A No, I am nothing else, if that's  
4 the question.  
5 Q Have you ever testified before?  
6 A Yes.  
7 Q Have you testified as a  
8 defendant before?  
9 A Yes.  
10 Q Approximately, how many times?  
11 MR. : I'm going to  
12 object to that. That's irrelevant.  
13 It's irrelevant.  
14 MR. OGINSKI: It's not a  
15 proper objection. I am entitled to  
16 know his history and background.  
17 Q Just give me an idea. I'm done.  
18 A Three or four times, I don't  
19 remember.  
20 Q Have you ever testified as an  
21 expert on behalf of a plaintiff or  
22 defendant in a malpractice case?  
23 A Yes.  
24 Q How many times, approximately?



25 A Less than ten.

0090

1

2 Q How many for plaintiff, how many  
3 for defendant?

4 MR. : I will object.  
5 But you can answer.

6 A You know, the bad thing is  
7 probably -- it's probably pretty equal.  
8 You know, I don't do it very often.

9 Q And do you recall when was the  
10 last time you testified as an expert?

11 A Yes. I think it was February of  
12 this year.

13 Q And do you recall what county?

14 A I think it was --  
15 or , but I'm pretty sure it was  
16 .

17 Q I know I don't have your CV  
18 here, Doctor. Can you tell my  
19 approximately how many publications you  
20 have?

21 MR. : I am going to  
22 provide you with the CV. I don't  
23 know, is it really necessary to ask  
24 him how many? It's on his CV. I have  
25 seen his CV. You will have his CV.

0091

1

2 Q Am I correct that you have  
3 published not just articles in peer review  
4 journals, but also portions of textbooks?

5 A Yes.

6 Q Do any of the articles that you  
7 have published relate to the insertion in  
8 the unicompartmental procedure that was  
9 done in this case?

10 A No.

11 Q Have you given any lectures to  
12 national bodies of orthopaedists about the  
13 procedure that was done in this case,  
14 unicompartmental knee replacement?  
15

16 [Continued on the next page to  
17 allow for signature line and jurat.]  
18  
19  
20  
21  
22  
23  
24  
25

0092

1

2 A No.

3 MR. OGINSKI: Thank you.

4 THE WITNESS: Your welcome.

5 MR. : I have no  
6 questions.  
7 MR. : Thank you.  
8  
9

10 [TIME NOTED: 2:58 p.m.]  
11  
12 \_\_\_\_\_  
13  
14  
15

16 \_\_\_\_\_  
17 Subscribed and sworn to  
18 before me this \_\_\_\_\_  
19 day of \_\_\_\_\_,  
20 20 .

21 \_\_\_\_\_  
22 Notary Public  
23  
24  
25

0093

E X H I B I T S		
PLAINTIFF'S		
EXHIBIT DESCRIPTION		PAGE
1	Plaintiff's chart	4

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0094

1

2

3

4

CERTIFICATION

I, \_\_\_\_\_, a

5 Notary Public, do here hereby certify that  
6 the foregoing witness,  
7 \_\_\_\_\_, was duly sworn on the date  
8 indicated, and that the foregoing is a  
9 true and accurate transcription of my  
10 stenographic notes.  
11 I further certify that I am  
12 not employed by nor related to any parties  
13 to this action.

14 \_\_\_\_\_  
15  
16  
17  
18

\* \* \*

19  
20  
21  
22  
23  
24  
25  
0095

ERRATA SHEET  
REPORTING, LLC

1  
2  
3

4  
5 NAME OF CASE:  
6 DATE OF DEPOSITION: June 21, 20  
7 NAME OF DEPONENT:

8	9	10	11	12	13	14	15	16	17	18	19	20
PAGE	LINE (S)	CHANGE		REASON								
8	____/____/	_____	/	_____								
9	____/____/	_____	/	_____								
10	____/____/	_____	/	_____								
11	____/____/	_____	/	_____								
12	____/____/	_____	/	_____								
13	____/____/	_____	/	_____								
14	____/____/	_____	/	_____								
15	____/____/	_____	/	_____								
16	____/____/	_____	/	_____								
17	____/____/	_____	/	_____								
18	____/____/	_____	/	_____								
19	____/____/	_____	/	_____								
20	____/____/	_____	/	_____								

21  
22 \_\_\_\_\_

23  
24 SUBSCRIBED AND SWORN TO BEFORE ME  
25 THIS \_\_\_\_ DAY OF \_\_\_\_\_, 20\_\_.

\_\_\_\_\_  
(NOTARY PUBLIC)

\_\_\_\_\_  
MY COMMISSION EXPIRES: