

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPREME COURT OF THE STATE OF NEW YORK
COUNTY OF

- - - - -x

, as Administrator of the
, Deceased, and
, individually,

Plaintiff,

-against-

Defendants.

- - - - -x

10:45 a.m.

EXAMINATION BEFORE TRIAL of ,
M.D., a Defendant in the above-entitled
action, held at the above time and place,
taken before , a Notary
Public of the State of New York, pursuant
to Order.

1
2
3
4
5
6
7
8
9
10
11
12
13
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES:

LAW OFFICES OF GERALD M. OGINSKI, LLC
Attorneys for Plaintiff
25 Great Neck Road
Great Neck, New York 11021

BY: GERALD M. OGINSKI, ESQ.

Attorneys for all Defendants

BY:

Attorneys for Defendant

BY:

* * *

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

STIPULATIONS

IT IS HEREBY STIPULATED, by and among
the attorneys for the respective parties
hereto, that:

All rights provided by the C.P.L.R.,
and Part 221 of the Uniform Rules for the
Conduct of Depositions, including the
right to object to any question, except
as to form, or to move to strike any
testimony at this examination is
reserved; and in addition, the failure to
object to any question or to move to
strike any testimony at this examination
shall not be a bar or waiver to make such
motion at, and is reserved to, the trial
of this action.

This deposition may be sworn to by the
witness being examined before a Notary
Public other than the Notary Public
before whom this examination was begun,
but the failure to do so or to return the
original of this deposition to counsel,
shall not be deemed a waiver of the
rights provided by Rule 3116, C.P.L.R.,

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T I O N

I, _____, a Shorthand
Reporter and a Notary Public, do hereby
certify that the foregoing witness, was
duly sworn on the date indicated, and
that the foregoing is a true and accurate
transcription of my stenographic notes.

I further certify that I am not
employed by nor related to any party to
this action.
