

DE-IDENTIFIED DEPOSITION OF PULMONARY & CRITICAL CARE PHYSICIAN

1

2 SUPREME COURT OF THE STATE OF NEW YORK

3 COUNTY OF NASSAU

-----X

4

as Parents and Natural

5 Guardians of , an infant
under the age of fourteen years,

6

Plaintiffs,

7

-against-

8

, M.D.,

9

, M.D., ,

M.D., M.D., , M.D.,

10

Defendants.

11

-----X

12

13 Mineola, New York

14 October 17,
10:11 a.m.

15

16

17 EXAMINATION BEFORE TRIAL of a Non-Party

18 Witness, , M.D.

19

20

21

22

23 TOMMER REPORTING, INC.

192 Lexington Avenue

24 Suite 802

New York, New York 10016

25 (212) 684-2448

TOMMER REPORTING, INC. (212) 684-2448

2

1

2 APPEARANCES:

3

4 THE LAW OFFICES OF GERALD M. OGINSKI

Attorneys for Plaintiffs

5 150 Great Neck Road, Suite 304

Great Neck, New York 11021

6

7

8 & , ESQS.

Attorneys for Defendant

9 , M.D.

10

11 BY: , ESQ.

12

13 , LLP

Attorneys for Defendants

14

, M.D., ,

15

M.D., , M.D.,
, M.D.

16

17

BY: , ESQ.

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

1

2 S T I P U L A T I O N S

3

4 It is hereby stipulated and agreed by and

5 between the counsel for the respective parties

6 hereto that all rights provided by the

7 C.P.L.R., including the right to object to any

8 question, except as to form, or to move to

9 strike any testimony at this examination, are

10 reserved, and, in addition, the failure to

11 object to any question or to move to strike any

12 testimony at this examination shall not be a

13 bar or waiver to doing so at, and is reserved

14 for, the trial of this action;

15 It is further stipulated and agreed by

16 and between counsel for the respective parties

17 hereto that this examination may be sworn to by

18 the witness being examined before a Notary

19 Public other than the Notary Public before whom

20 this examination was begun, but the failure to

21 do so, or to return the original of this

22 examination to counsel, shall not be deemed a

23 waiver of the rights provided by Rules 3116 and
24 3117 of the C.P.L.R., and shall be controlled
25 thereby.

TOMMER REPORTING, INC. (212) 684-2448

4

1

2 It is further stipulated and agreed by
3 and between counsel for the respective parties
4 hereto that this examination may be utilized
5 for all purposes as provided by the C.P.L.R.;

6 It is further stipulated and agreed by
7 and between counsel for the respective parties
8 hereto that the filing and certification of the
9 original of this examination shall be and the
10 same are hereby waived;

11 It is further stipulated and agreed by
12 and between counsel for the respective parties
13 hereto that a copy of the within examination

14 shall be furnished to counsel representing the
15 witness testifying without charge.

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

5

1

2 , M. D.,

3 called as a witness, having been

4 first duly sworn, was examined and

5 testified as follows:

6 EXAMINATION BY

7 MR. OGINSKI:

8 Q State your name for the record,
9 please.

10 A , M.D.

11 Q Your address, please?

12 A , ,
13 .

14 MR. OGINSKI: Please mark this as
15 Plaintiffs' 1.

16 (Whereupon, the Doctor's
17 curriculum vitae was received and
18 marked as Plaintiffs' Exhibit 1 for
19 identification, as of this date.)

20 Q Good morning, Doctor?

21 A Morning.

22 Q Do you currently work for the
23 ?

24 A Yes, I do.

25 Q What in what capacity?

TOMMER REPORTING, INC. (212) 684-2448

6

1 , M.D.

2 A I'm a fellow in the Pediatric ICU.

3 Q What year fellowship are you?

4 A I'm currently in my third year.

5 Q Is this your final year for this

6 fellowship program?

7 A Yes.

8 Q In the year what was your

9 position at ?

10 A I was a first-year Pediatric ICU

11 fellow.

12 Q Your attorney has provided us with

13 a copy of your CV, have you reviewed that?

14 A Yes.

15 Q Is that accurate as of today?

16 A Yes.

17 Q We've had it marked as Plaintiffs'
18 1 for identification.

19 MR. : We have the
20 original record here, which was
21 previously marked on July 29th as
22 Plaintiffs' Exhibit 1 for
23 identification.

24 MR. OGINSKI: I'm only talking
25 about her CV.

TOMMER REPORTING, INC. (212) 684-2448

7

1 , M.D.

2 MR. : I know, but in
3 addition we have that here.

4 MR. OGINSKI: Fine.

5 Q Did you review 's
6 hospital record in preparation for today's
7 deposition?

8 A Yes.

9 Q Did you review any notes separate
10 and apart from what's contained in those
11 records regarding this deposition?

12 A No.

13 Q Did you review any deposition
14 testimony given by anyone in this case up to
15 the present time?

16 A No.

17 Q Did you speak with Dr.
18 concerning this deposition?

19 A No.

20 Q Did you speak with Dr.
21 concerning this deposition?

22 A No.

23 Q Have you spoken with either
24 Dr. or Dr. about the testimony that
25 they have given in this case?

TOMMER REPORTING, INC. (212) 684-2448

20 A Yes.

21 Q The note that you wrote on August

22 21, that was the admission note for this

23 patient into the Pediatric Intensive Care Unit,

24 correct?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

9

1 , M.D.

2 Q Was it customary for you as fellow

3 to conduct a history, perform a physical and

4 note your findings in the form of a note?

5 A Yes.

6 Q Is that what you did?

7 A Yes.

8 Q I'd like you to turn, please, to

9 the second page of that admission note under

10 the plan and I'd like to you read that

11 paragraph, please?

12 A "Continue CV respiration."

13 Q Let me interrupt you. If there are

14 initials just tell me what that they represent?

15 A "Continuous cardiovascular

16 respiratory monitoring. Start Nafcillin for a

17 possible staphylococcus infection. Consider

18 Vancomycin for possible drug resistant

19 pneumococci. Infectious Disease approval not

20 obtained. Observe for now. Continue

21 Ceftriaxone. Chest x-ray in A.M."

22 Q You discussed all your findings

23 with Dr. , correct?

24 A Yes.

25 Q When you wrote, "ID approval not

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 obtained," what did you mean?

3 A As protocol for the hospital,
4 certain antibiotics before you can use them you
5 need to discuss their use with Infectious
6 Disease, and Vancomycin is one of them. For
7 them to determine whether it is to be indicated
8 or not you do have to discuss the case with
9 them and the current antibiotics regimen in
10 place, so that is why that particular sentence
11 was made because we discussed the possible need
12 for Vancomycin and they deemed it was not
13 necessary.

14 Q When you say we discussed it, can
15 you be specific as to who you were referring
16 to?

17 A Infectious Disease attending or the
18 fellow on service.

19 Q That would be a conversation that
20 you had with either one of those physicians?

21 A Yes.

22 Q Do you have an independent memory
23 of this patient separate and apart from your
24 review of this chart?

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

11

1 , M.D.

2 Q Is there anything in your notes
3 which indicates to you exactly who it was from
4 the Infectious Disease service that you spoke
5 to?

6 A No.

7 Q Is there any reason that you know
8 of as you can sit here today determine why
9 approval was not given for the use of
10 Vancomycin?

11 A Because the antibiotics were deemed
12 appropriate for her particular presentation.

13 Q You're referring to the Ceftriaxone

14 and the Nafcillin?

15 A Yes.

16 Q Did they make any other

17 recommendations to you about any other types of

18 medication for the condition for which she was

19 now admitted?

20 A Not that I recall.

21 Q When you spoke to someone from the

22 Infectious Disease service, was it by telephone

23 or in person?

24 A I don't remember. It could be

25 either way.

TOMMER REPORTING, INC. (212) 684-2448

12

1 , M.D.

2 Q At the time that you had a

3 conversation with someone from ID, did that

4 person examine the child before coming to any
5 conclusion about any questions you may have
6 had?

7 A I do not recall, but it's not
8 customary for them to go to the bedside.

9 MR. : Under these
10 circumstances.

11 A Under these circumstances. If it's
12 for antibiotic approval, they did not usually
13 routinely examine the child.

14 Q You noted that Vancomycin was
15 considered for possible drug resistant
16 pneumococci. What suggested to you that this
17 patient might have a drug resistant
18 pneumococci?

19 A Just that she -- the fact that she
20 was admitted to the unit with persistent
21 symptoms.

22 Q Did you obtain a history from the
23 parents as well?

24 A It is my custom to do that, but I
25 do not recall.

TOMMER REPORTING, INC. (212) 684-2448

13

1 , M.D.

2 Q From your note can you tell whether
3 you spoke to Mrs. , Mr. or any
4 other family member?

5 A I do not particularly recall.

6 Q Is there anything in your note to
7 suggest who, if anyone, from the family you
8 spoke to in obtaining the patient's history?

9 A No.

10 Q As part of your history and
11 physical and making a note, would it be
12 customary for you to review the patient's
13 records for her admission for the days before
14 entering the PICU?

15 A Yes.

16 Q Did you learn on August 21, ,

17 that the patient had been seen and evaluated by
18 her pediatrician prior to being admitted to
19 ?

20 A Yes.

21 Q What information did you learn
22 about the treatment she received from her
23 pediatrician?

24 A All that's just recorded in her
25 history.

TOMMER REPORTING, INC. (212) 684-2448

14

1 , M.D.

2 Q Which history are you referring to?

3 A The emergency room record and the
4 admitting history on the pediatric floor.

5 Q In both of those histories did you
6 learn that the patient had experienced any type
7 of abdominal pain prior to her admission?

8 A At her presentation to the ER she
9 had abdominal pain.

10 Q Did you learn from the prior
11 history that's noted in the hospital record
12 that whether she had been given any course of
13 antibiotics by the pediatrician?

14 A As I recall just from looking at
15 the history, I remember that she did not.

16 Q Do you know a physician named Dr.
17 ?

18 A No.

19 Q Have you ever spoken with Dr.
20 regarding ?

21 A No.

22 Q Did you review the patient's x-rays
23 that were taken on admission or shortly after
24 admission but before she was admitted to the
25 PICU?

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 A Yes, at the time of her admission.

3 Q At that time did you observe that

4 there was a total white out to a portion of her

5 lungs?

6 A Yes.

7 Q What was the medical significance

8 of that finding to you, if anything?

9 A A white out can signify a

10 combination of pneumonia and/or a pleural

11 effusion.

12 Q Did you form your own opinion as to

13 what that complete white out represented at the

14 time you observed it?

15 A It, again, taking into account her

16 presentation and my physical exam that it was

17 consistent with bacterial pneumonia.

18 Q What suggested that it was

19 bacterial pneumonia as opposed to any other

20 type of pneumonia at that time?

21 A The pattern of its appearance on
22 the x-ray, her fever curve.

23 Q What was it about the appearance on
24 the x-ray that led you to conclude initially
25 that she had a bacterial pneumonia?

TOMMER REPORTING, INC. (212) 684-2448

16

1 , M.D.

2 A The fact that it only involved a
3 segment or a particular lobe.

4 Q Was the appearance of the lobe that
5 you're referring to, was it loculated or
6 lobulated in any form or fashion?

7 A You cannot tell that by x-ray. You
8 can only tell which particular lobe is
9 involved.

10 Q What is it about the fact that

11 there was only one lobe involved that suggested
12 to you that this was a bacterial process and
13 not some other type?

14 A Bacterial pneumonias are the ones
15 that usually involve a segment or a lobe of the
16 lung.

17 Q In your experience as of August,
18 , had you seen x-rays of a similar nature
19 where it turned out the patient did not have
20 bacterial pneumonia, but instead had a
21 different type?

22 A No.

23 Q Doctor, am I correct that you
24 graduated from The University of The
25 in Medical School?

TOMMER REPORTING, INC. (212) 684-2448

2 A Yes.

3 Q That was in ?

4 A Yes.

5 Q You became licensed to practice

6 medicine in the State of New York in the year

7 ?

8 A Yes.

9 Q Was that before August of ,

10 after?

11 A Yes, before.

12 Q You also became board certified in

13 Pediatrics in the year ?

14 A Yes.

15 Q You also took a test known as the

16 after graduating medical school?

17 A No, the test is the , and it

18 is the test that gives certification.

19 Q That was in ?

20 A Yes.

21 Q That's for foreign medical school

22 graduates who come to the United States to

23 begin training or practice?

24 A Yes.

25 Q You presented lectures at two

TOMMER REPORTING, INC. (212) 684-2448

18

1 , M.D.

2 different occasions one in May and one in

3 October of , correct?

4 A Yes.

5 Q Have you published any peer review

6 journals -- I'm sorry, have you published any

7 literature in any peer review journals?

8 A No.

9 Q Have you participated in publishing

10 any portions of any medical textbooks?

11 A No.

12 Q Are you on any peer review

13 committee to review papers submitted for

14 publications of any peer review journal?

15 A No.

16 Q Has your license to practice
17 medicine ever been suspended or revoked?

18 A No.

19 Q Has your board certification ever
20 been suspended or revoked?

21 A No.

22 Q Have your privileges at any
23 hospital ever been suspended or revoked?

24 A No.

25 Q What were your general

TOMMER REPORTING, INC. (212) 684-2448

19

1 , M.D.

2 responsibilities as a fellow at

3 in August, of ?

4 A To do rounds on all the Pediatric

5 ICU patients, to take calls at night as
6 determined by our call schedule, to work under
7 the supervision of an attending physician and
8 making the plan for the care of patients.

9 Q Were there any other fellows
10 besides yourself in the same field of medicine
11 in which you were practicing in August, of
12 at ?

13 A Yes.

14 Q How many others were there?

15 A There were four more.

16 Q Did you see on a
17 daily basis for a period of time?

18 A Yes.

19 Q Did there come a time that one of
20 your other fellows, one of your colleagues who
21 was also a fellow, saw on the
22 occasions when you were unable to or not
23 working?

24 A Yes.

25 Q Did you have a custom and practice

TOMMER REPORTING, INC. (212) 684-2448

20

1 , M.D.

2 back in August of that you would make

3 rounds on the patients in the Pediatric ICU

4 with the attending physician?

5 A Yes.

6 Q Was that always done?

7 A Yes.

8 Q Was it a custom and practice for

9 you to discuss the patients that you rounded on

10 with the attending physician who was present in

11 the PICU on any given day?

12 A Yes.

13 Q In preparation for today's

14 deposition did you review any medical

15 literature concerning the topic of which this

16 case is involved?

17 A No.

18 Q Were you provided or given any
19 written questions to review in preparation for
20 today's deposition?

21 A No.

22 Q Were you ever asked to prepare any
23 written statement of your involvement with
24 ?

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

21

1 , M.D.

2 Q Were you ever asked to prepare any
3 material statements that was recorded
4 concerning your involvement with
5 ?

6 A No.

7 Q Did you ever present 's

8 case at any conference at

9 Medical ?

10 A No, I did not.

11 Q Do you know if any physician ever

12 presented Ms. 's case to any group of

13 physician's for educational purposes either at

14 rounds, grand rounds or some other conference

15 held at Medical ?

16 A Unfortunately I've been unable to

17 attend any rounds.

18 Q I'm just asking if you're aware?

19 A Not that I recall.

20 Q I'd like you to tell me, please,

21 what is cold agglutinin test?

22 A It's a non-specific blood test that

23 can indicate whether there is an atypical

24 organism that may cause pneumonia. It's

25 basically a red blood cell's reaction to being

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 exposed to cold, to a cold temperature.

3 Q Under what circumstances generally

4 do you order a cold agglutinin test?

5 A If you have an index of suspicion

6 for an atypical process going on.

7 Q In 's case are

8 you aware that a cold agglutinin test was

9 ordered in or about August 31, ?

10 A Upon review of the chart.

11 Q Did you learn who ordered the test?

12 A Again, by reviewing the chart

13 Infectious Disease made that recommendation.

14 Q Do you know why it was ordered?

15 A Their notes, their note indicated

16 that an atypical process may be possible.

17 Q You're referring now to the ID

18 consult of August 31, correct?

19 A To the consult.

20 Q Take a look at that, Doctor. Do you
21 know the name of the physician who wrote this
22 consult note on August 31?
23 A I do not recognize the signature.
24 Q Is there a printed name that
25 appears next to the signature that you can make

TOMMER REPORTING, INC. (212) 684-2448

23

1 , M.D.

2 out?

3 A Not really. I don't remember.

4 Q At any time while you were treating

5 in or around August 31, did

6 you review and read this ID consult note?

7 A I do not remember.

8 Q Who called Infectious Disease for a

9 consult?

10 A It was done based on the suggestion

11 of Dr. .

12 Q Were you present for any
13 conversation or discussion about whether or not
14 to call an ID consult?

15 A Yes.

16 Q Tell me about that conversation?

17 A It was requested to help us
18 determine the duration of home antibiotic
19 therapy, and also for someone to follow-up the
20 patient when she was discharged home.

21 Q Was there any discussion during
22 this conversation about the effectiveness of
23 the antibiotic therapy that the patient had
24 been receiving up to that time?

25 A Come again?

TOMMER REPORTING, INC. (212) 684-2448

2 Q As of August 31st the patient had
3 been receiving Nafcillin and Ceftriaxone,
4 correct?

5 A Yes.

6 Q I believe the patient had been
7 receiving the Ceftriaxone for approximately
8 thirteen days and the Nafcillin for
9 approximately ten days as of August 31st. Was
10 there any discussion with Dr. or anyone
11 else about whether that antibiotic regimen was
12 effective in treating the child's condition at
13 that time?

14 MR. : You mean when the
15 decision was made to call Infectious
16 Diseases because of the in-dwelling
17 catheter and the need to follow her
18 for the antibiotics therapy that was
19 going to be administered at home?

20 MR. OGINSKI: Right.

21 MR. : Understand?

22 You understand the question? On that
23 morning when you wrote that in your

24 note was there any question about the
25 effectiveness of the antibiotics she

TOMMER REPORTING, INC. (212) 684-2448

25

1 , M.D.

2 was receiving at the point?

3 A It was deemed adequate and it was,
4 again, just for duration of treatment.

5 Q What was the duration of treatment
6 for?

7 A The Infectious Diseases consult.

8 Q Was there anything to suggest to
9 you that the antibiotic therapy was not
10 effective in treating this child's condition
11 prior to Infectious Disease coming in for a
12 consult?

13 A No.

14 Q Is Ceftriaxone effective in

15 treating microplasma pneumonia?

16 A No.

17 Q Is Nafcillin effective in treating

18 microplasma pneumonia?

19 A No.

20 Q What is a macrolide?

21 A Macrolide is a type of antibiotics

22 that can usually cover atypical organisms as

23 well as some bacterial organisms that can cause

24 pneumonia.

25 Q Based upon your review of the

TOMMER REPORTING, INC. (212) 684-2448

26

1 , M.D.

2 August 31 Infectious Disease consult note, were

3 there any specific findings that led the

4 Infectious Disease physician to conclude that a

5 cold agglutinin test was warranted?

6 MR. : How could she
7 express an opinion on what was in
8 their mind?

9 MR. OGINSKI: I'm not asking
10 her to express an opinion. I'm
11 asking based on a review of the
12 Infectious Disease consult note.

13 Q Is there anything within that
14 note to suggest why a cold agglutinin test was
15 ordered?

16 MR. : She can answer.

17 A No.

18 Q Would the performance of a cold
19 agglutinin test have been useful to you earlier
20 in terms of diagnosis and treatment of this
21 patient?

22 MR. : Objection to the
23 form of the question. Useful, that's
24 not an appropriate question.

25 Q This patient was admitted to

TOMMER REPORTING, INC. (212) 684-2448

27

1 , M.D.

2 on August 19th, correct?

3 A Yes.

4 Q From August 19th up until August

5 31st was there any clinical symptoms or

6 findings to suggest to you that this patient

7 warranted a cold agglutinin test be performed?

8 A No.

9 Q We know from a review of the record

10 that the cold agglutinin test was positive,

11 correct?

12 A Yes.

13 Q Can you say with a reasonable

14 degree of medical probability whether that cold

15 agglutinin test would have been positive if

16 done one day earlier?

17 A No.

18 Q Can you say with any degree of
19 medical probability whether that cold
20 agglutinin test would have been positive any
21 time earlier whether it's three days, five
22 days, seven days, a week earlier, any time
23 frame while she's still admitted?

24 A No.

25 Q Based on the positive findings of

TOMMER REPORTING, INC. (212) 684-2448

28

1 , M.D.

2 the cold agglutinin test, did you form an
3 opinion as to what type of condition or process
4 was suffering from at that time?

5 A Again, it's a non-specific test.

6 Q Did you come to any conclusion as a
7 result of that finding that suggested that this

8 patient was experiencing microplasma pneumonia

9 as the underlying cause of her problems?

10 A No.

11 Q At any time while you were caring

12 for the patient did you ever conclude that this

13 patient was experiencing microplasma pneumonia?

14 A Only when her microplasma titers

15 came back.

16 Q Do you recall based upon your

17 review of the chart when that was?

18 MR. : I think it was the

19 6th of September.

20 A This wasn't part of the original

21 chart.

22 Q What are you referring to, the lab

23 reports?

24 A I think around the time that the

25 microplasma titers came back I was not

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 responsible for her daily care at that time.

3 Q Am I correct that as a result of
4 the cold agglutinin test showing up positive
5 that an additional antibiotic was ordered for
6 treatment of this patient?

7 A Yes.

8 Q The antibiotic that was ordered was
9 a type of macrolide, correct?

10 A Yes.

11 Q That was Azithromycin, correct?

12 A Yes.

13 Q Tell me why Azithromycin was
14 ordered?

15 MR. : Again, if she
16 didn't order it, how can she tell you
17 why it was ordered?

18 Q Did you order the Azithromycin?

19 A I do not recall.

20 Q Do you know why Azithromycin was

21 ordered?

22 A If I can't recall -- come again?

23 Can you repeat your question?

24 Q We know that the cold agglutinin

25 test came back positive and that an additional

TOMMER REPORTING, INC. (212) 684-2448

30

1 , M.D.

2 antibiotic was added to her antibiotic regimen

3 of the Nafcillin and the Ceftriaxone, correct?

4 A Um-hmm.

5 Q Why was the Azithromycin added to

6 the antibiotic regimen?

7 A Presumably for the cold agglutinin,

8 but if I didn't order it --

9 MR. : In other words, if

10 you didn't order it, then you weren't

11 involved in the decision and you're

12 only speculating; is that correct?

13 THE WITNESS: Yes.

14 Q Did you have any conversations with

15 any physician after the cold agglutinin test

16 came back positive to indicate or suggest that

17 this patient was having any type of microplasma

18 pneumonia?

19 A I don't remember.

20 Q Other than obtaining the

21 microplasma titers, is there any other way to

22 conclusively diagnose a patient with

23 microplasma pneumonia?

24 A Not that I'm aware of.

25 Q Is a diagnosis of microplasma

TOMMER REPORTING, INC. (212) 684-2448

2 pneumonia a diagnosis of exclusion?

3 A Yes, pretty much.

4 Q Would you consider microplasma

5 pneumonia to be a form of an atypical

6 pneumonia?

7 A Yes.

8 Q Can you tell me with what frequency

9 did microplasma pneumonia appear in children in

10 the year in the general population?

11 MR. : I'm going to object

12 to that. She answered no, but you

13 can't just generically say children

14 because children run a gamut from

15 pre-teenagers to newborns.

16 A No.

17 Q In the year what was the

18 treatment of choice for children four-year-olds

19 who were diagnosed with microplasma pneumonia?

20 A All microplasma pneumonias are

21 treated with a macrolide.

22 Q Is a microplasma pneumonia a common

23 finding in four-year-old children?

24 A No.

25 Q Was there anything in this

TOMMER REPORTING, INC. (212) 684-2448

32

1 , M.D.

2 patient's history or presentation upon her

3 admission to the Pediatric Intensive Care Unit

4 that suggested to you that she needed an

5 Infectious Disease consult initially?

6 A No.

7 Q Other than the reasons you've told

8 me about for getting the ID consult, is there

9 any other reason as to why Infectious Disease

10 was called around August 31st?

11 A No.

12 Q Would it have helped you for the

13 purposes of diagnosis and treatment of this

14 child to have obtained an Infectious Disease

15 consult shortly after admission to the ICU?

16 MR. : I'm going to object

17 to would it have helped you. These

18 are not the standards by which

19 doctors are judged. The question

20 should be and it's already been asked

21 in her professional opinion was one

22 needed?

23 Q Do you have an opinion as you sit

24 here today as to whether an Infectious Disease

25 consult was warranted at any time before August

TOMMER REPORTING, INC. (212) 684-2448

33

1 , M.D.

2 31st while she was admitted to the Pediatric

3 Intensive Care Unit?

4 MR. : You can answer it.

5 A No.

6 MR. : No, you don't have

7 an opinion?

8 A No, we don't need it.

9 Q The Infectious Disease consult of

10 August 31, is there any way for you to know

11 whether that physician still works at ? I

12 know that you can't read the signature, but is

13 there any way for you to know at this point

14 whether that individual still works there?

15 A If I cannot identify the

16 individual, I cannot make a comment where

17 they're currently located.

18 Q The type of pneumonia that this

19 patient had from the time that she entered the

20 hospital did it change in any regard until the

21 time that she was diagnosed with a microplasma?

22 A No.

23 MR. : I don't understand,

24 did it change. What are you talking

25 about? Did it get better, did it

34

1 , M.D.

2 improve? It was thought to be a

3 staph strep bacterial pneumonia.

4 That's what I think she means when

5 she said that didn't change.

6 MR. OGINSKI: Let me rephrase

7 the question.

8 Q At some point during this

9 child's hospital admission she was diagnosed

10 with having a microplasma pneumonia, correct?

11 A Yes.

12 Q Can you say with a reasonable

13 degree of medical probability that this child

14 had microplasma pneumonia at the time she was

15 admitted to the hospital?

16 A I cannot.

17 Q We know that at some point, I think

18 your attorney mentioned September 6th when the
19 microplasma titers came back as being positive
20 for microplasma, that a diagnosis was made that
21 she had microplasma. Is there any way for you
22 to determine whether the child had the
23 microplasma pneumonia before the titers came
24 back?

25 A I don't understand.

TOMMER REPORTING, INC. (212) 684-2448

35

1 , M.D.

2 Q Let me rephrase the question. Based
3 upon the diagnosis that this child had
4 microplasma in September of , can you also
5 say that she had the microplasma in August of
6 while she was still admitted at the
7 hospital?

8 A I cannot.

9 Q Why can't you? Why can't you make
10 that determination?

11 A Because a titer is only good up to
12 the time you draw the titer. It's a reflection
13 of the time you draw it. You can't really make
14 any --

15 MR. : You can't determine
16 that as going backwards.

17 A Back, yes.

18 Q If titers had been drawn a day
19 earlier than when they originally had been
20 taken, is there any way to determine whether
21 those titers would be positive for microplasma?

22 MR. : The question's --
23 I'm not going to -- you know, if the
24 moon was made out of cream cheese I
25 could have some fantastic bagel with

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 cream cheese. If questions are

3 really inappropriate. I mean, her

4 answer's going to be no, but it's

5 just an inappropriate question.

6 MR. OGINSKI: I'm going to

7 rephrase.

8 Q Initially you told me that it was

9 your suspicion was this patient had a bacterial

10 pneumonia?

11 A Yes.

12 Q Did your suspicion that this

13 patient had bacterial pneumonia change at any

14 time before she was diagnosed with microplasma?

15 A No.

16 Q Based upon the diagnosis of

17 microplasma pneumonia, can you say with a

18 reasonable degree of medical probability that

19 this patient did not have bacterial pneumonia?

20 A No.

21 Q Why?

22 A Because of the presentation, the
23 clinical findings and the diagnostic test all
24 pointed to the possibility, all pointed that
25 this child had a bacterial pneumonia.

TOMMER REPORTING, INC. (212) 684-2448

37

1 , M.D.

2 Q Did this patient have both a
3 bacterial pneumonia and a microplasma
4 pneumonia?

5 A In my opinion, yes.

6 Q Did this child have any other type
7 of pneumonia in addition to the bacterial and
8 the microplasma?

9 MR. : At what moment in
10 time are we talking about when you
11 asked your prior to question?

12 Q At any time during her hospital
13 admission did she have any other type of
14 pneumonia other than bacterial and microplasma
15 pneumonia?

16 A Not that I know of.

17 Q The diagnosis of microplasma, does
18 that mean that the patient did not have a
19 bacterial pneumonia?

20 MR. : She's already
21 answered that.

22 A I said they can co-exist.

23 MR. : She said they can
24 co-exist.

25 Q Is microplasma a form of a virus?

TOMMER REPORTING, INC. (212) 684-2448

38

1 , M.D.

2 A No.

3 Q I'd like you to go back, please, to
4 your first admission to this patient of August
5 21?

6 MR. : Her fellow's note
7 of August 21 do we have that?

8 Q Did you make any observations to
9 the child's presentation whether she looked
10 sick, cranky, uncomfortable or any other
11 characterization at that time?

12 A Yes.

13 Q Tell me what you observed on that
14 date concerning her physical appearance?

15 A The child was awake, alert, anxious
16 in mild to moderate respiratory distress.

17 Q You're reading now from your note,
18 correct?

19 A Yes.

20 Q What do you consider to be mild to
21 moderate distress?

22 A The presence of dekipnia or fast
23 breathing rate, and the nasal flaring and the

24 retractions.

25 Q Nasal flaring is suggestive of

TOMMER REPORTING, INC. (212) 684-2448

39

1 , M.D.

2 what?

3 A Increased work of breathing.

4 Q What is the significance of the

5 retractions that you observed?

6 A Increased rate of breathing.

7 Q What were the causes, generally

8 what are the causes of the nasal flaring and

9 the retractions?

10 MR. : You can ask her

11 what her view of the cause is in this

12 particular case.

13 MR. OGINSKI: I'll rephrase

14 the question.

15 Q Did you form an opinion after
16 conducting your history and physical
17 examination and any other records that you had
18 as to the cause of this child's nasal flaring
19 and her retractions?

20 A The pneumonia.

21 Q How long had the pneumonia been
22 present prior to the admission in the PICU?

23 MR. : How should she know
24 that?

25 A It was determined that it's been

TOMMER REPORTING, INC. (212) 684-2448

40

1 , M.D.

2 present for two days from the time of her
3 admission to the time she was transferred to
4 the ICU.

5 Q Who determined that the pneumonia

6 had been present for only two days?

7 MR. : She can only know

8 what happened at the hospital. She

9 came in with a diagnosis of

10 pneumonia.

11 He's asking you can you say

12 before she came into the hospital how

13 long she had the pneumonia?

14 MR. OGINSKI: I'll ask it that

15 way.

16 Q Can you determine based upon

17 your examination of this patient on her

18 admission to the PICU for how long she had had

19 pneumonia prior to her admission to

20 Medical ?

21 A No, I can't.

22 Q Based upon your review of the

23 patient's chart, her history or your

24 examination were you able to determine for how

25 long this patient had clinical signs or

41

1 , M.D.

2 symptoms of pneumonia prior to her admission at

3 ?

4 MR. : How could she know

5 that?

6 MR. OGINSKI: If she can, I

7 don't know.

8 A The signs and symptoms of pneumonia

9 is very non-specific. You can see them with a

10 cold, you can see them with a pneumonia, the

11 only definite way to determine a pneumonia is

12 to do ancillary radiographic tests.

13 Q Such as x-rays?

14 A Such as x-rays.

15 Q Are there any other diagnostic

16 tests that you would use to evaluate a

17 pneumonia?

18 A After x-rays you can either take a
19 sample of fluid or if the child is intubated,
20 you can take a sample of a tracheal aspirate.

21 Q When you say a sample of fluid
22 you're talking about bronchial?

23 A Pleural or bronchial fluid.

24 Q That would be in the form of sputum
25 or some other fashion?

TOMMER REPORTING, INC. (212) 684-2448

42

1 , M.D.

2 A It's pleural or bronchial fluid.

3 Q Did you learn from anyone whether a
4 chest x-ray or any other type of x-rays had
5 been taken of this child while she was under
6 the care of the pediatrician prior to her
7 admission to ?

8 A I did not converse with the

9 pediatrician, so I do not know what he or she
10 requested.

11 Q Is there anything in the notes that
12 you recall reviewing that suggest whether the
13 patient did or did not have x-rays prior to her
14 admission?

15 A There's no mention in the history
16 and physical of what the management was prior,
17 just the diagnosis.

18 Q I'd like you to go back, please, to
19 the Infectious Disease consult dated August 31,
20 and I'd like you to read the Assessment And
21 Plan on the second page of that consult note?

22 MR. : Again, you're
23 asking her to read someone else's
24 note.

25 MR. OGINSKI: Which she does on

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 a daily basis.

3 MR. : I understand

4 that. That said, it may be difficult

5 to read. She's certainly not here to

6 interpret what someone else wrote,

7 but if you can read the words, fine.

8 If you can't, tell him you can't.

9 A "Four-year-old female with

10 pneumonia left pleural effusion. Current

11 treatment Ceftriaxone and Nafcillin adequate

12 for strep pneumonia and staph orious

13 infections. Secondary to low WBC count," I

14 don't know what that was.

15 Q May I suggest, does that say

16 continue or an abbreviation for continue?

17 A I can't tell whether it is or not.

18 "Low grade temps. Unresponsiveness to current

19 treatment and failure to find empyema on," I

20 don't know what that word is.

21 Q Does that say thoracentesis?

22 A I don't know.

23 Q Did the patient have a

24 thoracentesis?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

44

1 , M.D.

2 Q Go ahead?

3 A "Would consider alternate

4 etiologies EG microplasma, chlamydia,

5 legionella. Recommend cold agglutinins

6 microplasma titers would continue IV

7 antibiotics until discharge if possible would

8 then suggest oral antibiotics either high dose

9 Amoxicillin or a macrolide depending on cold

10 agglutinin."

11 Q I'm sorry, does it say a macrolide

12 antibiotics?

13 A Yes.

14 Q Depending on cold agglutinin?

15 A Yes.

16 Q Based upon this assessment and plan

17 can you tell from this note whether the

18 unresponsiveness to current treatment was

19 referring to the low grade temperatures or

20 something else?

21 MR. : Again, you're

22 asking her to interpret what someone

23 else wrote?

24 MR. OGINSKI: At the moment,

25 yes.

TOMMER REPORTING, INC. (212) 684-2448

45

1 , M.D.

2 MR. : Can you do

3 that?

4 THE WITNESS: No.

5 Q Is there anything in this note

6 under the assessment and plan portion that

7 suggests what this physician was referring to

8 when he or she wrote unresponsiveness to

9 current treatment?

10 A No.

11 Q Was, in fact, there an inability to

12 find empyema on thoracentesis?

13 A The cell count and the pleural

14 fluid chemistries are consistent with an

15 empyema. The fact that there was no growth of

16 bacteria doesn't exclude that there wasn't any

17 empyema.

18 Q Did 's presenting symptoms

19 on admission resolve after three to five days

20 on antibiotics?

21 MR. : Did all of her

22 symptoms resolve, did some of them,

23 did they to some degree? It's an

24 inappropriate question.

25 Q Did her nasal flaring and her

TOMMER REPORTING, INC. (212) 684-2448

46

1 , M.D.

2 respiratory distress resolve within three to
3 five days after being treated with antibiotics?

4 A Just what I documented for her. I
5 think that her fever curved and her work of
6 breathing did diminish.

7 Q At some point after that did it
8 return or increase? You mentioned that the
9 fever curve diminished at some point, did it
10 then return to a higher level?

11 MR. : You mean was there
12 occasional spikes after it was
13 diminishing?

14 MR. OGINSKI: That's fine.

15 A She would occasionally have fever

16 spikes, but the overall trend was she was fever
17 free for the greater part of the day.

18 Q The fact that she continued to
19 spike on different days with the fever what did
20 that suggest to you, if anything?

21 A That we were incompletely
22 evacuating the empyema.

23 MR. : That you had not
24 completely evacuated, was that what
25 you said?

TOMMER REPORTING, INC. (212) 684-2448

47

1 , M.D.

2 THE WITNESS: Yes.

3 Q Was there any attempt to change
4 or alter the antibiotics therapy other than the
5 Nafcillin and Ceftriaxone before August 31?

6 A No.

7 Q At what point do you change
8 antibiotics if the patients overall symptoms do
9 not improve?

10 MR. : Objection to the
11 question. Every patient is
12 different. The symptoms are
13 different. There's no hard and fast
14 rule to any of that stuff.

15 Q At what point would you call in an
16 Infectious Disease consult if the antibiotics
17 don't resolve the problems the patient is
18 experiencing?

19 MR. : That's highly
20 speculative and objectionable.

21 Q Under what circumstances do you
22 call an Infectious Disease consult?

23 A In general?

24 Q Yes.

25 MR. : I'm going to object

1 , M.D.

2 to in general. I'll reserve my right

3 to the time of trial, but I'll let

4 her answer in general you should be

5 asking specific questions. Don't

6 think of this for opening up a

7 Pandora's box after this question.

8 In the spirit of cooperation I'll let

9 her answer in general.

10 In a broad general sense when

11 would you call in an Infectious

12 Disease consult?

13 A If you have concerns that the

14 antibiotic regimen isn't clearing up an

15 infection to one to guide you in more in

16 changing therapy and in guiding you to request

17 further diagnostic tests or to put in place a

18 long term plan for prolonged antibiotics.

19 MR. : Which was the
20 rationale in this case.

21 THE WITNESS: Yes.

22 Q Did you ever have any discussion
23 with Dr. about the potential that this
24 patient was experiencing any form of atypical
25 pneumonia?

TOMMER REPORTING, INC. (212) 684-2448

49

1 , M.D.

2 A Not that I recall.

3 Q Did you ever have any conversation
4 with Dr. prior to the time that the
5 microplasma titers came back positive that this
6 patient was experiencing any type of atypical
7 pneumonia?

8 A Not that I recall.

9 Q Was gram stain test done in this

10 case?

11 A Of the pleural fluid, yes.

12 Q Those came back negative, correct?

13 A Yes.

14 Q Microplasma is a gram negative

15 organism, correct? Can you state that as a

16 general statement?

17 A No, I can't.

18 Q Are you familiar with a test known

19 as a prelaminaris chain reaction test?

20 A Yes.

21 Q What is that?

22 A A fraction of an organism's DNA is

23 amplified so that one can detect levels of it.

24 Q Was any PCR test done to evaluate

25 different respiratory pathogens for this

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 patient?

3 A Not that I remember.

4 Q Under what circumstances would you

5 order a PCR test to be done in a child with

6 this type of presentation?

7 MR. : Wait a minute.

8 With a child with this type of

9 presentation? It wasn't called for

10 in her opinion.

11 MR. OGINSKI: I'm going to

12 withdraw the question. I'll rephrase

13 it.

14 Q Under what circumstances would

15 you order a PCR test?

16 A If it was suggested by Infectious

17 Disease.

18 Q What does PCR tests tell you over

19 and above any of the other diagnostic test that

20 you have available to you?

21 A That an organism was present.

22 Q In August of were PCR tests

23 done at ?

24 MR. : You mean did they

25 have the capability of doing them, is

TOMMER REPORTING, INC. (212) 684-2448

51

1 , M.D.

2 that what you're asking?

3 MR. OGINSKI: Yes.

4 A I don't know that our

5 particular lab does them. There may be a

6 capability to send them out to a bigger .

7 Q Was there ever a discussion with

8 any physician caring for

9 while you were treating the patient of

10 conducting any type of PCR test to evaluate the

11 organism or organisms that she was suffering

12 from?

13 A Not that I remember.

14 Q If you had had such a discussion

15 about whether or not to do a PCR test, would

16 you have expected to make a note of that in the

17 patient's chart at some point?

18 A Yes.

19 Q In your review of the chart is

20 there anything to suggest that there was any

21 such discussion about doing the PCR test?

22 A No.

23 Q In order to do a PCR test does one

24 need a blood sample or any other type of fluid

25 sample?

TOMMER REPORTING, INC. (212) 684-2448

52

1 , M.D.

2 A Yes.

3 Q What type of fluid is necessary?

4 A Usually blood.

5 Q How long does it take for a PCR

6 test to come back with the results?

7 A I don't really know for certain how

8 long.

9 Q Can you estimate whether it takes

10 days, weeks?

11 A Weeks.

12 Q Would a PCR test have assisted you

13 in evaluating this patient at any time while

14 you were treating her?

15 MR. : Objection to

16 assisted her. It is an inappropriate

17 question as it was before. You can

18 ask her in her review was one needed

19 or required. I think you already

20 have that answer but --

21 Q In the course of your fellowship at

22 have you ever ordered PCR tests for any of

23 your patients?

24 MR. : Note my objection

25 as immaterial.

TOMMER REPORTING, INC. (212) 684-2448

53

1 , M.D.

2 Q In August of were you aware of
3 the PCR test as being a diagnostic tool that
4 you as a physician could order in order to
5 evaluate the patient's condition?

6 MR. : I think she
7 indicated that it would have been
8 ordered or recommended by Infectious
9 Diseases.

10 MR. OGINSKI: I know. I just want
11 to know if she was aware at the time.

12 A I know that there's a test.

13 Q From the time that you started at
14 in your fellowship in the
15 Pediatric Intensive Care Unit up until the time

16 that you were treating , had you ever
17 ordered any PCR testing for any patients?

18 MR. : Note my objection.

19 It's immaterial.

20 MR. OGINSKI: Are you going to
21 let her answer?

22 MR. : No, it's
23 totally immaterial.

24 MR. OGINSKI: You can't direct
25 her not to answer.

TOMMER REPORTING, INC. (212) 684-2448

54

1 , M.D.

2 MR. : I can't?

3 MR. OGINSKI: You can't as you
4 well know.

5 MR. : Where does it
6 say that?

7 MR. OGINSKI: Show me in the
8 CPLR where it says you can direct a
9 witness not to answer a question
10 except as to privilege.

11 MR. : If you notice I
12 didn't agree to any stipulations
13 before we started this examination,
14 so I'm objecting.

15 MR. OGINSKI: You can't direct
16 the witness not to answer.

17 MR. : Sure I can.

18 MR. OGINSKI: You can't.

19 MR. : I am. I'm
20 advising her not to answer. You
21 happy with that?

22 MR. OGINSKI: No.

23 Q Are you going to take your
24 attorney's advice or will you answer the
25 question?

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 A I already forgot the question.

3 Q Is a PCR test commonly performed by

4 Pediatric Intensive Care physicians such as

5 yourself?

6 MR. : Objection. It's

7 immaterial. Advise her not to

8 answer.

9 MR. OGINSKI: Materiality and

10 relevancy is reserved at the time of

11 trial. This is discovery.

12 MR. : Not when I

13 don't agree with the stipulation.

14 MR. OGINSKI: Regardless of

15 whether you agree with it or not

16 you're not permitted to advise her

17 not to answer.

18 MR. : This is

19 amazing, you know. I've been at this

20 forty-three years and now you're
21 telling me what I can do and not do.
22 It's easy that I don't take offence
23 easily, but the question is
24 inappropriate and I'm not going to
25 allow her to answer the question.

TOMMER REPORTING, INC. (212) 684-2448

56

1 , M.D.

2 MR. OGINSKI: I think the judge
3 would be the ultimate arbitrator.

4 MR. : Could be.

5 That's what happens in life.

6 Q At any time while you were
7 treating this patient is there any reason for
8 you to order a PCR test?

9 A No.

10 MR. : Perfectly

11 legitimate question.

12 MR. OGINSKI: Thank you for

13 your acknowledgment, Mr. .

14 Q Why not?

15 A We don't think it was indicated.

16 Q Why? What are the indications that

17 you would need to order PCR tests?

18 A If you have -- one, you have to

19 have an organism in mind to request a PCR. You

20 just cannot request a PCR. A PCR of what? So

21 it has to be directed towards what you think --

22 it has to be directed to some organism that you

23 think may be in play.

24 Q After the cold agglutinin test came

25 back positive was there any reason to perform a

TOMMER REPORTING, INC. (212) 684-2448

2 PCR test?

3 A Not in my mind.

4 Q You mentioned earlier that the cold
5 agglutinin test was a non-specific finding?

6 MR. : Correct.

7 Repetitious.

8 A Yes.

9 Q Did that test in and of itself
10 exclude other causes of the organism this
11 patient was suffering from?

12 A Can you explain your question?

13 Q Sure. As of the time the cold
14 agglutinin test coming back positive, it was
15 still your understanding or impression that the
16 patient had a bacterial pneumonia, correct?

17 A Yes.

18 Q The cold agglutinin did not change
19 that opinion at that point, correct?

20 A Yes.

21 Q The finding or the result of the
22 cold agglutinin test, did it allow you to rule

23 out any other possible cause or condition for

24 this patient?

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

58

1 , M.D.

2 Q Can you tell me with a reasonable

3 degree of medical probability whether earlier

4 diagnosis and treatment of microplasma would

5 have altered this patient's hospital course?

6 A No.

7 Q No, you can't tell me?

8 A No, I cannot tell you.

9 Q Do you have an opinion with a

10 reasonable degree of medical probability as to

11 whether the microplasma had been diagnosed and

12 treated earlier in this hospitalization whether

13 the patient would have needed the chest tubes

14 that she had?

15 A Could you repeat your question?

16 Q Sure. If this patient had been

17 diagnosed with microplasma early on in her

18 admission and treated for it, can you tell me

19 with a reasonable degree of medical probability

20 whether she still would have required chest

21 tubes?

22 A No, I cannot.

23 Q Can you tell me if, again, if

24 microplasma had been diagnosed and treated

25 earlier in her admission whether she would have

TOMMER REPORTING, INC. (212) 684-2448

59

1 , M.D.

2 needed a bronchoscopy?

3 A No, I cannot.

4 Q Same question, if the microplasma

5 had been diagnosed and treated earlier in her
6 admission, can you tell me with a reasonable
7 degree of medical probability whether she would
8 have needed an open thoracotomy?

9 A No, I cannot.

10 Q Did you learn at some point after
11 the thoracotomy had been performed that she had
12 suffered a form of an iatrogenic injury during
13 the procedure?

14 A Upon reviewing the surgeon's
15 operative note.

16 Q Did you ever speak to Dr. at
17 any point after the surgery was performed but
18 before she left the hospital?

19 A Not that I remember.

20 Q Do you have an opinion that if
21 microplasma had been diagnosed and treated
22 earlier in 's hospital admission
23 whether she would have needed to be on a
24 mechanical ventilator?

25 A Repeat your question again.

TOMMER REPORTING, INC. (212) 684-2448

60

1 , M.D.

2 Q Sure. If she had been diagnosed
3 with microplasma early on and treated for it,
4 can you tell me with a reasonable degree of
5 medical probability whether she would have
6 needed to be on a mechanical ventilator?

7 A No.

8 Q No, you can't tell me?

9 A No, I cannot tell you.

10 Q What are the long term effects, if
11 any, of microplasma?

12 A None.

13 Q Is there any literature that you're
14 aware of to suggest that microplasma is
15 responsible for the onset of asthma?

16 MR. : Objection to
17 questions about literature.

18 Q Are you aware of any studies in the
19 medical field to suggest that one of the
20 effects of microplasma is a late onset of
21 asthma?

22 MR. : Objection. It's an
23 inappropriate way to question people
24 about literature.

25 Q The statement that you made that

TOMMER REPORTING, INC. (212) 684-2448

61

1 , M.D.
2 there are no long term effects of microplasma,
3 what do you base that opinion on?

4 A My fund of knowledge.

5 Q Within that fund of knowledge was
6 there anything in information that suggests
7 that asthma or onset of asthma is an effect of
8 the microplasma?

9 MR. : She just told you
10 that in her opinion based on her fund
11 of knowledge there is no long term
12 effect. Now you're just asking the
13 question all over again in another
14 way. It's repetitious.

15 MR. OGINSKI: That's my job.

16 MR. : No, it's not.
17 To be repetitious is not your job.

18 MR. OGINSKI: I'm not being
19 repetitious.

20 MR. : I think you
21 are. If you ask the same question
22 over and over again, you're being
23 repetitious.

24 MR. OGINSKI: Not when it is a
25 different question.

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 MR. : It's not a
3 different question. It has a
4 different shade to it, but it's the
5 same question.

6 MR. OGINSKI: I'll rephrase it.

7 Q Are there any long term effects to
8 a child that has experienced microplasma
9 pneumonia?

10 MR. : She already
11 answered that. You want her to
12 answer it again over my objection?
13 I'll let her answer over my
14 objection.

15 MR. OGINSKI: For clarification
16 I am defining the age of the patient
17 and I'd like you to answer on that,
18 please.

19 MR. : You mean
20 four-year-old children?

21 MR. OGINSKI: Yes.

22 A No, I'm not aware of any long-term
23 complications.

24 Q Are you aware of any condition such
25 as Gillion Barraya Syndrome that has been

TOMMER REPORTING, INC. (212) 684-2448

63

1 , M.D.

2 associated with patients who have experienced
3 microplasma?

4 A I'm aware that there is an
5 association.

6 Q Are you aware of any association
7 with patients who have experienced asthma at
8 some point after experiencing microplasma?

9 A No.

10 MR. : If there's some
11 statistical statement, that's totally

12 immaterial. The fact that somebody
13 that had microplasma pneumonia might
14 at some subsequent time in some study
15 have pneumonia doesn't create a
16 causal relationship between one and
17 the other. It is just of some
18 statistical significance potentially.

19 MR. OGINSKI: That's all I'm
20 asking about.

21 MR. : They're
22 inappropriate questions.

23 MR. OGINSKI: I totally
24 disagree otherwise I wouldn't be
25 asking it.

TOMMER REPORTING, INC. (212) 684-2448

64

1 , M.D.

2 MR. : I'm sure that

3 even if you thought they were
4 inappropriate questions knowing you
5 from three other depositions, you
6 would attempt to get an answer.

7 MR. OGINSKI: I knew it would
8 come out.

9 Q Doctor, are you aware of any
10 correlation between patients who had had
11 microplasma with any associated increased risk
12 of acute arrhythmia?

13 A I am not aware.

14 Q Can you tell me what symptoms you
15 would expect to see in a patient who is
16 experiencing microplasma pneumonia?

17 A It's generally referred to as
18 walking pneumonia. These kids are usually well
19 with just a prolonged cough with no other signs
20 of toxicity like fever, general body malay.

21 Q Is headaches one of the symptoms or
22 presenting symptoms that a patient would have
23 with the microplasma pneumonia?

24 A Not that I'm aware of.

25 Q What about chills?

TOMMER REPORTING, INC. (212) 684-2448

65

1 , M.D.

2 A Not that I'm aware of.

3 Q Sore throat?

4 A No.

5 Q Abdominal pain?

6 A No.

7 Q Chest pain or soreness in the

8 chest?

9 A That comes with coughing.

10 Q On examination of a patient with

11 microplasma pneumonia would you commonly expect

12 to find rhonchi?

13 A You may find rhonchi.

14 Q What about rales, would you

15 commonly expect to find rales in a patient with

16 microplasma?

17 A Not consistently.

18 Q What about wheezing, would you

19 expect to find that in a patient with

20 microplasma?

21 A Not consistently.

22 Q Did you form any opinion as of

23 August 21st as to whether this patient was

24 immuno-compromised?

25 A Yes, I had an opinion, and she was

TOMMER REPORTING, INC. (212) 684-2448

66

1 , M.D.

2 not in my mind an immuno-compromised child.

3 Q Based upon the Infectious Disease

4 consult of August 31, legionella was also

5 evaluated?

6 A Not that I remember.

7 Q What is aspiration pneumonia?

8 A It's a descriptive -- aspiration,

9 it's a descriptive term wherein the sequence of

10 the pneumonia was thought to be aspiration of

11 gastric contents.

12 Q Was there any suggestion in your

13 mind while treating this patient that she was

14 suffering from an aspiration pneumonia?

15 A No.

16 Q Was it your opinion that this

17 patient had had an empyema?

18 A Yes.

19 Q Did that opinion change at any time

20 during the course of her hospitalization?

21 A What exactly is the question?

22 Whether it became better?

23 MR. : Did you continue to

24 believe she had empyema until

25 conceivably it was drained out?

TOMMER REPORTING, INC. (212) 684-2448

67

1 , M.D.

2 THE WITNESS: Yes.

3 MR. : At some point it

4 was must have ended when it was

5 drained out. That's why your

6 questions are confusing.

7 Q I'd like to talk to you a

8 little bit about lab tests. Is a lab WBC

9 helpful to evaluate certain types of pneumonia?

10 A Yes.

11 Q How so?

12 A Certain pneumonias give you an

13 elevation in white count. The sub-type or

14 percentage of each particular type of white

15 blood cell will also help you determine what

16 kind of pneumonia it is. Certain pathogens

17 make certain sub-populations of white cells

18 more prominent than others.

19 Q Is a white blood cell count
20 effective in evaluating microplasma?

21 A In terms of -- you can pretty much
22 -- it will assist you in assessing whether it
23 will be high or low on your list depending on
24 whether the other pathogens are high or low on
25 your list. Ask it another way.

TOMMER REPORTING, INC. (212) 684-2448

68

1 , M.D.

2 Q Does a white blood cell count in
3 and of itself tell you whether or not a patient
4 has some form of microplasma?

5 A No.

6 Q It's one tool that you can use --

7 A Yes.

8 MR. : Wait till he puts a

9 question mark on the question before
10 you start to answer. He stopped in
11 the middle of it. He's going to add
12 something to the end of it and you've
13 already answered yes.

14 MR. OGINSKI: Let me ask a
15 different question.

16 Q The gram stains that we talked
17 about earlier, that's used to exclude certain
18 types of pathogens?

19 A Yes.

20 Q The fact that a gram stain turns
21 out to be negative, you can not exclude a
22 particular type of organism based upon a
23 negative finding, correct?

24 A Yes.

25 Q What about elevated sedimentation

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 rates, is that useful for you in evaluating

3 types of pneumonia?

4 A No.

5 Q We talked a little bit about

6 radiographic studies. Are there any other

7 imaging studies that you're aware of that in

8 the year of would assist you evaluating

9 types of pneumonia?

10 A As to etiologic agents?

11 Q As to either the cause or what type

12 of pneumonia the patient was experiencing?

13 MR. : Or the progression

14 of the pneumonia?

15 MR. OGINSKI: That's fine.

16 MR. : Or regression

17 of the pneumonia.

18 A The x-rays will help you

19 determine whether if pneumonia seems to be

20 progressing or improving. The pattern of lung

21 involvement can help you lean towards certain
22 pathogens, but it is not the gold standard.
23 It's not a gold standard for determining
24 etiologic agents.

25 Q The gold standard that you referred

TOMMER REPORTING, INC. (212) 684-2448

70

1 , M.D.

2 to for this type of case would be what?

3 A Microbiologic studies.

4 Q Are any other types of image

5 studies such as cat scans, MRIs or other

6 studies that you're aware of assist you in

7 evaluating patients for types of pneumonia that

8 they're experiencing other than the x-rays?

9 A Again, it cannot help you with the

10 organism. It's not definitive. It can only

11 suggest. It can help you lean towards certain

12 pathogens, so cat scans and chest x-rays are
13 the usual tools to determine the extent and
14 possible type of pneumonia.

15 Q I want to ask you a little bit
16 about serology. What percentage of patients
17 are positive for cold agglutinin tests within
18 seven days of infection?

19 A I cannot answer that question.

20 Q A negative result doesn't exclude
21 microplasma of a cold agglutinin result,
22 correct?

23 MR. : Are you testifying
24 or is she supposed to testify?

25 MR. OGINSKI: I'm asking a

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 question.

3 MR. : You ask a yes
4 or no type of question. I don't
5 think that's an appropriate way to
6 conduct a deposition.

7 MR. OGINSKI: Why, is there
8 some guideline that I have to follow
9 as to how I can ask a question?

10 MR. : For one thing
11 she's sworn, you are not sworn.

12 MR. OGINSKI: I'm not giving
13 testimony, I'm asking questions.

14 MR. : You're making
15 statements. You're not asking yes or
16 no questions.

17 MR. OGINSKI: I think I'm
18 asking proper questions. I'll
19 rephrase it.

20 MR. : I don't think
21 you are.

22 Q Does a positive cold agglutinin
23 test confirm the presence of microplasma?

24 A No.

25 Q Does it confirm any process at all?

TOMMER REPORTING, INC. (212) 684-2448

72

1 , M.D.

2 A No.

3 MR. : That's about the
4 third or fourth time that she's told
5 you that a cold agglutinin test is a
6 non-specific test. I mean, the fact
7 that you ask it in three or four
8 different ways doesn't change the
9 fact that you're asking repetitive
10 questions. You get your answer you
11 should move on.

12 MR. OGINSKI: Thank you for the
13 direction.

14 MR. : All right. I'm

15 trying to help you.

16 Q Are you familiar with something
17 called compliment fixation?

18 A Yes.

19 Q Is that a type of serology test?

20 A Yes.

21 Q Are you familiar with something
22 called enzyme linked immunoassays?

23 A Yes.

24 Q How about indirect
25 hemoglutinization?

TOMMER REPORTING, INC. (212) 684-2448

73

1 , M.D.

2 A Yes.

3 Q Were any of those tests performed
4 for this patient?

5 A Not that I remember.

6 Q Would any of these tests in your
7 opinion and need performing any of those tests
8 prior to August 31st?

9 A No.

10 Q Can you tell me what those tests
11 would accomplish or why they would generally be
12 ordered?

13 MR. : It's two questions
14 in one. I'm going to object to both
15 of them.

16 MR. OGINSKI: I'll rephrase
17 them.

18 Q Compliment fixation, when did you
19 order that type of test?

20 MR. : Under what set of
21 circumstances would she order that
22 test?

23 MR. OGINSKI: Yes.

24 MR. : I think it's an
25 inappropriate question. I object to

1 , M.D.

2 the form of the question reserving my

3 objection to the time of trial.

4 A All these tests are basically

5 different forms of serological tests. They are

6 only significant when done in pairs at

7 different points in time. You need an acute,

8 an acute sample and a sample done in a couple

9 of weeks from the time of the initial sample to

10 see a change in the amount of titers that you

11 get, and, again, I would be requesting it if it

12 was suggested by, if an Infectious Disease

13 consult would recommend it.

14 Q Am I correct that you would not be

15 requesting it on your own, you would wait for

16 the Infectious Disease consult to recommend it?

17 MR. : That's what she

18 said. Whether they're correct or not

19 I don't really care about. Please
20 don't rephrase her questions and ask
21 them all over again.

22 A Yes.

23 Q Would you agree that earlier
24 diagnosis and earlier treatment is generally
25 better for the patient?

TOMMER REPORTING, INC. (212) 684-2448

75

1 , M.D.

2 MR. : Objection.

3 MR. OGINSKI: What's the
4 objection?

5 MR. : What are we
6 talking about? Early diagnosis is
7 better in breast cancer cases except
8 if it's a breast cancer case it's a
9 virulent type it wouldn't make a

10 difference when you found it because
11 it's been acclimated for such a
12 period of time you're in a category
13 of people that are probably going to
14 die.

15 MR. OGINSKI: This isn't a
16 breast cancer case.

17 MR. : This is
18 inappropriate questions. She's not
19 here for general medical analysis of
20 information. She's here for specific
21 questions on this patient.

22 Q Doctor, is pneumonia a serious
23 illness?

24 MR. : Is pneumonia a
25 what?

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 MR. OGINSKI: A serious

3 illness.

4 MR. : She can answer

5 over my objection.

6 A It's a spectrum, so depending on

7 where you get the child it can be benign to

8 life threatening.

9 Q Did you learn at some point during

10 's hospitalization that on thoracotomy

11 there was a finding of necrotic lung?

12 A On review of the surgical record.

13 Q Did you ever form an opinion as to

14 the cause of the necrosis of the lung that was

15 observed on thoracotomy?

16 A No.

17 Q I'd like you to turn, please, to

18 what was marked as Page 16 the on bottom right?

19 A 6-0, 1-6?

20 Q 1-6. August 22nd, it is actually

21 your note. Doctor, looking at that Page 16

22 what is the date of your note?

23 A No date.

24 Q Can you tell from prior notes that

25 appear in the hospital record what date you

TOMMER REPORTING, INC. (212) 684-2448

77

1 , M.D.

2 wrote this note?

3 A I had a note for the 21st and it

4 immediately preceded my procedure note on the

5 22nd. It's likely to be my note for 8/22/.

6 Q At the top of the note you write

7 something after left lower lobe pneumonia. Can

8 you tell me what that says?

9 A "With effuse loculated."

10 Q Under that?

11 A "Temporary problem hypoxia."

12 Q How did that come about?

13 MR. : How did the hypoxia

14 come about?

15 MR. OGINSKI: Yes.

16 A It's part of your pneumonia.

17 Q What led you to conclude that the

18 patient was experiencing hypoxia?

19 A She developed an oxygen requirement

20 or had a period of desaturation.

21 Q You write in your note,

22 "Desaturated this morning to eighties. Required

23 oxygen on the right side"?

24 A Yes.

25 Q What is the significance of that to

TOMMER REPORTING, INC. (212) 684-2448

78

1 , M.D.

2 you?

3 A That she had a temporary increase

4 in her oxygen requirement.

5 Q Did the administration of oxygen
6 increase her oxygen saturation?

7 A Yes.

8 Q The grunting that you note directly
9 under that, what's written next to that?

10 A Flaring.

11 Q To what, if anything, did you
12 attribute that?

13 A Again, her pneumonia.

14 Q For how long were her oxygen
15 saturations in the eighties?

16 A I would have to look at the nursing
17 record for that day.

18 Q Is there anything within your note
19 to indicate how long the patient had had the
20 oxygen saturation in that range?

21 A No.

22 Q Is there any long term problems
23 associated with this hypoxia that you've noted
24 here?

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

79

1 , M.D.

2 Q At the bottom of your note you
3 wrote under chest x-ray, "tracheal shift to
4 right." Do you see that?

5 A Yes.

6 Q What does that mean?

7 A It's a finding that is consistent
8 with a pneumonia.

9 Q The fact that there is a shift now,
10 what does that tell you, if anything?

11 A That there is a pneumonia.

12 Q Can you characterize the type of
13 pneumonia that you are observing at that time?

14 MR. : From the fact that
15 the x-ray has a shift to the right?

16 MR. OGINSKI: Yes.

17 Q Is it a significant pneumonia,
18 moderate, mild or any other way you can
19 characterize it?

20 A Just based on the tracheal shift?

21 Q Yes.

22 A No.

23 Q Can you read what you write
24 underneath and after the word stable at the
25 bottom?

TOMMER REPORTING, INC. (212) 684-2448

80

1 , M.D.

2 A An episode of laryngospasms after
3 persistence of cough.

4 Q Was that after the procedure of
5 insertion of chest tube?

6 A Yes.

7 Q Do you know what caused the

8 laryngospasm?

9 A One of the medications can cause

10 laryngospasm.

11 Q Were you successful in inserting

12 the chest tube at that time?

13 A Yes.

14 Q As a result of the laryngospasm,

15 did the patient need to be intubated?

16 A Transiently, yes.

17 Q Was this the occasion when the

18 patient was put on the mechanical ventilator or

19 did that come later?

20 A That came later.

21 Q Can you turn, please, to Page 19,

22 which is your procedure note for August 22?

23 MR. : We're there.

24 MR. OGINSKI: Good.

25 Q Did you insert the chest tube?

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 A Yes.

3 Q Dr. supervised you?

4 A Yes.

5 Q This was done in the Pediatric ICU,

6 correct?

7 A Yes.

8 Q After the chest tube was inserted,

9 the chest x-ray revealed the patient had a

10 pneumothorax, correct?

11 A That is no pneumothorax. That's a

12 null sign.

13 Q You put a question mark before

14 laryngospasm?

15 A Because you can only theorize it.

16 The symptoms were consistent, but I wasn't

17 directly looking at the larynx.

18 Q Why did you insert a chest tube at

19 that time?

20 MR. : Where?

21 MR. OGINSKI: Why.

22 A For her increased work of breathing
23 that developed overnight.

24 Q What was the purpose of inserting
25 it?

TOMMER REPORTING, INC. (212) 684-2448

82

1 , M.D.

2 A Fluid is a space occupying lesion,
3 areas that lungs should occupy, so if it
4 occupies an area, then it impedes lung
5 expansion.

6 Q Was the chest tube mixed with fluid
7 after its placement?

8 A Yes.

9 Q Did the placement of the chest tube
10 resolve the fluid build-up that had been

11 observed on x-ray?

12 A It did drain it, yes.

13 Q Did it drain it to the extent that

14 you and the other physicians caring for the

15 patient was comfortable with that?

16 MR. : I'm going to

17 object to comfortable with.

18 MR. OGINSKI: I'll rephrase the

19 question.

20 Q Why was a second chest tube

21 inserted?

22 MR. : You mean at a

23 subsequent time why was a second tube

24 inserted?

25 MR. OGINSKI: Correct.

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 A The chest tube after draining
3 initially well tapered off and there was still
4 some findings of a pleural effusion on her
5 chest CT.

6 Q How many days later are you
7 referring to?

8 A I am on the 23rd.

9 Q Did you perform a chest tube
10 placement at that time?

11 A No.

12 Q Who did that?

13 A It was done by interventional
14 radiology.

15 Q Is there some reason as to why they
16 did it as opposed to you or any other
17 physician?

18 A So that it could be cat scan
19 guided.

20 Q Can you turn, please, to Page 24,
21 which is your August 23rd note or I assume it's
22 the August 23rd note.

23 Would you agree with me that that would
24 be the note that you have for August 23rd?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

84

1 , M.D.

2 Q Towards the bottom where it says
3 Chest X-ray it says, "left chest tube in
4 place." Was that the first chest tube that you
5 inserted or the second one that had been
6 inserted by interventional radiology?

7 A That was the first chest tube.

8 Q You write, "Still with no
9 significant improvement," correct?

10 A Yes.

11 Q What were you referring to?

12 A Her work of breathing.

13 Q Her what, I'm sorry?

14 A Her work of breathing.

15 MR. : Her work of

16 breathing.

17 Q Did that refer in any way to the

18 chest x-ray findings?

19 A I cannot definitely say. It was

20 more for her work of breathing.

21 Q If it related to her breathing,

22 would you have expected to make that note about

23 no significant improvement in the area of chest

24 or lungs?

25 A But that's in Physical Exam. This

TOMMER REPORTING, INC. (212) 684-2448

85

1 , M.D.

2 is an assessment of the physical exam.

3 Q The phrase that you write, "still

4 with no significant improvement," is listed
5 under the chest x-ray section of your note,
6 correct?

7 A It's not -- while it may be
8 somewhat in line with the chest x-ray it's
9 under the Assessment and Plan.

10 Q On the second page of your note you
11 wrote, "blood cultures are negative," correct?

12 A Yes.

13 Q Can you turn, please, to your note
14 of August 24, which is Page 33 on the bottom
15 right.

16 Had there been any improvement that you
17 observed in the patient's overall condition
18 from the time that the first chest tube was
19 inserted until you wrote your note on August
20 24th?

21 A Yes.

22 Q In what aspect?

23 A In the general survey the patient
24 was in no acute distress, she had no signs of

25 increased work of breathing, she had no

TOMMER REPORTING, INC. (212) 684-2448

86

1 , M.D.

2 flarings, there's no note of any retractions

3 and overall her respiratory rate came down.

4 Q Her temperature, her maximum

5 temperature for that date was noted as 104.7,

6 correct?

7 A Yes.

8 Q Under your chest and lung findings

9 can you tell me what you wrote there?

10 A "Clear to auscultation bilaterally.

11 Decreased breath sounds left base. No wheeze."

12 Q The decreased breath sounds, to

13 what, if anything, did you attribute that?

14 A The pneumonia.

15 Q Had that changed in any significant

16 fashion from the time when the, from two days

17 earlier when the chest tube was inserted?

18 MR. : You mean was the

19 decrease in breath sounds a change in

20 and of itself?

21 MR. OGINSKI: Yes.

22 A I cannot state on the decrease in

23 the breath sounds.

24 Q Under Chest X-ray where you talk

25 about the change in amount, can you read what

TOMMER REPORTING, INC. (212) 684-2448

87

1 , M.D.

2 you have after that?

3 A "No change in amount of density."

4 Q That would be the density of the

5 pneumonia that you observed on chest x-ray?

6 A Yes.

7 Q Why was the first chest tube

8 removed?

9 A Because it wasn't draining.

10 Q Can you turn, please, to Page 40,

11 which is your August 25 note. On your chest

12 and lung exam you wrote, "decreased breath

13 sounds on left base"?

14 A Yes.

15 Q Is there any change in that

16 observation in comparison to the August 24th

17 observation of the breath sounds?

18 A Again, I cannot rate this

19 descriptive term and I do not particularly

20 recall.

21 Q The patient still had a maximum

22 temperature of 101.6?

23 A Yes.

24 Q Generally what time of the day

25 would you conduct your rounds on a patient?

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 A Eight A.M.

3 Q Did you have conversations with the
4 child at the time that you would make your
5 rounds?

6 A If she was awake and watching TV,
7 yes we would ask her how well she was.

8 Q When you say, "we," who do you
9 mean?

10 A The ICU team which composes of the
11 resident, myself and the attending.

12 Q The resident would be a general
13 pediatric resident?

14 A Yes.

15 Q When you would make rounds, was one
16 or more parents present at bedside?

17 A It varies.

18 Q Did you have conversations with
19 either the father or mother on any of the days

20 that you rounded and examined this child?

21 A Yes.

22 Q As you sit here now, do you recall
23 any of those conversations with the mother or
24 the father?

25 A Not in detail.

TOMMER REPORTING, INC. (212) 684-2448

89

1 , M.D.

2 Q Do you remember any of the
3 substance? I'm not asking you for the specific
4 words, but the substance of the conversations
5 that you had with either of her parents?

6 A They would pertain to the plans for
7 the day and the future direction we wanted to
8 take her care in terms of antibiotics, need for
9 home therapy.

10 Q Would you have these direct

11 conversations with the parents or would the
12 attending, the resident or someone else or
13 would it be a combined conversation where
14 everybody participates?

15 MR. : In other words, who
16 would talk to the parents, you, the
17 attending, the resident, one of you
18 or both of you or do you remember.

19 A It varies from day-to-day. It
20 would be a collective effort or it would be
21 each individual speaking to them.

22 Q Other than generally recalling
23 talking to one or both parents, do you have any
24 specific memory of conversations with the
25 parents?

TOMMER REPORTING, INC. (212) 684-2448

2 A No.

3 Q Do you recall what either of her
4 parents looked like?

5 A No.

6 Q Have you ever seen or treated
7 at any time after her
8 discharge from in September, of ?

9 A No.

10 Q Did you ever review any of the
11 patient's medical records from other physicians
12 after she left at any time up until today?

13 A Other than this chart?

14 Q Yes.

15 A No.

16 Q Was there any specific reason as to
17 why she had the CT guided placement of a
18 pleural tube?

19 A It would be we request
20 interventional radiology when we need guidance
21 in terms of placement of the tube because of
22 inaccessibility using our usual percutaneous

23 attempts.

24 Q On your August 25 note at the

25 bottom you wrote, "for CT guided placement of

TOMMER REPORTING, INC. (212) 684-2448

91

1 , M.D.

2 pleural tube," correct?

3 A Yes.

4 Q Had the patient already had the

5 tube inserted at that point or was the patient

6 going for the procedure?

7 A She was going for the procedure.

8 Q Can you turn, please, to Page 45,

9 which is an addendum that you wrote on August

10 25 at 11 P.M. This note describes an event that

11 occurred during the procedure, correct?

12 A Yes.

13 Q Without going through, can you --

14 MR. : I'm not sure it
15 happened during the procedure. I
16 think the note says, if I'm correct,
17 after the procedure, but I may be
18 wrong.

19 MR. OGINSKI: The note's
20 written after, but the event relates
21 --

22 MR. : I understand,
23 but within it talks about the event
24 occurs after the procedure itself
25 during some fluoroscopic -- maybe I'm

TOMMER REPORTING, INC. (212) 684-2448

92

1 , M.D.

2 wrong.

3 Q Did the patient suffer some type of

4 event during the insertion of the chest tube?

5 A It's not directly with the
6 insertion of the chest tube itself. It was
7 during the whole entire procedure.

8 Q Were you present during this
9 procedure?

10 A Yes.

11 Q How were you monitoring the
12 patient's oxygen saturation?

13 A The patient was in a portable
14 monitor which monitored heart rate, respiratory
15 rate, oxygen sats.

16 Q You write, "last scan patient noted
17 to have oxygen saturation forties," correct,
18 "with," and what do you have written after
19 that?

20 A "With no air entry".

21 Q What accounted for the decreased
22 oxygen saturation?

23 A At the time it was thought to be
24 laryngospasm that did not resolve.

25 Q Was the child intubated after the

TOMMER REPORTING, INC. (212) 684-2448

93

1 , M.D.

2 procedure?

3 A As a consequence of this --

4 MR. : During the

5 procedure before the laryngospasm was

6 the child intubated?

7 A No.

8 MR. : It was some time

9 after the child was intubated?

10 THE WITNESS: Yes.

11 Q Was the child sedated for the

12 procedure?

13 A Yes.

14 Q For how long did the patient remain

15 without air entry?

16 A Positive pressure was started

17 instantaneously as soon as we saw her sats go

18 down.

19 Q Who was bagging the patient, by the

20 way?

21 A Dr. .

22 Q You write, "the patient was

23 difficult to bag"?

24 A Yes.

25 Q Was anesthesia called to intervene

TOMMER REPORTING, INC. (212) 684-2448

94

1 , M.D.

2 when this happened?

3 A No.

4 Q Did anyone attempt to intubate the

5 patient while she remained in the room where

6 this procedure was taking place?

7 A Yes.

8 Q Who administered the medication to
9 paralyze the patient?

10 MR. : Object to the
11 paralyzing of the patient.

12 MR. OGINSKI: It says, "patient
13 paralyzed with particular
14 medication."

15 MR. : It wasn't the
16 entire person paralyzed, it was the
17 throat that was paralyzed for the
18 intubation period.

19 MR. OGINSKI: I disagree with
20 your characterization. Let me
21 rephrase the question.

22 Q As a result of what you observed,
23 was the patient intubated?

24 A Yes.

25 Q Who intubated the patient?

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 A Dr. .

3 Q Did either you or Dr. or any
4 other physician administer a medication to
5 paralyze the child?

6 A Yes.

7 Q The purpose was to intubate?

8 A To facilitate intubation.

9 Q If there was a laryngospasm to
10 relax that spasm, correct?

11 A Yes.

12 Q Was there a pneumothorax on chest
13 x-ray?

14 A Yes.

15 Q What is a pneumothorax?

16 A It's a collection of air outside
17 the lung.

18 Q What caused the pneumothorax in
19 this case?

20 A The patient can have multiple, had

21 multiple reasons to develop a pneumothorax.

22 Q Did the patient develop a

23 pneumothorax during the course of the procedure

24 to insert this chest tube on August 25?

25 A It was noted after the procedure

TOMMER REPORTING, INC. (212) 684-2448

96

1 , M.D.

2 was done, but with the attempt to resuscitate

3 her oxygen saturations. It's hard to really

4 say from what time that there will be an

5 absence of the scan just after the procedure up

6 to the time we did secure the airway, there is

7 a big amount of time wherein she could have

8 developed a pneumothorax at any of those

9 points.

10 Q Was there anything on cat scan to

11 suggest that this patient had a pneumothorax

12 during the course of the procedure?

13 A Not that I remember.

14 MR. : In other words,
15 she's thinking of the procedure as
16 the entire event.

17 When you talk about the
18 procedure, are you talking about
19 solely of the interventional
20 radiologist?

21 MR. OGINSKI: Yes.

22 MR. : When he said
23 procedure he means the interventional
24 radiologist.

25 A Not that I remember.

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 Q You write a little further down in

3 your note, "the procedure was unsuccessful."

4 What procedure were you referring to?

5 A The attempt to insert the fernalg

6 catheter.

7 Q That was for what purpose?

8 A To drain the pneumothorax.

9 Q The patient was put on mechanical

10 ventilation, correct?

11 A Yes.

12 Q She remained paralysis with

13 sedation, correct?

14 A Yes.

15 Q Can you turn, please, to Page 58.

16 This is another fellow who wrote the note for

17 this patient on August 26?

18 MR. : You mean a

19 different fellow other than her?

20 MR. OGINSKI: Correct.

21 A This is not the 26th.

22 MR. : The 27th, isn't it?

23 MR. OGINSKI: My mistake. I'm

24 sorry.

25 Q Page 58, it's an August 27 note?

TOMMER REPORTING, INC. (212) 684-2448

98

1 , M.D.

2 A Correct.

3 Q Is this your note?

4 A No.

5 Q Who wrote this note?

6 A Dr. .

7 Q Patient underwent a bronchoscopy

8 either on that date or the day before?

9 MR. : Bronchoscopy was on

10 the 27th. I don't think she was on

11 duty that day.

12 A Yes.

13 Q Did you ever learn from anyone what

14 the results of the bronchoscopy were?

15 A Only on review of the note.

16 Q When you returned back to the
17 patient's care, did you review the chart and
18 learn what the results of the bronchoscopy
19 were?

20 A Yes.

21 Q What was your understanding of the
22 results of the bronchoscopy?

23 A That there was minimal secretions
24 present in the lung and that they did send off
25 samples for microbiologic tests.

TOMMER REPORTING, INC. (212) 684-2448

99

1 , M.D.

2 Q Can you turn, please, to Page 63.
3 This August 28th note it says, "RPN." Would
4 that be resident?

5 A Yes.

6 Q Pediatric, what does that stand

7 for?

8 MR. : What does RPN stand

9 for?

10 A Resident Progress Note.

11 Q That would be pediatric resident,

12 correct?

13 A Yes.

14 Q At the very last line of that page

15 under the Infectious Disease section of this

16 doctor's assessment and plan it says, "All

17 cultures negative so far," correct?

18 A Yes.

19 Q Can you turn, please, to Page 68?

20 MR. : I'm sorry?

21 MR. OGINSKI: 68.

22 Q It's an August 28th note. This is

23 your note again, correct?

24 A Yes.

25 Q On your chest tube patient was

100

1 , M.D.

2 known to have good air entry and decreased

3 breath sounds to left base, correct?

4 A Yes.

5 Q Had there been any changes that you

6 observed on any of the prior days?

7 A I cannot grade the decrease of the

8 level base nor do I recall the day-to-day

9 change.

10 Q The maximum temperature is 100.7?

11 A Yes.

12 Q Is that in your opinion febrile?

13 A It's very low grade.

14 Q Had there been any time that you

15 recall that the patient was afebrile up until

16 August 28th?

17 A She remains afebrile for the

18 greater part of the day.

19 Q Turn, please, to the second page of
20 your note under ID Culture Results. At some
21 point you or someone else had tested for
22 chlamydia, correct?

23 A Yes.

24 Q Is that it's was pending?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

101

1 , M.D.

2 Q What is written under that?

3 A Bordetella.

4 Q What type of organism or test is
5 that?

6 A It's for pertussis.

7 Q You'd also tested for legionella,
8 correct?

9 A Yes.

10 Q And influenza A?

11 A Yes.

12 Q And also RSV, correct?

13 A Yes.

14 Q The legionella I notice that

15 there's nothing next to it to indicate any type

16 of result. What does that mean to you?

17 A Either I meant to check that and

18 just forgot to fill it in or I forgot to put

19 the pending in.

20 Q Why did you test for legionella at

21 that point?

22 A It was part of the bronchial lavage

23 panel that was sent.

24 Q Can you turn to Page 72, please,

25 August 29, . From August 25 up until August

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 29th patient still remained sedated and

3 intubated, correct?

4 A Yes.

5 Q She was still receiving mechanical

6 ventilation, correct?

7 A Yes.

8 Q Decadron, what type of medication

9 is that?

10 A It's a steroid.

11 Q What type of medication is

12 Propofol?

13 A It's a sedative.

14 Q And Ativan?

15 A It's an anchyloitic sedative.

16 Q Can you turn, please, to Page 77.

17 This is your August 30th note?

18 A Yes.

19 Q Patient had been extubated,

20 correct, that morning?

21 A Yes.

22 Q On the second line of your note you
23 write, "left pneumothorax," was that something
24 that she still had as of that date?

25 MR. : I'm going to object

TOMMER REPORTING, INC. (212) 684-2448

103

1 , M.D.

2 to the still had.

3 MR. OGINSKI: I'll rephrase the
4 question.

5 Q On August 30 on the second line of
6 your note you write, "Left pneumothorax," what
7 did that represent?

8 A That it was still problem of her
9 problem, yes.

10 Q I'd like you to read her general
11 survey that you've written there?

12 A "Awake, agitated but consolable in
13 mild respiratory distress."

14 Q What type of respiratory distress
15 were you observing?

16 MR. : Besides mild? She
17 described it as mild. What type of
18 respiratory is mild? You mean what
19 kind of mild? Is that what you mean?

20 MR. OGINSKI: I'll withdraw the
21 question.

22 Q Continue the note on the next
23 line?

24 A "Decreased breath sound".

25 Q Right above?

TOMMER REPORTING, INC. (212) 684-2448

104

1 , M.D.

2 A "Normal intermittent nasal flaring

3 most mucus membranes, supple neck, pupils
4 equally reactive to light and accommodations."

5 Q Go on to the chest, please?

6 A Decrease breath sounds left base,
7 course breath sounds, positive rhonchi, no
8 wheeze, intermittent strider".

9 Q What, if anything, did you
10 attribute to the intermittent strider to?

11 A From her being extubated.

12 Q What, if anything, did you
13 attribute the rhonchi to?

14 A From her pneumonia.

15 Q The nasal intermittent nasal
16 flaring, what in your opinion was that from?

17 A From being extubated.

18 Q On Page 78, second page of your
19 note towards the bottom you write, "continue
20 antibiotics." Can you read the next part,
21 please?

22 A "Total antibiotics course
23 twenty-eight days."

24 Q Let me stop you for a moment. As
25 of that date of your note that's August 30th we

TOMMER REPORTING, INC. (212) 684-2448

105

1 , M.D.
2 know from the record that the patient was
3 admitted on August 19th. Looking at it now can
4 you tell us whether the twenty-eight days you
5 have noted here is an accurate assessment of
6 the length of time she remained on the
7 antibiotics?

8 MR. : What do you mean by
9 the 28 days of antibiotics?

10 A It's the plan.

11 MR. : It's a future
12 plan, not the prior plan.

13 Q Would that be 28 days from
14 discharge or 28 days from her initial receiving

15 antibiotics or something else?

16 A With this date I cannot say.

17 Q What did you mean when you wrote,

18 "total antibiotics course 28 days"?

19 A That the plan was for her to

20 receive antibiotics for 28 days.

21 Q From when to when?

22 A At this point I could only assume

23 from the time that they were started.

24 Q Did you have any understanding or

25 knowledge as to what antibiotics the patient

TOMMER REPORTING, INC. (212) 684-2448

106

1 , M.D.

2 would continue on after her discharge?

3 A The Nafcillin and the Ceftriaxone.

4 Q Was that based upon a conversation

5 you had with Infectious Disease or with anybody

6 else or yourself?

7 A With my attending.

8 Q Can you turn, please, to Page 86.

9 This is your note again?

10 A Yes.

11 Q Would you agree that this would be
12 a note for September 1st even though it is not
13 dated?

14 A No, 'cause there's a fellow note
15 for September 1st.

16 Q What date is this note?

17 A I don't know.

18 Q Based upon the placement of the
19 note in the chart and assuming it has not been
20 moved, can you tell me what date you believe
21 that note represents?

22 MR. : What was the last
23 note?

24 THE WITNESS: That would be my
25 note for the 31st.

1 , M.D.

2 Q I'm sorry, your last note was the
3 31st?

4 MR. : No, reconstructing
5 from the chart she's saying that on
6 the dated note on Page 86 appears to
7 be for the 31st. That's what she's
8 saying.

9 Q In the general survey you noted the
10 patient was in mild respiratory distress?

11 A Yes.

12 Q She still had mild nasal flaring?

13 A Yes.

14 Q Had there been any change since you
15 had last observed her with the mild respiratory
16 distress since she had been extubated?

17 MR. : Any change, how she
18 appeared on the morning of the 30th

19 he means.

20 A I cannot recall.

21 Q If you turn, please, to the second

22 page, the bottom line of your plan it says,

23 "continue with antibiotics." Was that for the

24 Ceftriaxone and the Nafcillin?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

108

1 , M.D.

2 Q You wrote, "Discussed with

3 Infectious Diseases service regarding home

4 antibiotics," correct?

5 A Yes.

6 Q Did you have a conversation with

7 the ID physician as to how this patient would

8 receive antibiotics at home in terms of oral IV

9 or some other route?

10 A Not that I remember.

11 Q Do you recall who you spoke to?

12 A Not that I remember.

13 Q Did you have that conversation with
14 the Infectious Disease physician in person or
15 by telephone?

16 A Not that I remember. I can't
17 recall.

18 Q Was there any suggestion by the
19 Infectious Diseases physician to change the
20 antibiotic regimen when the patient returned to
21 home?

22 A I can't recall.

23 Q Was there any discussion as to when
24 this patient would be discharged home?

25 MR. : With Infectious

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 Diseases or with everybody?

3 MR. OGINSKI: No, with

4 Infectious Diseases.

5 A At this point in time?

6 Q Yes.

7 A The child's not, still not ready to

8 go home. There wasn't any plan for discharging

9 her soon.

10 Q Did the Infectious Disease

11 physician whom you consulted with according to

12 your note on Page 87 indicate to you for how

13 long he or she intended to keep the patient on

14 antibiotics?

15 A This is to discuss, this was the

16 plan for the day. This discussion hasn't

17 occurred by the time I wrote this note.

18 Q I'm sorry, I wasn't clear. Let me

19 rephrase the question. This was your plan to

20 discuss with the Infectious Diseases?

21 A Yes, that's why it's under Plan.

22 Q At some point after that note was

23 written did you have a conversation with the ID

24 physician?

25 A I can't recall.

TOMMER REPORTING, INC. (212) 684-2448

110

1 , M.D.

2 Q Based upon the Infectious Disease

3 consult note dated August 31st, reviewing that

4 note did that refresh your memory as to whether

5 you had a conversation concerning home

6 antibiotics?

7 A No.

8 Q I'd like you to turn, please, to

9 Infectious Disease Consult Note of August 31.

10 Can you read the first three lines of that

11 note, please?

12 A "Four-year-old female with five day
13 history of fever, cough, abdominal pain,
14 diagnosed," I don't know what that is. I don't
15 know what the second one is.

16 Q I'm going to suggest upon arrival
17 to ER?

18 A To ER.

19 MR. : Don't adopt what he
20 says unless you agree with it.

21 A I can't make it out.

22 MR. : Well, if you can't
23 make it out, you can't make it out.

24 A "To ER with left lower lobe
25 pneumonia. Positive retractions, decreased

TOMMER REPORTING, INC. (212) 684-2448

111

1 , M.D.

2 breast sounds to left. Respiratory rates 36".

3 Q Did you have any conversation with
4 the ID physician prior to this doctor
5 conducting an examination?

6 A Again, on the 21st we discussed --

7 Q No, no, on August 31?

8 MR. : In other words,

9 before he came in to see the patient

10 did you call him up to have him come

11 in to see the patient.

12 A Yes.

13 Q Tell me about what conversation.

14 What did he say to you, what did you say to

15 him?

16 A That this consult was for duration

17 of home antibiotics treatment. He or she would

18 be given a summary of the course and the

19 current antibiotics regimen and that the

20 question the service had for them was in this

21 case a duration of home antibiotic therapy.

22 Q Was that presented in the form of a

23 written request?

24 A No, this is an oral.

25 Q Did you have any other discussions

TOMMER REPORTING, INC. (212) 684-2448

112

1 , M.D.

2 with this physician before he or she examined

3 the patient?

4 A Not that I remember.

5 Q Were you present for this

6 physician's examination of the patient?

7 A I cannot recall.

8 Q Was this Infectious Disease

9 physician a man or a woman?

10 A I can't remember.

11 Q Turn, please, to Page 88. Who was

12 the PICU fellow who wrote this note?

13 A Dr. .

14 Q Do you know what year in his

15 fellowship he was in?

16 A He was in his second year.

17 Q In the middle of the page where he
18 writes about the Infectious Disease section, at
19 the bottom line towards the right of that
20 section he writes, "micro," and in parenthesis
21 August 31 showing positive and it has titer
22 1:256, do you see that?

23 A Yes.

24 Q What does that mean to you?

25 A That the titers were turned

TOMMER REPORTING, INC. (212) 684-2448

113

1 , M.D.

2 positive, but the dilution one is two hundred
3 fifty-six.

4 Q And that the results were known at
5 least according to this note on August 31st,

6 correct?

7 A That would be the date that the
8 test was sent. That's custom for our unit that
9 the date after the cultures was the date that
10 the cultures were sent.

11 Q This titer 1:256, what does that
12 mean to you?

13 A I would need the Infectious Disease
14 people to help me with the titer
15 interpretation.

16 Q Did you ever speak to any
17 Infectious Disease physician after September
18 1st about this particular microplasma titer
19 result?

20 A Not that I remember.

21 Q Does this result indicate to you
22 that the patient did, in fact, have microplasma
23 pneumonia as of August 31?

24 MR. : She said that the
25 sample was sent on the 31st.

1 , M.D.

2 MR. OGINSKI: And it turned out

3 to be positive and reported at least

4 according to this note on the 1st.

5 Q But as of the time that the

6 test is taken, can you tell me whether the

7 patient had microplasma pneumonia as of August

8 31st?

9 A Again, I would need Infectious

10 Disease to help me with titers. I do not

11 routinely read them.

12 Q You had mentioned earlier that it

13 was your understanding that the patient was

14 ultimately diagnosed with microplasma

15 pneumonia, correct?

16 A Yes.

17 Q How did you learn that or come to

18 that conclusion?

19 A 'Cause on transfer of care I was
20 told that that was the diagnosis.

21 Q Are you referring to when you
22 returned back to for her continued
23 daily care?

24 A For coverage at night, yes, but I
25 was no longer responsible for her daily care.

TOMMER REPORTING, INC. (212) 684-2448

115

1 , M.D.

2 Q Did you learn from the physician
3 that you were taking over care from how that
4 person or how they came to such a diagnosis or
5 conclusion?

6 A I think the serology was what
7 helped them.

8 Q Can you be more specific?

9 A The titer.

10 Q The microplasma titer?

11 A The microplasma titer.

12 Q Can you turn, please, to Page 103.

13 This is your note again?

14 A Yes.

15 Q For September 3rd?

16 MR. : Correct.

17 Q At the bottom of the second page on

18 Page 104 under Plan you write, "for

19 decortication, rigid bronchoscopy by PEDS

20 surgery"?

21 A Yes.

22 Q What was your understanding as to

23 why this patient needed to have the

24 decortication?

25 A For a clean out of her chest.

TOMMER REPORTING, INC. (212) 684-2448

1 , M.D.

2 Q Did you have an understanding at
3 that time as to why she needed that procedure?

4 A This plan was already set in place.
5 They may have explained to me the thought
6 process, but I don't recall.

7 Q Can you turn, please, to Page 140.
8 It's the September 8th PEDS ID Resident Note
9 timed at 7 P.M.?

10 MR. : At 150 did you say?

11 MR. OGINSKI: 140. Looks like
12 this, Ed.

13 MR. : I got it.

14 MR. : 9/8, 7 P.M.?

15 MR. OGINSKI: Yes.

16 Q I'd like to you read this note in
17 its entirety if you can, please?

18 MR. : I'm going to
19 object. You know, I'm not even sure
20 she was involved in the patient as of
21 this time. You're asking her to read

22 somebody else's note. I don't think
23 it's fair. I'll let her answer over
24 my objection, but I'm going to
25 observe my objection to the time at

TOMMER REPORTING, INC. (212) 684-2448

117

1 , M.D.
2 trial.
3 A "Patient seen and examined with
4 team. Status post video thorascopy post op day
5 number two. Patient continues to be intubated
6 on thirty percent FIO2 weaning settings. Chest
7 x-ray with significant lung atelectasis at
8 basis." I don't know whether it's right or
9 left, "upper lobe and left middle lobe show
10 lung markings. Two chest tubes in place
11 continuing to drain. Status post completion of
12 five-day course of Azithromycin. Agree with

13 discontinuing this."

14 Q Does that say, "at this"?

15 A "At this," I don't know what that

16 word is.

17 Q Go ahead?

18 A And then, "(Azithromycin has long

19 activity in the body. Five-day course is

20 comparable to a ten-day course of another

21 macrolide) with patient still with continued

22 chest tube," and I don't know what that is,

23 "drainage."

24 Q Is that could you tell if that's an

25 abbreviation for continued drainage?

TOMMER REPORTING, INC. (212) 684-2448

118

1 , M.D.

2 A I cannot tell.

3 Q Go ahead?

4 A "And prolonged course of illness
5 still cannot rule out bacterial etiology even
6 in light of a highly positive microplasma titer
7 and negative bacterial culture. Would
8 recommend continuing IV Nafcillin and
9 Ceftriaxone until chest tubes are removed and
10 patient is afebrile."

11 Q Do you recognize the signature or
12 the printed name that appears on the bottom of
13 this note?

14 A I don't know if it's
15 I don't know. I cannot say with certainty.

16 Q Did you ever have a conversation
17 with a physician who wrote this September 8th
18 PEDS ID resident note?

19 A Again, I wasn't involved with her
20 day-to-day care at this time.

21 Q Were you involved with the
22 continuing of the Azithromycin at this time?

23 A Not that I remember.

24 Q Did any of the physicians that you

25 were in contact with during your care and

TOMMER REPORTING, INC. (212) 684-2448

119

1 , M.D.

2 treatment of ever comment upon the

3 treatment she had had rendered by her

4 pediatrician prior to her admission to

5 ?

6 A No.

7 Q Do you have an opinion as you sit

8 here today that if had received

9 antibiotic therapy prior to her admission to

10 Hospital whether her

11 hospital course would be the same or different?

12 MR. : Note my objection.

13 A I cannot make a comment.

14 MR. OGINSKI: Thank you, Doctor.

15 MR. : Do you have any

16 questions?

17 MR. : No.

18 (Time noted: 12:27 p.m.)

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

120

1

2 A C K N O W L E D G E M E N T

3

4 STATE OF NEW YORK)

5) ss.:

6 COUNTY OF NASSAU)

7

8 I, , M.D., hereby certify

9 that I have read the transcript of my testimony

10 taken under oath in my deposition of the 17th

11 day of October, . That the transcript is a

12 true, complete and correct record of what was

13 asked, answered and said during this

14 deposition, and that the answers on the record

15 as given by me are true and correct.

16

17

18 , M.D.

19

20 Signed and subscribed to

21 before me this day

22 of , .

23

24

25 Notary Public

TOMMER REPORTING, INC. (212) 684-2448

1

2

I N D E X

3

EXAMINATION BY

PAGE

4

Mr. Oginski

5

5

6

E X H I B I T S

7

PLF'S

DESCRIPTION

PAGE

8

1

Curriculum Vitae

5

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

122

1

2 C E R T I F I C A T E

3

4 I, , hereby certify

5 that the Examination of , M.D.,

6 was held before me on October 17, ;

7 That said witness was duly sworn

8 before the commencement of the testimony;

9 That the within testimony was

10 stenographically recorded by myself, and is an
11 accurate record of the Examination of said
12 witness;

13 That the parties herein were
14 represented by counsel as stated herein;

15 That I am not related to any of the
16 parties, in the employ of any of the counsel,
17 nor interested in the outcome of this matter.

18

19 IN WITNESS WHEREOF, I have hereunto set my hand

20 this 17th day of October, .

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448