

0001

1 1

2 SUPREME COURT OF THE STATE OF NEW YORK
3 COUNTY OF QUEENS

4 - - - - -x

5 ,
6 Plaintiff,
7 -against-
8 , M.D.,
9 HOSPITAL, UNIVERSITY , M.D.,
10 , M.D., , M.D., P.C.,

Defendants.

11

Index No.: 7561/06

12 - - - - -x

13 170 Old Country Road
14 Mineola, New York

15 December 15, 2006
16 10:24 p.m.

17 EXAMINATION BEFORE TRIAL of
18 , M.D., one of the Defendants in the
19 above-entitled action, held at the above
20 time and place, taken before Cynthia A.
21 Laub, a Notary Public of the State of New
22 York, pursuant to Court Order and
23 stipulations between Counsel.

24 * * *

0002

1 2

2 APPEARANCES:

3

LAW OFFICES OF GERALD M. OGINSKI, LLC
4 Attorneys for Plaintiff
25 Great Neck Road, Suite 4
5 Great Neck, New York 11021

6 BY: GERALD M. OGINSKI, ESQ.

7

8 , ESQS.
Attorneys for Defendant
9 P , M.D. and

10 , P.C., s/h/a
11 , P.C.
88-14 Sutphin Boulevard
12 Jamaica, New York 11435

13 BY: , ESQ.

14

15 , ESQS.
Attorneys for Defendant
16 University Hospital

17 2174 Jackson Avenue
18 Seaford, New York 11783
19 BY: , ESQ.
20 , LLP
21 Attorneys for Defendant
22 , M.D.
23 655 Third Avenue, Suite 428
24 New York, New York 10017
25 BY: , ESQ.

0003

1 3
2 APPEARANCES CONT'D:
3
4 , PLLC
5 Attorneys for Defendant
6 , M.D.,
7 , M.D., P.C.
8 170 Old Country Road, Suite 210
9 Mineola, New York 11501
10 BY: , ESQ.

* * *

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
0004

1 4
2 STIPULATIONS
3 IT IS HEREBY STIPULATED, by and between the
4 attorneys for the respective parties hereto, that:
5 All rights provided by the C.P.L.R, and Part 221
6 of the Uniform Rules for the Conduct of Depositions,
7 including the right to object to any question, except
8 as to form, or to move to strike any testimony at
9 this examination is reserved; and in addition, the
10 failure to object to any question or to move to
11 strike any testimony at this examination shall not be
12 a bar or waiver to make such motion at, and is
13 reserved to, the trial of this action.
14 This deposition may be sworn to by the witness

15 being examined before a Notary Public other than the
16 Notary Public before whom this examination was begun,
17 but the failure to do so or to return the original of
18 this deposition to counsel, shall not be deemed a
19 waiver of the rights provided by Rule 3116, C.P.L.R,
20 and shall be controlled thereby.

21 The filing of the original of this deposition is
22 waived.

23 IT IS FURTHER STIPULATED, a copy of this
24 examination shall be furnished to the attorney for
25 the witness being examined without charge.

0005

1 , M.D. 5

2 , M.D., the
3 witness herein, having first been duly
4 sworn by the Notary Public, was examined
5 and testified as follows:

6 EXAMINATION BY

7 MR. OGINSKI:

8 Q. State your name for the record,
9 please?

10 A. P .

11 Q. What is your address?

12 A. , , New
13 York 11501.

14 Q. Good morning, Doctor.

15 A. Good morning.

16 Q. What is a septic hip?

17 A. A septic hip would be an
18 infected hip.

19 Q. And what is septic arthritis?

20 A. It would be arthritis secondary
21 to infection.

22 Q. Can you describe for me
23 generally how one would get a septic hip.

24 A. Well, either from a penetrating
25 injury or from hematogenous deposition into

0006

1 , M.D. 6

2 the hip joint.

3 Q. How do you diagnosis septic hip?

4 A. By history of physical and
5 collaborative laboratory data.

6 Q. Can you describe for me
7 generally what type of symptoms a patient
8 would present with in a septic hip? And
9 I'm talking generally about an elderly
10 patient or someone in their early 70s.

11 A. They would have pain, restricted
12 range of motion, possibly spasm, difficulty
13 walking. I think those are the major
14 features.

15 Q. In a septic hip, is the primary
16 symptom generally accompanied by pain, or
17 is pain one of the main factors associated
18 with the --

19 A. The primary symptom is usually

20 pain.
21 Q. Is there also a component of a
22 restriction of movement secondary to the
23 pain?

24 A. There is almost always
25 restriction of motion.

0007

1 , M.D. 7

2 Q. In addition, does the patient
3 generally come in with complaints of either
4 some type of limp or difficulty ambulating?

5 A. Yes.

6 Q. How do you treat septic hip?

7 A. There are a variety of ways you
8 can treat a septic hip. Usually it's
9 within intravenous antibiotics, I was going
10 to say for six weeks, but it would be more
11 correct to say until you've eradicated the
12 infection. Sometimes it's not gone in six
13 weeks. Sometimes it's necessary to operate
14 on a hip and drain the material. That
15 would be true in a newborn which is a
16 common entity, but not in this elderly
17 woman. And finally the most common
18 infection in the hip we see today, where
19 you've done a hip replacement and that
20 becomes infected. In general that
21 treatment involves removing the metallic
22 components.

23 Q. How is treatment of septic
24 arthritis different and distinct from
25 treatment of septic hip?

0008

1 , M.D. 8

2 A. Well, septic arthritis would be
3 different in the sense that if you knew
4 that the infection was remote, long past,
5 and corrected, and what you were dealing
6 with was the residual from the septic hip,
7 then the options would be the same as they
8 would be with an arthritic hip. That is to
9 say activity modification, pain medication,
10 anti-inflammatory medication, physical
11 therapy, and in such cases that was not
12 sufficient, one could perform a joint
13 arthroplasty, which is to replace the hip.

14 MR. : Could I trouble you
15 to read back that last answer.

16 [The requested portion of the
17 record was read by the reporter.]

18 Q. Doctor, is there some type of
19 algorithm that you have used in the past?
20 In order to treat a particular septic hip?

21 When I say "algorithm," I mean
22 something that's written that you've
23 consulted with to help you formulate
24 treatment plans of this nature.

25 A. I have an algorithm. I don't

0009

1 , M.D. 9

2 remember where I got it, but the answer is
3 yes.

4 Q. In this particular case,
5 treating , did you use that
6 particular algorithm to treat her condition
7 at any time?

8 A. I never established the fact
9 that her hip was infected.

10 Q. I'll get to that.

11 A. Then I didn't apply the
12 algorithm on the basis of not having
13 established that the hip was infected.

14 Q. Fair enough.

15 How was it that you came to see
16 in the middle of October of
17 2003?

18 A. I was called by another doctor,
19 and this doctor had admitted her I think on
20 the 8th of October.

21 Q. That's Dr. ?

22 A. Correct.

23 And he asked me would I see her
24 and would I be willing to take over the
25 case.

0010

1 , M.D. 10

2 Q. And how was it that you knew
3 Dr. at that time?

4 A. Well, he's on the staff at
5 Hospital, and I -- I don't know
6 how many years he's been there, but his
7 father had been there too, and I knew them
8 both.

9 Q. To your knowledge, was Dr.
10 the physician that you had dealt with in
11 October of 2003, an orthopedist on staff?

12 A. He was, yes. Yes.

13 Q. I would like you to turn,
14 please, to the October 15, 2003 MRI result
15 of the pelvis, without contrast.

16 A. Yes.

17 Q. Were you the physician who had
18 requested that the patient have this
19 particular MRI of the pelvis?

20 A. Yes.

21 Q. Before you had received the
22 results of this MRI, can you tell me what
23 was it that made you order or request this
24 particular MRI of the pelvis on October 15,
25 2003.

0011

1 , M.D. 11

2 And, Doctor, if you can, just
3 point out to me what part of the medical

4 record you're looking at so we know.
5 A. I am looking at the medical
6 record progress note of my own on 10/15.
7 Q. And is there a particular page
8 number at the bottom that we can look at as
9 well, so we're all on the same page.
10 THE WITNESS: (Indicating.)
11 MR. : I don't know if
12 that's a page number.
13 MR. : I don't think ours
14 were numbered.
15 MR. : Off the record.
16 [Discussion held off the
17 record.]
18 Q. Let me go back for a moment,
19 Doctor.
20 On October 15, 2003, after
21 speaking to Dr. at some point, did
22 you come and examine and talk to Anne
23 ?
24 A. Yes.
25 Q. And at some point, either after

0012

1 , M.D. 12
2 talking to , did you perform a
3 physical examination on her?
4 A. Yes.
5 Q. And as part of your examination
6 and your consultation, did you have
7 conversations with her and maybe some of
8 her family members?
9 MR. : Are we talking on
10 10/15 or 10/14. Because you're
11 implying 10/15 was the first visit.
12 MR. OGINSKI: I'll rephrase it.
13 Q. Let me go back a moment, Doctor.
14 At any time after the MRI of the
15 pelvis of October 15, 2003 was performed,
16 did you personally review the MRI films?
17 A. Yes.
18 Q. Did you review them on your own
19 or in consultation with a radiologist or an
20 attending or someone else?
21 A. In the presence -- in the
22 radiology suite with a radiologist.
23 Q. Was that done before or after
24 the films were officially read as reported
25 the next day on 10/16 by Dr. ?

0013

1 , M.D. 13
2 A. It was done in and about that
3 vicinity. I don't know if it was done
4 before -- during the reading or after.
5 Q. Did you come to the same
6 conclusions that the radiologist had come
7 to concerning the evaluation of these
8 particular films?

9 A. I did.
10 Q. At any time while you were
11 treating , from October 15th,
12 2003 up until the time she was discharged I
13 believe at the end of the month on October
14 25th, did you ever suspect that
15 had a septic hip?

16 A. I considered it when we looked
17 at the MRI.

18 Q. Did you ever consider that she
19 had septic arthritis during that same
20 period of time?

21 A. That would be part B of it, of
22 the same previous answer.

23 Q. What was it about the MRI films
24 or results that made you consider the
25 possibility that she had a septic hip?

0014

1 , M.D. 14

2 MR. : I just object to
3 the form.

4 Q. Was there anything specifically
5 that you observed on the October 15, 2003
6 MRI of the pelvis that made you suspect or
7 consider the fact that she had a septic hip
8 or possibly septic arthritis?

9 A. The edema in the muscles that
10 was reported.

11 Q. Tell me why that was significant
12 or what that indicated to you, if anything?

13 A. Well, it suggested -- this was a
14 very difficult case, and we had a long
15 consultation on this.

16 It suggested -- I had been
17 concerned -- if we could just go back, the
18 reason the MRI was ordered also had to do
19 with my consultation on the 14th.

20 Q. I will get to that, because I'll
21 go through your notes.

22 But going back, Doctor, if you
23 can, to the edema.

24 A. Edema, and it wasn't -- we
25 discussed this with the radiologist,

0015

1 , M.D. 15

2 Dr. . This can be a sign of infection.
3 He didn't feel that it was significant
4 edema.

5 We also considered at that time
6 aspirating the hip, and he felt because
7 both the invasive radiology department and
8 the orthopedic department can aspirate a
9 hip, he felt that there was insufficient
10 fluid there that we would get a positive
11 fluid if I stuck a needle in the hip.

12 We had a conversation at that
13 point. He felt that this intracortical

14 fracture was the more likely cause of the
15 problem.

16 Q. Did you agree with that?

17 A. Yes.

18 Q. Now, you've had -- had you seen
19 the prior results of the X-rays and other
20 MRI films that were done from the time she
21 was admitted on October 8th up until
22 October 15th, describing the fact that
23 there were no fractures or dislocations?

24 A. I had reviewed both the X-rays
25 of the right hip, and the MRI of the lumbar

0016

1 , M.D. 16

2 spine prior to this.

3 Q. And was it your conclusion
4 together with the radiologist who had
5 evaluated those films you just mentioned
6 that there was no evidence of fracture or
7 dislocation in the right hip?

8 A. Yes.

9 Q. Now, at any time after you had
10 this consultation with Dr. , after
11 reviewing the MRI of the pelvis, did you
12 write a note anywhere in the chart to
13 indicate that you had, in fact, considered
14 the possibility that had a
15 septic hip?

16 A. No.

17 Q. Did you make any notes anywhere
18 regarding the possibility that the edema
19 represented some type of infection within
20 the hip that could possibly necessitate
21 some type of aspiration?

22 A. No.

23 Q. I would like you to turn,
24 please, to your first note that you have in
25 the chart concerning any discussion or

0017

1 , M.D. 17

2 consultation about this patient.

3 A. (Indicating.)

4 Q. That's what you're referring to.

5 And that's a consultation note,
6 Doctor?

7 A. Yes.

8 Q. And this was done on October 14,
9 2003?

10 A. Yes.

11 Q. If you can, please, Doctor, I
12 would like you to read your note as
13 written. If there are abbreviations, just
14 tell me what the word represents. You
15 don't have to tell me just the
16 abbreviation.

17 A. 72-year-old female had the acute
18 onset of low back pain last Wednesday.

19 Admitted to emergency room and had the
20 diagnosis of spinal stenosis. Decadron
21 four to five days did not help. Now
22 physical exam suggests right hip
23 osteoarthritis. Labs equal osteoarthritis
24 of the right hip. Spinal stenosis at
25 L3-L4.

0018

1 , M.D. 18

2 Recommend, one, will accept
3 patient. Two, MRI of the right hip.
4 Three, do the epidural injection, and four,
5 neuro consult.

6 Q. Do you have as you sit here now,
7 Doctor, an independent memory of the
8 conversation that you had with Dr.
9 when the decision was made to switch the
10 patient to your service?

11 A. Very vague recollection.

12 Q. Can you tell me what it is that
13 you do remember and when it was that you
14 had the conversation with Dr. ?

15 A. I think it was on the 13th or
16 the 14th of the month. I think it was
17 based on a telephone call to my office.
18 And I think it was based on he was having
19 difficulty with the patient, and the family
20 somehow knew me, would I please take the
21 patient.

22 Q. Had you ever treated
23 before October of 2003?

24 A. No.

25 Q. Did Dr. ever suggest to

0019

1 , M.D. 19

2 you that he considered the possibility that
3 had some type of septic hip, or
4 septic arthritis?

5 A. Not that I remember.

6 Q. The consultation note that you
7 just read to me, that was done on October
8 14, 2003, correct?

9 A. Correct.

10 Q. You had performed the physical
11 examination on Mrs. that day?

12 A. Yes.

13 Q. Had you observed any spasm in
14 any part of her hip or leg at the time of
15 your exam?

16 A. No.

17 Q. If you had noted a spasm, would
18 you have expected to make a note of that
19 within your consult note?

20 A. Yes.

21 Q. Tell me what type of physical
22 exam you actually conducted to evaluate
23 both her legs, her back, and her hip.

24 A. The first thing was a range of
25 motion of the hip, and that's done in

0020

1 , M.D. 20

2 various planes, flexion, extension,
3 internal and external rotation, abduction
4 and adduction.

5 Q. What were your findings on exam.

6 A. That she had limited rotation,
7 particularly in internal rotation. She had
8 pain in the groin. And then in regard to
9 her neurologic situation, there was no
10 neuro deprivation in the nerves that
11 traversed the hip. This is with reference
12 to the assumed diagnosis of spinal
13 stenosis.

14 Q. Before you had examined her, had
15 you reviewed any of her films up until that
16 point?

17 A. Either just before or just
18 after, I would review them. The orthopedic
19 floor is near the X-ray department. It
20 would be my usual and customary practice,
21 you know, before I sat down and wrote this
22 thing to do it, whether I did it before or
23 right after the examination. I did have
24 available to me whatever had been read
25 already.

0021

1 , M.D. 21

2 Q. The spinal stenosis that was
3 reported previous to October 14th, that
4 concerned primarily certain parts of her
5 back, low back, including disc
6 degeneration, disc bulges?

7 A. Correct.

8 Q. And certain areas that had
9 spinal canal stenosis, correct?

10 A. That's correct.

11 Q. Had you formed any opinion on
12 October 14th as to whether the patient's
13 complaints that she was experiencing
14 related solely to those issues concerning
15 her back? In other words, the disc
16 degeneration, the disc bulges and the
17 spinal canal stenosis.

18 A. On the 14th, I was unclear as to
19 that.

20 Q. What was it that made you
21 believe that there was some additional
22 pathology going on, other than the back
23 problems that had been previously reported?

24 A. The pain in her hip.

25 Q. When you saw her on October

0022

1 , M.D. 22

2 14th, did Mrs. specifically make

3 complaints to you about hip pain?

4 A. I think her complaints were
5 generally the right lower extremity, which,
6 you know, would be the whole gluteal area,
7 the leg, the knee.

8 Q. But did she also make specific
9 complaints relating to the hip itself?

10 A. I think she may have after the
11 exam, because I -- my exam, whether it was
12 the history or the physical, triggered me
13 to order the MRI of the right hip.

14 Q. What is osteoarthritis?

15 A. Osteoarthritis is wearing away
16 of the normal cartilage between the
17 acetabulum and the femoral head. It's used
18 to distinguish it from rheumatoid
19 arthritis, which is an excessive wear of
20 the articular cartilage, and it's used to
21 specify arthritis that is secondary to
22 wear, and it was the most prevalent type of
23 arthritis from auto immune arthritis, which
24 would be rheumatoid arthritis, or
25 architectural irregularity secondary to

0023

1 , M.D. 23

2 trauma, we would call this post-traumatic
3 arthritis.

4 Q. Was it your understanding that
5 Mrs. was atraumatic, she had nothing
6 traumatic occur to her causing these
7 problems or symptoms?

8 A. Yes.

9 Q. You had mentioned in your note
10 that the patient had been on Decadron for
11 four to five days which did not help. Is
12 that a form of steroid?

13 A. Yes.

14 Q. And what is the significance of
15 the fact that she had been on steroids for
16 four to five days without relief?

17 A. Well, the significance was
18 whatever the cause of her pain was, this
19 didn't rectify it. And was not worth
20 repeating. Sometimes these things are
21 repeated.

22 Q. As part of your plan, you had
23 recommended that you were going to do
24 epidural injections. What was the
25 significance or the purpose of that? Was

0024

1 , M.D. 24

2 that primarily for pain relief?

3 A. It can be for both pain relief
4 and it can resolve the problem. The point
5 is that she was scheduled prior to my
6 coming on the scene for these epidural
7 injections, and this relates back to the

8 oral steroids were not helpful. So a
9 member of the anesthesiology department who
10 does pain control had suggested epidural
11 injections into the epidural space, because
12 in cases of spinal stenosis, this can be
13 helpful. The help can range from pain
14 relief to dispensing virtually all of the
15 symptoms.

16 Q. Again, going back to the first
17 time that you examined her, when you were
18 manipulating or examining her hip in the
19 right area, did she make complaints of pain
20 during the manipulation?

21 A. Well, I think she did, because I
22 ordered the test here.

23 Q. Was it your understanding that
24 she had an inability to bear weight, to
25 walk secondary to that pain?

0025

1 , M.D. 25

2 A. Yes.

3 Q. Was she able to passively move
4 her hip?

5 A. To a limited extent.

6 Q. The joint effusion, going back
7 to the MRI results, typically, is there any
8 other condition that you would consider in
9 a patient who has observed joint effusion
10 on an MRI?

11 A. Any other diagnosis than what?

12 Q. Then septic hip.

13 A. Yes.

14 Q. What else would it possibly be?

15 A. A severely arthritic hip will
16 have a joint effusion. A fractured hip
17 with some bleeding into the hip will have a
18 joint effusion.

19 One of the common reasons that I
20 see that now are that people are on
21 Coumadin, and they have injury insufficient
22 to fracture of the hip, but sufficient to
23 cause bleeding, which is very painful.

24 Q. Is there a difference, Doctor,
25 between joint effusion and edema in and

0026

1 , M.D. 26

2 around the hip?

3 A. It's a fine distinction, because
4 with a joint effusion, you will get edema
5 about the hip.

6 Q. Can you turn, please, to the
7 actual MRI report of 10/15.

8 A. I'm --

9 Q. Just to be clear, it's the MRI
10 of the pelvis without contrast.

11 A. I have it.

12 Q. In the third full paragraph,

13 Doctor, it says, quote, there is
14 significant edema around the right hip,
15 especially in the abductor muscle region,
16 period. There is a small right hip joint
17 effusion, close quote.

18 Tell me what those two sentences
19 mean, Doctor.

20 A. Could you ask that in a more
21 specific manner.

22 Q. Sure.

23 What do those two sentences mean
24 to you after reading them.

25 A. Well, they mean that something

0027

1 , M.D. 27

2 is going on in the hip.

3 Q. Do those two sentences represent
4 the same area of the hip and do they
5 represent the same thing?

6 A. They are close to each other.
7 And to some extent, they do represent the
8 same thing.

9 Q. In addition to evaluating a
10 patient as you told me earlier, with
11 history, examination and lab work, was it
12 your understanding that Mrs. ' lab
13 work had been somewhat normal up until the
14 point that you had examined her?

15 A. No.

16 Q. Tell me what was abnormal or out
17 of the ordinary as of the time that you
18 first saw her on October 14th?

19 A. Well, the radiograph of the hip
20 dated 10/20 -- well, that was after I saw
21 her. I think there was one before that.
22 But the MRI of the back --

23 Q. I'm sorry, Doctor. I was not
24 clear. Let me go back.

25 When I refer to lab work, I'm

0028

1 , M.D. 28

2 referring to blood work, and things of that
3 nature.

4 I wanted to ask you as part of
5 your evaluation whether you felt that
6 Mrs. ' lab work or blood work had been
7 in any way abnormal to suggest to you that
8 there might be some type of infectious
9 process going on.

10 A. Yes.

11 Q. And if you can, please, tell me
12 what it was that suggested to you that she
13 had some type of abnormality or infectious
14 process going on.

15 A. On 10/15/2003, she had a
16 positive urine culture.

17 Q. Other than the positive urine

18 culture which was ultimately treated, and I
19 know a urologist was consulted as well,
20 were there any other abnormalities that you
21 observed in the lab work --

22 A. Prior to --

23 MR. : Just note my
24 objection to the form.

25 MR. OGINSKI: I'll rephrase the

0029

1 , M.D. 29

2 question.

3 Q. Other than the positive urine
4 culture, were there any other significant
5 abnormalities that you observed either on
6 the 14th or the 15th, when you saw her?

7 MR. : Are you talking
8 about labs now or something else.

9 MR. OGINSKI: Just labs. Labs,
10 blood work.

11 A. Yes.

12 Q. Tell me what you see.

13 A. She had an elevated white blood
14 cell count.

15 Q. And what was that count, and
16 when?

17 A. On admission, it was 14.4.

18 Q. From the time of admission up
19 until a week later, were you able to tell
20 whether that reverted to normal --

21 A. It did not.

22 Q. It stayed abnormal?

23 A. It did.

24 Q. As of October 15th, can you tell
25 me what the white blood count was.

0030

1 , M.D. 30

2 A. My record doesn't have October
3 15th.

4 Q. Do you have the 14th, or the
5 16th, Doctor?

6 A. I have 10/8, 10/9, 10/23 and
7 10/25.

8 Q. So it's going up at that point.

9 A. Yes.

10 Q. To your knowledge, Doctor,
11 during the time that you were caring for
12 Mrs. , did she ever have a history of
13 fever?

14 A. No.

15 Q. Did she have an abnormal
16 sedimentation rate?

17 A. I don't have a sed rate.

18 Q. What is the significance of a
19 sed rate?

20 A. Any inflammation will raise the
21 sed rate.

22 Q. The fact that she had an

2 A. More accurate than the MRI?
3 Q. Yes.
4 A. I think not.
5 Q. Generally, Doctor, the X-rays,
6 are they the first diagnostic tool that you
7 use to evaluate a potential fracture?
8 A. Yes.
9 Q. Are they best used to evaluate
10 hard surfaces such as bone?
11 A. Yes.
12 Q. And in what circumstance would
13 you then go to a CAT scan to evaluate a
14 patient's boney structure?
15 A. The clinical problem to which
16 you refer is where a patient, and this
17 happens with great frequency, comes in with
18 pain in the hip, with what appears to be a
19 negative X-ray. What test you do next,
20 you're correct, you could do a CT scan. It
21 is the policy of the hospital that a
22 rapid-sequence MRI is more accurate, and
23 therefore that is what we do.
24 Q. And in your opinion, is that a
25 better tool, diagnostic tool to evaluate

0034

1 , M.D. 34
2 the hard structure, the boney structures,
3 as opposed to the surrounding soft tissue
4 structures?
5 A. The difference in accuracy
6 between the two tests is probably rated
7 between one and two percent, for a
8 sensitivity and specificity.
9 Q. Other than the CT scan and other
10 than this rapid-sequence MRI, were there
11 any other diagnostic tools available to you
12 that would give you similar information or
13 more accurate information about whether or
14 not -- or give you confirmation that a
15 patient had a non-displaced stress
16 fracture?
17 A. Yes.
18 Q. What were they?
19 A. The only test I can think of
20 would be a bone scan, and -- but it might
21 not have been, because a bone scan can have
22 a false negative too.
23 Q. Would it be accurate to say that
24 on any of the diagnostic tools that you had
25 available, there was always a possibility

0035

1 , M.D. 35
2 of false positives or false negatives?
3 A. Yes.
4 Q. In October of 2003, did
5 University Hospital have a bone scan
6 machine?

7 A. Yes.
8 Q. Was that one of the tools that
9 was available to you, if you felt
10 necessary, to have the patient undergo such
11 a test?

12 A. Yes.
13 Q. At any time while was
14 at , did you recommend or order a
15 bone scan for her?

16 A. No.
17 Q. Is there any particular reason
18 as to why a bone scan was not recommended
19 or ordered?

20 A. I think we discussed that with
21 the radiologist, and they didn't feel it
22 would be helpful. Again, that's a
23 recollection from the past.

24 Q. Do you recall whether that
25 concerned the same conversation when

0036

1 , M.D. 36
2 evaluating the MRI of the pelvis on October
3 15th?

4 A. I think it would have been at
5 the same time, yes.

6 Q. How much fluid is necessary in
7 order to perform an aspiration of a hip?

8 A. Well, I can't answer that -- I
9 can give you a couple of sentences, but the
10 answer is the probability of achieving a
11 successful aspiration is proportional to
12 the amount of fluid. The more fluid the
13 more likely you'll get it, the less, the
14 less likely you'll get it, and the range is
15 from zero to a hundred. I've done a number
16 of aspirations in my career of the hip, and
17 it could be -- unless there's a significant
18 amount of fluid, the word for it I think
19 is -- I'm trying to think of it, they have
20 the same thing in pathology, where the
21 specimen doesn't contain the cells that
22 represent the condition.

23 Q. Going back for a moment to the
24 actual report of the MRI of the pelvis
25 where it says that -- where Dr.

0037

1 , M.D. 37
2 reports that there is significant edema
3 around the right hip. What was it
4 specifically that indicated or suggested to
5 you that there was insufficient fluid there
6 to withdraw as part of an aspiration?

7 A. That was his answer to me. I
8 asked him do you think there's enough fluid
9 in there that I can get it out with a
10 needle, because they do that in the
11 department of radiology. We could either

12 do it up in the operating room as the
13 orthopedic surgeons or alternatively they
14 have a relatively aggressive intervention
15 radiologist.

16 Q. If you can, Doctor, I'm not
17 talking about now, I'm only talking about
18 the time period back in October of 2003.

19 A. This is applicable to October of
20 '03. Dr. does this kind of work or
21 did that kind of work, and it was his
22 feeling that he could not -- we would not
23 get fluid, and the way he would do it, he
24 would do it with a CT guided needle, and I
25 would do it with an image intensifier

0038

1 , M.D. 38

2 needle, and I suspect that he's aspirated
3 more hips than I have.

4 Q. Do you recall having a
5 conversation with Mrs. or her family
6 about this possibility, that she might have
7 some type of infection in the hip, and
8 there was some consideration about
9 aspirating the hip?

10 A. No.

11 Q. No, there was no such
12 conversation, or no, you don't recall any?

13 A. No, I don't recall any.

14 Q. Would you agree, Doctor, that in
15 patients who do have a septic hip, that
16 they don't always have fever or abnormal
17 lab work?

18 A. Yes. Yes. I would agree.

19 Q. And is that because that
20 infection might be localized to a specific
21 area within the hip?

22 A. Part A of your question is true.
23 Part B, I don't know why.

24 Q. Other than aspirating or
25 attempting to aspirate that fluid in the

0039

1 , M.D. 39

2 hip, which is suspected to be infected, is
3 there any other way to evaluate that fluid,
4 other than aspiration?

5 MR. : I object to the
6 form.

7 MR. OGINSKI: I'll rephrase the
8 question.

9 Q. The fluid that was observed as
10 evidenced on the MRI report, and as seen on
11 the films, is there any other way to
12 evaluate that fluid, other than by
13 aspirating it?

14 A. We talked about the bone scan.
15 Sometimes a gadolinium-enhanced scan can
16 show it better. And you can certainly

17 do -- open the hip surgically and culture
18 it.

19 Q. When you remove fluid in some
20 fashion from a possibly suspected hip, what
21 is it that you're looking for? What are
22 you looking at when you see that fluid?

23 A. You may see in that fluid, you
24 may see that it's thick, opaque, white to
25 green, or you may see that it simply

0040

1 , M.D. 40
2 appears to be normal. In both cases, what
3 you do is look at it under the microscope
4 and do a gram stain and cultural part of
5 it.

6 Q. If it turns out that the fluid
7 is discolored or thick or -- as you
8 described before, different colors, what
9 does that suggest to you?

10 A. That would suggest infection.

11 Q. From grossly looking at it and
12 seeing those discolorations and the
13 thickness, what type of treatment do you
14 give to the patient, once you make that
15 diagnosis and recognize that there's some
16 type of infection there?

17 A. Intravenous antibiotics is the
18 most common treatment.

19 Q. In addition to removing that
20 fluid, do you also send that for culture?

21 A. Yes.

22 Q. Once it's determined that there
23 is some type of infectious process within
24 that fluid, is it customary for you to call
25 an infectious disease consult in

0041

1 , M.D. 41
2 determining what's the best antibiotic to
3 treat that particular infection?

4 A. Yes.

5 Q. In this particular case, at any
6 time after October 14, 2003, did you ever
7 consult with or ask for an infectious
8 disease consult for Mrs. ?

9 A. No.

10 Q. If a patient has a septic hip
11 that is unrecognized, can you tell me what
12 type of injury or damage that infection can
13 cause to that particular hip?

14 MR. : I'm going to
15 object to the form of that question.

16 MR. OGINSKI: The reason I'm
17 asking is because there are -- I'm
18 going to the issue of damages and
19 injuries with an unrecognized or
20 undiagnosed condition, which I think
21 applies here. So my question relates

22 to his knowledge of possible problems
23 that can arise with an untreated
24 infection in the hip.

25 MR. : It's awfully vague

0042

1 , M.D. 42

2 though when you say unrecognized
3 infection or --

4 MR. OGINSKI: I'll try and
5 rephrase it.

6 MR. : If you can be a
7 little more specific.

8 Q. Doctor, if a patient has a
9 septic hip that is untreated, what type of
10 injuries would you expect the patient to
11 have as a result of that untreated septic
12 hip?

13 MR. : When you say
14 "untreated," are you having any time
15 period in mind? That's where I have
16 the problem. I mean this patient was
17 seen fairly soon, as I understand it,
18 after she got out of the hospital.
19 When you say "untreated," it sounds
20 like it's untreated for a long time.

21 MR. OGINSKI: Fair enough. No
22 problem.

23 Q. In a septic hip that is not
24 treated for that particular condition, can
25 the patient suffer cartilage damage?

0043

1 , M.D. 43

2 MR. : I'll let him
3 answer over objection.

4 I still object to the form, but
5 I'll let him move on.

6 A. Yes.

7 Q. How does that occur? In other
8 words, why does that take place? Why or
9 how?

10 MR. : Objection to the
11 form.

12 MR. : I object to this
13 whole line of questioning.

14 If you can answer it, go ahead.

15 A. The bacteria, if they are there,
16 can produce toxic products to the
17 cartilaginous surface.

18 Q. Can osteomyelitis result from an
19 undiagnosed or untreated septic hip?

20 MR. : Objection to form.

21 MR. : Same objection.

22 He can answer.

23 MS. : Join.

24 A. This is a chicken-and-the-egg
25 question. In a sense was it osteomyelitis

0044

6 to our service, period. I explained to
7 them that we want to get a neurology
8 consult and an MRI of the hip, because I
9 think that this is the primary pathology.

10 Q. Can you explain to me how it is
11 that you have a dictated note in your
12 office record together with your
13 handwritten note for this same date in the
14 hospital?

15 A. Yes.

16 Q. Tell me, please.

17 A. It's our custom and practice to
18 dictate notes of people we don't admit into
19 the hospital.

20 For instance, if you go in
21 because you're going to get your hip or
22 your knee replaced, and we have no record,
23 I make an office record, so that if people
24 should call the office, speak to one of my
25 partners, or my physician's assistant, we

0047

1 , M.D. 47

2 have an -- some ongoing record of what
3 we're doing and why they're there, and what
4 hospital they're in.

5 Q. Let's turn back to the hospital
6 record itself. And I would like you to go
7 to the next note that you have after
8 October 14th.

9 Is that the October 15th
10 handwritten note, Doctor?

11 A. Yes, it is.

12 Q. Can you read that, please.

13 A. Neuro note appreciated. The
14 patient got no help from the epidural,
15 period. The MRI of the hip will be done
16 and will be -- and would help to get an MRI
17 of the pelvis.

18 Q. I just want to make sure I have
19 that correct. The MRI of the hip will be
20 done --

21 A. Will be done and will be --
22 and -- this single word I can't read, but
23 to get an MRI of the pelvis.

24 Q. Had you formed an opinion as of
25 October 15th as to whether an MRI of the

0048

1 , M.D. 48

2 pelvis should have been done at any time
3 earlier, before you came on to the scene?

4 MR. : Objection.

5 MR. : Objection.

6 MS. : Objection.

7 Q. The neuro note that you referred
8 to, which neuro note are you referring to?

9 A. The consultation of 10/15.

10 Q. Was that by Dr. Chao?

11 A. Yes.
12 Q. Reading Dr. Chao's consult note,
13 what was his impression -- overall
14 impression?
15 A. Severe hip and right groin pain
16 with movement. Normal deep tendon reflexes
17 in knee and ankle and normal sensation
18 suggests no significant sciatic nerve
19 lesion, but the patient will need EMG
20 studies to assess plexus injury, or
21 consider pelvis MRI, agreed with MRI of
22 hip.
23 Q. Had you spoken with Dr. Chao at
24 any time after he had performed his
25 consultation?

0049

1 , M.D. 49
2 In other words, other than
3 reading the note, had you actually spoken
4 to him?
5 A. I don't recall.
6 Q. Do you have any memory as you
7 sit here now of talking to Dr. Chao at any
8 time while Mrs. was within the
9 hospital under your care?
10 A. While she was in the hospital, I
11 spoke to him. I don't remember the date of
12 the conversation or the thrust of the
13 conversation.
14 Q. Now, on the 15th, when you wrote
15 your note, had you conducted an examination
16 of Mrs. ?
17 A. Yes.
18 Q. First of all, what complaints,
19 if any, had she made to you at the time of
20 your examination on the 15th?
21 A. The complaints were the same as
22 they were on the 14th.
23 Q. Is that something that you
24 remember as you sit here now, or is that
25 something that's recorded within your note?

0050

1 , M.D. 50
2 A. Had they changed, I would have
3 amplified the note.
4 Q. Your findings on examination,
5 can you specify for me what they are, as
6 they obviously are not recorded within your
7 note.
8 A. They were pain in the groin and
9 loss of motion of the hip.
10 Q. Were they any different than
11 what you had observed the day before?
12 A. No.
13 Q. Is there any particular reason
14 as to why you did not record your findings,
15 your clinical findings on examination in

16 your 10/15 note?
17 A. Well, in a 24-hour period,
18 nothing had changed, so I didn't -- I think
19 most doctors, it's their customary practice
20 to note changes, rather than clinically the
21 same. Sometimes we write that.

22 Q. Again, Doctor, there's nothing
23 in your note to indicate that things were
24 the same or different at all, separate and
25 apart from what you've already wrote?

0051

1 , M.D. 51

2 MR. : Note my objection.

3 A. I don't understand that
4 question.

5 Q. Sorry. I apologize.
6 Turn, please, to the next note
7 that you have.

8 A. My next note?

9 Q. Yes.

10 A. 10/17.

11 Q. To your knowledge, did
12 University Hospital employ orthopedic
13 physician's assistants that were on staff
14 at the hospital?

15 A. Yes.

16 Q. On those occasions when it was
17 maybe a vacation or you were not seeing a
18 patient physically within the hospital,
19 what was your understanding as to how often
20 those physicians' assistants would see an
21 orthopedic patient?

22 MR. : Objection to the
23 form.

24 MR. : Note my objection
25 to the form as well.

0052

1 , M.D. 52

2 I'll let him answer over
3 objection.

4 A. At least every day.

5 Q. Did you typically talk to the
6 physicians' assistants who had seen and
7 treated your patient?

8 A. I did.

9 Q. In this particular case, there
10 is a note on October 16, timed at 9:30,
11 ortho PA note. Do you recognize the
12 individual who wrote that particular note
13 either by signature or handwriting?

14 A. It would have been only one of
15 two people.

16 Q. Who would they be?

17 A. DD, who is not there anymore,
18 and Glenn, who is not there anymore.

19 Q. And do you know DD's last name?

20 A. (phonetic) or

21 (phonetic).
22 Q. Do you know where DD works
23 currently?
24 A. I don't know if she works
25 currently.

0053

1 , M.D. 53
2 Q. And the other individual was
3 ?
4 A. Yeah.
5 Q. Do you know the last name?
6 A. .
7 Q. Doctor, when you returned back
8 to the hospital on October 17th, was it
9 customary for you to review the patient's
10 medical records to see what changes if any
11 may have occurred since you last saw the
12 patient?
13 A. Yes.
14 Q. Do you recall reading over the
15 ortho PA's note to see if there were any
16 changes?
17 A. I don't recall reading that
18 note.
19 Q. But was that your custom and
20 practice back then, as it is now?
21 A. Yes.
22 Q. Going to your October 17 note,
23 please, did you perform a physical
24 examination?
25 A. Yes.

0054

1 , M.D. 54
2 Q. On that date.
3 A. Yes.
4 Q. Could you read your note.
5 A. 10/17/03, the patient's range of
6 motion is better.
7 The MRI equals stress fracture
8 of hip, period.
9 Plan, traction and gradual
10 mobilization next week.
11 Q. What was the purpose of the
12 planned traction and mobilization?
13 A. The planned traction was to
14 relieve her pain, because that's just well
15 known in any rotating condition of the hip.
16 The gradual mobilization was
17 because the fracture was very small, and I
18 felt that the healing period would be
19 sufficiently short. Rather than being six
20 or 10 weeks, more in the range of three to
21 four weeks, and that the risk of fracturing
22 through it was not so high that we could
23 afford to do that.
24 Q. In your career, Doctor, have you
25 seen an atraumatic stress fracture similar

5 asked.
6 Q. Is there a particular reason as
7 to why you don't see evidence of bone loss
8 in a septic hip soon after the process has
9 started?

10 A. The statement is true, I'm not
11 so sure I know why.

12 Q. Let's go back, please, to your
13 October 17 note.

14 Had Mrs. ' complaints
15 changed at all as of the 17th?

16 A. No.

17 Q. On your examination, did you
18 conduct the same type of physical
19 examination that you had done on each of
20 the prior days?

21 A. Yes.

22 Q. And you actually apply your
23 hands to her legs, to her back and to other
24 parts of her body?

25 A. Yes.

0058

1 , M.D. 58

2 Q. And were your findings recorded
3 in your October 17th note?

4 A. No.

5 Q. Based upon your custom and
6 practice, Doctor, can you tell me whether
7 there were any significant changes between
8 the prior two examinations and what you
9 observed on October 17th?

10 A. What I observed on the 17th was
11 that her range of motion was better than it
12 had been the day before.

13 Q. And had you come to any
14 conclusion as to why it was better?

15 In other words, was it because
16 of the medication -- pain medication she
17 was receiving or something else that you
18 concluded --

19 A. I thought based on our working
20 diagnosis, that she had a stress fracture
21 of the hip, that putting her at rest had
22 reduced the symptoms.

23 Q. Again, let me ask you to turn
24 back, please, to the MRI of the pelvis
25 without contrast. It's the October 15th.

0059

1 , M.D. 59

2 The same one we've been discussing all
3 along.

4 A. Yes.

5 Q. In the middle of the third
6 paragraph, can you point out to me, Doctor,
7 where specifically within this evaluation
8 you felt or concurred with Dr. '
9 opinion about the possibility that there

10 was a non-displaced stress fracture.

11 MR. : Note my objection to
12 the form.

13 MR. : You can answer.

14 A. The sentence that says there's a
15 limited abnormality within the right
16 femoral neck just superior to the
17 intertrochanteric region which is low in
18 signal on T1 and high in signal on T2
19 weighted images. This may represent a
20 short fracture line. Less likely, this
21 could represent a prominent vascular
22 structure within the medullary cavity.

23 Yes. That's the part.

24 Q. And that was it?

25 A. Yes.

0060

1 , M.D. 60

2 Q. And based upon your evaluation
3 and viewing the actual films itself, was it
4 your concurrence that Mrs. had or --
5 if you can tell me, possibly had a
6 fracture?

7 A. Yes.

8 Q. Was it your opinion that the
9 films that you were viewing were sufficient
10 enough for you to make this diagnosis?

11 A. Well, they were the best we
12 could get.

13 Q. Did you ever consider obtaining
14 another set of films after October 15th to
15 reconfirm whether or not there was, in
16 fact, this type of fracture for which you
17 were treating her?

18 A. Yes.

19 Q. At what point in time did you
20 consider getting another set of films to
21 reevaluate this condition?

22 A. 10/20/03.

23 Q. What films did you obtain on
24 10/20/03?

25 A. X-rays of the right hip.

0061

1 , M.D. 61

2 Q. And for what purpose did you
3 obtain X-rays on 10/20/03?

4 A. For the purpose to see whether
5 or not this stress fracture had increased,
6 decreased, remained the same, or other
7 changes had occurred.

8 Q. Was there any particular reason
9 as to why a repeat MRI was not re-obtained
10 instead of X-rays at that time?

11 A. I didn't think that the time was
12 sufficient in five days to justify the MRI.

13 Q. Based upon the X-rays that were
14 on record on October 20th, they concluded

15 degenerative changes but no definite
16 fracture or dislocation; is that correct?

17 A. That's correct.

18 Q. Based upon the fact that there
19 was no definite fracture or dislocation,
20 did your treatment plan change at all?

21 A. No.

22 Q. Did you consider aspirating
23 Mrs. ' hip on October 20th once it was
24 recognized that there was no fracture or
25 dislocation --

0062

1 , M.D. 62

2 MR. : Objection --

3 Q. -- based upon the X-rays?

4 MR. : Objection to form.

5 A. The original X-ray, as you said,
6 did not show the fracture.

7 The point of the X-ray was not
8 to see if it was negative, but to see if it
9 had become positive.

10 Q. Once the X-rays of October 20th
11 reported that there was no definite
12 fracture or dislocation, did you consider
13 obtaining a repeat MRI to either reconfirm
14 that there was, in fact, this non-displaced
15 stress fracture, or to rule out that
16 possibility?

17 A. I don't recollect -- the game
18 plan through the entire process was at a
19 reasonable interval of time, if we didn't
20 satisfy that we were making it better, to
21 repeat it, but I thought that the range
22 would be more like two weeks, and this was
23 based on the fact that the known problem
24 with infection in a joint or in a bone is
25 that the proportionate radiographic changes

0063

1 , M.D. 63

2 are slow to develop. And the more of these
3 tests you do, the less likely you'll have
4 to do them again. So allowing sufficient
5 time to transpire for the thing to become
6 positive so that it's easy to read was
7 always an issue.

8 Now with specific regard to
9 Mrs. , on having gotten that X-ray on
10 10/20, and I don't know what time of day
11 that was done, this was reinforced by the
12 finding on 10/21 that she was clinically
13 getting better.

14 Q. Again, Doctor, we know that she
15 had been on multiple pain medications. As
16 of October 21st, had you formed my opinion
17 as to whether the condition that you
18 observed, that she was getting better as
19 you described, was related to the use of

20 the pain medication?
21 A. Well, I thought it wasn't.
22 Q. To what, if any, did you
23 attribute her getting better at that point
24 in time?
25 A. Well, we had her at bed rest, in

0064

1 , M.D. 64
2 traction, and if the diagnosis of a stress
3 fracture was right, 90 percent of the time
4 these things are going to heal themselves
5 if you don't stress them. And I thought
6 this was -- looking at our working
7 diagnosis, that this was the way the thing
8 was going.

9 Q. Were there any other discussions
10 that you had with any radiologist after
11 October 15th about the possibility of
12 aspirating her hip from October 15th up
13 until the time she was discharged on
14 October 25th?

15 A. Not that I remember.

16 Q. Let's turn, please, to the next
17 note you have in the record.

18 Do you have another note after
19 October 17th, Doctor?

20 A. I do.

21 Q. By the way, on dates that you
22 were not present in the hospital seeing and
23 examining Mrs. , did you have a
24 partner or somebody else in your office
25 that would come to see and examine her?

0065

1 , M.D. 65

2 A. Yes.

3 Q. Who would that be, if it was the
4 same person?

5 A. Well, during her
6 hospitalization, both Dr. and
7 Dr. had --

8 Q. What was the last name,
9 Dr. and --

10 A. .

11 Q. In your review of Mrs. '
12 records, did you see any notes written by
13 either Dr. or Dr. ?

14 A. I did.

15 Q. Let's first go to your next
16 note, and then I'll go back for a little
17 bit to your partners' notes.

18 Is the next note you have on
19 October 20th?

20 A. Yes.

21 Q. Can you read your note, please.

22 A. Feeling much better, will
23 discontinue Duragesics and discontinue
24 traction in a couple of days.

25 Q. The Duragesic is the pain patch

0066

1 , M.D. 66

2 or the pain relief?

3 A. Yes.

4 Q. And what was the purpose of
5 discontinuing the Duragesic?

6 A. I didn't think she needed it.

7 Q. Were you aware that Mrs.
8 was experiencing some type of mental status
9 change as a result of the medication she
10 was receiving?

11 A. I was aware that there was a
12 period of confusion. I didn't know whether
13 it was attributable to the medicine, the
14 prolonged hospitalization, the bed rest.

15 Q. At some point, once she was
16 taken off or tapered off some of her
17 medications, did the confusion issue
18 disappear?

19 A. It did.

20 Q. Now, if you can go back one or
21 two pages, Doctor, to another orthopedic
22 note that is written, it appears to be by a
23 Dr. , M.D., October 19th, timed
24 at 2010.

25 A. Right. I think I have it here.

0067

1 , M.D. 67

2 Q. Do you know who Dr. Floyd is?

3 A. I do.

4 Q. Who is he?

5 A. He was an orthopedic resident at
6 the time.

7 Q. I'm sorry to jump back to your
8 October 20th, note, Doctor. Did you
9 conduct another examination --

10 A. Yes. Yes.

11 Q. Was Mrs. -- did she make
12 any complaints to you on the 20th at all?

13 A. Well, she was still not feeling
14 well, but she was feeling significantly
15 better than she had before.

16 Q. As part of your examination,
17 again, Doctor, did you do the same type of
18 physical that you had done days earlier?

19 A. I did.

20 Q. Did you record any of your
21 findings in your note?

22 A. Well, in the fact that I was --
23 implicitly the fact that I was going to
24 discontinue the traction and she was
25 feeling better, I think that she allowed

0068

1 , M.D. 68

2 easier motion with less resistance and less
3 complaints.

4 Q. Is that something you have a
5 specific memory of as you sit here now?
6 A. No. But it would be consistent
7 with my practice.
8 Q. What is the next note that you
9 have?
10 Doctor, is the next note you
11 have for October 21st?
12 A. It is.
13 The patient continues to
14 improve. Better range of motion and she
15 can take axial pressure on her leg.
16 Plan, gradual increase in
17 physical therapy, and reexamination.
18 Q. When you examined her, was she
19 able to get up and walk?
20 A. Could she -- did I get her up
21 and walk or could she.
22 Q. Did you, did you get her up --
23 A. I did not.
24 Q. You were aware that she was
25 having physical therapy each day as per

0069

1 , M.D. 69
2 your instructions?
3 A. Correct.
4 Q. Was it your understanding that
5 the physical therapy was at some point
6 helping you in making her feel better?
7 A. Yes.
8 Q. Let's go to the next note you
9 have, please.
10 A. 10/22.
11 Q. Go ahead.
12 A. Okay. Doing well. Plan,
13 discharge on Friday.
14 Q. Had you done any physical
15 examination on the 22nd?
16 A. That would have been my standard
17 practice.
18 Q. Is there anything to confirm as
19 to what your physical findings were on that
20 date?
21 A. My note that she's doing well
22 would be inclusive with the range of
23 motion, and the pain over the groin was
24 better.

25 Q. Do you have another note after

0070

1 , M.D. 70
2 October 22nd?
3 A. On the 24th.
4 Q. Can you read that, please.
5 A. I can.
6 Up out of bed in a chair. On
7 intravenous for antibiotics for urine. To
8 get renal ultrasound and renal profile,

9 period. Urology feels that the urine
10 retention is not due to a neurogenic
11 bladder.

12 Q. Did they tell you what they felt
13 it was due to?

14 A. No.

15 Q. Did you form any opinion as to
16 why she was experiencing the problem that
17 she was?

18 A. For retaining her urine?

19 Q. Yes.

20 A. I thought it was due to the
21 infection that they were treating her for,
22 or to the long time she was recumbent in
23 bed.

24 Q. Did you perform a physical
25 examination on the 24th?

0071

1 , M.D. 71

2 A. Yes.

3 Q. What were your findings on the
4 24th?

5 A. That the leg was moving better,
6 and she was continuing to improve.

7 Q. Do you have another note after
8 the 24th?

9 A. I do not.

10 Q. Let's go -- there is a note on
11 the 25th by an ortho, and timed at 1530.
12 Do you recognize that individual's
13 signature or the note?

14 A. I do.

15 Q. Who is that?

16 A. .

17 Q. Who is ?

18 A. He is the chief of orthopedics
19 at Hospital, and we -- our group
20 cross covers with them for the weekends.

21 Q. Can you, as best you can, read
22 Dr. note.

23 A. Discuss with patient regarding
24 discharge. Patient wish to leave today and
25 would like to sign out AMA, if not

0072

1 , M.D. 72

2 discharged. Patient has urinary tract
3 infection, currently being treated. Foley
4 removed. Patient voided five cc's on her
5 own. Patient understood risks and benefits
6 of staying in versus leaving. Patient
7 wished to leave at this time. Will
8 discontinue with Cipro recommendation as
9 above.

10 Q. Did you have a conversation with
11 Dr. after the patient was
12 discharged?

13 A. Yes.

14 Q. Tell me about that conversation.

15 A. This was I think on a Saturday
16 afternoon, it might have been on a Sunday
17 afternoon. But on Monday, he called me up
18 and told me that she had been adamant with
19 leaving, the family was prepared to leave,
20 and if they didn't leave against medical
21 advice -- he thought rather than inflaming
22 the situation, he just told them what his
23 advice was and gave them a prescription,
24 and let them go.

25 Q. Did he indicate to you why they

0073

1 , M.D. 73

2 were so concerned about leaving at that
3 point in time? Was there something
4 specific?

5 A. I don't think -- other than
6 wanting to leave, they made it clear to
7 him.

8 Q. Had they expressed any
9 dissatisfaction either about the care they
10 were receiving at or by someone
11 else necessitating the need to leave the
12 hospital at that point?

13 MR. : Objection to form.

14 MR. : You're asking him

15 what was said.

16 I'll let him answer.

17 Just note my objection.

18 Q. Was there anything more specific
19 than what you've told me already that was
20 creating a problem that they felt needed to
21 be addressed elsewhere?

22 MR. : Note my objection

23 to the form.

24 If you're asking him was there
25 any further conversation on this point,

0074

1 , M.D. 74

2 I have no problem with that. But
3 you're putting more into the question,
4 I think.

5 MR. OGINSKI: Fine.

6 Q. Other than what you've told me,
7 was there anything more specific that you
8 remember being discussed?

9 A. No.

10 Q. Going back to the two partners
11 that you mentioned and their notes, if you
12 can, please, I would like to go through
13 those notes.

14 A. Okay.

15 Q. If you can tell me what dates
16 your partners' notes appear in the record,
17 please do that.

18 A. 10/16.

19 MR. : Why don't you
20 start on October 8th.
21 A. My partners.
22 Q. Your partners.
23 MR. : Go back from the
24 beginning and -- the 16th could be the
25 first, but just go through and be sure.

0075

1 , M.D. 75
2 A. I believe 10/16 is the first.
3 MR. : Off the record.
4 [Discussion held off the
5 record.]
6 Q. And whose note appears on 10/16
7 that you recognize?
8 A. Dr. .
9 Q. Can you read his note, please.
10 A. Yes. Reassessed right groin
11 pain. Without -- and I can't read that
12 word. Something range of motion right hip.
13 With back pain or sciatica. Awaiting MRI
14 results, right hip.
15 Q. What is the next note for?
16 A. For one of my partners?
17 Q. Yes.
18 A. 10/18.
19 Q. Can you read that, please.
20 A. 10/18/03 --
21 Q. That appears under the 12:15
22 note that's at the top?
23 A. Correct.
24 Q. Go ahead.
25 The orthopedic note that you're

0076

1 , M.D. 76
2 referring to, Doctor, appears on the bottom
3 half of the page?
4 A. Yes.
5 The first -- ortho is the first
6 line. The second line is -- I think
7 stable. Third line is in bed. The fourth
8 line is NVF.
9 Q. Which stands for what?
10 A. I don't know.
11 MR. : Neurovascular
12 intact?
13 THE WITNESS: It might be there.
14 A. It looks like negative groin
15 pain. Negative GR. And then the last
16 line, stable.
17 Q. You indicated on the second line
18 it said what, stable twice? Does that say
19 above?
20 A. Afebrile. It's afebrile.
21 MR. : Off the record.
22 [Discussion held off the
23 record.]

24 Q. And which of your partners wrote
25 this note?

0077

1 , M.D. 77

2 A. .

3 Q. Let's go to the next one,

4 please.

5 A. 10/19, ortho.

6 Q. And who wrote this note?

7 A. .

8 So the first line is feeling
9 better. Still pain with right hip
10 movement. NVF, probably neurovascular
11 functioning. And then I think the last
12 line is stable.

13 Q. After Mrs. was discharged
14 from University Hospital, did you
15 ever learn that she was admitted to
16 St. Francis Hospital?

17 A. I did.

18 Q. How did you learn that
19 information?

20 A. From my attorney.

21 Q. Separate and --

22 MR. : Just note my
23 objection to that.

24 Q. After Mrs. was discharged
25 on October 25, 2003, within the next few

0078

1 , M.D. 78

2 weeks or months after that fact, did you
3 learn from either Mrs. or anyone in
4 her family that she had been admitted to
5 St. Francis Hospital for further care and
6 treatment regarding her hip?

7 A. I did not.

8 Q. Separate and apart from what you
9 have learned from your attorney, did you
10 review any of Mrs. ' medical records
11 from St. Francis Hospital or anything that
12 occurred to her after her discharge from
13 ?

14 A. No.

15 Q. Have you reviewed Mrs. '
16 deposition transcript?

17 A. No.

18 Q. Doctor, are you Board certified?

19 A. Yes.

20 Q. In orthopedics?

21 A. Yes.

22 Q. Are you Board certified in
23 anything else?

24 A. No.

25 Q. When were you Board certified?

0079

1 , M.D. 79

2 A. 1979 or '80.

3 Q. Have you been recertified over
4 time?
5 A. It's not necessary.
6 Q. Has your Board certification
7 ever been revoked or suspended?
8 A. No.
9 Q. Has your license to practice
10 medicine in the State of New York ever been
11 suspended or revoked?
12 A. No.
13 Q. Are you licensed to practice in
14 New York?
15 A. Yes.
16 Q. Are you licensed to practice in
17 any other state?
18 A. Yes.
19 Q. Which other states?
20 A. .
21 Q. Is that an active license that
22 you currently have?
23 A. No. I have inactive licenses in
24 .
25 Wherever else I've lived. I forget.

0080

1 , M.D. 80
2 Q. Doctor, have you published in
3 the course of your career?
4 A. Yes.
5 Q. Can you tell me approximately
6 how many publications you have?
7 A. About 20.
8 Q. Within the last five years, have
9 you published?
10 A. Yes.
11 Q. Do you have a recent CV that you
12 can provide your attorney with?
13 MR. : Yes. We'll
14 provide it to you.
15 MR. OGINSKI: Thank you.
16 Q. Have you ever testified?
17 A. Yes.
18 Q. Approximately how many times as
19 a defendant?
20 MR. : Just note my
21 objection.
22 But I'll let him answer.
23 A. I think in the range of five.
24 Or six.
25 Q. Have you ever testified as an

0081

1 , M.D. 81
2 expert being called upon by either
3 plaintiff or defendant to come into court
4 and give expert testimony?
5 A. Yes.
6 Q. Approximately how many times?
7 A. Over the 30 years, early in my

8 career, I might have gone five or 10 times
9 a year, and more recently, I don't think
10 last year I went at all. So it's been a
11 declining involvement.

12 Q. I should have clarified.

13 Have you ever been called as an
14 expert in a medical malpractice matter?

15 A. I have.

16 Q. Can you just give me an estimate
17 as to how many times you have testified as
18 an expert in malpractice cases.

19 A. I think in the range of five to
20 10 times.

21 Q. Per year, over the --

22 A. Oh, no. My whole career.
23 That's my whole career.

24 Q. Out of those five to 10 times,
25 can you tell me how many for plaintiff, how

0082

1 , M.D. 82

2 many for defendant?

3 A. I think they were all for
4 defendant.

5 Q. What hospitals are you currently
6 affiliated with?

7 A. here in , and
8 in .

9 Q. In October of 2003, did you have
10 the same privileges --

11 A. Yes.

12 Q. -- at and ?

13 A. I did. I did.

14 Q. Were your privileges at
15 ever suspended in October of 2003 for any
16 reason?

17 A. No.

18 Q. Same question as to
19 Hospital.

20 A. No.

21 Q. To your knowledge, was
22 Mrs. ' medical care and treatment
23 discussed at any type of grand rounds given
24 after she had been discharged to which you
25 were present and participated in?

0083

1 , M.D. 83

2 A. Not in the orthopedic
3 department, no.

4 Q. Did you ever have any further
5 conversations with Dr. who had
6 originally -- who you had spoken to about
7 the MRI of October 15th, at any time after
8 Mrs. was discharged from
9 Hospital on October 25, 2003?

10 A. I may have spoken to him again.
11 I don't have a recollection of that.

12 Q. Can you turn, please, to your

18 Q. Going down to the bottom, under
19 final diagnosis, tell me what you wrote,
20 Doctor.

21 A. Edema right hip, question disc
22 herniation.

23 Q. What did you mean by that?

24 A. I meant that the edema in the
25 hip was significant, and I still remained

0086

1 , M.D. 86
2 unclear that the stress fracture was the
3 ultimate diagnosis.

4 Q. Contained within this discharge
5 summary, did you indicate anywhere your
6 thoughts that possibly Mrs. would
7 benefit from an aspiration to evaluate that
8 edema in the right hip?

9 MR. : I'm going to
10 object to the form.

11 I don't think that's what he
12 said.

13 Q. Did you have any opinion as of
14 the time that you dictated the note on
15 November 24, 2003, as to whether Mrs.
16 had a component of a septic hip as of the
17 time of her discharge on October 25th?

18 MR. : Can I hear that
19 question back. That's a little bit
20 complex.

21 [The requested portion of the
22 record was read by the reporter.]

23 MR. : I understand where
24 you're getting the 10/24 date from, but
25 I don't know that he's testified to

0087

1 , M.D. 87
2 that yet.

3 In other words, you're reading
4 it correctly on the chart, but I don't
5 think that's been established yet.
6 That's one reason for the objection.

7 Q. Doctor, at the time of
8 discharge, had you formed any opinion with
9 a reasonable degree of medical probability
10 as to Mrs. having some components of
11 a septic hip at the time of discharge?

12 A. The best way I can answer that
13 is to say I still had questions when I
14 filled in this edema, right hip, disc
15 herniation, and the chart is complete with
16 stress fracture. When I filled these in,
17 when I handwrote them in, compared to
18 11/24, I can't account for that date, what
19 date that happened.

20 Q. If you -- I know that you were
21 planning to see her in follow-up in the
22 office, as you had originally intended.

23 What tests or treatment did you
24 plan on providing to Mrs. had she
25 returned to you to further evaluate whether

0088

1 , M.D. 88
2 or not this was a stress fracture, or edema
3 played some role in her ongoing problem?

4 A. Well, she should have been
5 feeling a lot better if it were a stress
6 fracture. I would x-ray it, and if she was
7 feeling better, that would take care of the
8 thing.

9 Alternatively, if she continues
10 to be having problems, then I think
11 repeating the MRI, the blood test and all
12 that would be what I had in mind.

13 Q. Did you ever speak to Mrs.
14 again after October 25th, when she was
15 discharged from ?

16 A. I did not.

17 Q. Did you ever tell Mrs. or
18 any of her family members after the October
19 20th X-rays of her right hip that you had
20 seen some swelling or redness inside the
21 hip or some type of infection around the
22 hip?

23 A. I don't remember any
24 conversations subsequent to that.

25 Q. Do you recall having any

0089

1 , M.D. 89
2 conversations with Mrs. ' daughter or
3 son-in-law at any time?

4 A. I do not.

5 Q. Do you recall either Mrs.
6 or any of her family members asking what
7 type of potential infection she might have,
8 separate and apart from a urinary tract
9 infection, that might be causing or
10 attributing to her ongoing problems?

11 A. I do not.

12 MR. OGINSKI: Thank you, Doctor.
13 I have nothing further.

14 MR. : No questions.

15 MR. : No questions.

16 MS. : I just have one
17 question.

18 EXAMINATION BY

19 MS. :

20 Q. My name is , and
21 I represent Dr. .

22 Just turning to your
23 conversation you had with Dr. , you
24 said that he mentioned that there was
25 difficulty with the patient.

0090

1 , M.D. 90

2 Do you remember specifically
3 what difficulty he was having with the
4 patient.

5 A. I do not.

6 MS. : No further
7 questions.

8 [Time noted: 12:21 p.m.]
9

10 _____
11 P , M.D.

12 Subscribed and sworn to
13 before me this _____ day
14 of _____, 2006.

15 _____
16 Notary Public
17
18
19
20
21
22
23
24
25

0091

1 91

2 I N D E X

3 WITNESS EXAMINATION BY PAGE

4 Mr. Oginski 5

5 Ms. 89

6

7

8 REQUESTS

9 Page Line Description
10 80 11 Recent CV

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0092

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
0093

CERTIFICATION

I, Cynthia A. Laub, a Notary Public for and within the State of New York, do hereby certify:

That the witness(es) whose testimony as herein set forth, was duly sworn by me; and that the within transcript is a true record of the testimony given by said witness(es).

I further certify that I am not related to any of the parties to this action by blood or marriage, and that I am in no way interested in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto set my hand this 27th day of December, 2006.

Cynthia A. Laub

* * *

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

ERRATA SHEET

VERTITEXT/NEW YORK REPORTING, LLC

1-800-727-6396

200 OLD COUNTRY ROAD 1350 BROADWAY
MINEOLA, NEW YORK 11501 NEW YORK, NEW YORK 10018

NAME OF CASE: v. , et al.

DATE OF DEPOSITION: December 15, 2006

NAME OF DEPONENT: , M.D.

PAGE LINE (S) CHANGE REASON

_____, M.D.

SUBSCRIBED AND SWORN TO BEFORE ME

THIS DAY OF , 20 .

(NOTARY PUBLIC) MY COMMISSION EXPIRES: