

**DE-IDENTIFIED DEPOSITION OF AN OPHTHALMOLOGIST IN AN  
IMPROPERLY PERFORMED CATARACT SURGERY CASE**

1  
2 SUPREME COURT OF THE STATE OF NEW YORK  
3 COUNTY OF KINGS  
4 Index No.

4 - - - - - x

5  
6 Plaintiffs,  
7 - against -

8  
9  
10 Defendants.

11  
12 - - - - - x  
13 April 24,  
14 1:17 p.m.

15  
16 DEPOSITION of DR. , a Defendant  
17 herein, taken by the Plaintiff, pursuant to Order, held  
18 at , before  
19 , a Notary Public of the State of New York.

20  
21  
22  
23  
24  
25  
0002

1  
2  
3 A P P E A R A N C E S :

4  
5 THE LAW OFFICE OF GERALD M. OGINSKI, LLC  
6 25 Great Neck Road  
7 Great Neck, NY 11021  
8 Attorney for Plaintiff

9  
10 Attorneys for Defendant,  
11 DR.  
12 BY:

11  
12  
13

14 Attorneys for Defendant,  
15 , MD

16 BY:

17

18 Attorneys for Defendant,

19

20 BY:

21

22

(Appearances continued on next page.)

23

24

25

0003

1

2

A P P E A R A N C E S : (continued)

3

4

5

Attorneys for Defendant,

6

BY:

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

0004

1

2

IT IS HEREBY STIPULATED, by and between the attorneys  
for the respective parties hereto that:

3

4

All rights provided by the C.P.L.R., and Part 221 of  
the Uniform Rules for the Conduct of Depositions,  
including the right to object to any question,  
except as to form, or to move to strike any  
testimony at this examination is reserved;

5

6

7

8

9

10

and in addition, the failure to object to  
any question or to move to strike any testimony

11 at this examination shall not be a bar or  
12 waiver to make such motion at, and is reserved  
13 to, the trial of this action.

14 This deposition may be sworn to by the witness  
15 being examined before a Notary Public other  
16 than the Notary Public before whom this  
17 examination was begun; but failure to do so  
18 or to return the original of this deposition  
19 to counsel, shall not be deemed a waiver of  
20 the rights provided by Rule 3116 of the C.P.L.R., and  
21 shall be controlled thereby.

22 The filing of the original of this deposition is  
23 waived.

24 IT IS FURTHER STIPULATED, that a copy of this  
25 examination shall be furnished to the attorney for the

0005

1 witness being examined without charge.  
2 D R. , after having  
3 first been duly sworn by a Notary Public of the State of  
4 New York, was examined and testified as follows:

5 EXAMINATION BY  
6 MR. OGINSKI:

7 Q Please state your name for the  
8 record.

9 A , MD.

10 Q What is your present address?

11 A.

12 .

13 Q. Hello, Doctor.  
14 Can you tell me why  
15 Ms. suffered vision loss after her  
16 cataract surgery on ?

17 A. On November 5, she did  
18 not suffer vision loss after cataract  
19 surgery of November 5, .

20 Q. When was the first time that  
21 she experienced vision loss that you are  
22 aware of?

23 A. I first examined Ms.  
24 on September 26, . She presented --

0006

1 DR.

2 Q. That wasn't my question. I'm  
3 sorry. I will rephrase it, Doctor.

4 By the way, you were referring  
5 to your chart which is marked as Plaintiff's  
6 1 for identification, correct?

7 A. Correct.

8 Q. Let's go back for a moment.

9 You performed cataract surgery  
10 on Ms. on November 5, ,  
11 correct?

12 A. Correct.

13 Q. That was done at

14 ?

15 A. Yes.

16 Q. Now, tell me, what is a

17 cataract?

18 A. A cataract is when the lens  
19 inside the eye loses its clarity.

20 Q. How does that happen?

21 A. It happens most often due to  
22 age-related reasons.

23 It can happen because of  
24 metabolic disease, it could happen because  
25 of an injury to the eye.

0007

1 DR.

2 There are lots of reasons for  
3 cataract to occur, but pretty much everybody  
4 if they live long enough at some point in  
5 their life will get a cataract.

6 Q. Does it form a cloud over the  
7 lens?

8 A. Sometimes. In fact, it comes  
9 from the Latin word for cloud.

10 Q. How do you diagnose a  
11 cataract?

12 A. By physical examination.

13 Q. Can you be specific?

14 A. You look at the lens inside  
15 the eye and if the lens does not appear to  
16 be clear, that's diagnosis.

17 Typically you also make sure  
18 there are no other reasons for decreased  
19 visual acuity.

20 Q. Typically if a patient has a  
21 cataract, would you also see decreased  
22 visual acuity as well?

23 A. Yes.

24 Q. Are there instances where you  
25 diagnose a cataract and the patient has no

0008

1 DR.

2 loss of visual acuity?

3 A. Yes.

4 Q. How common is that?

5 A. Fairly common.

6 Q. What specific instruments do  
7 you use to assist you in diagnosing a  
8 cataract?

9 A. I use a slit lamp, I use a  
10 retinoscope and other instruments available  
11 in the office.

12 Q. Like what?

13 A. Indirect ophthalmoscope, direct  
14 ophthalmoscope.

15 Q. What is an intraocular lens?

16 A. Intraocular lens is the type  
17 of lens that gets put in the eye after  
18 removal of the cataract.

19 Q. What are endothelial cells?

20 A. Endothelial cells are cells in  
21 the cornea which keep the cornea clear.

22 Q. What is Lasik, L-A-S-I-K?

23 A. Laser assisted surgery to  
24 remove refractive error.

25 Q. Have you ever performed Lasik  
0009

1 DR.

2 procedures?

3 A. Yes.

4 Q. What is PRK?

5 A. PRK is also a form of laser  
6 surgery to remove refractive error.

7 Q. Have you ever performed PRK?

8 A. Yes.

9 Q. Is Lasik surgery a treatment  
10 for cataract?

11 A. No.

12 Q. Is PRK surgery a method of  
13 treatment for cataract?

14 A. No.

15 Q. How do you treat a cataract?

16 A. There's only one way to treat  
17 a cataract, and that's to have it extracted  
18 surgically.

19 Q. Are there different gradations  
20 of cataracts?

21 A. Yes.

22 Q. Can you explain?

23 A. Typically from -- well, you  
24 could describe mild, severe, intermediate.

25 Some physicians use the grading  
0010

1 DR.

2 scale from one to four.

3 Q. What do you use?

4 A. I may use either.

5 Q. In terms of a mild cataract,  
6 what would you expect to see on examination  
7 in comparison to, let's say, an  
8 intermediate cataract?

9 In other words, how do you  
10 distinguish between the two?

11 A. It's really based on physical  
12 examination, the appearance of the lens  
13 through the slit lamp, the discoloration of  
14 the lens, the shape of the lens. That's  
15 the main difference.

16 The visual acuity is not a good  
17 test for the density or severity of a  
18 cataract.

19 Q. Tell me why.

20 A. There are some people who have  
21 a very mild cataract whose vision is  
22 severely impaired. There are some people  
23 who have a very dense cataract whose vision  
24 is not as impaired.

25 Q. In a patient with a severe  
0011

1 DR.  
2 cataract as an example, what is it that you

3 visually see on examination that  
4 distinguishes a severe cataract from, let's  
5 say, a mild cataract?

6 A. A lot of times my view through  
7 the lens will be impaired, so when I try to  
8 look beyond it, I will have a lot of  
9 difficulties with a severe lens, I'll have  
10 a little bit of difficulty through a mild  
11 lens.

12 Q. How would you describe perfect  
13 vision?

14 A. How would I describe perfect  
15 vision?

16 Q. Yes.

17 A. Perfect vision is when a  
18 patient -- or a person is happy with their  
19 vision. That's perfect vision.

20 Q. Is there some medical standard  
21 by which you as an ophthalmologist would  
22 define a patient with perfect vision from a  
23 medical standpoint?

24 A. From a medical standpoint the  
25 definition -- there is no such thing as

0012

1 DR.

2 perfect.

3 There's normal, but there is no  
4 such thing as perfect. What is perfect for  
5 you is not perfect for somebody else.

6 Q. When somebody uses the term  
7 20/20 vision, what does that mean to you as  
8 a physician?

9 A. That means that they have good  
10 visual acuity.

11 Q. Is there a visual acuity that  
12 is better than 20/20?

13 A. 20/15, 20/13, 20/10. Just so  
14 that you know, the average visual acuity of  
15 a baseball player is 20/15.

16 Q. Are you familiar with a term  
17 known as J-1?

18 A. Yes, I am.

19 Q. What does that mean to you?

20 A. J-1 stands for Jaeger-1. It  
21 is typically used -- Jaeger connotation is  
22 typically used to describe near vision.

23 Q. Can you be more specific as to  
24 what you mean?

25 A. No.

0013

1 DR.

2 Q. When you say used to describe  
3 near vision, do you mean near perfect  
4 vision or something else?

5 A. I don't understand your  
6 question.

7 Q. Sure. Have you used this term  
8 J-1 in your practice?

9 A. Yes.  
10 Q. And what do you use it to  
11 mean?

12 A. Just like 20/20 is a  
13 measurement of distance vision, J stands  
14 for Jaeger, it's a measurement of near  
15 vision. There is J-1, J2, J-3, J-4.

16 Q. Which is the best, if you can  
17 categorize it?

18 A. I would have to look at the  
19 chart. I'm not sure. There's J-1, I'm not  
20 sure if anything is better, but J-1 roughly  
21 correlates to 20/20, I would think.

22 Q. A patient who has 20/25  
23 vision, what does that mean to you?

24 A. There's a definition as to  
25 what those numbers stand for, so it's not

0014

1 DR.  
2 what it means to me.

3 Q. Tell me what that definition  
4 is.

5 A. The definition is that this  
6 person at 20 feet sees what an average  
7 person with good vision sees at 25 feet.

8 Q. Would the same be true for a  
9 person with 20/30 vision?

10 A. Exactly.

11 Q. And just so I'm clear, Doctor,  
12 is a person with 20/20 vision, do they have  
13 better vision than, say, someone who has  
14 20/30 vision?

15 A. By one measure, yes, but  
16 "better" is a very subjective term.

17 Q. Tell me what you mean by that.

18 A. People can have complaints of  
19 glare even with perfect vision.

20 As a plaintiff's attorney you  
21 are probably aware there are a lot of  
22 lawsuits after laser vision correction where  
23 people see 20/20 but they still complain of  
24 poor quality of vision, so 20/20 and 20/25  
25 by one measure you can compare, but you

0015

1 DR.  
2 can't say one is better than the other.

3 Q. Let's talk just about visual  
4 acuity.

5 Is a vision measurement of  
6 20/20 quantitatively better than someone who  
7 has 20/30 vision?

8 A. Yes.

9 Q. What is phacoemulsion?

10 A. Phacoemulsification, I think  
11 you mean.

12 Q. Yes.

13 A. Phacoemulsification is a  
14 method of removing cataract from the eye.

15 Q. How do you accomplish that?  
16 A. Carefully.  
17 Q. What method do you use? What  
18 procedure? How do you actually accomplish  
19 that?  
20 A. I use a phacoemulsification  
21 machine and a host of instruments under  
22 microscope in the operating room.  
23 Q. What is the purpose of that?  
24 A. To remove the cataract from  
25 the eye.

0016

1 DR.  
2 Q. What is corneal edema?  
3 A. Swelling of the cornea.  
4 Q. How do you diagnose corneal  
5 edema?  
6 A. By physical examination.  
7 Q. Are there specific instruments  
8 that you can use that will help you to  
9 evaluate and determine whether there is  
10 corneal edema?  
11 A. The most important way is by  
12 examination. There are other instruments  
13 that measure the extent of corneal edema,  
14 but really physical examination is the most  
15 typical and common.  
16 Q. When you say "physical  
17 examination," Doctor, do you mean that  
18 simply by looking at somebody's eye you can  
19 tell or do you need a specific instrument?  
20 A. I will usually look through  
21 the slit lamp in the office.  
22 Q. In the course of your career,  
23 have you had occasion to see and treat  
24 patients with corneal edema?  
25 A. It's a very common condition,

0017

1 DR.  
2 it occurs in everybody after surgery, so  
3 yes.  
4 Q. What is ocular hypertension?  
5 A. That's when the pressure  
6 inside the eye is elevated.  
7 Q. How do you recognize that?  
8 A. By measuring the pressure in  
9 the eye.  
10 Q. How do you do that?  
11 A. With an instrument called a  
12 tonometer.  
13 Q. Are you familiar with a term  
14 known as cystoid macular edema?  
15 A. Yes.  
16 Q. What is that?  
17 A. That's swelling in the back of  
18 the eye in the retina.  
19 Q. How do you recognize that  
20 condition?



21 A. There are different ways of  
22 recognizing it. One is by examining the  
23 retina with an instrument, one is by  
24 measuring the thickness of the retina with  
25 the various instruments, you can also take

0018

1 DR.  
2 a picture of the retina with a special  
3 camera.

4 Q. And again, in the course of  
5 your career have you had occasion to see  
6 and treat that condition?

7 A. Yes.

8 Q. What is a condition known as  
9 eyelid ptosis?

10 A. Droopiness of the eyelid.

11 Q. Can you tell me, Doctor, what  
12 a subconjunctival hemorrhage is?

13 A. It is a hemorrhage under  
14 conjunctiva.

15 Q. How do you recognize that?

16 A. By physical examination.

17 Q. Do you need any specific  
18 instruments to see that?

19 A. Typically not, but we usually  
20 use instruments available in the office  
21 such as a slit lamp.

22 Q. When you hear the term  
23 nearsighted, what does that mean?

24 A. That the person sees better at  
25 near than in the distance.

0019

1 DR.

2 Q. And what is farsighted?

3 A. When they see better far away  
4 than up close.

5 Q. Can you tell me what is  
6 Descenets tear?

7 A. Tear in one of the layers of  
8 the cornea.

9 Q. How do you recognize it?

10 A. By physical examination.

11 Q. Specifically what instruments  
12 do you need to recognize that?

13 A. Slit lamp.

14 Q. Have you had occasion to see  
15 and diagnose and treat that particular  
16 condition?

17 A. Yes.

18 Q. What is the purpose of  
19 cataract surgery?

20 A. To remove the cataract.

21 Q. What are the risks of  
22 performing cataract surgery?

23 A. The risks of cataract surgery  
24 are similar to risks of any type of  
25 surgery.

0020

1 DR.  
2 Q. I would like you to be  
3 specific, please.  
4 A. The list of possibilities is  
5 very long. It's too long to list here.  
6 Q. I will rephrase the question.  
7 A. Death, blindness, things not  
8 going the way people would like.  
9 Q. Tell me what you mean.  
10 A. Well, there are lots of things  
11 that can happen during surgery.  
12 You could have bleeding. You  
13 could have infection after surgery. You  
14 could have a problem removing the lens. You  
15 could have a problem with the lens.  
16 I have had the misfortune of  
17 being in the operating room when the power  
18 went out and the back-up generator didn't  
19 kick in.  
20 I have been in the operating  
21 room doing cataract surgery when patients  
22 have suffered an asthma attack.  
23 I have had the misfortune of  
24 being in the operating room where people  
25 have an anxiety attack and start coughing or

0021

1 DR.  
2 get short of breath.  
3 The list is extensive.  
4 Q. What is the most likely risk  
5 of cataract surgery or the greatest risk?  
6 A. The greatest risk is death.  
7 Q. When you talk to patients  
8 about the risks of procedure, would you  
9 agree it would be important for you to  
10 discuss with them the most likely risks?  
11 A. Yes.  
12 Q. Why?  
13 A. They have to make an informed  
14 decision.  
15 Q. And if a doctor does not  
16 disclose to a patient the most likely risks  
17 associated with a proposed procedure, would  
18 you agree that that would be a departure  
19 from good practice?  
20 A. Yes. That's why I make sure I  
21 always discuss the common things that could  
22 go wrong.  
23 MR. : Off the record.  
24 (Discussion held off the record.)  
25 Q. Doctor, I am going to reask my

0022

1 DR.  
2 question.  
3 I would like you to tell me not  
4 what the most significant risk is, such as  
5 death, but what the most common risk or set  
6 of risks that are seen with performance of

7 cataract surgery.

8 A. Before I answer that question,  
9 I have to qualify it and say that cataract  
10 surgery is a very safe type of surgery.  
11 99 percent of cataract surgery is performed  
12 without any complication.

13 Depending on the patient in  
14 front of me, the risks vary. Somebody who  
15 has diabetes has one set of risks, somebody  
16 with advanced age has a different set of  
17 risks, somebody who had prior Lasik surgery  
18 has a third set of risks.

19 The range of people who undergo  
20 cataract surgery goes from little kids who  
21 are born with cataracts to the elderly.

22 It is very hard to say globally  
23 what is the greatest risk for that diverse  
24 group of people.

25 Q. I am going to narrow it down.

0023

1 DR.

2 Solely from a technical  
3 standpoint of performing the actual cataract  
4 surgery by you as an ophthalmologist,  
5 putting aside any co-morbid situations the  
6 patient may have that may change the risks,  
7 I would like to know, the performance of  
8 cataract surgery, what are the risks  
9 associated with that in and of itself?

10 MR. : Objection. You can  
11 answer.

12 A. The greatest risk that  
13 typically happens that causes, let's say,  
14 longterm problems for the patient is  
15 difficulty with removing the cataract from  
16 the eye. That is the greatest sort of  
17 thing.

18 Q. And what would you consider to  
19 be the next likely risk?

20 A. Again, it depends on who we  
21 are talking about.

22 If you would like to ask me  
23 about a specific person, I will tell you  
24 what it would be.

25 Or if you would like me to give

0024

1 DR.

2 you a typical conversation I have with a  
3 patient of a certain age, I will be happy to  
4 do it for you.

5 Q. I am only talking about adults  
6 without any co-morbid conditions.

7 A. What age?

8 Q. 58 years old.

9 A. Prior surgery or not?

10 Q. Yes.

11 A. If you would like to know what  
12 I told Ms. --

13 Q. Not yet. I will. Not yet.  
14 I am just asking now in general  
15 tell me the most likely risks associated  
16 with performing cataract surgery.

17 A. The most likely risks of  
18 performing cataract surgery are difficulty  
19 removing the lens from the eye.

20 We might have postoperative  
21 infection that could lead to blindness.

22 We can have an intraoperative  
23 event that would require reoperation.

24 Lastly, I tell everybody that  
25 when we pick a lens there is a margin of

0025

1 DR.  
2 error with our calculations, because the  
3 calculations are mathematical formulas.

4 Q. What is that margin of error?

5 A. I typically tell patients who  
6 have not had any prior surgery that  
7 99 percent of the time it is plus or minus  
8 0.75 diopters.

9 It's different for people who  
10 have had prior surgery.

11 Q. What is it for people who have  
12 had prior surgery?

13 A. There's no good way to  
14 calculate intraocular lens for people who  
15 have had prior surgery.

16 Q. I'm sorry. My question was  
17 incorrect. You told me you tell patients  
18 there is a margin of error when picking a  
19 lens based upon whether the patient had  
20 surgery in the past or did not.

21 You told me what the margin of  
22 error was for a patient who did not have  
23 surgery.

24 My question now only is what is  
25 the margin of error for picking a lens with

0026

1 DR.  
2 a patient who has had surgery?

3 A. It's greater.

4 Q. How much greater?

5 A. I can't answer that. The  
6 methods are not as precise because of prior  
7 intraocular surgery.

8 Q. When you say that when picking  
9 a lens there is a margin of error, do you  
10 mean that in some instances the lens that  
11 you pick may not be accurate for their  
12 particular eye?

13 A. What I mean is that we have a  
14 calculation that predicts a result, and  
15 based on that calculation I pick a lens,  
16 and sometimes it happens that the result is  
17 not what we expected.

18 Q. Why is that?

19           A.           There are any number of  
20 reasons, including that the lens may be  
21 mislabeled, the calculations were  
22 incorrect, there's some sort of systemic or  
23 one-time error during the measurements.  
24           The list could go on and on,  
25 but often we never find out why exactly, why

0027

1                   DR.  
2 there is an error.

3           Q.           Now, when you are going to be  
4 performing cataract surgery on a patient,  
5 is that lens waiting for you at the  
6 surgical center when the patient is going  
7 to have the procedure or is it brought to  
8 you or you take it with you? How does that  
9 work?

10          A.           I fill out a sheet, a lens  
11 order form based on my review of the chart.  
12 It's faxed to the surgical center.

13                   When the patient is in the room  
14 there is a time-out situation before surgery  
15 is started. The nurse, I guess the  
16 circulating nurse --

17          Q.           I'm sorry to interrupt. I  
18 will go through that with you, but do you  
19 bring the lens with you?

20          A.           No. It is procured by the  
21 surgical center.

22          Q.           Going back to the risks of the  
23 cataract surgery and your discussion with  
24 the patient about the risks, you have told  
25 me if you don't discuss the risks with a

0028

1                   DR.  
2 patient, they can't make an informed  
3 decision about whether to go forward with  
4 the procedure, correct?

5           A.           Yes.

6          Q.           Do you have a specific memory  
7 of talking to Ms.    on the first  
8 visit about this particular case?

9           A.           Yes.

10         Q.           Do you have a specific memory  
11 about the specific risks that you told her  
12 about in her particular case?

13         A.           I specifically remember.

14         Q.           I am not going to ask you yet.  
15 I just want to know if you do.

16         A.           Yes.

17         Q.           Do you have in your notes  
18 recorded anywhere specific risks that you  
19 discussed with her?

20                   I am not asking whether you  
21 have a notation saying risks discussed. I  
22 am now asking whether you have specific  
23 risks that you recorded as having discussed  
24 with the patient.

25 A. I do not have recorded -- I  
0029

1 DR.  
2 don't have a record of specific risks that  
3 were discussed with the patient.

4 Q. Just so the record is clear,  
5 Doctor, you are now looking at your note  
6 for September 26, , correct?

7 A. Yes.

8 Q. Have you recommended cataract  
9 surgery for patients who have had  
10 20/20 vision?

11 A. Not that I recall.

12 Q. Have you recommended cataract  
13 surgery for patients who have had 20/30  
14 vision?

15 A. I may have.

16 Q. I missed one. Have you  
17 recommended cataract surgery for patients  
18 who have had 20/25 vision?

19 A. I may have also.

20 Q. Just to be complete, Doctor,  
21 have you recommended cataract procedures  
22 for patients who have 20/40 vision?

23 A. Yes.

24 Q. How do you determine if a  
25 patient is a candidate for cataract surgery

0030

1 DR.  
2 from an ophthalmological standpoint?

3 A. I would say the most important  
4 thing to me is the patient's level of  
5 satisfaction or dissatisfaction with their  
6 visual acuity, their complaints.

7 If they are not complaining  
8 with 20/40, I will typically not recommend  
9 surgery.

10 However, if somebody is active  
11 and does have complaints about their visual  
12 acuity and the visual acuity interferes with  
13 their lifestyle, I would -- I am more  
14 comfortable offering early cataract surgery.

15 Q. If a patient has a complaint  
16 of diminished vision as well as a  
17 cataract -- I am going to withdraw the  
18 question.

19 If the patient has no  
20 complaints yet has some diminished visual  
21 acuity, would you recommend cataract  
22 surgery?

23 A. I would discuss the diagnosis  
24 of the cataract with a patient based on  
25 what you just said.

0031

1 DR.

2 Most people without a complaint  
3 would not choose to have surgery.

4 Q. Now, let's go back to the

5 choosing the lens. You were talking about  
6 margin of error.

7 How do you actually determine  
8 and evaluate the strength of the intraocular  
9 lens?

10 A. We have a few different  
11 instruments that we use in the office.

12 Q. What are they?

13 A. We use the most advanced  
14 instrument available called an IOL Master,  
15 the latest software, version five, I  
16 believe.

17 Q. What does that do?

18 A. It measures the eye for the  
19 intraocular lens.

20 Q. And is this something the  
21 patient looks into and then there is some  
22 way for the machine to calibrate and  
23 calculate what lens is necessary?

24 A. Absolutely.

25 Q. And how reliable is this

0032

1 DR.  
2 device?

3 A. It is the most reliable device  
4 on the market available today.

5 Q. I'm only talking now, Doctor,  
6 about the treatment that this patient  
7 received from you in September up to  
8 January .

9 Is your statement the same for  
10 that particular time period, putting aside  
11 currently?

12 A. Yes.

13 Q. The margin of error you  
14 described, is that from this particular  
15 machine?

16 In other words, the calculation  
17 that comes out of this machine, is there  
18 some margin of error from that?

19 A. No matter what method is used  
20 in calculating the intraocular lens, there  
21 is a margin of error like in any  
22 scientific, I guess, procedure or  
23 experiment.

24 Q. When you are using this device  
25 to evaluate the intraocular lens, the power

0033

1 DR.  
2 that you are going to be putting in, are  
3 there any other ways for you to measure the  
4 strength of the intraocular lens without  
5 using this device?

6 A. Yes. We have an ultrasound  
7 machine called an A-scan.

8 Q. Anything else?

9 A. We use to supplement -- well,  
10 are you asking me about how it was done in

11 Ms. 's case or --  
12 Q. In general in September of

13 .  
14 A. In September of a patient  
15 that I would have seen would have had IOL  
16 Master measurements and some duplicate  
17 measurements just as a confirmation.

18 Q. Those duplicate measurements,  
19 what would you use?

20 A. I typically remeasure the  
21 corneal curvature.

22 Q. With what?

23 A. A machine called a  
24 keratometer.

25 Q. Why do you use these

0034

1 DR.  
2 additional devices to either compliment or  
3 supplement the IOL Master?

4 A. In this case or --

5 Q. In general, Doctor.

6 A. Generally in our office we  
7 have a very rigorous program to keep track  
8 of our outcomes, and the program has shown  
9 that and occasionally the IOL master makes  
10 a pair in the keratometry readings, and  
11 that's why all patients get a number of  
12 readings, just as an overabundance of  
13 caution.

14 Q. What happens if your  
15 measurements are different from the IOL  
16 master? What do you do in that instance?

17 A. I use my experience to make a  
18 decision.

19 Q. If a patient presents to you  
20 with a mild cataract, what is the risk to  
21 the patient of not having any surgery?

22 A. The risk? I don't understand  
23 that phrase.

24 Q. What will happen to a patient  
25 if they have a mild cataract that is not

0035

1 DR.  
2 treated surgically?

3 A. There is no risk. The  
4 cataract will progress over time and at  
5 some point down the line the patient will  
6 require surgery, but there is no risk.  
7 There is no immediate risk.

8 Q. Are you able to predict over  
9 what time period that cataract will get  
10 worse?

11 A. I have no way of predicting,  
12 nor do I ever make predictions like that.

13 I do know that it is unlikely,  
14 if ever, that the cataract will get better.  
15 In fact, I could say a typical cataract does  
16 not get better.



17 Q. Are you familiar with any  
18 medical literature that describes the  
19 length of time over which a cataract will  
20 deteriorate and get worse?

21 A. Not off the top of my head.  
22 There have been some epidemiologic studies  
23 where visual acuity is measured over time.  
24 I don't recall the result.

25 Q. I am only asking if you know  
0036

1 DR.  
2 of anything specifically as we are here  
3 now.

4 A. No.

5 Q. Would you agree, Doctor, that  
6 when discussing with the patient any  
7 recommendations of whether to proceed  
8 forward with cataract surgery, that one of  
9 the -- or at least part of the discussion  
10 would include not doing the surgery at all,  
11 what would happen?

12 A. I always -- that's part of my  
13 discussion always. I always offer patients  
14 a choice of not doing anything and waiting  
15 six months to a year or longer for the  
16 cataract to evolve.

17 In fact, what I always say is  
18 cataract surgery is never an emergency like  
19 cardiac surgery. It's elective, outpatient  
20 surgery.

21 Q. Would you agree, Doctor, that  
22 if an ophthalmologist fails to tell a  
23 patient that one of the options is not to  
24 go ahead with the surgery in light of a  
25 cataract that that would be a departure  
0037

1 DR.  
2 from good care?

3 MR. : Objection. You can  
4 answer.

5 A. I don't know any doctor who  
6 fails to tell the patient that the option  
7 is not to have surgery.

8 Q. Let me rephrase it.  
9 If a physician is recommending  
10 the patient have cataract surgery, and  
11 during that discussion they do not discuss  
12 with them the fact that they could choose  
13 not to do anything, would you agree if a  
14 doctor does not tell the patient that they  
15 can choose not to do anything that that  
16 would be improper medical care?

17 MR. : Objection. You can  
18 answer.

19 A. It's typically discussed, but  
20 I am not an ethicist. I can't speak for  
21 other doctors. I know that I always  
22 discuss it.

23 Q. Why do you discuss it?  
24 A. Because cataract surgery is  
25 always elective, outpatient surgery.

0038

1 DR.

2 Q. Is the reason why you discuss  
3 it with the patient because you want the  
4 patient to know what their options are?

5 A. I always discuss it because I  
6 want the options -- I want the patient to  
7 know what their options are and I want to  
8 impress on the patient that even safe  
9 surgery carries certain risks with it.

10 Q. And if for whatever reason a  
11 physician does not tell the patient all of  
12 those options, the failure to tell the  
13 patient about the available options, would  
14 you agree it is a departure from good care?

15 MR. : Objection. You can  
16 answer.

17 A. I think it's important to  
18 discuss all the available options with the  
19 patient, and I think not discussing all  
20 available options is not the best care.

21 Q. What was your affiliation with  
22 TLC in September of ?

23 A. I performed my Lasik surgery  
24 at the in ,  
25 .

0039

1 DR.

2 Q. How long have you had that  
3 affiliation?

4 A. Probably for ten years, close  
5 to ten years.

6 Q. Did you have any other  
7 affiliation with them besides being able to  
8 perform Lasik surgery at their  
9 ?

10 A. Not that immediately comes to  
11 mind.

12 Q. Are you familiar with a  
13 program that has known as the lifetime  
14 enhancement program?

15 A. Yes.

16 Q. What is that?

17 A. It's where the patient, if  
18 they do their surgery at gets a  
19 lifetime enhancement for free, at no cost  
20 or something like that.

21 Q. Have there been instances  
22 where patients have shown up for treatment  
23 at and they have been referred to you?

24 A. As far as I know, including  
25 Mrs. , no patients have ever been

0040

1 DR.

2 referred to me by .

3 Q. Do you know how it was that  
4 Mrs. came to you?  
5 A. I'm not sure how she came to  
6 me, but she did mention that she was seen  
7 at in in .  
8 Q. Do you have any notation as to  
9 who actually referred her to you?  
10 A. She was referred by her  
11 primary care physician, Dr. ,  
12 .  
13 Q. The note that you were looking  
14 at, Doctor, to tell me who referred her,  
15 where were you looking, Doctor?  
16 A. I'm looking at the upper  
17 right-hand corner of my progress note.  
18 Q. I want you to assume that  
19 Mrs. has given testimony in this  
20 case that after being seen at --  
21 A. Can I refer to a different  
22 part of my chart --  
23 Q. Sure.  
24 A. -- and augment my answer?  
25 Q. Yes.

0041

1 DR.  
2 A. Just reviewing, I see that in  
3 her new patient information form  
4 Mrs. filled out she was referred  
5 by the .  
6 Q. And do you know how it was  
7 that would have referred her to  
8 you?  
9 MR. : Objection.  
10 A. I didn't ask her why --  
11 MR. OGINSKI: I'm sorry. I  
12 am going to rephrase the question.  
13 Withdrawn.  
14 Q. Did you have an arrangement  
15 with that they would send you patients?  
16 MR. : Objection.  
17 A. Absolutely not.  
18 Q. Did you receive any financial  
19 compensation from for any patients that  
20 they referred to you?  
21 MR. : Objection.  
22 A. No.  
23 Q. Did you pay any financial  
24 compensation or any type of financial  
25 incentive to to have patients referred

0042

1 DR.  
2 to you?  
3 MR. : Objection.  
4 A. No. I would guess --  
5 Q. I don't want you to guess,  
6 Doctor.  
7 A. Do you want my opinion?  
8 MR. : There is no

9 question, so don't guess at anything.

10 MR. : I don't have a copy  
11 of that.

12 MR. : Remind me, I will  
13 make a copy before everybody leaves.  
14 Just make yourself a note and I will  
15 give it to you.

16 Q. Doctor, let's talk about the  
17 .  
18 Do you practice together with  
19 Dr. ?

20 A. We are partners.

21 Q. How long have you been  
22 partners?

23 A. Since year .

24 Q. Can you explain to me your  
25 partnership relationship?

0043

1 DR.

2 MR. : Objection.

3 MR. OGINSKI: I will rephrase  
4 the question.

5 Q. Are you a shareholder of the  
6 ?

7 A. Yes.

8 Q. When did you become a  
9 shareholder?

10 A. I started, I think in the year  
11 , maybe . I don't recall exactly.

12 Q. And in addition to being a  
13 shareholder, are you also an officer of the  
14 ? Do you have some title?

15 A. I'm not sure. It's a two  
16 partner group, so I'm not sure. I may be  
17 the vice president. It was a joke.

18 Q. Where is the office located?

19 A. , ,

20 .

21 Q. Do you have any other offices?

22 A. We have a satellite office in  
23 .

24 Q. Was that office in existence  
25 in September of ?

0044

1 DR.

2 A. Yes.

3 Q. And am I correct that you  
4 treated Ms. solely at the  
5 office?

6 A. Yes.

7 Q. Now, when did you first start  
8 to work with Dr. ?

9 A. . August, July.

10 August .

11 Q. And did you join him, did he  
12 join you?

13 A. I was an employee and then I  
14 became a partner.

15 Q. At any time before you  
16 performed cataract surgery on  
17 Mrs. , did Dr. see or examine  
18 this patient?

19 A. No.

20 Q. Did you have any conversations  
21 with Dr. about this particular  
22 patient before November 5, ?

23 A. No.

24 Q. In preparation for performing  
25 this patient's cataract procedure, did you

0045

1 DR.

2 review any textbooks to refamiliarize  
3 yourself with any aspect of the surgery you  
4 intended to perform?

5 A. Can you repeat the question?

6 Q. In preparation for this  
7 patient's cataract surgery, did you review  
8 any textbooks prior to performing her  
9 procedure?

10 A. I reviewed some articles.

11 Q. Which articles did you review?

12 A. I reviewed some articles on  
13 calculating intraocular lenses on patients  
14 after Lasik.

15 Q. Do you recall the names of  
16 those articles?

17 A. No, I don't recall.

18 Q. Do you have those articles?

19 A. I could get you copies.

20 Q. How many different articles  
21 were there?

22 A. I reviewed two or three  
23 articles, and I have to make a note that  
24 about, I would say, a few times a year I  
25 perform cataract surgery on somebody who

0046

1 DR.

2 has had prior refractive surgery, so this  
3 is not a one-time event.

4 Q. I am only asking about any  
5 literature or textbooks that you reviewed  
6 prior to her surgery in anticipation of her  
7 surgery.

8 Do you recall the names of the  
9 journals in which those articles appeared?

10 A. I would say

11

12 Q. By the way, Doctor, have you  
13 published anything in any peer review  
14 journal in the course of your career?

15 A. No. I have had a few  
16 , but not articles.

17 Q. Have you contributed to any  
18 textbooks in the field of ophthalmology?

19 A. No.

20 Q. Other than the articles you

21 mentioned, did you review any other medical  
22 literature in preparation for this  
23 patient's surgery?

24 A. I would have to say yes, but  
25 not specifically for this surgery. For

0047

1 DR.  
2 this type of surgery.

3 This is a -- this type of  
4 surgery is always complicated and uncertain,  
5 and so I keep current with the latest  
6 thinking on this subject matter, which is  
7 picking intraocular lenses for people with  
8 laser vision correction.

9 MR. : Before you ask the  
10 question next question, I have to use  
11 the men's room.

12 (A short recess was taken.)  
13 (Record read back.)

14 Q. After reviewing the articles  
15 that you described for me, did you  
16 formulate or come to any conclusion as to  
17 whether the calculations that you were  
18 going to use for this patient's intraocular  
19 lens were accurate?

20 A. Can you repeat the question  
21 again?

22 Q. Sure. At the time that you  
23 were reviewing these articles, had you  
24 already calculated the intraocular lens you  
25 were going to use for Mrs. ?

0048

1 DR.

2 A. I believe I said I reviewed  
3 articles for cases like this, not for this  
4 specific case.

5 Q. Have you ever recommended  
6 cataract surgery for patients who have J-1  
7 vision?

8 A. Yes.

9 Q. And same question for J-2  
10 vision.

11 A. Yes.

12 Q. And 3 and 4 as well?

13 A. Yes. And J-1 minus.

14 Q. Is that even better than J-1?

15 A. No.

16 Q. The J-1 plus, is that better?

17 A. Yes.

18 Q. Have you recommended cataract  
19 surgery for patients with J-1 plus?

20 A. I do not recall.

21 Q. Out of the cases that you do  
22 recall having performed or recommended,  
23 have you ever recommended a cataract  
24 surgery for patients with J-1 plus?

25 A. I do not recall.

0049

1 DR.  
2 Q. Did you use any type of  
3 algorithm to assist you in performing this  
4 patient's surgery?  
5 A. Can you define algorithm or  
6 explain it?  
7 Q. Any type of document, like a  
8 flow chart or decision-making tree, to use  
9 that to assist you in performing this  
10 particular procedure.  
11 A. I used measurements that were  
12 calculated, two sets of measurements.  
13 Q. That's not what I'm talking  
14 about, Doctor.  
15 A. Nothing that's written.  
16 Q. There came a time when  
17 treating Mrs. that you performed  
18 an intraocular lens exchange, correct?  
19 A. Yes.  
20 Q. What was the reason you  
21 performed an intraocular lens exchange?  
22 A. Mrs. was not happy  
23 with the outcome of her cataract surgery.  
24 Q. Under what circumstance would  
25 you perform an intraocular exchange?

0050

1 DR.  
2 A. When the lens needsto be  
3 exchanged.  
4 Q. And is that solely based upon  
5 a subjective complaint by the patient or  
6 are there objective findings that you as a  
7 physician can find using your equipment?  
8 A. Both.  
9 Q. Before actually performing the  
10 corrective procedure on November 19, ,  
11 did you use any instruments to determine  
12 whether or not the patient's intraocular  
13 lens which was put in on November 5 was in  
14 fact the correct power?  
15 A. There is no instrument  
16 available that would calculate that without  
17 removing the lens.  
18 Q. What did you do in order to  
19 objectively evaluate the patient's  
20 intraocular lens from the time that the  
21 patient had the procedure on  
22 November 5th up until the time you did the  
23 corrective procedure on the 19th?  
24 A. We performed what's called  
25 refraction.

0051

1 DR.  
2 Q. What is that?  
3 A. When we measure the patient --  
4 we measure the refractive state of the eye.  
5 Q. How do you do that?  
6 A. By refracting them.

7 Q. How?  
8 A. Putting lenses in front of the  
9 eye, what's better one or two, etcetera.  
10 Q. Did you ever recommend to this  
11 patient on September 26 that one way to  
12 correct her visual acuity was with  
13 eyeglasses?  
14 A. Yes.  
15 Q. What is contained within your  
16 office record that confirms that?  
17 A. There is a measurement,  
18 there's a refraction of both eyes and the  
19 visual acuity with those lenses.  
20 Q. What lenses?  
21 A. The refraction, the findings.  
22 Q. The corrective lenses?  
23 A. Right.  
24 Q. What were the measurements for  
25 those corrective lenses?

0052

1 DR.  
2 A. Minus one, plus one, access  
3 100 in the right eye. Minus one-half, plus  
4 one-half, access 75, left eye.  
5 MR. : This was before the  
6 first surgery we are talking?  
7 THE WITNESS: September 26.  
8 MR. : Before the cataract  
9 surgery.  
10 MR. : Before anything.  
11 Okay.  
12 Q. That would tell you that you  
13 measured the patient to see how --  
14 withdrawn.  
15 Tell me the purpose for doing  
16 that.  
17 A. This gives me what's called  
18 best corrected visual acuity.  
19 Q. What did the patient say to  
20 you, if anything, when you performed that?  
21 A. I'm completely not happy with  
22 my right eye, I want to get rid of the  
23 cloudiness right away.  
24 Q. Did she make a complaint to  
25 you of cloudiness?

0053

1 DR.  
2 A. Yes.  
3 Q. Do you have anything in your  
4 notes for September 26th which would  
5 confirm the patient's complaint of  
6 cloudiness?  
7 A. Give me a second to review my  
8 notes.  
9 Q. I'm specifically only asking  
10 about September 26.  
11 A. The chief complaint states  
12 that the patient came for cataract



13 evaluation.

14 Q. My question, Doctor, was is  
15 there anything contained within your notes  
16 to confirm the patient's complaint of  
17 cloudiness.

18 A. Not directly.

19 Q. Now, when the patient first  
20 comes to your office, am I correct that  
21 they fill out a form, general information  
22 about any past medical history, correct?

23 A. Yes.

24 Q. And reason why they are there,  
25 correct?

0054

1 DR.

2 A. They don't always fill out the  
3 reason why they are there.

4 Q. But that's part of the form,  
5 correct?

6 A. It lists past medical history  
7 and allergies and stuff like that.

8 Q. Is there a part on your form  
9 for patients to fill out to explain the  
10 reason why they are there, what complaint  
11 do you have, what problem brings you to  
12 this office today?

13 A. I'm reviewing the form because  
14 I don't think so.

15 Q. Doctor, I'm going to show you  
16 a document dated September 26, and ask  
17 if that's a copy of the document contained  
18 in your record.

19 A. Yes.

20 Q. You have the original?

21 A. Yes.

22 Q. Have you brought all your  
23 original records for this patient?

24 A. Yes.

25 Q. Are there any other records

0055

1 DR.

2 you have regarding this patient that you  
3 have not brought with you today?

4 A. No.

5 Q. I understand you have not  
6 brought with you your billing records,  
7 correct?

8 A. Right.

9 Q. Where are those kept for this  
10 patient?

11 A. On the computer system.

12 Q. I ask that after today you  
13 obtain those records and provide them to  
14 your attorney, please.

15 MR. : We will take it  
16 under advisement.

17 You can ask me for anything  
18 you want after the deposition, just

19 send me a letter and we will respond  
20 accordingly.

21 Q. On the patient's form she  
22 filled out on September 26, Doctor, there  
23 is a checklist of items for them to provide  
24 as well as any details, correct?

25 A. Yes.

0056

1 DR.

2 Q. And under the first listing in  
3 this checklist it has under the eyes, there  
4 is a circle of poor vision, it's circled,  
5 correct?

6 A. Yes.

7 Q. Is there anything on this page  
8 that the patient completed that indicates  
9 that her reason for coming to you was for  
10 cloudy, anything cloudy in her eyes?

11 A. Poor vision could be  
12 interpreted as cloudiness.

13 Q. It could be interpreted as  
14 simply decreased vision because of a change  
15 for many different reasons, correct?

16 MR. : Objection. You can  
17 answer.

18 A. I suppose.

19 Q. How soon after Mrs. 's  
20 November 5, procedure did she express  
21 her displeasure with her outcome?

22 A. The next day.

23 Q. November 5?

24 A. That would be November 6.

25 Q. Right. I'm sorry. That was

0057

1 DR.

2 on follow-up to your office, correct?

3 A. Yes.

4 Q. Did you test her visual acuity  
5 in her right eye at that time?

6 A. Yes.

7 Q. And what did you note her  
8 visual acuity to be on November 6?

9 A. 20/100 uncorrected.

10 Q. And before her surgery on  
11 September 26, , what was her visual  
12 acuity in her right eye uncorrected?

13 A. 20/40.

14 Q. How would you describe the  
15 change between the 20/40 to the 20/100?

16 A. 20/100 is typical for postop  
17 day one.

18 Q. Why?

19 A. Because the eye just underwent  
20 surgery. That's just the typical visual  
21 acuity.

22 Q. What is it about the surgery  
23 that would cause a patient to have this  
24 type of visual acuity following surgery?

25 A. There's some swelling of the  
0058

1 DR.

2 cornea which is noted. Just general eye  
3 inflammation.

4 Q. Did you consider this to be a  
5 problem at that point?

6 A. No.

7 Q. Did you consider this to be a  
8 surgical complication at this point?

9 A. No.

10 Q. Did you form any opinion as to  
11 whether there was a problem with the  
12 intraocular lens that had been inserted the  
13 day before?

14 A. Based on Mrs. 's vocal  
15 reaction, I decided to pursue the matter  
16 further --

17 Q. My question was --

18 A. -- and get to the source of  
19 her displeasure.

20 MR. OGINSKI: Can you read  
21 the question back, please?

22 (Record read back.)

23 Q. I am not asking what you did  
24 yet. I'm just asking on the day you saw  
25 and examined her on November 26, did you  
0059

1 DR.

2 form an opinion that there might have been  
3 a problem with the lens?

4 MR. : I object.

5 I think that he answered that  
6 question already. I think that he  
7 said that he did not think it was a  
8 problem.

9 Q. During the course of your  
10 examination of the patient's right eye, did  
11 you come to any conclusion that there was  
12 a -- withdrawn.

13 Separate and apart from any  
14 complaints the patient made, during your  
15 examination did you come to any conclusion  
16 that there might be a problem with the  
17 patient's lens?

18 A. I do not recall.

19 Q. Is there anything in your  
20 office record to indicate that there was a  
21 problem or you formed an opinion that there  
22 might be a problem with the patient's lens  
23 as of November 6?

24 A. No.

25 Q. Going back to the November 26  
0060

1 DR.

2 visit, Doctor, when you told me you  
3 examined --

4 A. September 26 or November 26?

5 Q. Thank you. Let me withdraw  
6 that.  
7 Going back to September 26, the  
8 first visit to your office when you  
9 evaluated the patient with corrective  
10 lenses, did you recommend to the patient  
11 that one option or alternative is to have  
12 contact lenses to correct her visual acuity?

13 A. I do not recall if contact  
14 lenses were discussed.

15 Q. Is there anything in your  
16 office note to indicate whether you  
17 discussed contact lenses?

18 A. No.

19 Q. Was the use of contact lenses  
20 an option for this patient on  
21 September 26th?

22 A. Option for what?

23 Q. For treating her visual  
24 acuity.

25 A. No.

0061

1 DR.

2 Q. Why?

3 A. Her diagnosis is cataract and  
4 treatment for cataract is not contact  
5 lenses.

6 Q. Now, how did you characterize  
7 this patient's cataract on September 26th;  
8 mild, intermediate, severe or something  
9 else?

10 A. Nuclear sclerotic.

11 Q. What does that mean?

12 A. It means that the center of  
13 the lens is cloudy, the nucleus.

14 Q. Are you able to characterize  
15 that particular cataract in terms of  
16 severity, whether it's mild --

17 A. It's mild.

18 Q. In terms of the other grading  
19 one through four, are you able to  
20 characterize it in that fashion as well?

21 A. I did not grade it in my note.

22 Q. Are you able to put a grade on  
23 it as we sit here now as to what you would  
24 compare it to?

25 A. No.

0062

1 DR.

2 Q. Is there a particular reason  
3 why you could not do that?

4 MR. : Objection.

5 Q. Is there any particular reason  
6 as you sit here now as to why you could not  
7 comparatively relate your observation of  
8 mild cataract to the grading system you  
9 told me about earlier, one through four?

10 MR. : Objection. You can

11 answer.  
12 A. The grading scale is not  
13 helpful in my planning of the surgery.  
14 That's why it's not used.  
15 Q. Was performing another Lasik  
16 procedure an option for this patient on  
17 September 26?  
18 A. No.  
19 Q. Would another Lasik procedure  
20 correct the patient's visual acuity?  
21 A. No.  
22 Q. Did you form an opinion on  
23 September 26 as to whether this patient's  
24 visual acuity change was a result of her  
25 cataract?

0063

1 DR.  
2 A. Yes.  
3 Q. What was your opinion?  
4 A. That the patient's visual  
5 acuity change was the result of her  
6 cataract.  
7 Q. Did you record in your notes  
8 how long the patient had experienced a  
9 change of visual acuity in her right eye?  
10 A. No.  
11 Q. Do you have an independent  
12 memory as you sit here now as to how long  
13 the patient had a change in visual acuity  
14 prior to coming to you?  
15 A. I remember her saying a few  
16 months.  
17 Q. Did Mrs. wear contact  
18 lenses at the time she came to see you?  
19 A. At the time of the visit, she  
20 was not wearing contact lenses.  
21 Q. Did she routinely wear contact  
22 lenses?  
23 A. No.  
24 Q. Did she wear eyeglasses,  
25 corrective eyeglasses?

0064

1 DR.  
2 A. Not that I am aware of.  
3 Q. Is there anything in your  
4 notes to indicate whether the patient did  
5 have corrective lenses?  
6 A. Actually, I apologize. Can I  
7 change an answer to that?  
8 Q. Yes.  
9 A. She was wearing reading  
10 glasses.  
11 Q. Can you now tell me what it  
12 was you just lifted up?  
13 A. I looked at the record of the  
14 eyewear, eyeglass measurements she was  
15 wearing.  
16 Q. Doctor, that little piece of

17 paper that looks like a receipt, tell me  
18 what that is.

19 A. The two of them here, one is a  
20 measurement from a machine that's called an  
21 auto-refractor, the other one is from a  
22 machine called a lensometer.

23 A lensometer measures the  
24 prescription of the glasses that the patient  
25 has.

0065

1 DR.

2 Q. What was the significance, if  
3 any, of the fact that the patient already  
4 had reading lenses?

5 A. What is the significance?  
6 It's typical to have reading glasses at the  
7 age of 58.

8 Q. Did the fact that the patient  
9 had reading glasses affect your decision as  
10 to whether or not to recommend cataract  
11 surgery?

12 A. No.

13 Q. Was anyone else present with  
14 Mrs. on this first visit on  
15 September 26, ?

16 A. As far as I can recall, no.

17 Q. Was anyone else in the office  
18 with you at the time of your consultation  
19 with the patient after your exam?

20 A. I do not recall exactly. I  
21 would imagine there were secretaries  
22 present at the front desk.

23 Q. On the day after the cataract  
24 surgery on November 6th, when you mentioned  
25 that the patient was not happy with the

0066

1 DR.

2 outcome, am I correct that you told the  
3 patient she needed to wait and give it  
4 time?

5 A. Yes.

6 Q. And you wanted to wait to see  
7 if the swelling decreased, correct?

8 A. Yes.

9 Q. How much time did you intend  
10 to wait before taking any type of  
11 corrective action?

12 A. I waited a week.

13 Q. And at that point what did you  
14 do?

15 A. Mrs. was very vocal  
16 in her disappointment and unhappiness.

17 On November 8th she came in for  
18 an unscheduled visit. I examined her. I  
19 performed a refraction on the right eye. I  
20 found that the best corrective visual  
21 acuity --

22 Q. You don't have to go through

23 your notes. I will go through them a  
24 little bit later. I just want to know  
25 specifically --

0067

1 DR.

2 A. How long?

3 Q. Let's go back for a second.

4 You mentioned that she came for  
5 an unscheduled visit on November 8.

6 Is there anything in your note  
7 which indicates why the patient was there on  
8 November 8th?

9 A. There's nothing in my note,  
10 but I distinctly remember that upon arrival  
11 to the office she was very vocal. By  
12 "vocal," I mean loud, some people might say  
13 hysterical.

14 Q. How would you say it? How  
15 would you describe it?

16 A. Very loud. Inconsolable. Not  
17 proportional to the finding on physical  
18 exam. Inconsolable. Unreasonable.

19 Q. What was her problem that she  
20 told you?

21 A. I am blind.

22 Q. She wasn't able to see out of  
23 her right eye?

24 A. She specifically told  
25 everyone, I am blind.

0068

1 DR.

2 Q. What did she tell you?

3 A. I am blind, crying.

4 Q. When you examined her were you  
5 able to confirm whether or not what she was  
6 saying was correct, in the layman's sense  
7 of not being able to see out of her eye  
8 correlating with her being blind?

9 A. I was able to confirm that she  
10 had good visual acuity and a normal healing  
11 process and that there was nothing  
12 particularly wrong with her situation.

13 Q. Were you able to confirm  
14 whether she was able to see out of that  
15 eye?

16 A. Yes.

17 Q. Was she able to see?

18 A. Yes.

19 Q. How do you correlate that with  
20 her complaint that she was blind and unable  
21 to see?

22 A. I do not.

23 Q. Did you check her visual  
24 acuity on the 8th?

25 A. Yes.

0069

1 DR.

2 Q. What was it in her right eye?

3 A. Best corrected visual acuity,  
4 20/25.  
5 Q. And that means you are using  
6 lenses to correct the vision?  
7 A. Yes.  
8 Q. What is her uncorrected visual  
9 acuity?  
10 A. I did not check it that day.  
11 Q. Is there a reason why you did  
12 not check her uncorrected visual acuity?  
13 A. One of the most important  
14 measurements is the best corrected visual  
15 acuity. I wanted to make sure that her  
16 best corrected visual acuity is good.  
17 20/25 is almost perfect.  
18 Q. In fact, it was better than  
19 what she first started out with on  
20 September 26, correct?  
21 A. Yes.  
22 Q. Did you form an opinion on  
23 November 8 as to why this patient was  
24 having these complaints that she expressed  
25 to you in your office that day?

0070

1 DR.  
2 A. No.  
3 Q. Now, going back to the  
4 September 26th initial visit, Doctor, the  
5 patient's best corrected visual acuity was  
6 20/40, correct?  
7 A. Yes, in the right eye.  
8 Q. And you also noted that she  
9 had J-1 for the right eye?  
10 A. J-1 minus.  
11 Q. And just so I remember it  
12 correctly, that is a little bit less than  
13 the J-1?  
14 A. Yes.  
15 Q. But better than J-2?  
16 A. Yes.  
17 Q. And in performing a cataract  
18 surgery, Doctor, how much better did you  
19 expect this patient's visual acuity to be  
20 as a result of the cataract procedure?  
21 A. I expected her to be  
22 significantly better and not to have a  
23 subjective complaint of cloudiness.  
24 Q. Can you quantify, when you say  
25 you expected her to be significantly

0071

1 DR.  
2 better, how much better?  
3 A. I did not see a reason why she  
4 could not achieve 20/20 with glasses or  
5 without best corrected visual acuity of  
6 20/20.  
7 Q. Did you discuss with the  
8 patient the risk of performing cataract



9 surgery in order to accomplish the  
10 difference between 20/40 visual acuity  
11 compared to trying to get to 20/20 visual  
12 acuity?

13 A. Can you repeat the question?

14 Q. Sure. You told me your goal  
15 of performing this patient's cataract  
16 surgery was hopefully to try and achieve a  
17 best corrected vision of 20/20.

18 Did you discuss with her the  
19 risks of performing the cataract surgery in  
20 order to try and get to that goal?

21 A. Yes.

22 Q. And did you discuss with her  
23 the benefits of doing this procedure?

24 A. Yes.

25 Q. And the benefits were that the

0072

1 DR.  
2 cataract would be gone and she would have  
3 improved vision, correct?

4 A. Yes.

5 Q. And the risks --

6 A. One of the benefits.

7 Q. By the way, do cataracts

8 recur?

9 A. No.

10 Q. If a patient has a cataract  
11 surgery where an intraocular lens is put  
12 in, can the patient later on develop a new  
13 cataract in that eye?

14 A. No.

15 Q. Was it your opinion that this  
16 patient's recommendation for cataract  
17 surgery -- withdrawn.

18 Was it your opinion, Doctor, on  
19 September 26 that the benefits of performing  
20 this cataract surgery outweighed the risks?

21 A. I discussed the risks and  
22 benefits with the patient. We both made  
23 the decision together. I did not make the  
24 decision for the patient to proceed with  
25 the surgery.

0073

1 DR.

2 Q. Would you also agree that  
3 there are instances where you as a  
4 physician will make a recommendation to the  
5 patient and your recommendation, if phrased  
6 properly, will certainly induce or entice a  
7 patient to do one thing instead of another?

8 MR. : Objection.

9 MR. OGINSKI: I will rephrase

10 it.

11 Q. Putting aside any comment made  
12 by Mrs. , was it your opinion that  
13 the benefits of performing cataract surgery  
14 on this patient in her right eye outweighed

15 the risks?

16 A. I recommended the surgery if  
17 the patient was bothered by vision, by her  
18 current situation.

19 I explained to the patient the  
20 only way to get rid of her cataract and to  
21 improve her visual acuity is to have it  
22 removed.

23 I also explained to the patient  
24 that her other eye is 20/20, which has good  
25 vision, and many people in her situation

0074

1 DR.

2 choose not to proceed with surgery.

3 I also impressed on her that  
4 this is elective, outpatient surgery and  
5 there is no rush to do it.

6 Q. What was her reply to you?

7 A. I want to get rid of it as  
8 soon as possible.

9 Q. And is that something that you  
10 recall?

11 A. It's something that I recall  
12 and it's something I recall -- I recall her  
13 sentiments. It's not written down.

14 Q. And from a medical standpoint,  
15 was it your opinion that the patient would  
16 far benefit from having the cataract  
17 surgery in comparison to any risks that  
18 were associated with it?

19 A. My medical opinion is that she  
20 would benefit from cataract surgery.

21 Q. Going back to , Doctor,  
22 were you aware of any list maintained by  
23 by them so that if a patient came from  
24 a particular area; , ,  
25 , they would then direct a patient to

0075

1 DR.

2 a doctor who was located in any one of  
3 those geographic areas?

4 MR. : Objection.

5 You are asking him to know the  
6 procedures, what is doing?

7 MR. OGINSKI: If he knows.

8 A. I am unaware of any  
9 arrangement. Never benefited from.

10 Q. When you were calculating this  
11 patient's intraocular lens, at the time of  
12 doing this calculation did you use the IOL  
13 master?

14 A. Yes.

15 Q. Did you use the keratometer?

16 A. Yes.

17 Q. Did you also use the A-scan?

18 A. I did not use the A-scan.

19 Q. Were the findings with the  
20 keratometer consistent with the IOL master?

21 A. Yes.  
22 Q. Did you have any reason to  
23 disagree with the calculations that you  
24 arrived at with the keratometer?

25 A. I did. Did I have -- well --  
0076

1 DR.

2 Q. I'm only talking about before  
3 you did the surgery.

4 A. Can you read back the  
5 question?

6 Q. I will rephrase it.  
7 When you perform the  
8 measurements using the keratometer to  
9 calculate the intraocular lens power, after  
10 coming up with those measurements did you  
11 feel that those were accurate?

12 A. I wasn't sure.

13 Q. What did you do next to either  
14 confirm that or to rule it out?

15 A. I did another set of  
16 measurements.

17 Q. Using what?

18 A. Historical numbers which had  
19 come from .

20 Q. And how did you do that?

21 A. I called , I spoke to a  
22 patient consultant. I asked them for these  
23 measurements. They gave them to me over  
24 the phone.

25 I plugged the numbers into the  
0077

1 DR.

2 spreadsheet and I pushed the calculate  
3 button.

4 Q. The numbers that were given to  
5 you by , what timeframe did those  
6 numbers come from?

7 Were they from when the  
8 patient had her surgery?

9 A. Yes. They are preoperative  
10 measurements.

11 Q. How was that going to assist  
12 you in calculating the intraocular lens  
13 measurement?

14 A. One of the techniques to  
15 measure the intraocular lens in a patient  
16 who has had refractive surgery is to use  
17 historical data.

18 Q. And when you plugged in that  
19 information into the program, did you get  
20 different numbers from the way you  
21 calculated it using the keratometer?

22 A. I don't believe so. In fact,  
23 I remember getting exactly the same  
24 numbers, but I will tell you in just a  
25 second.

0078

1 DR.

2 (Pause.)

3 A. I got just about the same  
4 numbers.

5 Q. At what point in time did you  
6 begin to question the intraocular lens  
7 measurements after the original procedure  
8 was done?

9 A. I never questioned them.

10 Q. Did you ever feel that the  
11 measurements for the intraocular lens were  
12 incorrect?

13 A. There are two ways to answer  
14 it.

15 Do I think that there's -- I  
16 think the best tools available to me at the  
17 time produced an unexpected outcome.

18 Q. Tell me what you mean.

19 A. What I mean is based on my  
20 experience using a number of techniques,  
21 sometimes even in one patient will get one  
22 eye that's perfect using these techniques  
23 historical and actual, and we get the other  
24 eye which uses the same measurements, but  
25 we get a situation where the result is not

0079

1 DR.

2 what we expected because of prior  
3 refractive surgery.

4 Q. You told me that it can  
5 produce unexpected outcome, an unexpected  
6 outcome.

7 Prior to surgery, knowing that  
8 the patient had -- you were aware the  
9 patient had prior corrective surgery, what  
10 steps are you able to take in order to  
11 prevent such an unexpected occurrence from  
12 occurring?

13 A. We analyze our outcome data to  
14 make sure there is no systematic or  
15 reproducible error.

16 Q. I want to be clear, Doctor.  
17 The unexpected outcome you described, what  
18 exactly are you talking about?

19 A. Both sets of calculations  
20 predicted that if I implant the 19-diopter  
21 lens, I should get a result outcome that's  
22 very close to plano.

23 Q. "Plano" is what?

24 A. Zero refractive error. Very  
25 close. I have two different ways of

0080

1 DR.

2 calculating, and both of them produced very  
3 similar outcome.

4 Q. And now the unexpected outcome  
5 you told me about, what exactly were you  
6 talking about?

7 A. The unexpected outcome is that  
8 when we measured Mrs. 's refraction  
9 after surgery, we got a significant -- or  
10 we got a refractive error.

11 Q. Tell me what that means.

12 A. It means she needed glasses to  
13 get the best corrected visual acuity.

14 MR. : Can I just ask,  
15 what would be an acceptable result?

16 THE WITNESS: Well, acceptable  
17 to the patient. If the patient is  
18 happy, that is acceptable.

19 MR. : 20/20 would be  
20 acceptable, 20/25, 20/30 acceptable?

21 THE WITNESS: Depends on the  
22 personality. You need 20/40 to drive,  
23 let's say, so many people are  
24 comfortable with 20/40 or better, but  
25 not everybody.

0081

1 DR.  
2 20/40 after Lasik correction,  
3 let's say, is not typically acceptable  
4 to the patient.

5 20/40 after cataract surgery,  
6 to an older person it might be more  
7 acceptable. It's not like a set --

8 MR. : I see. Okay.

9 Q. How do you account for this  
10 unexpected outcome?

11 A. I don't understand your  
12 question.

13 Q. How did it happen where she  
14 now needed glasses to correct the  
15 refraction error in light of the  
16 intraocular measurements that were done  
17 preoperatively?

18 A. It's one of the -- there's no  
19 good explanation per se, but there's a --  
20 you know, to answer correctly I would have  
21 to do a surgery on the other eye to do a  
22 scientific study.

23 I don't have a scientific  
24 accounting for you, but I would imagine --  
25 well, that's all I am going to say.

0082

1 DR.

2 Q. Would technical error during  
3 the surgery account for one reason why the  
4 patient might have a problem with what you  
5 described as an unexpected outcome?

6 A. No.

7 Q. Would a defect or problem with  
8 the calculations or the machine that's used  
9 to calculate the intraocular lens power  
10 account for the need for this patient to  
11 have glasses to correct the refraction  
12 error?

13 THE WITNESS: Can you read  
14 that back?  
15 (Record read back.)  
16 A. You are asking me to  
17 speculate. You didn't say did. You said  
18 would. I can't speculate. That is your  
19 job.

20 Q. Did you ever tell  
21 Mrs. why she had this outcome?

22 A. We discussed with  
23 Mrs. before surgery that picking a  
24 lens for patients that have had prior  
25 refractive surgery is more difficult.

0083

1 DR.

2 Q. And what can you do as a  
3 physician in planning this type of  
4 procedure that you are recommending to  
5 minimize the risk of this type of outcome?

6 A. I did everything I could.

7 Q. Is there anything else that  
8 could have been done that was not done in  
9 order to minimize the risk that this  
10 patient would have the outcome that you  
11 described as an unexpected outcome?

12 A. Nothing that works based on my  
13 experience.

14 Q. Did any of the literature you  
15 reviewed discuss this particular outcome?

16 A. I do not recall.

17 Q. Now, the fact that the patient  
18 needed glasses to correct the refractive  
19 error, does that mean, that her visual  
20 acuity was worse than when she started?

21 A. No. It's better.

22 Q. From a practical standpoint,  
23 from the patient's view what is the effect  
24 of her needing glasses to correct the  
25 refractive error?

0084

1 DR.

2 MR. : Objection.

3 MR. OGINSKI: I will

4 rephrase.

5 Q. This refractive error you told  
6 me about, what is the practical effect of  
7 that to the patient?

8 MR. : Objection.

9 You are asking him to answer  
10 about how it would affect, what her  
11 state of mind is? I don't understand  
12 what you are asking.

13 Q. You told me about the  
14 unexpected outcome, the patient now would  
15 need glasses to correct this refractive  
16 error. Why would she need glasses?

17 A. To get the best quality of  
18 vision.

19 Q. If she does not use  
20 eyeglasses, what type of vision will she  
21 have?

22 A. Blurry.

23 Q. Are you familiar with  
24 something known as finger counting?

25 A. Yes.

0085

1 DR.

2 Q. What does that mean?

3 A. As a figure of speech or as a  
4 measurement of visual acuity?

5 Q. As a measurement of visual  
6 acuity.

7 A. The patient can count fingers  
8 usually if you mark the distance how close  
9 to the face.

10 Q. I would like you to turn,  
11 please, to the September 26 notes, please.

12 I would like you to read, I am  
13 going to have you read your notes, Doctor.

14 You don't have to read the  
15 computerized measurements. I just want to  
16 know about your handwritten notes, beginning  
17 at the top.

18 If there is an abbreviation,  
19 please tell me what it represents. Don't  
20 read the abbreviation, just tell me what it  
21 is.

22 A. September 26, . There is  
23 a circled E which means eligibility or  
24 validity of insurance was checked by EB.  
25 There is the patient's name, ,

0086

1 DR.

2 there's consult, and then there is  
3 Dr. on the right-hand side.

4 It says 58-year-old woman,  
5 complaining of and referred by Dr.  
6 for cataract evaluation, OD.

7 Past ocular history, it says  
8 PRK OU .

9 Q. That means both eyes?

10 A. Yes.

11 There's manifest, big letter  
12 MODOS which is where we document her  
13 refractive error and the visual acuity, and  
14 it says 20/40 plus 3 in the right eye, 20/20  
15 in the left eye, with the proper reading  
16 glasses, she was able to see J-1 minus in  
17 the right eye and J-1 in the left.

18 Go on to the physical exam,  
19 there is visual acuity, OD 20/40, OS 20/20,  
20 there is the measurement of the near vision  
21 J with her current glasses which is J-1  
22 minus and J-2, there's the pressure, TA,  
23 which is tonometry applanation at 18 in the  
24 right eye, 15 in the left eye.

25 Q. That is within normal limits?

0087

1 DR.

2 A. Yes.

3 On the left-hand side, there  
4 are some markings that certain measurements  
5 were TOMY, and also IOL master performed to  
6 determine lens.

7 For IOL calculations, there are  
8 a whole bunch of check boxes, which means  
9 everything was normal, except for when we  
10 get to the lens it says cataract on the  
11 right-hand side and NS on the left-hand  
12 side.

13 Q. Doctor, where do you have  
14 cataract written?

15 A. On the right-hand side.

16 Q. If you could just point it to  
17 me.

18 A. Right here.

19 There's also underneath a  
20 notation that the patient was dilated and a  
21 retinal examination was performed,  
22 everything appeared normal.

23 There is assessment, it says  
24 visually significant cataract OD, underneath  
25 it says risks and benefits discussed.

0088

1 DR.

2 Q. Let me stop you for a second.  
3 You wrote visual significant cataract?

4 A. Yes.

5 Q. And how do you distinguish  
6 those terms with what you told me earlier  
7 as describing this patient as having a mild  
8 cataract? Are they the same, different?

9 A. Visually significant cataract  
10 means that the vision is impaired by  
11 cataract --

12 Q. Go ahead, please.

13 A. -- as opposed to not visually  
14 significant cataract, where the patient has  
15 no complaints, I would just write cataract.

16 Q. Go ahead.

17 A. Risks and benefits discussed.  
18 Get records from .

19 There are other notations that  
20 were made by other people, not me.

21 It says booked 11/5/07,  
22 cataract extraction OD with IOL. There's a  
23 signature and authorization number from the  
24 insurance company.

25 Q. How is it that the patient saw

0089

1 DR.

2 you that day instead of Dr.

3 A. I'm not sure how she came to  
4 see me. I have no idea.



5 Q. Do you recall the patient  
6 asking you any questions during the  
7 consultation?  
8 A. Yes.  
9 Q. What did she ask?  
10 A. What happens if I don't do  
11 surgery.  
12 Q. And your reply?  
13 A. I said the vision will stay  
14 the way it is for now and will gradually  
15 get worse.  
16 Q. What, if anything, did she say  
17 in response to that?  
18 A. I want to get rid of the  
19 cataract right away. I don't want to walk  
20 around with it. Something to that effect.  
21 Q. Did she ask any other  
22 questions that you recall as you sit here  
23 now?  
24 A. We discussed the procedure for  
25 cataract.

009

1 DR.  
2 Q. I just want to know if you  
3 remember any questions that she asked.  
4 A. She asked typical questions  
5 people ask during discussion, like --  
6 Q. I'm sorry, Doctor. I don't  
7 want to know generally. I want to know  
8 specific if you recall as you sit here now.  
9 A. She asked about the recovery  
10 period.  
11 Q. Your answer?  
12 A. Usually from a few days to a  
13 few weeks.  
14 Q. Any other questions that she  
15 asked?  
16 A. No, not besides the typical  
17 general questions that people ask.  
18 Q. Are there any other specific  
19 questions that you recall her asking?  
20 A. No.  
21 Q. Now, once you determined what  
22 intraocular lens you were going to use, you  
23 sent that information over to the  
24 ambulatory surgery center in anticipation  
25 of this patient's surgery?

0091

1 DR.  
2 A. At some point before surgery,  
3 I typically forward the request for the  
4 lens.  
5 Q. And immediately prior to  
6 performing the surgery on the day of  
7 surgery when you are at the surgical  
8 center, do you personally make sure that  
9 the lens that you have is the same one that  
10 you requested originally?

11 A. Yes.  
12 Q. Did you do that in this case?  
13 A. Yes.  
14 Q. Was there anything you found  
15 to be unusual or abnormal about either the  
16 packaging, about the lens itself, about the  
17 contents of it compared to the requirement  
18 that you had as to what power lens this  
19 patient was going to have?  
20 A. No. The lens comes in a  
21 sealed box, and the sealed box appeared  
22 normal.  
23 Q. Lets go to the first  
24 postoperative visit on November 6th.  
25 Again, I am going to ask you to

0092

1 DR.  
2 read your note. First, before that, I want  
3 to read the November 5th note you have,  
4 please.  
5 A. It says cataract extraction OD  
6 with IOL at Brook Plaza ASC. There's a  
7 sticker for the lens implant. It says very  
8 hazy, and it says rhexis.  
9 Q. What do you mean by "very  
10 hazy?"  
11 A. It's more of a note to me that  
12 the view during surgery was a little bit  
13 hazy or very hazy.  
14 Q. Tell me what the significance  
15 or implication is of that.  
16 A. There's no significance to  
17 anyone other than me really, a note what to  
18 expect on the first day perhaps. I don't  
19 recall what the significance is, but --  
20 Q. Was this --  
21 A. -- it is a typical  
22 intraoperative notation.  
23 Q. Is this a finding that you  
24 would typically expect to have when doing  
25 cataract surgery?

0093

1 DR.  
2 A. Sure.  
3 Q. Is there anything unusual  
4 about this particular observation?  
5 A. No.  
6 Q. The term rhexis, what does  
7 that mean?  
8 A. Rhexis is part of the  
9 procedure that we do. We do a capsular  
10 rhexis, but it just means -- it doesn't  
11 mean anything. It just means that --  
12 there's no connotation to this note.  
13 Q. When you say you do a capsular  
14 rhexis, tell me what that means.  
15 A. The cataract is like a grape.  
16 It has a skin. It's called the capsule.

17 In order to get to the inside,  
18 we do what's called a capsular rhexis. We  
19 peel the skin off in sort of a continuous  
20 fashion.

21 Q. Did you speak to the patient  
22 after surgery on November 5?

23 A. Yes.

24 Q. Did you provide her with any  
25 written documents as to what she could

0094

1 DR.

2 expect to experience postoperatively?

3 A. I think there is a discharge  
4 note, a standard discharge note that the  
5 patient gets.

6 Q. That is from the ambulatory  
7 surgery center?

8 A. Yes.

9 Q. On your first visit with the  
10 patient on September 26, did you provide  
11 her with any brochures or pamphlets about  
12 how this procedure is performed?

13 A. After the fact?

14 Q. No. On the first visit  
15 when --

16 A. I don't recall if she was  
17 provided.

18 Q. Did you have booklets or  
19 pamphlets for patients to learn about how  
20 cataract surgeries are done?

21 A. I typically go over it myself.

22 Q. I am asking whether you had  
23 any materials.

24 A. Not typically.

25 Q. Did you have a website back in

0095

1 DR.

2 September of ?

3 A. I may have.

4 Q. On your website, if you had  
5 it, did you offer any information about how  
6 you cataract procedures are done?

7 MR. : Objection.

8 You are asking him about  
9 something he said he may have had.

10 Q. Doctor, to your best  
11 recollection, did you have information  
12 online that would assist patients in  
13 understanding how cataract procedures are  
14 done that you could direct them to?

15 A. If patients ask me, I direct  
16 them to a website which is not my own  
17 website.

18 Q. I am only asking about yours.

19 A. I don't recall if in if I  
20 had any cataract videos. There is some  
21 text. There was some text.

22 Q. Lets go, please, to the next

23 visit, November 6.  
24 Do you recall any comments the  
25 patient made on this particular visit?

0096

1 DR.  
2 A. The patient was distraught.  
3 Q. Hang on. I just want to know  
4 if you recall them.  
5 A. Yes.  
6 Q. Did you record any of the  
7 comments the patient made in any of your  
8 notes on November 6?  
9 A. No.  
10 Q. Tell me what you remember the  
11 patient telling you.  
12 A. That she was blind and could  
13 not see.  
14 Q. Did she say anything else?  
15 A. She said a lot of things.  
16 Q. Tell me what you remember her  
17 saying.  
18 A. Her overall ethic was very --  
19 she was crying and loud. Loud crying and  
20 saying she cannot see and what did you do.  
21 Things to that effect.  
22 Q. Did you tell her  
23 preoperatively that the first couple of  
24 days she might have difficulty seeing?  
25 A. Yes.

0097

1 DR.  
2 Q. And did you tell her for how  
3 long that would continue before it got  
4 better?  
5 A. Yes. I gave her general  
6 guidelines.  
7 Q. Over what period of time did  
8 you tell her that her vision would  
9 initially be worse, then improve?  
10 A. From a few days to a few  
11 weeks.  
12 Q. Let's go to your note, please.  
13 Her visual acuity was 20/100 in the right  
14 eye?  
15 A. Yes.  
16 Q. That was best corrected?  
17 A. It is uncorrected.  
18 Q. What is TA?  
19 A. Pressure. Tonometry.  
20 Q. The 11, is that normal?  
21 A. 11 is the time. 18 is normal.  
22 Q. Did you do any examination of  
23 her left eye at that time?  
24 A. No.  
25 Q. Continue with your handwritten

0098

1 DR.  
2 part of the notes.

3 A. It says Zymar 4/0, Pred Forte  
4 4/0, follow up one week, there is an arrow  
5 to the word that says refract.

6 Q. When you wrote in the middle  
7 of the page, it says positive injection,  
8 what does that mean?

9 A. 11/5 -- 11/6?

10 Q. Here.

11 A. Plus injection. That means  
12 the eye is a little bit red.

13 Q. Is that normal?

14 A. Yes.

15 Q. Underneath that you wrote bit  
16 hazy. What does that mean?

17 A. That means there is corneal  
18 edema.

19 Q. This you said was normal and  
20 expected following the surgery?

21 A. Yes.

22 Q. Are there patients in whom you  
23 perform cataract surgery who you don't have  
24 this condition show up postoperatively?

25 A. Some.

0099

1 DR.

2 Q. Are there patients who you  
3 perform cataract surgery on who are able to  
4 see well the day after surgery?

5 A. A few.

6 Q. Let's go, please, to the next  
7 note that you have. What is the next  
8 time -- I'm sorry, withdrawn.

9 You prescribed medication for  
10 the patient, correct?

11 A. Yes.

12 Q. That was for the right eye, am  
13 I right?

14 A. Yes.

15 Q. And is Vigamox the same as  
16 Pred Forte?

17 A. No. Vigamox is similar to  
18 Zymar. It is an antibiotic.

19 Q. Why did you prescribe that?

20 A. To prevent postoperative  
21 infection.

22 Q. At the time of the surgery do  
23 you routinely recommend or prescribe  
24 antibiotics?

25 A. Yes.

0100

1 DR.

2 Q. Did you do that in this case?

3 A. Yes.

4 Q. On November 5, you gave her a  
5 prescription for an antibiotic?

6 A. I would imagine, but she may  
7 have gotten samples.

8 Q. Let me rephrase. Is there

9 anything in your note for November 5 or  
10 anywhere else --  
11 A. November? Repeat.  
12 Q. Sure. On the day of surgery,  
13 do you have anything in your note to  
14 confirm that you gave the patient a  
15 prescription to obtain an antibiotic from  
16 the pharmacy?

17 A. No.  
18 Q. You mentioned that sometimes  
19 you will give a patient --  
20 THE WITNESS: Can you read  
21 back the question two questions ago?  
22 (Record read back.)

23 THE WITNESS: I want to  
24 just --  
25 MR. OGINSKI: Go ahead.

0101

1 DR.  
2 THE WITNESS: I don't always  
3 give the prescription at the time of  
4 the surgery. It's either done at the  
5 time of surgery or the next day.  
6 Q. I want to show you a copy of a  
7 patient prescription for Vigamox.  
8 The prescription that's on the  
9 container, it indicates it is for the left  
10 eye.

11 Am I correct that you did not  
12 intend and not write a prescription for the  
13 patient's left eye?

14 A. It does say left eye.

15 Q. Am I correct that your  
16 prescription is solely for the patient's  
17 right eye?

18 A. Yes.

19 Q. Do you have any information as  
20 to why that particular prescription refers  
21 to the patient's left eye?

22 A. No.

23 Q. There was no reason for this  
24 medication in the patient's left eye on  
25 November 6, correct?

0102

1 DR.

2 A. Right.

3 Q. Let's turn, please, to the  
4 next visit, November 8.

5 MS. : Before we do that,  
6 could we take a five-minute break?

7 MR. OGINSKI: Sure.

8 (A short recess was taken.)

9 Q. Doctor, let's turn, please, to  
10 the November 8th visit.

11 A. Yes.

12 Q. The notations that appear on  
13 the right side towards the top, the  
14 positive 0.75, what is that?

15 A. It says plus 0.75, plus 1  
16 and-a-half, access 107. There is an arrow  
17 that says 20/25, that is the refraction.

18 Q. That means in layman's terms,  
19 if you can tell me, please.

20 A. That means with that  
21 particular set of lenses the patient saw  
22 20/25.

23 Q. Continue, please, with your  
24 other handwritten portion of the notes.

25 A. It says clear on the

0103

1 DR.  
2 right-hand side. It says under assessment  
3 doing well, follow up two weeks.

4 Q. Now, this was the unscheduled  
5 visit you told me about earlier?

6 A. Yes.

7 Q. Do you have any other memory  
8 of what Mrs. said to you on this  
9 visit other than what you already told me?

10 THE WITNESS: Can you read  
11 back what I already told him?

12 Q. Let me do it this way,

13 Doctor --

14 A. Are you trying to trick me?

15 Q. Absolutely not. I want to  
16 know what you remember.

17 A. Let's see what I told you.

18 Q. Let me do it this way: Tell  
19 me what you remember the patient saying to  
20 you on November 8th.

21 A. November 8th, I remember her  
22 walking into the office and being very  
23 vocal about the fact that she's blind and  
24 she cannot see. That's all.

25 Q. After doing your examination

0104

1 DR.  
2 and checking her visual acuity, what did  
3 you tell her?

4 A. I reassured her that she in  
5 fact is not blind, and I explained to her  
6 that the visual acuity is changing and  
7 evolving, and we have to be patient and  
8 give nature a chance to do its work.

9 Q. Did you think that the corneal  
10 edema was causing her inability to see  
11 well?

12 A. It's not noted in my note that  
13 there was in fact any corneal edema.

14 Q. To what, if anything, did you  
15 attribute her comment of her not being able  
16 to see well in light of her visual acuity  
17 of 20/25?

18 A. At this point, I'm beginning  
19 to think probably that there is indeed some  
20 refractive error that's causing her poor

21 visual acuity.

22 Q. Did you comment to her about  
23 that?

24 A. I explained that -- yes, I did  
25 comment.

0105

1 DR.

2 Q. What, if anything, did she say  
3 in response?

4 A. She was distraught and she  
5 said, I'm never wearing glasses again.

6 Q. How would the corrective  
7 lenses, wearing glasses, have helped her  
8 condition at that point?

9 A. At that point I did not  
10 recommend corrective lenses.

11 Q. Now, from the time that the  
12 patient had the procedure on November 5th  
13 up until the time she arrived in your  
14 office on November 8, did she call your  
15 office to speak to you about any complaint  
16 she had?

17 A. I don't recall.

18 Q. If she had called you and you  
19 were in the office at the time, would you  
20 typically make a note in your chart about  
21 any phone conversation you had with the  
22 patient?

23 A. Not necessarily.

24 Q. Under what circumstances would  
25 you make a note?

0106

1 DR.

2 A. If there was some exceptional  
3 circumstances, such as -- well, if there  
4 were some exceptional circumstances.

5 Q. If the patient called you  
6 afterhours when you were no longer in the  
7 office and you spoke with her, would you at  
8 some later point make a note in your chart  
9 indicating you had spoken with the patient  
10 at some previous time?

11 A. Not unless it was material to  
12 the treatment of the patient.

13 Q. If a patient called  
14 afterhours, were there days when you would  
15 be on call and be receiving phone calls  
16 from patients who had concerns and other  
17 days when Dr. would take call?

18 A. Yes.

19 Q. Do you have any memory of the  
20 patient ever speaking to you any day you  
21 are on call in November of ?

22 A. No. Not afterhours.

23 Q. Let's go, please, to the next  
24 visit, November 12. The patient's visual  
25 acuity on that day was 20/100?

0107



1 DR.  
2 A. Yes.  
3 Q. Still the same from the 8th,  
4 correct -- I'm sorry. It was different  
5 than the 8th, am I correct?  
6 A. Well, one is uncorrected, one  
7 is corrected.  
8 Q. Is there any particular reason  
9 why you did not perform a corrected visual  
10 acuity on November 12?  
11 A. Because only four days have  
12 elapsed and I did not deem it necessary.  
13 Q. Was it your opinion that you  
14 felt the patient's visual acuity would not  
15 have changed during those four days?  
16 A. I did not see how that would  
17 alter my treatment plan.  
18 Q. The pressure in the patient's  
19 eye, that's 16?  
20 A. Yes.  
21 Q. Is that, again, within normal  
22 limits?  
23 A. Yes.  
24 Q. What specific complaint, if  
25 any, did the patient make on this visit?

0108

1 DR.  
2 A. This, again, was an  
3 unscheduled visit, and it was a complaint  
4 of -- a very vocal complaint about poor  
5 visual acuity.  
6 Q. Do you have anything recorded  
7 in your note which confirms that?  
8 A. No. The fact that it's not  
9 recorded typically means -- in this case  
10 means that it was difficult to record these  
11 things because of extraneous factors.  
12 Q. Be more specific. Tell me  
13 what you mean.  
14 A. It typically means that the  
15 patient does not necessarily want to answer  
16 questions or is inconsolable.  
17 Q. Typically when you see a  
18 patient postoperatively, are you making  
19 notes at the time they are in your office  
20 or do you make notes after the patient has  
21 left the office at some later point during  
22 the day?  
23 A. I typically do it at the time  
24 of the visit.  
25 Q. Once the patient has left the

0109

1 DR.  
2 office, is there anything that would  
3 prevent you from making notations in the  
4 patient's chart about those things you were  
5 unable to write down at the time the  
6 patient was in front of you?

7 A. No.  
8 Q. Is there anything in this  
9 particular note that would confirm any of  
10 those things you have told me that you  
11 remember as you sit here today?  
12 A. Just my memory.  
13 Q. Continue, please, with your  
14 impression and diagnosis.  
15 A. Continue medications, follow  
16 up Friday for refraction, right eye.  
17 Q. What did you tell the patient  
18 on this visit?  
19 A. I asked her to be patient and  
20 return on Friday for refraction.  
21 Q. What did she say in response?  
22 A. Okay, but I'm not wearing  
23 glasses.  
24 Q. Why do you believe she was  
25 fixated on the fact that she was not going

0110

1 DR.  
2 to wear glasses? What did she tell you  
3 about that? I am going to rephrase the  
4 question.  
5 Did you ask her what she meant  
6 as to why she kept repeating that she did  
7 not want to wear glasses?  
8 A. The phrase, I do not want to  
9 wear glasses is pretty clear to me and to  
10 you probably.  
11 Q. Put it in context for me,  
12 Doctor. She is complaining she can't see  
13 well, you tell her to give it more time,  
14 she said she doesn't want to wear glasses.  
15 How does that fit into what you  
16 are saying?  
17 MR. : Objection.  
18 I don't understand. What do  
19 you mean how does it fit in?  
20 MR. OGINSKI: Withdrawn.  
21 Q. Did you say anything to her  
22 that would lead her to believe that she  
23 would need glasses?  
24 A. I asked her on November 12 to  
25 return on Friday for refraction.

0111

1 DR  
2 Q. And that would be to evaluate  
3 her for eyeglasses?  
4 A. That would be to see where we  
5 stand, where we are after surgery, how the  
6 eye is doing, how she is doing.  
7 Q. Now, the measurements that  
8 appear on that little piece of paper on the  
9 right side, what do those represent?  
10 A. A measurement by a machine  
11 called an autorefractor.  
12 Q. Are those measurements normal

13 or abnormal?  
14 A. They are normal.  
15 Q. Let's go, please, to the next  
16 visit. What date is that?  
17 A. November 16th, .  
18 Q. What complaints did you record  
19 on this visit?  
20 A. There is no complaint. It  
21 says -- well, there is no complaint.  
22 Q. Did the patient make any  
23 complaint to you?  
24 A. She complained of blurry  
25 vision.

0112

1 DR.  
2 Q. And do you have that recorded  
3 anywhere?  
4 A. No.  
5 Q. Any particular reason as to  
6 why you did not record that?  
7 A. No.  
8 Q. Continue, please, from the  
9 top.  
10 A. Status, post facial/PCILOD.  
11 Q. In English, please.  
12 A. After facial emulsification  
13 surgery, intraocular lens implantation  
14 right eye.  
15 It says PF20, that means she is  
16 using the Pred Forte drops twice a day in  
17 the right eye.  
18 There is a refraction  
19 underneath with the best corrected visual  
20 acuity of 20/20 in the right eye, 20/20 in  
21 the left eye.  
22 Q. And the measurements that  
23 appear, it says J-1 and J-1, do those refer  
24 to the right eye, left eye or both?  
25 A. The top one is the right

0113

1 DR.  
2 eye -- the top is the right, the bottom is  
3 the left.  
4 Q. And the uncorrected visual  
5 acuity is 20/150?  
6 A. Yes. And 20/20 minus in the  
7 left. The physical examination is  
8 normal --  
9 Q. Hang on one second, Doctor.  
10 How do you explain the  
11 difference in the uncorrected visual acuity  
12 of 21/50 on November 16 in comparison to  
13 four days earlier on November 12 of 20/100?  
14 A. I don't think the change is  
15 significant.  
16 Q. Was there any significant  
17 change with the uncorrected visual acuity  
18 of November 16th compared to any other

19 visual acuity you took after the cataract  
20 surgery was performed?

21 A. No.

22 Q. Go ahead, please, with your  
23 note.

24 A. The physical examination is  
25 normal. There is a notation next to the

0114

1 DR.

2 lens in the right eye that says PCIOL.

3 It says, discussed risks and  
4 benefits of IOL exchange, including possible  
5 complications. Lens exchange OD as per  
6 patient.

7 Q. Tell me what you meant by  
8 that.

9 A. Which part?

10 Q. That lens exchange OD as per  
11 patient.

12 A. That means the patient insists  
13 on having a lens exchange.

14 Q. Was it your recommendation to  
15 perform the lens exchange?

16 A. It was one of the options  
17 given to the patient.

18 Q. Let's go through the options  
19 you gave the patient.

20 A. Wearing glasses and having  
21 perfect vision, waiting a longer period of  
22 time and considering an enhancement laser  
23 vision correction or having a lens  
24 exchange.

25 Q. How would wearing glasses

0115

1 DR.

2 create perfect vision for her at that  
3 point?

4 A. She would have 20/20 vision.

5 Q. And am I correct that her only  
6 problem at this point was that she had  
7 blurry vision?

8 A. No.

9 Q. What else was creating a  
10 problem for her vision on November 16th?

11 A. I don't think she had a  
12 problem with her vision.

13 Q. What was the problem as far as  
14 you understood it?

15 A. She did not want to wear  
16 glasses.

17 Q. How much more time did you  
18 recommend or suggest to the patient as far  
19 as waiting a longer period of time? Did  
20 you tell her a week, a month, a year?

21 What timeframe did you suggest  
22 to her?

23 A. Mrs. wanted --

24 Q. I am asking what you told her

25 as far as how much time she should wait.

0116

1 DR.

2 A. Up to three months.

3 Q. And the enhancement you  
4 mentioned, that would be another Lasik  
5 procedure?

6 A. PRK.

7 Q. Did you recommend that she  
8 have that?

9 A. It was one option given to  
10 her.

11 Q. Did you recommend having PRK  
12 procedure over a lens exchange?

13 A. We discussed the risks and  
14 benefits of everything. I do not recall  
15 recommending one over the other.

16 I think the discussion was  
17 driven by Mrs. .

18 Q. Wearing glasses has little  
19 risk, there is no risk associated with it,  
20 correct?

21 A. No.

22 Q. Waiting longer also has no  
23 risk associated?

24 A. No.

25 Q. The enhancement, the PRK,

0117

1 DR.

2 certainly has risks associated, correct?

3 A. Yes.

4 Q. And performing a lens exchange  
5 also has certain risks?

6 A. Yes.

7 Q. Are the risks associated with  
8 PRK surgery, are they greater than risks  
9 associated with cataract surgery?

10 A. Both surgeries are very safe.

11 Q. My question though is if you  
12 have a choice and the patient is not the  
13 one going to determine, just from a medical  
14 risk standpoint, which has greater risk;  
15 the PRK procedure or cataract procedure,  
16 all other things being equal?

17 A. I think both are very safe.  
18 You are asking me which is safer, Boeing or  
19 airbus.

20 Q. Would you agree, Doctor, that  
21 technical proficiency is one factor that  
22 can -- withdrawn.

23 When you talked to the patient  
24 about undergoing a PRK procedure, what was  
25 her comment in response to that?

0118

1 DR.

2 A. She did not want to do it.

3 Q. Did she explain to you why?

4 A. Yes.

5 Q. What did she say?  
6 A. It required waiting a few  
7 months.  
8 Q. Why would she have to wait a  
9 few months?  
10 A. For her vision to stabilize  
11 and her cornea to heal, and generally that  
12 type of...  
13 Q. Did you expect that if the  
14 patient waited and did nothing as of  
15 November 16th that at some point in the  
16 near future she would get improved vision  
17 or her vision would improve?  
18 A. She was 20/20 on  
19 November 16th.  
20 MR. : Do you mean her  
21 uncorrected vision?  
22 MR. OGINSKI: Yes.  
23 MR. : Uncorrected.  
24 THE WITNESS: He has to say  
25 it.

0119

1 DR.  
2 MR. : You're right.  
3 Q. Was it your opinion on  
4 November 16 that if she did nothing and  
5 continued to wait that her uncorrected  
6 vision would improve?  
7 A. I did not think that it would  
8 materially improve.  
9 Q. Why?  
10 A. Based on the measurement of  
11 her refractive error on that day and the  
12 trend before.  
13 Q. Did you tell Mrs.  
14 that if she waited that she could still  
15 have a lens exchange at some later time?  
16 A. Yes.  
17 Q. And what was her response, if  
18 any?  
19 A. She wants to have it done as  
20 soon as possible.  
21 Q. Now, Doctor, if you for  
22 whatever medical reason felt that  
23 performing a procedure was not appropriate,  
24 am I correct that you would have no  
25 hesitation to speak up and tell the patient

0120

1 DR.  
2 that fact?  
3 A. Yes.  
4 Q. In this instance, when the  
5 patient voiced her concern, voiced her  
6 desire to have a lens exchange as soon as  
7 possible, what was your response to that?  
8 A. I explained the risks and  
9 benefits of the procedure to her, and after  
10 I was sure that she understood the risks

11 and benefits of the procedure, we agreed to  
12 proceed.

13 Q. Were the risks of the lens  
14 exchange any different than performing the  
15 original cataract surgery?

16 A. The risks of the lens exchange  
17 are greater than cataract surgery.

18 Q. Why?

19 A. It's trickier.

20 Q. How?

21 A. The lens that is exchanged has  
22 to be removed from the eye. It's a fairly  
23 bulky object.

24 Q. Have you performed lens  
25 exchanges before this?

0121

1 DR.

2 A. Yes.

3 Q. Give me an idea of how many  
4 you have done in your career.

5 A. I do about five to ten a year.  
6 I have been practicing for the last ten  
7 years.

8 Q. That's just specifically the  
9 lens exchange?

10 A. Yes.

11 Q. Did you tell Mrs.  
12 specifically that the risks of doing this  
13 lens exchange were greater than the  
14 original cataract surgery?

15 A. I explained to her that this  
16 surgery is trickier and in some ways more  
17 difficult, but overall lens exchange is a  
18 very safe operation.

19 Q. Would you agree, Doctor, that  
20 if a physician in general does not inform  
21 the patient about the risks associated with  
22 a lens exchange that that would be a  
23 departure from good medical care?

24 MR. : Objection. You can  
25 answer.

0122

1 DR.

2 A. Yes.

3 Q. Again, the reason would be  
4 that the patient would not be able to make  
5 an informed decision about what procedure  
6 to go ahead with?

7 A. Yes.

8 Q. You performed the lens  
9 exchange on November 19th, correct?

10 A. Yes.

11 Q. Before going ahead with the  
12 lens exchange, what did you do to evaluate  
13 what type of lens or the power of the lens  
14 that you were going to implant?

15 A. I used my preoperative  
16 measurements and postoperative measurements

17 to calculate the power of the lens.  
18 Q. Was there anything in those  
19 measurements that were different than the  
20 measurements that you had used  
21 preoperatively?

22 A. No.

23 Q. How is it then that you were  
24 able to get a different power intraocular  
25 lens to use for the lens exchange?

0123

1 DR.

2 A. I had my postoperative  
3 results. I took my preoperative  
4 measurements, I looked at what the  
5 predicted outcome was versus the actual  
6 outcome and I made a simple adjustment.

7 Q. And how did you know to make  
8 that adjustment by increasing the power to  
9 22 as opposed to any other number?

10 A. Based on my training and  
11 experience.

12 Q. Did you consult with anyone in  
13 calculating and evaluating the power lens  
14 you were going to be implanting on the  
15 19th?

16 A. I do not recall if I consulted  
17 specifically for this case, but calculating  
18 powers of a lens exchange is something that  
19 I frequently do, and thus I feel  
20 comfortable and competent doing it.

21 Q. I'm just asking if you did.

22 A. I don't recall if I  
23 specifically discussed this case.

24 Q. Were there occasions when you  
25 would discuss a particular case with your

0124

1 DR.

2 partner, Dr. ?

3 A. We sometimes discuss  
4 interesting cases informally.

5 Q. Do you have any memory of  
6 discussing this patient's care and  
7 treatment before November 19th specifically  
8 with respect to the decision of what power  
9 lens to use to replace?

10 A. I don't think I discussed  
11 specifically the specifics of the case with  
12 Dr. .

13 Q. Is there anybody else that you  
14 would consult with on a regular basis in  
15 deciding what power lens to use to perform  
16 an exchange?

17 A. The lens companies, for  
18 example, offer a service that you could  
19 contact, you could call and they will  
20 assist you with making those calculations,  
21 but it is an informal type of arrangement.

22 Q. Did you do that in this



23 patient's case?  
24 A. I don't recall.  
25 Q. If you had, would you have  
0125  
1 DR.  
2 made a note anywhere?  
3 A. No, I would not have.  
4 Q. Do you have any memory as you  
5 sit here now of contacting any company as  
6 you mentioned to discuss --  
7 A. I don't recall contacting any  
8 company for this particular case.  
9 Q. Did you review any specific  
10 literature in preparation for this  
11 patient's lens exchange on November 19?  
12 A. Not for this particular case.  
13 Q. Let's go to your November 19  
14 note.  
15 A. Yes.  
16 Q. Am I correct that you did the  
17 lens exchange at the same center, the  
18 ?  
19 A. Yes.  
20 Q. They had that lens ready  
21 waiting and available for you?  
22 A. Yes.  
23 Q. Is there anything that you can  
24 tell me about that particular lens or how  
25 it came packaged or anything about it that  
0126  
1 DR.  
2 you believe was abnormal or out of the  
3 ordinary?  
4 A. No.  
5 Q. Let's go through your notes,  
6 please.  
7 A. 11/19/, --  
8 this page four. Lens exchange. Lens out  
9 of the bag easily. Then there is a plus,  
10 posterior vitreous pressure, difficulty  
11 cutting the lens.  
12 PC tear, posterior capsular  
13 tear. Anterior vitrectomy. Lens in  
14 ocellus.  
15 Then there is a diagram of  
16 sutures, suture placement. Then there is a  
17 sticker to the lens -- of the lens that was  
18 implanted.  
19 Q. This note is made  
20 intraoperatively?  
21 A. I do not recall the timing,  
22 exact timing of the note.  
23 It was made -- it was probably  
24 made after the surgery, not  
25 intraoperatively. After the surgery.  
0127  
1 DR.  
2 Q. Under the visual acuity

3 measurement for the right eye, what does  
4 that say?

5 A. Hand motion.

6 Q. That's all she could see  
7 before the lens exchange or after?

8 A. After.

9 Q. Is that normal, abnormal or  
10 something else?

11 A. Well, it's poor.

12 Q. Did you form any opinion as to  
13 why she only had that visual acuity in the  
14 right eye at that time?

15 A. Did I? Yes.

16 Q. What was your opinion?

17 A. It's the typical course or  
18 frequent course after surgery, after  
19 complex surgery.

20 Q. Could I just see your note,  
21 Doctor.

22 A. Sure.

23 (Pause.)

24 Q. On the bottom of the note can  
25 you just read what's recorded there?

0128

1 DR.

2 A. It's dated 11/20/. It says,  
3 patient has copy of her chart.

4 Q. Whose signature is that?

5 A. I think it's Mrs. 's.

6 Q. I am going to show you a  
7 photocopy of the same record and just ask  
8 you to take a look at it.

9 At the top, Mrs. 's  
10 name does not appear as it is in the  
11 original chart, and the notation about the  
12 11/20/ does not appear there either.

13 Do you have any knowledge as to  
14 why those notations don't appear on this  
15 particular page?

16 A. Where do you not see notation  
17 of 11/20/?

18 Q. First patient name does not  
19 appear at the top of the photocopy.

20 A. Okay.

21 Q. At the bottom on your original  
22 note, you have notations about the patient  
23 having a copy of her chart, and there is  
24 nothing here.

25 Do you have any knowledge as to

0129

1 DR.

2 how this particular photocopy does not have  
3 that information as you have in your  
4 original chart?

5 A. I would imagine the chart was  
6 first photocopied then the patient signed  
7 it.

8 My part of it seems to be

9 intact, and the photocopies are made by the  
10 secretaries at the front desk.

11 Q. Let's go, please, to the next  
12 visit, November 20th. Did Mrs.  
13 make any complaint on that day?

14 A. Yes.

15 Q. What did she say to you that  
16 is recorded in your note?

17 A. What's recorded in my note, I  
18 did not record her complaint, but what she  
19 said is that she was crying and said that  
20 she is blind and I ruined her eye.

21 Q. Did you agree with that?

22 A. No.

23 Q. What was it about your  
24 examination that suggested that what she  
25 was saying was inaccurate?

0130

1 DR.

2 A. Her eye was not ruined and she  
3 is not blind, and I did not agree with her.

4 Q. What objectively based upon  
5 your examination suggested to you that she  
6 was not blind and her eye was not ruined?

7 A. Her eye was structurally  
8 intact.

9 Q. What was her visual acuity on  
10 November 20th?

11 A. It's not recorded. I would  
12 imagine it's hand motion.

13 Q. What accounted for the fact  
14 that the patient --

15 A. Or maybe we weren't able to --  
16 well, it wasn't recorded.

17 Q. Did you attempt to obtain her  
18 visual acuity?

19 A. I would imagine I did.

20 Q. Do you have anything in your  
21 notes that you attempted but were unable to  
22 obtain it?

23 A. No.

24 Q. Read, please, your handwritten  
25 note.

0131

1 DR.

2 A. Subconjunctival hemorrhage.  
3 Next to cornea it says three plus edema,  
4 two plus stria.

5 Next to anterior chamber, it  
6 says deep and formed. Next to the pupil, it  
7 says postsurgical.

8 Next to the lens, it says  
9 posterior chamber intraocular lens in place.  
10 There is a notation that says B scan and it  
11 says no RD, no retinal detachment.

12 Q. Anything else in your  
13 handwriting?

14 A. There's a notation of the left

15 eye which is normal.

16 Q. Now, you mentioned in your  
17 note on November 19th that you had  
18 difficulty cutting the lens. Tell me what  
19 you meant by that.

20 A. I had difficulty cutting the  
21 lens with an instrument.

22 Q. Did that difficulty cause or  
23 create any further damage to the patient's  
24 eye?

25 A. I'm not sure how to answer

0132

1 DR.

2 that.

3 Q. Did you encounter any  
4 complications during the second surgery?

5 A. The second surgery was --

6 Q. November 19.

7 A. November 19 was complex and  
8 difficult.

9 Q. You mentioned in your  
10 typewritten operative report that there was  
11 a significant amount of posterior vitreous  
12 pressure.

13 A. Yes.

14 Q. Tell me what that means.

15 A. What that means is that --  
16 well, the back half of the eye or the space  
17 behind the lens is filled with gel called  
18 the vitreous.

19 For any number of reasons that  
20 gel could be pushing forward. When that  
21 occurs, the physical structure of the eye  
22 changes. It collapses instead of being  
23 inflated. It collapsed sort of like a  
24 balloon.

25 Q. Is this something you can

0133

1 DR.

2 recognize preoperatively?

3 A. No.

4 Q. How do you recognize it  
5 intraoperatively?

6 A. When you see the eye is  
7 collapsing.

8 Q. To what, if anything, did you  
9 attribute that to when you observed it?

10 A. It's part of -- well, there  
11 are, I suppose, reasons for that which you  
12 think about during surgery.

13 Q. What are they?

14 A. You could have bleeding, you  
15 could have something pushing on the eye  
16 physically like a finger or instrument, you  
17 could have a patient who is squeezing the  
18 lids trying to shut her eye, you could have  
19 fluid that's not going in the right place  
20 in the eye from a surgical instrument.

21                   The list is extensive. All  
22 those were considered as things were  
23 happening.

24           Q.       Did you observe bleeding?

25           A.       Luckily, no.

0134

1                   DR.

2           Q.       Did you observe anything or  
3 anybody pushing something against her eye?

4           A.       No.

5           Q.       Did you observe the patient  
6 squeezing her lid as if she wanted to shut  
7 her eye?

8           A.       I do not recall exactly what  
9 the cause of posterior vitreous pressure  
10 was at that time, but it is -- it becomes  
11 an urgency to complete the surgery at that  
12 point.

13           Q.       Why?

14           A.       Well, because let's say there  
15 is bleeding that causes this, and that  
16 could lead to blindness, irreversible  
17 complete blindness.

18           Q.       Is there anything that you  
19 recall that the patient did that may have  
20 contributed while on the table to an  
21 increased posterior vitreous pressure?

22           A.       No.

23           Q.       Did you form any opinion or  
24 come to any conclusion during the surgery  
25 as to why she had this significant

0135

1                   DR.

2 posterior vitreous pressure?

3           A.       I do not recall what I thought  
4 at the time of surgery other than it's very  
5 important to complete this operation in the  
6 most successful way possible.

7           Q.       You wrote, and I'm quoting:

8                   "It was noted that there was a  
9 significant amount of posterior vitreous  
10 pressure. At the time the lens was  
11 manipulated. One of the haptic was brought  
12 out."

13                   When you wrote "at the time the  
14 lens was manipulated" --

15           A.       I need to see the operative  
16 report.

17           Q.       Sure. In the middle of the  
18 page.

19           A.       Yes.

20           Q.       Did you mean that you observed  
21 the posterior vitreous pressure when you  
22 were manipulating the lens?

23           A.       What it means is that halfway  
24 through the procedure when the lens was out  
25 of -- halfway through the procedure this

0136

1 DR.  
2 developed, you could say at the point of no  
3 return, past the point of no return.  
4 Q. So what do you do in order to  
5 stop further damage or injury from  
6 occurring at that point?  
7 A. You do the best you can.  
8 Q. Meaning what?  
9 A. Well, there are techniques.  
10 You try to reinflate the eye, you try to  
11 look and see why it's occurring, you try to  
12 asses and see what the cause is and take  
13 care of the cause. Sometimes you don't  
14 find the reason.  
15 Q. And during surgery did you  
16 find a reason for why this was happening?  
17 A. I do not recall a specific  
18 reason.  
19 Q. Is there anything in your  
20 operative report which would indicate  
21 specifically what reason you believed it  
22 was occurring?  
23 A. No.  
24 Q. Continuing, you wrote that  
25 there was a small posterior capsular tear

0137

1 DR.  
2 centered. What was that tear from?  
3 A. From the manipulation of  
4 material, manipulation of the eye.  
5 Q. Am I correct that before doing  
6 the lens exchange on November 19 she did  
7 not have a capsular tear?  
8 A. Yes.  
9 Q. You continued the sentence by  
10 saying, and there was vitreous to the  
11 wound, most likely from excessive posterior  
12 vitreous pressure. Tell me what you meant.  
13 A. The vitreous was being -- the  
14 vitreous was coming from the back of the  
15 eye to the front of the eye to the wound to  
16 the opening in the cornea.  
17 Q. Was that an abnormal finding?  
18 Is that something that shouldn't happen?  
19 A. It's something that often  
20 happens.  
21 Q. The fact that it was  
22 happening, what did that mean to you?  
23 A. It means that we have to take  
24 care of the vitreous coming to the wound.  
25 Q. And how do you do that?

0138

1 DR.  
2 A. You remove it using an  
3 instrument called a vitrector or performing  
4 a procedure called anterior vitrectomy.  
5 Q. When you saw the patient in  
6 the office later that day on November 19,

7 did you discuss with her the problems that  
8 you encountered during the procedure?  
9 A. I'm sure I did.  
10 Q. Do you have a memory  
11 specifically as to telling her about the  
12 issues that you discussed and have recorded  
13 in your operative report?  
14 A. I have a memory of discussing  
15 it with her. I do not have a written  
16 record.  
17 Q. What, if any, response did  
18 Mrs. make in response to your  
19 comments?  
20 A. She was very upset.  
21 Q. Was anyone else with her at  
22 that time?  
23 A. I believe somebody came with  
24 her, but I do not recall the relationship.  
25 Q. When you had this discussion

0139

1  
2 with Mrs. about the event of the  
3 November 19th surgery, was anyone else in  
4 the room with you at the time you spoke to  
5 her?  
6 A. There may have been people  
7 coming in and out. I don't have a record.  
8 Q. You saw her the next day on  
9 November 21?  
10 A. Yes.  
11 Q. And she still only had hand  
12 motion at that time?  
13 A. Yes.  
14 Q. Did you attempt to obtain her  
15 visual acuity, best corrected visual  
16 acuity?  
17 A. No.  
18 Q. How do you know that you did  
19 not attempt to take those measurements?  
20 A. There's no notation.  
21 Q. You mentioned on the 20th, the  
22 day before that, there was no notation  
23 about visual acuity that's corrected, and  
24 you said you might have tried to take it  
25 but could not?

0140

1  
2 A. Yes.  
3 Q. How do you differentiate  
4 between the 20th and the 21st in terms of  
5 whether or not you could or could not take  
6 the visual acuity?  
7 A. I don't understand your  
8 question.  
9 Q. Sure. Were you able to take  
10 the patient's best corrected visual acuity  
11 on November 21st?  
12 A. It's noted what it is.

13 Q. My question --  
14 A. Yes. Best corrected  
15 visual acuity, no. We did uncorrected  
16 visual acuity.  
17 Q. Is there any reason as to why  
18 you did not do best corrected?  
19 A. Based on the physical exam, I  
20 did not think it would be helpful.  
21 Q. Can you read your notes,  
22 please.  
23 A. Postop day number two,  
24 Pred Forte, 4-0, Zymar, 4-0. Uncorrected  
25 visual acuity, hand motion. Pressure is

0141

1 DR.  
2 12. It says three plus edema next to the  
3 cornea. Subconjunctival hemorrhage. PCIOL  
4 in place.  
5 Under assessment and plan it  
6 says, Pred Forte every two hours for two  
7 days, then four times a day in the right  
8 eye, and it says Zymar four times a day in  
9 the right eye.  
10 Q. The hemorrhage you observed,  
11 is that a normal finding following surgery?  
12 A. Yes.  
13 Q. When did you tell the patient  
14 that that would resolve?  
15 A. A few weeks.  
16 Q. Did you also observe any  
17 ptosis to the patient's eyelid at that  
18 time?  
19 A. It's not noted, but that would  
20 not be unexpected.  
21 Q. And what causes lid ptosis?  
22 A. A lot of reasons.  
23 Q. Tell me.  
24 A. In this case or generally?  
25 Q. In this case.

0142

1 DR.  
2 A. It could be the swelling from  
3 the injection, the swelling of the eye.  
4 The speculum is a spring-loaded metal  
5 instrument that sometimes stretches the  
6 skin and the muscles in the lid.  
7 The eye could be in pain and  
8 the patient could be closing the eye  
9 involuntarily. So the list is extensive.  
10 Q. I am going to show you,  
11 Doctor, six photographs that were  
12 previously marked at Plaintiff's deposition  
13 on November 16, . This is taken  
14 approximately one week after her lens  
15 exchange.  
16 I would just like you to look  
17 at those, please.  
18 (Pause.)



19 Q. I want you to assume that the  
20 person in those photographs is  
21 . Do you recognize her from those  
22 photographs?

23 A. Yes.

24 Q. Do those photographs refresh  
25 your memory as to how the patient's eye

0143

1 DR.  
2 from a gross standpoint looked  
3 approximately one week after the lens  
4 exchange?

5 A. Yes.

6 Q. Specifically, Doctor, looking  
7 at these photographs, tell me in general  
8 what you see.

9 A. Well, I see what you see.

10 Q. And from your standpoint, can  
11 you identify what you see?

12 MR. OGINSKI: Withdrawn.

13 Q. Do you see a hemorrhage?

14 A. Yes.

15 Q. There appears to be cloudiness  
16 of the patient's lens, correct?

17 A. Of the cornea.

18 Q. What would account for the  
19 cloudiness of the patient's cornea?

20 A. It's a postoperative state.

21 Q. And the medications you gave  
22 her, the Pred Forte, is that designed to  
23 minimize and decrease swelling?

24 A. That is an antiinflammatory.

25 Q. That would decrease swelling?

0144

1 DR.

2 A. Yes.

3 Q. Does that have any affect on  
4 the cloudiness of the cornea?

5 A. It's not designed to treat --  
6 yes. Yes, it will.

7 Q. Do you also observe ptosis in  
8 the patient's right eye in some of these  
9 photographs?

10 A. Yes.

11 Q. And is there anything unusual  
12 about the patient's ptosis that --  
13 withdrawn.

14 Did you tell the patient that  
15 her cornea is going to improve over time as  
16 far as the cloudiness?

17 A. On the 21st or in general?

18 Q. Again, I want you to assume  
19 these photographs were taken approximately  
20 seven days after the 19th, which is about  
21 the 26th of November.

22 Assuming that date or in and  
23 around that date, what did you tell the  
24 patient as to whether or not she would have

25 the cornea clear up at any point in the  
0145

1 DR.  
2 future?

3 A. I told the patient that  
4 hopefully her cornea will clear up, but it  
5 will take time.

6 Q. Did you give her an estimate  
7 of how much time it would take?

8 A. I do not recall giving her a  
9 specific timeframe.

10 Q. Did you form any opinion as of  
11 the 20th of November as to whether the lens  
12 that you implanted was causing any  
13 difficulty with her ability to see clearly?

14 A. I did not think the lens was  
15 causing her difficulty seeing on  
16 November 20.

17 Q. What was your opinion as to  
18 why she was having difficulty seeing at  
19 that time?

20 A. Corneal edema.

21 MR. OGINSKI: There are seven  
22 photographs for the record, not six.

23 Q. Going now to the 21st of  
24 November, she still only had hand motion at  
25 that time, visual acuity of hand motion?

0146

1 DR.

2 A. Yes.

3 Q. When is the next time that the  
4 patient returned to your office?

5 A. December 6th.

6 Q. From November 19th up until  
7 December 6, did you have any conversations  
8 with Dr. about this patient and her  
9 progress?

10 A. Not formally. I may have  
11 informally.

12 Q. Tell me what it was that you  
13 may have informally discussed with that  
14 doctor prior.

15 MR. : Objection to the  
16 form of the question.

17 Q. What did you talk about?

18 A. We talked about how -- let me  
19 try to formulate it.

20 We talked about what it's like  
21 to have Mrs. in the office when she  
22 comes. We discussed the clinical situation.

23 I would imagine that's what we  
24 would have discussed, and we may have  
25 discussed the plan, but this is all informal

0147

1 DR.

2 sort of watercooler chatter.

3 Q. When you take call or when  
4 Dr. is taking call from you, am I

5 correct that you give him information about  
6 any patients you may have just operated on?

7 A. No.

8 Q. Do you sign out to Dr.  
9 or anybody else?

10 A. No. Not unless I am going on  
11 vacation.

12 Q. If a patient has a  
13 complication and Dr. is on call and  
14 takes call, how is he going to have  
15 information about what happened with a  
16 particular patient if you did not give him  
17 that information?

18 A. I am on call 99 percent of the  
19 time.

20 Q. So if a patient has a problem,  
21 they are going to reach you practically  
22 each time?

23 A. Typically, yes.

24 Q. And tell me what you meant  
25 when you discussed with him what it's like

0148

1 DR.  
2 having Mrs. as a patient.

3 A. Mrs. would come to  
4 the office, would walk in and proceed in a  
5 very loud voice, start saying, I'm blind,  
6 he made me blind, he ruined my life. Words  
7 to such effect.

8 She would be inconsolable by  
9 our staff. They would not know how to  
10 accommodate her. Things would come to a  
11 halt.

12 I would take her into the room,  
13 and instead of -- I would try to perform the  
14 exam and she would be crying the whole time,  
15 not always cooperative, sort of like that.  
16 Very upset. What I just said.

17 Q. When you mentioned she would  
18 say that she's blind, would she do this in  
19 your waiting room, to your staff in your  
20 office?

21 A. I think everywhere.

22 Q. Were you typically in the  
23 waiting room to observe and hear her say  
24 these things?

25 A. I could hear her.

0149

1 DR.

2 Q. From where?

3 A. From my examination room.

4 Q. And these are the things that  
5 you heard or things that other people told  
6 you about?

7 A. These are the things that I  
8 heard.

9 Q. And did this happen on each of  
10 the follow-up visits to your office?

11 A. It happened on most of the  
12 visits, especially early on in the  
13 treatment.

14 Q. Did you ever discuss with  
15 Mrs. the reasons why she was so  
16 tearful or upset?

17 A. I tried to be supportive and  
18 say I understand what you're going through  
19 that you don't see well, but I also tried  
20 to explain to her that things will get  
21 better and that this requires time and  
22 patience and things will get better.

23 Q. Now, am I correct that you  
24 sent the patient to a Dr. ?

25 A. I sent her on the 20th, yes.

0150

1 DR

2 Q. Of November?

3 A. Yes.

4 Q. Why did you send the patient  
5 to Dr. ?

6 A. Mostly to reassure  
7 Mrs. that her eye is not ruined.  
8 She was skeptical and  
9 inconsolable, and to offer her a chance to  
10 get a second opinion.

11 Q. Dr. is a retinal  
12 specialist?

13 A. Yes.

14 Q. Had you sent patient of yours  
15 to him in the past?

16 A. Yes.

17 Q. And did you speak with him  
18 before the patient got there to be  
19 examined?

20 A. Yes.

21 Q. And do you remember what you  
22 said to him and what he said to you during  
23 this conversation?

24 A. I typically --

25 Q. Not typically, Doctor. I want

0151

1 DR.

2 to know specifically.

3 A. Specifically, I gave him the  
4 medical history of what transpired, I gave  
5 him a summary of the medical history --

6 Q. I'm sorry to interrupt. I am  
7 going to let you finish.

8 Without telling me about the  
9 conversation, if you could just tell me what  
10 you actually discussed.

11 A. I said, this is a patient -- I  
12 said, , I have this patient, this is  
13 her story, she had cataract surgery on the  
14 5th, she had a refractive surprise, I did a  
15 lens exchange yesterday, encountered some  
16 difficulties, there is a lot of corneal

17 edema and she cannot see well, I think the  
18 eye is fine, I want to make sure that it's  
19 fine and the patient wants to make sure.

20 I want you to take a look and  
21 see if anything needs to be done from your  
22 end.

23 Q. Did you suspect that the  
24 patient had any type of retinal damage at  
25 that time?

0152

1 DR.

2 A. I had minimal suspicion.

3 Q. Did you suspect that there was  
4 any type of corneal damage at that time?

5 A. Yes.

6 Q. What was your opinion?

7 A. Corneal edema.

8 Q. Corneal edema you said would  
9 resolve over time?

10 A. Yes.

11 Q. Separate and apart from that,  
12 did you form any opinion as to whether she  
13 had any other type of corneal damage?

14 A. Permanent?

15 Q. Yes.

16 A. No. Not on the 20th.

17 Q. After the patient was seen and  
18 examined on the 20th, did Dr. call  
19 you and tell you what his findings were?

20 A. He called me and then sent me  
21 a letter.

22 Q. Did he call you on the same  
23 day?

24 A. Yes.

25 Q. Tell me about what he told

0153

1 DR.

2 you.

3 A. He said her eye is basically  
4 fine.

5 Q. Then he sent you a follow-up  
6 letter about his exam, correct?

7 A. Yes.

8 Q. Do you have that in your  
9 chart?

10 A. Yes.

11 MR. OGINSKI: I just ask for  
12 a copy of that from counsel.

13 (Request.)

14 MR. : Of what?

15 MR. OGINSKI: That letter  
16 from Dr. .

17 MR. : You don't have it?

18 MR. OGINSKI: I don't have  
19 it.

20 Q. Now, there were certain  
21 recommendations about medication that she  
22 should continue. Did you agree with his

23 assessment and plan?  
24 A. Yes.  
25 Q. Did you again speak to

0154

1  
2 that day after she had been  
3 to Dr. ?  
4 A. I don't recall.  
5 Q. Did Dr. give you an  
6 impression as to whether Mrs.  
7 verbally stated that she understood what he  
8 was saying? Did he say anything to that  
9 effect?

10 A. I don't recall.  
11 Q. Did Dr. say anything to  
12 the effect that the patient was emotional,  
13 crying, hysterical or anything like that?

14 A. We typically don't discuss  
15 those things.

16 Q. I'm only asking if you did,  
17 Doctor.

18 A. I don't recall.  
19 Q. Did you ask Dr. to come  
20 and see this patient at any time up until  
21 December 6, ?

22 A. No.  
23 Q. Let's go to your  
24 December 6th note. Can you read that,  
25 please?

0155

1 DR.  
2 A. Status post-cataract  
3 extraction, lens exchange, neuro 128,  
4 pressure 14. All the other things are  
5 checked off. Pred Forte 4-0, Muro PRN.

6 Q. Did you test her visual acuity  
7 that day?

8 A. It does not appear that I did.

9 Q. And for what reason did you  
10 not check her visual acuity on that visit?

11 A. I do not recall.  
12 Q. What complaints, if any, did  
13 Mrs. make that you recorded in  
14 your note?

15 A. This is part of her regular  
16 postoperative examination.

17 Q. Did she make any complaint?

18 A. She complained as always of  
19 poor vision.

20 Q. I'm sorry. I'm going to  
21 rephrase that.

22 Did you record any of the  
23 patient's complaints she may have made on  
24 this visit?

25 A. No.

0156

1 DR.  
2 Q. Would you agree if a patient

3 makes a complaint of poor vision that would  
4 be a significant complaint?

5 MR. : Objection. You can  
6 answer.

7 A. Sometimes when the complaint  
8 does not change it's not recorded or it is  
9 understood to be a constant complaint.

10 Q. As you sit here now, is it  
11 your understanding that the patient still  
12 had a continued complaint of difficulty  
13 seeing?

14 A. Yes.

15 Q. This is the right eye?

16 A. Yes.

17 Q. Did she still have hand  
18 motion, she was only able to see hand  
19 motion at that time?

20 A. It is not recorded.

21 Q. Had her vision improved at all  
22 as of December 6 in any fashion at all,  
23 subjectively or objectively?

24 A. It is not recorded.

25 Q. Have you ever testified

0157

1 DR.

2 before?

3 A. I have testified, yes.

4 Q. How many times?

5 A. Well, I have testified at  
6 numerous trials, including traffic  
7 violation trials.

8 Q. Let's focus solely on medical  
9 malpractice trials.

10 A. No, I have never testified.

11 Q. Have you ever testified like  
12 we're here today in a deposition format?

13 A. I have never been deposed  
14 before.

15 Q. The numerous trials you talked  
16 about, other than traffic tickets, what  
17 were they for generally?

18 A. I testified once in a product  
19 liability case.

20 Q. Was that as a treating  
21 physician or as an expert?

22 A. As an expert.

23 Q. On behalf of an injured  
24 person?

25 A. Injured person, and once in

0158

1 DR.

2 the World Trade Center bombing.

3 Q. And that was on behalf of  
4 whom?

5 A. Injured person, as a treating  
6 physician.

7 Q. Let's go to your next note,  
8 December 18.

9 A. Yes.  
10 Q. By the way, how much did you  
11 charge the patient for the original  
12 cataract surgery?  
13 A. She got charged nothing. Her  
14 insurance paid. I don't know what the fee  
15 is. Not that much.  
16 Q. My question was how much was  
17 she charged for the surgery itself?  
18 MR. : Objection.  
19 Q. How much did you charge her or  
20 her insurance company for the cataract  
21 surgery done on November 5?  
22 MR. : Objection to form.  
23 You can answer if you know.  
24 A. There is a bill that goes  
25 out --

0159

1 DR.  
2 Q. I just want to know the  
3 amount, Doctor.  
4 A. The patient wasn't charged  
5 anything.  
6 Q. How much is the bill for your  
7 services?  
8 A. The patient was not charged  
9 anything.  
10 Q. That wasn't the question. You  
11 didn't perform this surgery for free,  
12 correct?  
13 A. Right.  
14 Q. How much did you charge?  
15 A. I don't send out the bills.  
16 Q. In your experience working as  
17 an ophthalmologist for ten years, what do  
18 you charge for a cataract procedure?  
19 A. In my experience, what I  
20 charge and will get paid are two different  
21 things.  
22 Q. I am just asking what you  
23 charge.  
24 A. Typically I charge somebody  
25 who doesn't have insurance \$1,500.

0160

1 DR.  
2 Q. And somebody who does have  
3 insurance how much?  
4 A. There is a schedule that  
5 insurance companies pay. It doesn't matter  
6 what I charge them.  
7 It has no bearing on -- what I  
8 charge them and what I get paid has no  
9 bearing.  
10 Q. My only question is what did  
11 you charge her insurance company.  
12 A. I don't recall.  
13 Q. How much did you receive as  
14 compensation from the patient's insurance



15 company?  
16 A. I have no idea.  
17 Q. Would that information be  
18 contained in the billing records?  
19 A. Yes.  
20 MR. OGINSKI: I would ask for  
21 copies of those.  
22 (Request.)  
23 MR.: As I said before,  
24 make the request in writing. I will  
25 take it under advisement.

0161

1 DR.  
2 MR. OGINSKI: Okay.  
3 Q. Let's go, please, to the  
4 December 18th visit.  
5 Did you take the patient's  
6 visual acuity on that date?  
7 A. Yes.  
8 Q. What was it?  
9 A. Best corrected visual acuity,  
10 21/50.  
11 Q. Is that normal or abnormal for  
12 this patient?  
13 A. Well, it is what it is.  
14 Q. In comparison to what she had  
15 prior to her cataract surgery, is it better  
16 or worse?  
17 A. It's worse.  
18 Q. In comparison to her vision,  
19 her visual acuity after the cataract  
20 surgery but before the lens exchange, was  
21 this visual acuity better or worse?  
22 A. Worse.  
23 Q. And to what, if anything, did  
24 you attribute this worsening visual acuity  
25 on December 18th?

0162

1 DR.  
2 A. To corneal edema or  
3 postoperative state.  
4 Q. Did you observe the patient's  
5 corneal edema to improve at all since the  
6 lens exchange on November 19th?  
7 A. I'm not sure. It's not in my  
8 note.  
9 Q. Do you have a memory of the  
10 patient's corneal edema improving, not  
11 improving?  
12 A. I have a memory of it  
13 improving slightly.  
14 Q. Is there any reason why that  
15 information doesn't appear in any of your  
16 notes?  
17 A. No, there is no good reason.  
18 Q. Did the patient make a  
19 complaint to you about anything on  
20 December 18th?

21 A. The patient was not happy with  
22 her visual acuity.

23 Q. Is there anything that you  
24 have recorded in your notes about the  
25 patient's complaint?

0163

1 DR.

2 A. No.

3 Q. Again, that comment you  
4 mentioned was based on your memory?

5 A. Yes.

6 Q. Did you discuss with her why  
7 she had difficulty or -- withdrawn.

8 Did you discuss with the  
9 patient why her visual acuity was worse now  
10 than at any time in the past?

11 A. Yes.

12 Q. What did you tell her?

13 A. Because of the corneal edema.

14 Q. By the way, Doctor, the  
15 medications that you had prescribed for  
16 her, did you have any reason to believe  
17 that the patient was not compliant with  
18 taking the medications that you had  
19 prescribed?

20 A. No.

21 Q. Continue, please, with the  
22 rest of the note.

23 A. Best corrected visual acuity  
24 21/50, the pressure is 17. There is a  
25 diagram of the sutures.

0164

1 DR.

2 Q. The pressure of 17, is that  
3 normal?

4 A. Normal.

5 There is a diagram of sutures  
6 in the cornea, and a note, sutures removed.  
7 There is a drawing of the retina, and it  
8 says looks good, no edema.

9 It says, Pred Forte once in the  
10 right eye, Nevanac twice in the right eye,  
11 Muro as needed.

12 Q. What is Nevanac?

13 A. Nonsteroidal antiinflammatory  
14 drug.

15 Q. What is Muro?

16 A. Saline solution.

17 Q. On the bottom left it says,  
18 counseled patient. What does that mean?

19 A. This is a note written by  
20 somebody who was in the room. Just that we  
21 discussed issues with the patient.

22 We had a discussion about  
23 prognosis and situation.

24 Q. Who was this additional  
25 person?

0165

1 DR.  
2 A. This is somebody called a  
3 scribe.  
4 Q. Who is that?  
5 A. Physician's assistant, let's  
6 say.  
7 Q. And specifically who is that?  
8 A. I'm not sure who this was.  
9 Q. How many different scribes did  
10 you have working in your office?  
11 A. About three.  
12 Q. What was their function?  
13 A. To assist us in things around  
14 the office.  
15 Q. And specifically what was the  
16 patient's prognosis as of December 18?  
17 A. Guarded.  
18 Q. And did you have an opinion as  
19 to how much more time the patient required  
20 in order for the corneal edema to improve  
21 her visual acuity?  
22 A. On December 18, less than a  
23 month had passed from surgery. I thought  
24 it is prudent to wait longer.  
25 Q. How much longer?

0166

1 DR.  
2 A. I thought it was important to  
3 see the trend.  
4 Q. Did you ever discuss  
5 Mrs. 's care again with Dr.  
6 at any time after that one time on  
7 November 20th?  
8 A. I do not recall.  
9 Q. Did you ever refer the patient  
10 to Dr. again after November 20th?  
11 A. No, not that I recall.  
12 Q. Do you know Dr. .  
13 A. Yes.  
14 Q. Who is Dr. ?  
15 A. Dr. is a corneal  
16 specialist.  
17 Q. Did you ever refer the patient  
18 to Dr. ?  
19 A. Dr. did.  
20 Q. Did you ever do it?  
21 A. No.  
22 Q. Why did Dr. refer the  
23 patient to Dr. and when?  
24 THE WITNESS: Can I answer for  
25 Dr. ?

0167

1  
2 MR. : If you know. Only  
3 if you know.  
4 A. At that point we had discussed  
5 the case --  
6 Q. I'm sorry. Just to be clear,

7 when is that?  
8 A. January 2nd, .  
9 Q. Hold off. I will come back to  
10 that.  
11 MR. : If you are going to  
12 go until 5:00 -- I have something I  
13 have to do before 5:00. It depends  
14 how much longer you have.  
15 MR. OGINSKI: I have more.  
16 MR. : Okay. So what we  
17 are going to do is break now and then  
18 we are going to have to figure out a  
19 date that's convenient for everybody  
20 again in the near future to finish it.  
21 MR. OGINSKI: Okay.  
22 MR. : Is that good for  
23 everybody?  
24 MR. OGINSKI: That's fine.  
25 Off the record.

0168

1 DR.  
2 (Discussion held off the record.)  
3 MR. OGINSKI: Back on the  
4 record. We are going to stop with  
5 Dr. 's deposition now and  
6 reconvene on a date that is convenient  
7 for everybody, and the deposition is  
8 still open.

9  
10 (Time noted: 4:35 p.m.)  
11

12 -----  
13  
14 DR.  
15

16 Subscribed and sworn to  
17 before me on this \_\_\_\_\_ day  
18 of \_\_\_\_\_, .  
19  
20

21 \_\_\_\_\_  
22 NOTARY PUBLIC  
23  
24  
25

0169

1  
2 I N D E X  
3

4 WITNESS  
5 DR.  
6

7 EXAMINATION BY PAGE  
8 MR. OGINSKI 4

9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
0170

COUNSEL REQUESTS

PAGE	LINE
153	13
160	22

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

E X H I B I T S

PLAINTIFF'S

PAGE	LINE
	(premarked)

Exhibit 1 - chart

0171

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14

C E R T I F I C A T I O N

I, , a Court Reporter  
and a Notary Public within and for the State  
of New York, do hereby certify:  
That the foregoing witness, DR. ,  
was duly sworn by me on the date indicated, and that the  
foregoing is a true record of the testimony given by  
said witness.  
I further certify that I am not  
related to any of the parties to this action  
by blood or marriage, and that I am in no way

15 interested in the outcome of this matter.  
16 IN WITNESS WHEREOF, I have hereunto  
17 set my hand this 24th day of April, .  
18  
19  
20  
21  
22  
23  
24  
25

0172

1  
2

ERRATA SHEET  
VERITEXT/NEW YORK REPORTING, LLC

3 CASE NAME:  
4 DATE OF DEPOSITION: April 24,  
5 WITNESS' NAME: DR.

6	PAGE/LINE(S) /	CHANGE	REASON
7	/ /	/	/
8	/ /	/	/
9	/ /	/	/
10	/ /	/	/
11	/ /	/	/
12	/ /	/	/
13	/ /	/	/
14	/ /	/	/
15	/ /	/	/
16	/ /	/	/
17	/ /	/	/
18	/ /	/	/
19	/ /	/	/

20 DR.  
21 SUBSCRIBED AND SWORN TO  
22 BEFORE ME THIS \_\_\_\_\_ DAY  
23 OF \_\_\_\_\_, .

23 \_\_\_\_\_  
24 NOTARY PUBLIC  
25 MY COMMISSION EXPIRES \_\_\_\_\_