

**DE-IDENTIFIED DEPOSITION OF A PODIATRIST IN
A CASE INVOLVING A
FAILURE TO TIMELY DIAGNOSE AND TREAT A BONE
INFECTION LEADING TO DEATH CASE**

0001

1
2 SUPREME COURT OF THE STATE OF NEW YORK
3 COUNTY OF BRONX
4 INDEX NO.
- - - - -X

5

6

7

Plaintiffs,

8

-against-

9

10

11

Defendants.

12

13

- - - - -X

June 2,
10:10 a.m.

14

15

16

17

18

19

20

21

22

23

24

25

0002

1

2

A P P E A R A N C E S :
LAW OFFICES OF GERALD M. OGINSKI
Attorneys for Plaintiff
25 Great Neck Road
Great Neck, New York 11021
BY: GERALD M. OGINSKI, ESQ.

3

4

5

6

7

8

9

BY: 10

11

12

13

14

REDACTED

15

16

17

18

19
20
21
22
23
24
25
0003

1
2 STIPULATIONS
3

4 IT IS HEREBY STIPULATED by and between
5 the attorneys for the respective parties
6 hereto, that:

7 All rights provided by the C.P.L.R.,
8 and Part 221 of the Uniform Rules for the
9 Conduct of Depositions, including the
10 right to object to any question, except as
11 to form, or to move to strike any
12 testimony at this examination is reserved;
13 and, in addition, the failure to object to
14 any question or to move to strike any
15 testimony at this examination shall not be
16 a bar or waiver to make such motion at,
17 and is reserved to, the trial of this
18 action.

19 This deposition may be sworn to by the
20 witness being examined before a Notary
21 Public other than the Notary Public before
22 whom this examination was begun, but the
23 failure to do so or to return the original
24 of this deposition to counsel, shall not
25 be deemed a waiver of the rights provided

0004

1
2 by Rule 3116, C.P.L.R., and shall be
3 controlled thereby.

4 The filing of the original of this
5 deposition is waived.

6 IT IS FURTHER STIPULATED, a copy of
7 this examination shall be furnished to the
8 attorney for the witness being examined
9 without charge.

10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

* * *

25
0005

1
2 (Plaintiffs' Exhibit 1, chart,
3 marked for identification, as of this
4 date.)
5 , after having
6 first been duly sworn by a Notary Public
7 of the State of New York, was examined and
8 testified as follows:

9
10 EXAMINATION BY
11 MR. OGINSKI:

12 Q. Please state your name for the
13 record.

14 A.

15 Q. What is your present home
16 address?

17 A.

18

19 Q. Good morning, Doctor.

20 A. Good morning.

21 Q. What is osteomyelitis?

22 A. Infection of the bone.

23 Q. What is chronic osteomyelitis?

24 A. It's a nonactive infection.

25 Q. Sorry, you said nonactive?

0006

1

2 A. Right.

3 Q. Can you explain that?

4 A. Meaning that there are some
5 pathogens that might be present on the
6 bone but not -- your body is not currently
7 having a renal response to it.

8 Q. Before January of , did you
9 ever diagnose that this patient
10 ever had osteomyelitis?

11 When I say before , I'm
12 referring specifically to the time before
13 she is admitted to
14 Medical Center in January of .

15 A. No.

16 Q. What is debriedment?

17 A. Cleaning of the necrotic tissue.

18 Q. What is a bunionectomy?

19 A. Correction of a bunion.

20 Q. In the course of your career,
21 have you had occasion to perform
22 bunionectomies?

23 A. Yes.

24 Q. Have you had occasion to perform
25 debriedments?

0007

1

2 A. Yes.

3 Q. In the course of your career,
4 Doctor, have you had occasion to diagnose

5 patients who have had osteomyelitis?
6 A. Yes.
7 Q. What is cellulitis?
8 A. Inflammation or infection of the
9 skin.
10 Q. Are you familiar with a term
11 known as purulent drainage?
12 A. Yes.
13 Q. What does that mean?
14 A. Purulent drainage, pus draining.
15 Q. What is a differential
16 diagnosis?
17 A. It would be a list of possible
18 diagnosis.
19 Q. And is there any particular
20 order to that list? Most likely to least
21 likely or something else?
22 A. Yes. Your top differential
23 would be your most likely diagnosis and
24 your lower differential would be your
25 least likely.

0008

1
2 Q. And in the course of your
3 career, have you had occasion to evaluate
4 patients to develop or formulate a
5 differential diagnosis for the purposes of
6 treatment?
7 A. Yes.
8 Q. What is a hyperkeratotic lesion?
9 A. It's a thickness of the upper
10 level of the skin.
11 Q. How do you recognize that?
12 A. Just a thickness.
13 Q. Just on clinical exam looking at
14 it?
15 A. Yes.
16 Q. Are you familiar with a term
17 known as acanthosis, A C A N T H O S I S?
18 A. Yes.
19 Q. What is that?
20 A. That's another type of skin
21 disorder.
22 Q. Can you be more specific?
23 A. It's a circular -- it can be a
24 circular lesion following also on the
25 epidermal layer and can be clinically

0009

1
2 painful.
3 Q. Have you had occasion to treat
4 patients with that particular condition?
5 A. Yes.
6 Q. What is the hallux?
7 A. That would be a great toe.
8 Q. What is a deviated hallux?
9 A. One that's deviating from the
10 normal angle.

11 Q. Can you tell me, Doctor, what is
12 neoplasm?

13 A. A possible tumor.

14 Q. The term hallux abductovalgus,
15 what is that?

16 A. That's when the hallux is in the
17 abducted position as well as the valgus
18 position.

19 Q. And can you explain to me in
20 laymen's terms a possible -- when you say
21 abducted position, is it out? Is it
22 deviated laterally or --

23 A. It's pointing outwards.

24 Q. What is exostosis?

25 A. It's a bony prominence.

0010

1

2 Q. If I use the term calcaneal
3 exostosis, what does that mean to you?

4 A. That would be a bony prominence
5 of the calcaneus.

6 Q. What is a bunion, please?

7 A. A bunion is a deviation of the
8 first metatarsal phalangeal joint.

9 Q. And can you tell me what an
10 osteotomy is?

11 A. A cut in the bone.

12 Q. Have you had occasion to perform
13 osteotomies?

14 A. Yes.

15 Q. What is a capsulotomy?

16 A. It's an incision over the
17 capsule.

18 Q. When you use the initials MPJ,
19 tell me what that refers to.

20 A. Metatarsal phalangeal joint.

21 Q. What is PIP?

22 A. Proximal interphalangeal joint.

23 Q. In terms of anatomy, Doctor,
24 from the toe standpoint, where is the MPJ?
25 Is that the first movable joint in the

0011

1

2 toe?

3 : Objection to the
4 term first. Proximal and distal may
5 be --

6 MR. OGINSKI: Fair enough.

7 Q. Tell me where the MPJ is in a
8 particular toe.

9 A. Well, that depends on which way
10 we're talking about.

11 Q. Go ahead.

12 A. Well, you ask the question.

13 Q. Referring to the second toe, for
14 example?

15 A. That would be the third joint
16 from the most distal.

17 Q. And the PIP joint would be
18 where?
19 A. The second joint.
20 Q. What is the first joint
21 considered?
22 A. The distal in the phalangeal.
23 Q. What is a dehiscence?
24 A. That's where your incision opens
25 up and there are signs of drainage.

0012

1
2 Q. Have you had occasion to treat
3 patients whose wounds have dehiscence?
4 A. Yes.
5 Q. Are you familiar with a term
6 known as fluctuance?
7 A. Yes.
8 Q. What is that?
9 A. That's where in the line of skin
10 you feel there might be fluid.
11 Q. What is an ulcer?
12 A. An opening of the skin.
13 Q. Is there any distinction between
14 a chronic ulcer compared to an ulcer?
15 MR. OGINSKI: I'll rephrase it.
16 Q. What is a chronic ulcer?
17 A. A longstanding ulcer.
18 Q. Can you tell me, Doctor, what is
19 ischemia?
20 A. Where the soft tissue does not
21 have adequate blood supply.
22 Q. And what is wound edge ischemia?
23 A. Where the wound does not have
24 adequate blood supply.
25 Q. Can you tell me what are the

0013

1
2 clinical signs of osteomyelitis?
3 : Note my objection.
4 Generally speaking?
5 MR. OGINSKI: Yes, generally.
6 A. In terms of swelling, pain.
7 Q. Anything else?
8 A. No.
9 Q. Would you expect to see redness
10 in an area of osteomyelitis?
11 : Clinical?
12 MR. OGINSKI: Yeah.
13 A. Not necessarily.
14 Q. Would you expect to feel or see
15 a patient exhibit warmth in the area with
16 osteomyelitis?
17 : Again, note my
18 objection. You had asked him about
19 clinical and then you're asking about
20 osteo, so there could be -- I'm sorry,
21 chronic. If you keep the word chronic
22 in your question, if that's what

23 you're asking, but I appreciate that.

24 Q. I'm sticking right now with

25 general osteomyelitis.

0014

1

2 A. Well, again, you have to break
3 it down if it's acute or chronic.

4 Q. Let's talk about acute
5 osteomyelitis.

6 A. Okay.

7 Q. Would you expect to see redness
8 or warmth?

9 A. Yes.

10 Q. Would you expect the patient to
11 develop a fever?

12 A. Yes.

13 Q. If a patient has acute
14 osteomyelitis, would you expect to see
15 elevated levels in, for example, white
16 blood count?

17 A. Yes.

18 Q. Are you familiar with something
19 known as sedimentation rate?

20 A. Yes.

21 Q. Would you expect to see an
22 elevated or an abnormal sedimentation rate
23 in a patient with acute osteomyelitis?

24 A. Yes.

25 Q. What is sedimentation rate?

0015

1

2 A. Just a measurement of acute
3 inflammatory.

4 Q. And, again, in a patient with
5 acute osteomyelitis, would you expect to
6 see pus in the wound?

7 A. No.

8 Q. Would you expect to see any type
9 of fluid drainage?

10 A. Yes.

11 Q. What is necrosis?

12 A. Death of tissue.

13 Q. And would you expect to see
14 tissue necrosis in acute osteomyelitis?

15 : Note my objection.

16 Over my objection, you may answer the
17 question.

18 A. I'm not sure.

19 Q. Would you expect to see
20 fluctuance?

21 A. No.

22 : In what?

23 MR. OGINSKI: In just acute
24 osteomyelitis.

25 Q. Would you expect the patient to

0016

1

2 have tenderness on palpation of the area?

3 A. Yes.
4 Q. Let's talk about chronic
5 osteomyelitis, Doctor. What type of
6 symptoms would you expect to see in a
7 patient with chronic osteomyelitis?
8 A. Again, swelling, milder pain.
9 Q. Would you expect to see redness
10 and warmth?
11 A. No.
12 Q. How about a fever?
13 A. No.
14 Q. Why is that? Why wouldn't you
15 expect to see redness, warmth or fever in
16 a chronic osteomyelitis?
17 A. There's no acute inflammatory
18 process.
19 Q. In a patient with chronic
20 osteomyelitis, would you expect to see
21 abnormal white blood cell count in their
22 blood work?
23 A. Depends.
24 Q. On what?
25 A. How chronic is it?

0017

1
2 Q. Would you expect to see an
3 abnormal sedimentation rate?
4 A. Yes.
5 Q. Why?
6 A. Again, you're gonna have some
7 sort of inflammatory process which might
8 be above the norm.
9 Q. If you suspect a patient has
10 osteomyelitis, what laboratory tests are
11 available to you to help you diagnose that
12 condition?
13 A. Sed rate is one and a C-reactive
14 protein.
15 Q. In order to obtain those tests,
16 what do you have to do? Do you have to
17 draw bloods?
18 A. Yes, draw blood.
19 Q. In 2004 in the office in which
20 you practiced, did you have the ability to
21 draw patient's blood?
22 A. Yes.
23 Q. Were there any other tests that
24 were available to you from the laboratory
25 standpoint to help you or assist you in

0018

1
2 diagnosing osteomyelitis?
3 A. No.
4 Q. What imaging tests were
5 available to you if you suspected a
6 patient had osteomyelitis and you wanted
7 to use various tests to assist you in
8 making a diagnosis?

9 A. Is this in the office?
10 Q. No, anywhere. In other words,
11 within your arsenal and knowledge of
12 podiatric medicine, what was available to
13 you in to assist you in coming to a
14 diagnosis of osteomyelitis?
15 : Just asking
16 generally speaking?
17 MR. OGINSKI: Correct.
18 A. X-ray, bone scan, MRI.
19 Q. In your opinion, Doctor, which
20 is the best diagnostic tool to assist you
21 in coming to the conclusion of whether a
22 patient had osteomyelitis?
23 : Again, note my
24 objection. Way too many variables,
25 but over my objection, if you're able
0019

1
2 to answer the question, Doctor, you
3 can.
4 A. A bone biopsy would be the most
5 definitive.
6 Q. Sticking to the imaging tests
7 that you just mentioned to me, the X-ray,
8 the bone scan and the MRI, in your
9 opinion, which is the best or the gold
10 standard test that would help you in
11 diagnosing osteomyelitis?
12 : Objection to form.
13 Q. When you have the option of an
14 X-ray, bone scan or MRI, which is the best
15 tool that you could use to come to a
16 diagnosis of osteomyelitis?
17 : Again, note my
18 objection. You may answer the
19 question.
20 A. MRI.
21 Q. Why?
22 A. Because we can see bone marrow
23 edema that might suggest there is an
24 inflammatory response.
25 Q. If you wanted a patient to have
0020

1
2 an MRI, how would you go about
3 accomplishing that?
4 A. We order an MRI.
5 Q. Tell me practically what you do.
6 Do you tell them okay, I want you to go to
7 this facility or this hospital to have it
8 done, or is there someplace you send them
9 to? How do you actually get that done or
10 have them go for it?
11 : Back in ?
12 MR. OGINSKI: Correct.
13 A. I would use the requisition form
14 that the office has and give her the

15 instructions to make an appointment and
16 set up an MRI exam.

17 Q. And was there any particular
18 facility that you would send them to?

19 A. I believe at that office we use
20 .

21 Q. When you say "at that office,"
22 which one are you referring to?

23 A. The office I saw
24 at.

25 Q. Can you just be specific,

0021

1

2 Doctor, which one that was?

3 A. That's at .

4 : Give him the
5 doctor's name.

6 A. Dr. .

7 Q. What is a bone scan?

8 A. Where they use nuclear tides.

9 Q. And if you wanted to send a
10 patient for a bone scan, would you send
11 them out also to some imaging facility to
12 have a bone scan performed?

13 A. Yes.

14 Q. In the office in which you
15 practiced in , did you have the
16 ability to take X-rays?

17 A. Yes.

18 Q. If you had obtained or taken
19 X-rays in that office, what was the
20 practice as far as who would read those
21 X-rays?

22 MR. OGINSKI: I'm sorry, I'm
23 going to rephrase it.

24 Q. If you have X-rays taken of a
25 patient, was it your custom and practice

0022

1

2 to read and interpret those X-rays?

3 A. Yes.

4 Q. Did you also have a radiologist
5 come in from time to time to also review
6 the X-rays?

7 A. No.

8 Q. When you would review X-rays,
9 was it your custom and practice that you
10 would make entries in a patient's chart
11 about your review and interpretation of
12 those X-rays?

13 A. Yes.

14 Q. I notice, Doctor, in your chart
15 that you have typed out or dictated the
16 notes that you have, correct?

17 A. Yes.

18 Q. And were there ever occasions
19 where you would handwrite your notes after
20 seeing and examining a patient?

21 A. There are times.
22 Q. And under what circumstances
23 would you handwrite a note as opposed to
24 dictating a note?

25 A. If I -- if there are specific
0023

1
2 things that I want to try to remember,
3 I'll just make a little note on the side
4 and I'll try to incorporate that into my
5 dictation later.

6 Q. When you dictated your note, was
7 it done at the time the patient was still
8 present in the room with you or after they
9 had left or at the end of the day? Or
10 tell me when you would typically do it.

11 A. It's usually after the patient
12 leaves.

13 Q. Are you familiar with a term
14 known as a draining sinus?

15 A. Yes.

16 Q. What is that?

17 A. Fluid coming from sinus.

18 Q. And the sinus would be what?

19 A. Some sort of cavity.

20 Q. How do you treat osteomyelitis?

21 : Just note my
22 objection to the general nature of the
23 question, but over my objection, if
24 you're able to answer, you can.

25 A. Now, again, are we talking about
0024

1
2 chronic or acute?

3 Q. Let's say during the course of
4 treating a particular patient you make a
5 diagnosis that a patient has
6 osteomyelitis. Do you make the
7 distinction between acute osteomyelitis
8 versus chronic osteomyelitis?

9 A. Yes.

10 Q. Let's say you come to the
11 conclusion or it's your impression that
12 the patient has acute osteomyelitis, how
13 do you treat that?

14 A. Acute osteomyelitis can be
15 treated with -- again, there are different
16 scenarios.

17 : This is just
18 generally speaking. Lots of
19 variables, yes.

20 A. If it's acute, you can do a
21 central debridement of the infected bone.
22 You can do -- you can start him on IV
23 antibiotics. Those are probably the two
24 main.

25 Q. If you determine that a patient
0025

1
2 has chronic osteomyelitis, how do you
3 treat that?
4 A. Again, you can surgically excise
5 the bone, treat them IV oral antibiotics.
6 Q. How would you determine whether
7 to give the patient IV antibiotics versus
8 oral antibiotics?
9 A. Depends on patient's compliance.
10 Q. In order to give a patient IV
11 antibiotics, do you typically have to
12 admit them to a hospital?
13 A. Not necessarily.
14 Q. Have there been instances in
15 your career where you had treated patients
16 for a type of osteomyelitis and you have
17 treated them with IV antibiotics at home?
18 A. Not at home, but at a medical
19 facility where they come in just for the
20 IV antibiotics.
21 Q. I see. Have you debrieded
22 patients who have had acute osteomyelitis?
23 A. Yes.
24 Q. And have you excised bone in
25 patients who have had chronic

0026

1
2 osteomyelitis?
3 A. Yes.
4 Q. And when you do these
5 debriedments, do you consider those to be
6 surgical procedures?
7 A. Yes.
8 Q. And are those particular
9 procedures typically done in a hospital or
10 an ambulatory care center compared to an
11 office setting?
12 : Just note my
13 objection.
14 MR. OGINSKI: I'll rephrase it.
15 : The term
16 debriedment --
17 MR. OGINSKI: I'll rephrase it.
18 : -- is broad.
19 Q. Where do you typically perform
20 the debriedment?
21 : Again, same
22 objection. Because of the level of
23 the degree of debriedment, but over my
24 objection, you can answer it, Doctor.
25 A. Well, that is true, it depends

0027

1
2 on what kind of debriedment we're talking
3 about.
4 Q. And what type of debriedment
5 would you need to see in order to perform
6 this procedure in a hospital setting?

7 A. If it's a complicated
8 debriedment.
9 Q. Now, you mentioned, Doctor, that
10 a bone biopsy would be the best test to
11 help you understand whether the patient
12 had osteomyelitis, correct?
13 A. That's correct.
14 Q. Have you ever performed a bone
15 biopsy?
16 A. Yes.
17 Q. And is that typically done in a
18 hospital setting?
19 A. In terms of my experience?
20 Q. Yes.
21 A. Yes, it was done at the
22 hospital.
23 Q. Before January of , when Ms.
24 went into
25 Medical Center, did you ever perform a
0028
1
2 bone biopsy on her?
3 A. No.
4 Q. At any time before January of
5 , did you ever consider the
6 possibility that this patient had
7 osteomyelitis?
8 A. No.
9 Q. You told me a little earlier
10 that an X-ray is one of the tools that you
11 can use to evaluate osteomyelitis. How
12 long did it typically take for
13 osteomyelitis to show up on an X-ray?
14 : Just note my
15 objection to the general nature of the
16 question. Too many variables, but
17 over my objection, you can answer it.
18 A. It can be weeks.
19 Q. I'm sorry, you said weeks
20 plural?
21 A. Yeah, sorry. Again, I'm not
22 sure.
23 Q. And what is it that you would
24 actually see on an X-ray that would
25 suggest to you that a patient had
0029
1
2 osteomyelitis of the bone?
3 : Again, same
4 objection as before.
5 A. You can see bone erosion if
6 there was no reason to have bone erosions.
7 Q. And, in fact, what is bone
8 erosion?
9 A. Erosion of the bone cortical.
10 Q. What would that suggest to you,
11 if anything, if you observed bone erosion?
12 A. Some sort of infectious or

13 inflammatory process.
14 Q. Under what circumstances,
15 Doctor, would you order an MRI for a
16 patient with a chronic infection?
17 : Note my objection
18 to the general nature of the question.
19 Too many variables.
20 A. Chronic soft tissue, chronic
21 bone?
22 Q. I'll rephrase it.
23 In a patient who has a chronic
24 longstanding infection that does not
25 appear to improve with time, what are the

0030

1
2 circumstances under which you would order
3 an MRI, if you would?
4 : Same objection as
5 before. You can answer.
6 A. It's not necessary to order an
7 MRI.
8 Q. Why?
9 A. Again, there's plenty of reasons
10 why a wound might not close or not get
11 better. It doesn't necessarily mean an
12 MRI would help you with that information.
13 Q. If you suspect that the patient
14 has an infection in the bone, under what
15 circumstances would you order an MRI?
16 A. If I have a -- if I suspected if
17 he had a bone infection.
18 Q. What are the clinical signs and
19 symptoms that would lead you to believe --
20 MR. OGINSKI: Withdrawn.
21 Q. Let's try again.
22 A. Is there a question?
23 Q. No, not yet.
24 Have you ever ordered MRIs for
25 your patients?

0031

1
2 A. Yes.
3 Q. Have you ever ordered an MRI to
4 evaluate a patient's chronic infection?
5 A. Yes.
6 Q. And under what circumstances
7 would you do that?
8 : Note my objection.
9 Over my objection, you can answer.
10 A. Could you rephrase that
11 question? Or repeat that question.
12 Q. Sure.
13 You told me a moment ago that
14 there had been occasions when you had
15 ordered an MRI for a chronic infection.
16 Tell me, generally, what are the occasions
17 under which you would order an MRI.
18 : Same objection.

19 You can answer.
20 A. Well, if I believe a chronic
21 infection is becoming active and if I want
22 to see if there is a progression of any --
23 of erosion to the bone, there are -- if we
24 wanted to see a treatment that we are
25 rendering is working or not.

0032

1
2 Q. If you suspect a patient has an
3 infection to the bone and you take an
4 X-ray and the X-ray is normal, does that
5 confirm that there is no infection in the
6 bone?

7 : Same objection as
8 before.

9 A. No.

10 Q. Are you qualified to read an
11 interpret MRIs?

12 A. What do you mean "qualified?"

13 Q. If you were shown an MRI, would
14 you be able to read and interpret it?

15 : That's a little
16 different than qualified.

17 MR. OGINSKI: I'll rephrase it.

18 : Objection.

19 Q. In the course of your training,
20 Doctor, did you learn how to read and
21 interpret MRIs?

22 A. Yes.

23 Q. In the course of your career,
24 have you had occasion to read and
25 interpret MRIs?

0033

1
2 A. Yes.

3 Q. Despite that training --
4 : I assume you're
5 limiting it to the foot?

6 MR. OGINSKI: Correct.

7 Q. Despite that training, would you
8 rely on the interpretation of a
9 radiologist?

10 A. I would discuss findings with
11 the radiologist.

12 Q. When taking notes after seeing
13 and examining patients, would you agree
14 it's important to create accurate notes?

15 A. Yes.

16 Q. Why?

17 A. In terms of accurate?

18 Q. Yeah, why do you do it? Why do
19 you keep accurate notes?

20 A. Well, helps me remember why or
21 what we're treating.

22 Q. Is it also important for you to
23 be as thorough as possible to record your
24 findings and what you did during any

25 particular examination?

0034

1

2 : Just note my

3 objection to the word thorough.

4 A. Yes.

5 Q. Why?

6 A. Again, it's good to have enough
7 information so I can recall what I'm doing
8 with a patient.

9 Q. Now, in , tell me what your
10 relationship was, professional
11 relationship, with Dr. ?

12 A. I worked in his office.

13 Q. Tell me what you mean by that.

14 A. I was an independent contractor
15 in his office.

16 Q. Tell me what you mean by
17 independent contractor.

18 A. I would -- there were days I
19 would come in and see patients.

20 Q. Did you have a contract with Dr.

21 ?

22 A. Yes.

23 Q. And the office where Dr.
24 practiced, was that, as far as you know,
25 was that a professional corporation?

0035

1

2 : Don't guess if
3 you're not sure.

4 A. I'm not sure.

5 : What his
6 professional status was?

7 Q. What was your understanding as
8 to your agreement with Dr. as far
9 as what your obligations would be for
10 seeing and treating patients?

11 A. My obligation is to treat
12 patients.

13 Q. And what was the arrangement
14 that you had with him as far as how you
15 would be compensated for that?

16 A. I was -- for patients being seen
17 in the office, I would set a fee for the
18 hours I worked.

19 Q. Would you be paid by Dr.
20 or by the patient or by the patient's
21 insurance company? How did that work?

22 A. I was paid by Dr. .

23 Q. And did you get paid based on
24 the number of patients that you saw on any
25 given day?

0036

1

2 A. No.

3 Q. You got -- you were paid for a
4 particular day that you were in the

5 office?
6 A. Yes.
7 Q. Did your name appear on the
8 billing records?
9 A. I don't know.
10 Q. Did you have business cards with
11 your name on it?
12 A. Yes.
13 Q. On your business card, whose
14 name appeared? Was it Dr. 's
15 office, your name or something else?
16 : Or both?
17 MR. OGINSKI: Correct.
18 A. I think it was both.
19 Q. And who set your vacation
20 schedule?
21 A. I did.
22 Q. Were you required to obtain your
23 own malpractice insurance coverage?
24 A. Yes.
25 Q. Did you receive a W-2 form?

0037

1
2 A. A 1099.
3 Q. How many days a week did you
4 typically work in Dr. 's office?
5 : In ' ?
6 MR. OGINSKI: Yes.
7 A. Two days a week.
8 Q. Did you work elsewhere during
9 the week?
10 A. Yes.
11 Q. Where?
12 A. At the hospital.
13 Q. What hospital?
14 A. .
15 Q. What did you do there and in
16 what capacity?
17 A. At what time frame?
18 Q. I'm only talking about and
19 .
20 A. -- I was no longer
21 employed by the hospital.
22 Q. Now, just so we're clear,
23 Doctor, you're referring to your
24 curriculum vitae, your CV?
25 A. That's correct.

0038

1
2 MR. OGINSKI: Can I just mark
3 that as Plaintiffs' 2?
4 (Plaintiffs' Exhibit 2,
5 curriculum vitae, marked for
6 identification, as of this date.)
7 Q. Before , when were you
8 employed by ?
9 A. As soon as I finished my
10 residency program.

11 Q. From when to when?
12 A. From --
13 : The residency or
14 the employment?
15 MR. OGINSKI: Residency.
16 Q. I want to know when you were
17 employed by ?
18 A. From to .
19 Q. What was your affiliation with
20 them at that time?
21 A. From -- from to ,
22 I was in their residency program. And
23 from -- as soon as I finished my resident
24 program, I was hired on as an assistant
25 residency director.

0039

1
2 Q. Did that include clinical
3 treatment of patients?
4 A. Yes.
5 Q. Did you ever see
6 at Medical Center in
7 ?
8 A. My first encounter with
9 was at Dr. 's office.
10 Q. So am I correct that you never
11 saw her at in?
12 A. That's correct.
13 Q. In, where else were you
14 working besides Dr. 's office those
15 two days a week?
16 A. I was also an independent
17 contractor at other facilities.
18 Q. How many?
19 A. Two.
20 Q. Can you just tell me who they
21 are and their addresses, please?
22 A. One is Dr.,
23 . That's in. And I also
24 worked in a clinic in Medical
25 Center.

0040

2 Q. Is that a private facility?
3 A. Yes.
4 Q. What did you do there?
5 A. Treat patients.
6 Q. Were you -- give me a title, if
7 you had one.
8 A. Physician.
9 Q. Am I correct that you saw
10 patients who had problems with their feet?
11 A. That's correct.
12 Q. You didn't treat internal
13 medicine --
14 A. No.
15 Q. -- or GI or anything else? Only
16 dealt with podiatry, correct?
17 A. That's correct.

18 Q. Was this a multispecialty
19 medical center?
20 A. Yes.
21 Q. Can you give me an idea of how
22 many other physicians also worked there?
23 A. I don't know the answer.
24 Q. More than ten?
25 A. I don't know.

0041

1
2 Q. Did you see at
3 either Dr. 's office or the clinic in
4 Medical Center?
5 A. No.
6 : You mean as an
7 outpatient as opposed to the procedure
8 which was done there?
9 MR. OGINSKI: Correct.
10 Q. In, Doctor, did you have
11 admitting privileges to any hospital?
12 A. Yes.
13 Q. Which ones?
14 A. and I'm not
15 sure if I was affiliated with
16 at that time.
17 Q. In July of ,
18 was admitted to Medical
19 Center, correct?
20 A. That's correct.
21 Q. Did you admit her or you sent
22 her to the hospital to be admitted?
23 A. I sent her in the hospital to be
24 admitted under medicine.
25 Q. Under medicine?

0042

1
2 A. That's correct.
3 Q. Tell me the distinction as to
4 why she would be admitted to the medicine
5 service as opposed to your service?
6 A. That's the policy at the
7 hospital.
8 Q. Were there ever occasions where
9 you could admit and treat a patient under
10 your service?
11 A. Not at.
12 Q. And if a patient was admitted to
13 the medicine service, you would then be
14 considered what, a consultant?
15 A. That's correct.
16 Q. Who is Dr.?
17 A. I believe that's
18 ' primary physician.
19 Q. Do you recall having any
20 conversations with Dr. in about
21 this patient?
22 A. No.
23 Q. What was your custom and

24 practice as far as making notes in the
25 patient's chart if you speak to a

0043

1

2 patient's primary care physician?

3 A. I would document I did speak to.

4 Q. What is a hammertoe, Doctor?

5 A. A contracture of the digits.

6 Q. Can that be corrected with

7 conservative treatment?

8 A. Yes.

9 Q. At any time before you performed
10 surgery on in April of

11 , did you ever attempt any

12 conservative treatment before taking her
13 to the operating room?

14 A. Well, she had plenty
15 conservative treatment prior to my visit.

16 Q. How did you learn that
17 information?

18 A. From her history.

19 Q. What made you believe that
20 additional conservative treatment would
21 not work?

22 A. We tried debriending one of the
23 painful lesions in her foot, and that's
24 conservative treatment.

25 Q. Did you ever try recommending

0044

1

2 any type of orthotics for her prior to
3 surgery?

4 A. Those were done.

5 Q. Where or by who?

6 A. By her other physician.

7 Q. What was your understanding as
8 to whether they did work or did not work?

9 A. She was still having pain.

10 Q. When first came
11 to you in, did she have any orthotics
12 in her shoes?

13 A. No.

14 Q. That's something you
15 specifically remember?

16 A. I don't recall seeing any.

17 Q. Is there anything -- if you can,
18 please, turn to your first note that you
19 saw this patient.

20 A. Okay.

21 Q. And that is on -- what's the
22 date, Doctor?

23 A. April 10.

24 Q. Is there anything contained
25 within your record to indicate whether the

0045

1

2 patient did or did not have any orthotics
3 at that time?

4 A. It does not mention it.
5 Q. How was it that the patient came
6 to you on that visit? In other words,
7 what brought her to you as opposed to
8 anybody else?
9 A. I do not recall.
10 Q. Just look, please, at the
11 subjective first paragraph last line.
12 A. Okay.
13 Q. You see --
14 A. Dr. , right.
15 : Off the record.
16 (A discussion was held off the
17 record.)
18 Q. Do you know a Dr. ?
19 A. No.
20 Q. Did you ever obtain copies of
21 the patients prior podiatry records from
22 this Dr. ?
23 A. No.
24 Q. On this first visit April 10,
25 , am I correct that the patient had a
0046
1
2 lesion to her heel?
3 A. That's correct.
4 Q. And she complained of pain
5 regarding that lesion?
6 A. That's correct.
7 Q. This lesion, this was not open,
8 was it?
9 A. No.
10 Q. It was not leaking?
11 A. No.
12 Q. Was it draining fluid?
13 A. No.
14 Q. In your opinion, was it
15 infected?
16 A. No.
17 Q. Was it swollen?
18 A. No.
19 Q. Was it red?
20 A. No.
21 Q. Am I correct that if those
22 conditions had existed, you would have
23 recorded those findings in your note?
24 : Note my objection
25 to the general nature of the recording
0047
1
2 findings, but you can answer.
3 A. Well, I specifically wrote no
4 erythema or edema.
5 Q. And if you had observed that, am
6 I correct you would consider that to be a
7 significant finding?
8 : Note my objection.
9 You can answer it.

10 A. Yes.
11 Q. Tell me why. Why is that a
12 significant finding?
13 A. Because it's out of the norm.
14 Q. And why would it be important
15 for you to record that in any note?
16 A. Well, this is what she was
17 complaining of.
18 Q. But on your examination, Doctor,
19 if you found that she did have erythema,
20 redness, swelling, tell me why you would
21 make a note of that in your chart?
22 : Note my objection.
23 A. I would have treated as much.
24 Q. How many patients did you
25 typically see in any day that you were at
0048

1
2 Dr. 's office?
3 A. I don't know, ten.
4 Q. Would you agree that it's
5 important to record significant findings?
6 : Note my objection
7 to the form of the question.
8 Q. When you see and treat patients,
9 Doctor, you've told me it's important to
10 keep accurate records. My question now is
11 what things do you choose to make entries
12 about during the course of your
13 examination?
14 : Again, objection.
15 That's a vague question. There's too
16 many variables.
17 Q. If you observe erythema, in your
18 opinion, Doctor, is that a significant
19 finding?
20 : Again, over my
21 objection, it's too broad. You can
22 answer.
23 A. Yes.
24 Q. Why would you note that in a
25 patient's chart if you observe it?
0049

1
2 : Again, note my
3 objection.
4 A. If I wanted to treat that
5 erythema.
6 Q. Am I correct that that would
7 also help refresh your memory at a later
8 date to look back and see what it was that
9 you observed at any given date?
10 A. Yes.
11 Q. If any physician were to treat
12 the patient in Dr. 's office, they
13 would also have a written record of
14 exactly what you observed at that
15 particular time?

16 A. Yes.
17 Q. Were there occasions when other
18 doctors in Dr. 's office saw and
19 treated ?
20 A. No.
21 Q. How many other podiatrists
22 worked in Dr. 's office in ?
23 A. One.
24 Q. Who was that?
25 A. Dr. .

0050

1
2 Q. Spell it.
3 A. .
4 Q. And if a patient saw you, for
5 example, in the first visit, would they
6 continue to see you for the duration of
7 treatment?
8 A. Yes.
9 Q. Did you ever consult with Dr.
10 about ?
11 A. I don't recall.
12 Q. Did you ever consult with this
13 other podiatrist, Dr. about
14
15 A. I don't recall.
16 Q. Were there any other podiatrists
17 that you consulted with about
18 at any time in or ?
19 And I'm sorry, I should clarify.
20 Other than doctors at
21 Medical Center during her two
22 admissions, did you ever consult with any
23 podiatrist regarding ' care
24 and treatment for and ?
25 A. I don't recall.

0051

1
2 Q. During your care and treatment
3 of this patient in , did you ever
4 refer to any textbooks or medical
5 literature to assist you in treating her
6 condition?
7 : Note my objection.
8 A. Textbook as a reference.
9 Q. I'm asking a specific question.
10 I'm going to rephrase it.
11 : He's asking if you
12 have a recollection of specifically
13 referring to any particular textbook
14 while you treated her for her
15 condition.
16 MR. OGINSKI: That's exactly
17 what I meant to say.
18 A. No.
19 Q. While you were treating
20 , was there ever a time when
21 you used something known as an algorithm

22 or a checklist to determine what treatment
23 the patient should receive?

24 A. A written down algorithm?

25 Q. Yes.

0052

1

2 A. No.

3 Q. In , were you board
4 certified in any field of medicine?

5 A. Internal medicine?

6 Q. In any field of medicine.

7 A. Board qualified.

8 Q. So am I correct that the answer
9 is no that you were not board certified in
10 ?

11 A. That's correct.

12 Q. Currently, in , are you
13 board certified?

14 A. No.

15 Q. Have you ever been board
16 certified?

17 A. No.

18 Q. Have you taken the oral
19 examination --

20 A. No.

21 Q. -- to obtain your board
22 certification?

23 A. No.

24 Q. You have taken the written
25 examination, correct?

0053

1

2 A. That's correct.

3 Q. And you have passed that?

4 A. Correct.

5 Q. And what is the reason that you
6 have not yet taken the oral examination to
7 obtain your board certification?

8 A. The policy to obtain multiple
9 boards in unique type of surgery is needed
10 and I don't feel it's necessary for me to
11 experiment different types of procedure
12 just to qualify for sitting for the oral
13 examination.

14 Q. The organization that in which
15 you took the written examination, was that
16 the American Board of Podiatric Surgery?

17 A. That's correct.

18 Q. Was it a subspecialty or a sub
19 board?

20 A. Not that I know of.

21 Q. When you took the written
22 examination, Doctor, did you have to take
23 it more than once?

24 A. No.

25 Q. According to your CV, you have

0054

1

2 two publications, correct?
3 A. Well, one, the most recent one
4 has not been published yet.
5 Q. So you have one in and one
6 that's just been submitted?
7 A. Yes. Well, submitted --
8 Q. For publication?
9 A. Yes.
10 Q. Other than the topic of
11 calcaneal plantar fasciotomy, have you
12 published anything in the form of
13 diagnosis and treatment of patients who
14 have osteomyelitis?
15 A. No.
16 Q. Have you given any lectures to
17 any podiatrist in any setting about the
18 diagnosis and treatment of patients with
19 osteomyelitis?
20 A. No.
21 Q. Are you an officer of any
22 podiatry organization?
23 A. No.
24 Q. The affiliation you have with
25 the
0055
1
2 , is that as a member?
3 A. Yes.
4 Q. And same with the New York State
5
6 A. That's correct.
7 Q. And in order to become a member,
8 am I correct you have to fill out a form
9 and pay your fee, your dues?
10 A. That's correct.
11 Q. The
12 , you are a member in that?
13 A. Yes.
14 Q. Again, for any of these three
15 organizations, do you have to take any
16 qualifying examinations to become a
17 member?
18 A. No.
19 Q. You're licensed to practice in
20 New York?
21 A. Yes.
22 Q. Has your license to practice
23 podiatry ever been suspended?
24 A. No.
25 Q. Has it ever been revoked?
0056
1
2 A. No.
3 Q. You've been licensed since ?
4 A. Yes.
5 Q. Let's turn, please, to the April
6 10, visit. Did you take any
7 photographs of what 's

8 condition looked like at that time?
9 A. No.
10 Q. At the bottom of your page in
11 your plan you write "if patient continues
12 to have symptoms, may consider biopsy of
13 the lesions in two weeks." Tell me why
14 that was your plan.
15 A. I wanted to give her significant
16 amount of time to see if she responded to
17 the debriedment of the lesion.
18 Q. What did you intend to
19 accomplish by debrieding that lesion?
20 A. To see if she became
21 asymptomatic.
22 Q. What was it about the lesion
23 itself that was causing her pain?
24 A. It's on the bottom of the foot.
25 Q. And why would that be painful or
0057

1
2 cause pain?
3 A. You're standing on it, walking
4 on it.
5 Q. In the first paragraph at the
6 top of the page on the third line, second
7 line, you write "patient continues to have
8 severe pain and stiffness to that toe."
9 Which toe were you referring to?
10 A. There was a toe that had surgery
11 done previously.
12 Q. Which one was that?
13 A. Not sure.
14 Q. And the history form that the
15 patient filled out that you were just
16 referring to, looking at it, Doctor, is
17 there anything in there to suggest which
18 toe you are referring to?
19 A. It just says left foot.
20 Q. Was it your understanding that
21 the patient had surgery to whatever toe on
22 her left foot by this Dr.?
23 A. Yes.
24 Q. Do you know why she had surgery
25 to a toe?

0058
1
2 A. For -- I don't recall.
3 Q. Is there anything in the note
4 that indicates why she had surgery to a
5 toe on her left foot?
6 A. Not in my notes.
7 Q. Now, in the objective portion of
8 the exam you write "previous incision site
9 noted to the plantar aspect of the second
10 and third met heads of her left foot."
11 Was this surgery to the heel or was that
12 to the toe or someplace else?
13 A. That was to the second and third

14 met heads.
15 Q. And this is anatomically
16 speaking, Doctor, if you can just tell me
17 where that is.
18 A. By the metatarsal phalangeal
19 joint.
20 Q. Is that the ball of the foot or
21 someplace else?
22 A. The ball of the foot.
23 Q. Is that what you were referring
24 to when you mentioned at the top that she
25 had surgery to the toe, or is that

0059

1
2 something different?
3 A. I'm not sure.
4 Q. The hyperkeratotic lesions that
5 you mentioned surrounding that wound,
6 which wound are you referring to? The
7 lesion on the heel?
8 A. Yes.
9 Q. And that would be the thickening
10 of the skin?
11 A. That's correct.
12 Q. The fact that she had palpable
13 pedal pulses, what does that mean to you?
14 A. I can feel her pulses.
15 Q. When you say that it's two slash
16 four bilateral, tell me what that means.
17 A. Two out of four means it's
18 pretty normal.
19 Q. Did you obtain any history from
20 the patient?
21 MR. OGINSKI: Withdrawn.
22 Q. Was this patient diabetic?
23 A. Not that I know of.
24 Q. Did you learn that the patient
25 was hypertensive?

0060

1
2 A. Yes.
3 Q. Or had longstanding
4 hypertension?
5 A. I know the patient had
6 hypertension.
7 Q. What is arthritis?
8 A. Inflammation of the joint.
9 Q. Was there any particular part of
10 her body that she was aware that she had
11 arthritis?
12 A. Her knee.
13 Q. As far as you know, did this
14 patient ever have any surgical treatment
15 to her knee?
16 A. Yes.
17 Q. What was that?
18 A. An arthroscopy.
19 Q. You write orthopedic

20 examination. What is that?
21 A. That would be the examination of
22 the bones and joints.
23 Q. And how do you actually perform
24 that examination?
25 A. You palpate the bones and we put

0061

1
2 some of the joints through range of
3 motion.
4 Q. When you say that "it's dorsally
5 contracted digits two to five
6 bilaterally," what does that mean?
7 A. That would be that digits at
8 that the proximal phalangeal joint were
9 dorsally contracted.

10 Q. Tell me, if you can, in any
11 other way what that means.

12 A. There's a deviation on the joint
13 at the proximal phalangeal joint.

14 Q. When you perform the
15 debriedment, do you give the patient an
16 anesthetic?

17 A. You mean her or --

18 Q. You're right, I'm referring to
19 her specifically.

20 A. I don't think so.

21 Q. If you do give the patient some
22 form of anesthetic, you typically write
23 down what you give them?

24 A. Yes.

25 Q. There's nothing that appears

0062

1
2 here about any anesthetic, correct?

3 A. That's correct.

4 Q. Does that suggest to you that no
5 anesthetic was used?

6 A. That suggests it, yes.

7 Q. In order to perform a
8 debriedment of this lesion, am I correct
9 that you have to open the skin?

10 A. Not open.

11 Q. What do you have to do?

12 A. Clean out any necrotic or
13 thickening of the skin.

14 Q. Is there evidence that she had
15 any necrosis at this time?

16 A. No.

17 Q. So the thickening of the skin,
18 what is it, a scraping?

19 A. Right.

20 Q. Do you break the skin at all in
21 order to perform this debriedment?

22 A. Yes.

23 Q. And the patient is left with
24 some type of open wound at that point?

25 A. Depending on how deep your

0063

1

2 scraping is. In terms of this, it was not
3 to the level where there's an open wound.

4 Q. Now, the patient returned one
5 week later on April 17th. Do you have a
6 referral note, by the way, to Dr. or
7 any type of letter to Dr. ?

8 A. There was one here.

9 Q. Before the patient returned --
10 MR. OGINSKI: Let me go back,
11 I'm sorry.

12 Q. April 10th you see her on the
13 first visit. You indicate that if she has
14 symptoms you may consider biopsy of the
15 lesion in two weeks. On April 12th, you
16 sent a note to Dr. . And under
17 treatment you write "will schedule patient
18 for surgical biopsy of lesion," correct?

19 A. On the note?

20 Q. Right here under treatment.

21 A. Okay.

22 Q. It says "will schedule patient
23 for surgical biopsy of lesion," correct?

24 A. Correct.

25 Q. Now, that's before the two weeks

0064

1

2 had gone by, right?

3 A. That is before the two weeks,
4 yes.

5 Q. Can you explain that? So here
6 you're telling the patient in the note
7 will consider a biopsy in two weeks if you
8 still have symptoms, but two days later
9 there's a note to Dr. saying that you
10 will schedule the patient for surgical
11 biopsy of a lesion?

12 A. This could be as anticipation
13 that the debriedment did not help.
14 Instead of writing two letters, we put
15 down what might happen.

16 Q. And what if the patient had no
17 symptoms and you didn't need to perform a
18 biopsy?

19 A. Then no biopsy would have been
20 done.

21 Q. But the letter would have been
22 sent?

23 A. That's okay.

24 Q. Was it your intention on April
25 12th that you would perform a biopsy of

0065

1

2 the patient?

3 A. No.

4 Q. When the patient returns to your
5 office on April 17th a week later from the

6 initial visit, what symptoms does she
7 have?
8 A. She had basically the same pain
9 she had prior to the debriedment of the
10 lesion and now she was complaining of all
11 sorts of pain.
12 Q. By the way, do you have an
13 independent memory of
14 separate and apart from what's contained
15 in your notes?
16 : Do you know her?
17 A. I do know her, yeah.
18 Q. Do you have a memory of what she
19 looked like?
20 A. Yes.
21 Q. Describe her for me, please.
22 A. She was a heavysset black or
23 African American woman.
24 Q. Did she speak with an accent?
25 A. Slight southern.

0066

1
2 Q. Do you know where she originally
3 came from?
4 A. Either North or South Carolina.
5 Q. On any of the visits that you
6 saw her, was she accompanied by any family
7 member?
8 A. Never.
9 Q. Was she accompanied by any aide
10 or assistant?
11 A. Never.
12 Q. On the occasions when you saw
13 her in -- when I say your office, the
14 office in which you practice in, did you
15 have any assistant or nurse or anybody
16 else in the room with you?
17 A. Yes.
18 Q. Who would you have in the room
19 with you?
20 A. We have a medical assistant
21 named .
22 Q. What is 's function or
23 what was 's function?
24 A. Medical assistant.
25 Q. Tell me briefly what

0067

1
2 would do for you.
3 A. If I needed some supplies or if
4 I knew the patient needs help to get into
5 the chair.
6 Q. Would stay in the room
7 the entire duration?
8 A. No.
9 Q. You mention in your April 17
10 note that, the last sentence in the top
11 paragraph, "pain consistently with

12 ambulation and shoe gear and also Ms.
13 continue to have pain to her left
14 foot." Where specifically did she tell
15 you that she had this pain?
16 A. I don't recall.
17 Q. Is there anything in your note
18 to indicate the specific location in her
19 foot where she was experiencing pain?
20 A. Not on the note, no.
21 Q. Do you have an independent
22 memory as you sit here now as to where she
23 specifically had the pain?
24 A. I believe she was having pain in
25 the same lesion of the left heel as well

0068

1
2 as pain to her bunions and hammertoes.
3 Q. And that's something that you
4 remember as you sit here now?
5 A. Something I recall, yes.
6 Q. By the way, Doctor, before
7 today, you had an opportunity to review
8 your chart for this patient, correct?
9 A. These charts, yes.
10 Q. Did you review the
11 Medical Center record?
12 A. Yes.
13 Q. For both admissions, July and
14 January of ?
15 A. Yes.
16 Q. Did you review any other medical
17 records in preparation for today?
18 A. Well, just my office notes, the
19 notes and the
20 Medical Center notes.
21 Q. And that would be the time that
22 you performed surgery on the patient?
23 A. That's correct.
24 Q. Did you review any medical
25 textbooks in preparation for today?

0069

1
2 A. No.
3 Q. Any medical literature in
4 preparation?
5 A. No.
6 Q. Did you review the
7 deposition transcript?
8 A. No.
9 Q. Did you ask Ms. whether
10 she needed to take any type of pain
11 medication in order to relieve her pain?
12 : At what point?
13 MR. OGINSKI: When she returned
14 on April 17.
15 A. No.
16 Q. Did she tell you that she was
17 having difficulty sleeping or performing

18 activities as a result of the pain that
19 she expressed to you?

20 A. I don't recall.

21 Q. Is there anything in your note
22 to suggest that her activities were
23 impaired because of the pain she was
24 experiencing?

25 A. Not from my notes.

0070

1

2 Q. Where was her bunion located?

3 A. Her left foot.

4 Q. And that's what you have written
5 under "HAV, left foot?"

6 A. Yes.

7 Q. And "hammer digits two through
8 four left foot," what does that mean?

9 A. That means that those digits
10 were contracted.

11 Q. And you then write "benign
12 neoplasm left foot versus hypertrophic
13 scar." Tell me what you mean by that.

14 A. Well, some sort of lesion on the
15 bottom of the foot. It's specifically a
16 very general term.

17 Q. And when you say benign, meaning
18 that it's not cancerous?

19 A. That's correct.

20 Q. You had blood drawn at that time
21 or you wanted to have the patient have
22 bloods drawn?

23 A. I believe we drew blood.

24 Q. And you write CBC, which is
25 complete blood count?

0071

1

2 A. That's correct.

3 Q. And what is BMP?

4 A. Basic metabolic profile.

5 Q. What was your intention at that
6 point after talking to the patient as to
7 what you would do for her?

8 A. We would try to correct her
9 problems.

10 Q. And specifically what were her
11 problems?

12 A. Painful bunion, painful
13 hammertoes and the painful lesion.

14 Q. At the top paragraph you write
15 "patient also wished to correct her bunion
16 and correct her recurrent problem to her
17 third toe." What was that recurrent
18 problem to the third toe?

19 A. I could have been referring to
20 the surgery that was performed.

21 Q. In the past?

22 A. That's correct.

23 Q. Did you ever obtain a copy of

24 any operative note from any prior
25 podiatrist or surgeon about any treatment

0072

1

2 she had to her toes?

3 A. No.

4 Q. When you write in the objective
5 portion of your exam "laterally deviated
6 hallux with bony prominence to medial
7 aspect first MPJ left foot," tell me what
8 you mean.

9 A. Trying to describe the bunion.

10 Q. Have you treated patients with
11 that type of bunion before?

12 A. Yes.

13 Q. And how did you intend on
14 treating that particular bunion?

15 A. ' bunion?

16 Q. Yes.

17 A. We were going to surgically
18 correct that.

19 Q. Do you have a memory as to what
20 you discussed with as to how you would
21 accomplish that? And I'm not asking
22 generally what you discussed. I'm asking
23 specifically the conversation that you had
24 with about this particular procedure.

25 A. I do not recall specifically.

0073

1

2 Q. When you tell the patient that
3 you're going to perform bunion surgery, do
4 you give them any type of written
5 materials or pamphlets or brochures?

6 A. I believe there is a brochure in
7 the office and they must have read it.

8 Q. What makes you believe when you
9 say "they must have read it?"

10 A. Don't know.

11 Q. Those are printed brochures
12 you're talking about?

13 A. Yes.

14 Q. That explains in basic terms
15 what a bunionectomy is?

16 A. That's correct.

17 Q. When you discuss with a patient
18 a bunionectomy, do you use that brochure
19 to explain to them what the procedure
20 involves?

21 A. They're explained their basic
22 bunion.

23 Q. Do you use any written brochure
24 to assist you when you explain that to
25 them?

0074

1

2 A. Sometimes.

3 Q. Do you have any memory as to

4 whether you used anything to help explain
5 to ?

6 A. Not sure.

7 Q. What are the risks of the
8 bunionectomy?

9 A. Swelling, pain, infection,
10 nonunion, malunion, delayed union,
11 stiffness of the joint, recurrence of
12 pain. Those are the most common.

13 Q. As of , can you tell me how
14 many bunionectomies you had performed in
15 your career?

16 A. Don't know exactly.

17 Q. Can you estimate?

18 A. Four to five hundred.

19 Q. During the course of your second
20 examination, did you ever learn from this
21 patient whether she had any difficulty
22 healing following any surgical procedure?

23 A. She had history of the surgery
24 that was performed by Dr. and she
25 showed me no -- she never discussed any

0075

1

2 problems with that.

3 Q. Just to be clear, Doctor, as of
4 the second visit on April 17th, you had no
5 knowledge that she had ever experienced
6 any difficulty healing with any type of
7 incision or wound before, correct?

8 A. That's correct.

9 Q. Do you record in your note
10 specifically what is it about the third
11 toe that is giving her a problem other
12 than stating that she's having a recurrent
13 problem?

14 A. You're referring to the first
15 two?

16 Q. Yeah, April 17th.

17 A. Oh, April 17th. I just noted
18 that she had a contracted digit.

19 Q. And the pain that she had with
20 ambulation and shoes, again, do you
21 identify where the pain was that she was
22 complaining of?

23 A. No.

24 Q. Do you make any notation about
25 any orthotic or inserts that she had?

0076

1

2 A. No.

3 Q. You write in the top "paragraph
4 pain consistently with ambulation and shoe
5 gear." What does shoe gear mean?

6 A. Any type of shoe.

7 Q. Because of the pain she was
8 experiencing, did you observe her walk
9 with any type of limp?

10 A. I don't recall.
11 Q. Did you make any observation
12 about her gait?
13 A. I don't recall.
14 Q. Is there anything in your note
15 to suggest that you observed and recorded
16 anything about her gait?
17 A. No.
18 Q. As of this date on April 17, was
19 there any sign that this patient had an
20 infection in her left foot?
21 A. No.
22 Q. Was there any sign she had
23 necrosis?
24 A. No.
25 Q. Was there any observation that

0077

1
2 you made of swelling?
3 A. No.
4 Q. Or erythema?
5 A. No.
6 Q. Was there any drainage
7 observable anywhere from any part of her
8 left foot?
9 A. No.
10 Q. Did you make any observation of
11 any leaking fluid?
12 A. No.
13 Q. Doctor, did you practice under a
14 professional corporation in ?
15 A. I don't recall. I'm not sure.
16 Q. Was there ever a time that you
17 practiced under the title of ,
18 PC or Podiatry PC or
19 something to that effect?
20 A. Did I ever practice under that
21 name?
22 Q. Let's go to the April 12,
23 letter you sent to Dr. .
24 A. Okay.
25 Q. On the top left it says

0078

1
2 DPM, PC, do you see that?
3 A. Yes.
4 Q. At that time with that address
5 at , tell me why you
6 had a PC.
7 A. I'm not sure.
8 Q. What was your affiliation, in
9 other words, were you an officer, a
10 shareholder of this PC?
11 A. Once I became PC.
12 Q. When was that?
13 A. I don't recall.
14 Q. When you were given a 1099 form
15 by Dr. , was it given to you

16 personally or was it to the PC?
17 A. I don't recall.
18 Q. When you obtained your own
19 malpractice coverage, was it for both
20 yourself personally and for the PC?
21 A. I believe so.
22 Q. Let's turn, please, to the
23 operative report of April 28, . You
24 have a copy of that in your original
25 chart, correct?

0079

1
2 A. That's correct.
3 Q. Did you have any assistant?
4 A. No.
5 Q. You had performed surgical
6 procedures at this ambulatory surgery
7 center?
8 A. This was my first procedure.
9 : At that facility?
10 THE WITNESS: At that facility.
11 Q. Did you encounter any
12 complications during this procedure?
13 A. No.
14 Q. Did any of the nurses or
15 assistants at the ambulatory surgery
16 center --
17 MR. OGINSKI: Withdrawn.
18 Q. Was there a nurse who provided
19 instruments to you during your procedure?
20 A. Yes.
21 Q. Did that individual or
22 individuals do anything else during the
23 course of this surgery?
24 A. Not that I'm aware of.
25 Q. Did you have any conversations

0080

1
2 with any family member that may have come
3 with Ms. prior to her surgery?
4 A. I don't recall.
5 Q. On the second page of the
6 operative report --
7 By the way, you dictated this
8 report, correct?
9 A. That's correct.
10 Q. Do you have a signed copy?
11 A. I don't have one personally.
12 Q. What was the practice at that
13 time? You would dictate a report and how
14 would you receive that copy?
15 A. They usually give me a folder
16 for me to review and sign.
17 : Off the record.
18 (A discussion was held off the
19 record.)
20 Q. Doctor, turning to the second
21 page of your operative report, the second

22 to last paragraph you write "the patient
23 is to remain partial weightbearing to the
24 left foot using the assistive use of a
25 cane as well a surgical shoe."

0081

1

2 Did I read that correctly?

3 A. Yes.

4 Q. What is a surgical shoe?

5 A. It's a strap shoe that has a
6 very rigid insole.

7 Q. When you say "partial
8 weightbearing," what does that mean?

9 A. To try to put most of his weight
10 elsewhere. Not to put the full weight on
11 the foot.

12 Q. Why do you tell them that?

13 A. To decrease the amount of
14 swelling.

15 Q. Are there any other
16 postoperative instructions you give to the
17 patient other than telling them that they
18 are to remain partial weightbearing and to
19 use the use of a cane as well as a
20 surgical shoe?

21 A. There's usually a post-op
22 instructions when they leave
23

24 Q. And that's a preprinted form?
25 That's a form that the surgery center

0082

1

2 gives to the patient?

3 A. Right, and I usually fill out
4 some information.

5 Q. So other than the standard
6 follow-up with your doctor in X number of
7 days, take pain medication, call your
8 doctor if there's a problem, is there
9 anything else specifically that you told
10 after this surgical
11 procedure?

12 A. I don't recall.

13 Q. Is there anything else that you
14 recorded anywhere about any additional
15 postoperative instructions?

16 A. I don't know.

17 : Other than what's
18 reflected on the discharge
19 instructions?

20 MR. OGINSKI: Correct. Can I
21 just take a look, please?

22 : Just let the record
23 reflect he was looking at a page from
24 the records entitled
25 Discharge Instructions.

0083

1

2 MR. OGINSKI: Yeah, let's mark
3 it.
4 (Plaintiffs' Exhibit 3,
5 records entitled Discharge
6 Instructions, marked for
7 identification, as of this date.)
8 Q. Doctor, this is the
9 Medical Center postoperative instruction
10 sheet, and on the bottom right it says
11 "companion ." Do you have
12 any memory of talking to
13 following the surgery?
14 A. I don't recall.
15 Q. Under physical activity you have
16 checked off partial weightbearing --
17 I'm sorry, it's written "partial
18 weightbearing left foot," correct?
19 A. That's correct.
20 Q. Now, did you fill out this form
21 or does a nurse?
22 A. No, I filled out the form.
23 Q. You indicate that she is to
24 return to your office on Saturday, May 1st
25 at 10 a.m. on the bottom left, correct?

0084

1
2 A. That's correct.
3 Q. By the way, Doctor, before this
4 patient was scheduled to have surgery, you
5 sent her for pre-op labs?
6 A. Medical clearance.
7 Q. Yes. And she was cleared
8 medically to have the surgery?
9 A. That's correct.
10 Q. If you can, please, look at the
11 labs for April 17th, , the pre-op
12 labs.
13 A. Okay.
14 Q. Specifically, Doctor, can you
15 tell me is there anything abnormal?
16 A. She had elevated white count.
17 Q. What is the significance of
18 that, if anything?
19 A. That she might be having some
20 sort of an infection going on.
21 Q. Did you ask whether she was
22 being treated for any type of infection?
23 A. I don't recall.
24 Q. Is it appropriate to perform
25 surgical procedure --

0085

1
2 MR. OGINSKI: Withdrawn.
3 Q. Is it appropriate to perform an
4 elective surgical procedure when a patient
5 has an elevated white blood count?
6 A. In general?
7 Q. Yes.

8 A. It depends.
9 Q. On what?
10 A. Some patients might have an
11 abnormal elevated white count that's a
12 normal baseline for them. So at that
13 point -- or there might be medications
14 that could increase the elevated white
15 count and those are not contraindications
16 for surgery.
17 Q. Before performing the surgery on
18 April 28th, did you see and review this
19 patient's lab work dated April 17 or
20 reported April 18?
21 A. I might have.
22 Q. Tell me what you mean.
23 A. I would have reviewed it.
24 Q. What was your practice once labs
25 were requested, blood work was requested
0086

1
2 and you received a lab report back? Was
3 it your custom and practice to initial it
4 or sign it before it gets put in the
5 patient's chart to indicate you had
6 reviewed it?
7 A. Sometimes.
8 Q. And under what circumstances
9 would you not make a notation on the lab
10 report?
11 A. That sometimes I just don't do
12 it.
13 Q. Is there anything in the lab
14 report that you have in front of you,
15 which I understand is a faxed copy, to
16 suggest that you did review it prior to
17 April 28th?
18 A. No.
19 Q. If you had seen this particular
20 lab report prior to April 28th, is it your
21 opinion, Doctor, that this patient was
22 still a surgical candidate and her elected
23 procedure can go forward?
24 A. I think I might have felt that
25 there might be an error in the lab.

0087
1
2 Q. Why?
3 A. Because there's an abnormal
4 number.
5 Q. What would you have done if you
6 saw that?
7 A. Probably asked for a repeat CBC
8 or I would have spoke to the medical
9 doctor who was clearing the patient.
10 Q. And if a repeat CBC came back
11 also showing abnormal white blood count,
12 would you have continued forward with this
13 elective surgical procedure?

14 A. Again, I would have discussed
15 that case with the clearing medical
16 doctor.
17 Q. Now, the medical doctor, that's
18 Dr. Dove, correct?
19 A. No,
20 Q. Could you spell that.
21 A.
22 Q. Do you have clearance from Dr.
23 ?
24 A. Yes.
25 Q. Where is that?

0088

1
2 A. I believe in the
3 Medical Center file.
4 Q. Just tell me what document
5 you're referring to, please.
6 A. It's the ambulatory surgery
7 center of Medical Center,
8 patient history and physical examination
9 form.
10 Q. Do you know Dr. ?
11 A. Yes.
12 Q. What is his specialty?
13 A. Internal medicine.
14 Q. Did you ever receive a copy of
15 this clearance form before April 28th?
16 A. Received it in Dr. 's
17 office?
18 Q. In any office. Did you ever see
19 it before performing surgery on April
20 28th?
21 A. Yes.
22 Q. When did you see it for the
23 first time?
24 A. The day of the surgery.
25 Q. And is there anything in here

0089

1
2 that reflects that Dr. saw and took
3 note of the patient's elevated white blood
4 count?
5 A. Yes.
6 Q. Can you point out where, please?
7 A. Well, he mentioned also to
8 repeat the lab work.
9 Q. Why?
10 A. Well, he doesn't state why.
11 Q. What does it say there in
12 impression number one, lymphocytosis?
13 : Where are you
14 looking?
15 A. Where do you see that?
16 : On the front, okay.
17 I was looking at the back.
18 A. That's the hospital.
19 Q. Medical Center,

20 April 20, ?
21 : Show me which one
22 you're looking at again.
23 A. I don't remember seeing that.
24 : On the record,
25 Counsel, it appears as if you have a
0090
1
2 document from the Medical
3 Center that they did not provide to us
4 when we sent them the authorization
5 for the records. So if you can show
6 it to the doctor, I'd appreciate that.
7 I would like to request a copy of it.
8 MR. OGINSKI: Sure.
9 Q. Under the impression in the
10 middle of the page it says "lymphocytosis"
11 what is that?
12 A. An elevated white count.
13 Q. Underneath that it says "repeat
14 CBC" and what?
15 A. Differential.
16 Q. Do you know why he wanted that
17 repeated?
18 A. Probably for the same reason.
19 Q. Can you look, please, at the lab
20 report drawn on April 20, , recorded
21 April 22, , there is
22 Medical Center.
23 A. Okay.
24 Q. Can you tell me what the white
25 blood count was at that time?
0091
1
2 A. 11.7.
3 Q. Is that also abnormal?
4 A. That is elevated.
5 Q. What does that suggest to you,
6 if anything?
7 A. Well, comparing to the previous
8 white count, it does show that whatever's
9 causing the elevated white count is
10 improving.
11 Q. She had a white count of 12.3 on
12 April 17th and now on April 20th she has a
13 white count of what was that?
14 A. 11.7.
15 Q. Is that a dramatic difference?
16 : Objection to the
17 term dramatic.
18 Q. How would you characterize the
19 difference, if any?
20 A. That she is improving.
21 Q. Did you ever see that lab report
22 prior to the April 28th surgery?
23 A. Yes.
24 Q. When did you see that?
25 A. Probably prior to surgery.

0092

1

2 Q. What makes you believe you saw
3 that prior to surgery?

4 A. That's something I would have
5 done.

6 Q. As your normal custom and
7 practice, you review the prior labs,
8 right?

9 A. Yes.

10 Q. Is there anything noted on this
11 report or any other report that you have,
12 specifically the lab report, for the April
13 20th blood labs --

14 A. No.

15 Q. -- to suggest that you had seen
16 and reviewed it?

17 A. No.

18 Q. Assuming that you saw and
19 reviewed this lab report, the April 20th
20 lab report showing also an elevated white
21 blood count, is it still your opinion that
22 you would have --

23 MR. OGINSKI: Withdrawn.

24 Q. Assuming that you saw both blood
25 lab values from April 17th and also April

0093

1

2 20th showing the elevated white blood
3 count, is it still your opinion that this
4 patient was a surgical candidate?

5 A. After speaking with Dr.
6 and reviewing the lab, in my judgment, I
7 thought it was appropriate.

8 Q. When did you speak with Dr.

9 ?

10 A. Prior to the surgery.

11 Q. Can you be any more specific?
12 Was it the day of surgery?

13 A. I don't recall.

14 Q. Days before, week before or any
15 other time?

16 A. I don't recall.

17 Q. What makes you believe that you
18 spoke to Dr. ?

19 A. Because of the abnormal labs.

20 Q. Do you have a specific memory as
21 to what Dr. said to you in response
22 to your call about the abnormal labs?

23 A. No.

24 Q. Did you ever --

25 : Hang on one second.

0094

1

2 Is there an open question?

3 (The requested portion was read
4 back.)

5 Q. Did Dr. ever tell you

6 where he thought the patient might have an
7 infection or the cause for the patient's
8 elevated white blood count?

9 A. I don't recall.

10 Q. Is there anything in any of your
11 notes to indicate anything Dr. told
12 you or said to you during this
13 conversation?

14 A. No.

15 Q. Is there anything in Dr. 's
16 note of his examination, the history and
17 physical or the pre-op work up that
18 indicates his comments or thoughts as to
19 why this patient's labs were -- I'm sorry,
20 why this patient's elevated white blood
21 count was abnormal?

22 A. No.

23 Q. At the page you're looking at,
24 Doctor, on the second page, the back of it
25 it says "this patient is cleared for left

0095

1
2 foot surgery pending repeat CBC slash
3 differential EKG, chest X-ray and
4 urinalysis," correct?

5 A. That's correct.

6 Q. And once those tests were done,
7 do you see any written documentation from
8 Dr. clearing this patient for this
9 procedure?

10 A. Yes.

11 Q. Where?

12 A. On the bottom of the same note.

13 Q. May I see that?

14 MR. OGINSKI: See, I don't have
15 this where there's an addendum, but
16 there's nothing on mine.

17 : It's got his stamp
18 too.

19 MR. OGINSKI: Yeah, you're
20 right.

21 Q. So according to this note on
22 April 28th, he cleared the patient for
23 surgery?

24 A. That's correct.

25 MR. OGINSKI: Let me get a copy

0096

1
2 of that too.

3 Q. Doctor, let's turn, please, back
4 to your note of May 1st. The patient
5 returned to your office as instructed,
6 correct?

7 A. Correct.

8 Q. You took X-rays at that visit,
9 right?

10 A. Yes.

11 Q. What was the purpose of

12 obtaining X-rays at that visit?
13 A. To make sure that the internal
14 fixations are in place and to see the
15 osteotomy sites in rectus position.
16 Q. In what position?
17 A. Rectus anatomical position.
18 Q. Did the patient have any
19 complaint on May 1st?
20 A. Some pain.
21 Q. Where was the pain?
22 A. In the foot that underwent
23 surgery.
24 Q. Could you be anymore specific as
25 to where she was experiencing the pain?

0097

1
2 A. It was not mentioned in my notes
3 of a specific spot.
4 Q. And there was no drainage that
5 you observed, correct?
6 A. Correct.
7 Q. The sutures were intact?
8 A. Yes.
9 Q. When you say that the incision
10 was coapted, what does that mean?
11 A. The skin margins --
12 Q. They were together?
13 A. Yes.
14 Q. The observation that you made of
15 edema and mild erythema, that's normal
16 following this surgery one week out?
17 A. Yes.
18 Q. There was no evidence of
19 infection at that time?
20 A. No.
21 Q. And how many X-rays did you
22 take? Based on the note, are you able to
23 tell?
24 A. No.
25 Q. If you look down, Doctor, at the

0098

1
2 plan, you write "X-ray left foot two
3 views?"
4 A. Oh, two views, yes.
5 Q. And did you read and interpret
6 those X-rays?
7 A. Yes.
8 Q. Did you record your findings?
9 A. Yes.
10 Q. And is that in the objective
11 portion in the middle?
12 A. Yes.
13 Q. When you write that the K wire
14 in the second digit is mildly displaced
15 superiorly, what does that mean?
16 A. It means that distal -- the
17 distal fragment is slightly above the

18 proximal bone.
19 Q. And am I correct that that is an
20 abnormal finding?
21 A. Yes.
22 Q. And why is that an abnormal
23 finding?
24 A. Well, it just means that the
25 position of the K wire is not the best
0099

1 position, but it was enough.
2 Q. What is the significance of that
3 finding, if any?
4 A. Nothing. It's just a notation
5 for myself.
6 Q. And why is it important for you
7 to know that this is mildly displaced? In
8 other words, what could happen to the
9 patient because of this, if anything?
10

11 A. It was more just to show what I
12 saw on the X-ray.

13 Q. Can you take out those two
14 X-rays, please?

15 What do you call these
16 particular views that you obtained?

17 A. I got an oblique view and a
18 lateral view.

19 Q. Can you just point out to me,
20 please, where you observed the mildly
21 displaced K wire in the second digit,
22 which view?

23 A. On both the oblique and lateral
24 view.

25 Q. Can you just point to me with
0100

1 your finger, show me where that is?

2 A. This would be on the oblique
3 view and this one coming down this way the
4 lateral view. [Indicating.]

5 Q. Did you form any opinion as to
6 why the patient was experiencing pain when
7 she returned to your office on May 1st?
8

9 A. Yes.
10 Q. Why?

11 A. Postoperative pain.

12 Q. Did you record that anywhere as
13 to the reason for her pain?

14 A. No.

15 Q. Had she been taking any type of
16 pain relief or pain reliever during the
17 week leading up to this visit?

18 A. Yes.

19 Q. What was she taking?

20 A. Tylenol number 3.

21 Q. That's what you call the
22 Vicoprofen?

23 A. No.

24 Q. What is that?
25 A. Tylenol number 3 is with 30

0101

1
2 milligrams of codeine.

3 Q. And that was something you
4 prescribed to her after surgery?

5 A. Yes.

6 Q. Did she ever call you in between
7 the date of the surgery and this visit on
8 May 1st?

9 A. I believe it's practice for the
10 office to call.

11 Q. You mean just to checkup on the
12 patient?

13 A. Yes.

14 Q. My question is did the patient
15 ever call you to let you know that she was
16 having a problem at any time during that
17 week?

18 A. No.

19 Q. Was there any sign of infection
20 on this visit?

21 A. No.

22 Q. Now, during the surgery, did you
23 give the patient any antibiotics, any
24 prophylactic antibiotics?

25 A. Yes.

0102

1
2 Q. What did you give her?

3 A. Gamma-vena. [Ph.]

4 Q. Was that by IV or oral or
5 something else?

6 A. IV.

7 Q. And that was just during the
8 surgery?

9 A. Prior to the surgery.

10 Q. Immediately prior, correct?

11 A. Maybe about half hour prior.

12 Q. And did you give her a
13 prescription for antibiotics

14 postoperatively --

15 A. No.

16 Q. -- after she left?

17 Was it customary to give
18 antibiotics following this type of
19 surgical procedure?

20 A. No.

21 Q. Are you aware of any podiatrist
22 who practiced in Manhattan, Brooklyn,
23 Queens, New York that prescribe
24 antibiotics for this type of procedure
25 postoperatively?

0103

1
2
3 an improper question.

4 Q. Tell me why you don't prescribe
5 antibiotics following this type of
6 procedure.

7 : Generally speaking,
8 yes? Perhaps there's a specific case
9 that's different.

10 Q. In general, Doctor, can you tell
11 me why you choose not to give antibiotics
12 following this type of surgery?

13 A. We're not treating any
14 infection.

15 Q. Are you aware of something known
16 as giving a patient prophylactic
17 antibiotics in anticipation of or trying
18 to prevent future infection?

19 A. Yes.

20 Q. Have you ever had occasion to
21 give a patient prophylactic antibiotics?

22 : Objection. He told
23 you he gave her gamma-vena which is an
24 antibiotic.

25 MR. OGINSKI: Yes, sir.

0104

1
2 Q. In general, have you ever had
3 occasion to give a patient prophylactic
4 antibiotics?

5 A. Yes.

6 Q. According to your note, you
7 wanted the patient to elevate the foot as
8 much as possible, correct?

9 A. Yes.

10 Q. Why?

11 A. Bring down the swelling.

12 Q. Where did you observe -- you
13 said the patient had edema and mild
14 erythema?

15 A. That's correct.

16 Q. How would you characterize the
17 edema that you observed?

18 A. Non pitting edema, swelling.

19 Q. Define pitting edema, please.

20 A. Pitting edema is when you press
21 on the soft tissue and you get an imprint.

22 Q. And non pitting edema?

23 A. When you press down the soft
24 tissue, you don't see an imprint.

25 Q. How would the elevation of the

0105

1
2 foot reduce or minimize the swelling?

3 A. Well, it's helping to bring back
4 any of the remaining blood that might be
5 pulling in the foot back to the upper
6 extremity.

7 Q. Did you form any opinion before
8 April 28th as to whether this patient had
9 any vascular problem?

10 A. No.
11 Q. I'm sorry, I should rephrase
12 that.
13 Did this patient have any
14 vascular problem before April 28th, ?
15 : Objection to form.
16 You can answer.
17 A. Not on my physical examination.
18 Q. And as of May 1st, , did you
19 form an opinion as to whether this patient
20 had any vascular problem?
21 A. No.
22 Q. No, you had no opinion or no,
23 this patient did not have?
24 A. The patient did not.
25 Q. Okay, thank you.

0106

1
2 : That's his fault.
3 MR. OGINSKI: Yeah, it was my
4 fault, sorry.
5 Q. Are there instances where you
6 would perform a bunionectomy and tell a
7 patient that you want them to be non
8 weightbearing?
9 : Note my objection
10 to the general nature, but you can
11 answer it.
12 A. What kind of bunionectomy?
13 Q. Let me rephrase it.
14 What type of bunionectomy did
15 you perform on this patient?
16 A. An Austin bunionectomy.
17 Q. Are there times where you have
18 performed an Austin bunionectomy and
19 directed that a patient be non
20 weightbearing following surgery?
21 A. No.
22 Q. What is an Austin bunionectomy?
23 A. That's where a V osteotomy is
24 performed with a capital fragment.
25 Q. Following an Austin

0107

1
2 bunionectomy, if a patient walks on it, is
3 there a risk that the surgery site will --
4 : I'm going to object
5 to the form, when you say walk on it.
6 Because he's indicating partial and
7 full and so forth.
8 MR. OGINSKI: Okay. Withdraw
9 that.
10 Q. Now, you write in the first
11 paragraph that "the patient was doing fine
12 two days after surgery and then 23 hours
13 ago experienced severe pain." Was there
14 any experience that you asked her about
15 that brought on this pain?

16 A. Not that I recall.
17 Q. And you told her to return in
18 one week, correct?
19 A. Yes.
20 Q. Now, the Vicoprofen, what is
21 that? Is that like Vicodin?
22 A. With an antiinflammatory.
23 Q. That's a pain reliever, correct?
24 A. That's correct.
25 Q. Had you told her to stop taking

0108

1
2 the Tylenol with codeine, the Tylenol
3 number 3?

4 A. Yes.
5 Q. She returned to your office not
6 one, but two weeks later, correct?

7 A. That's correct.
8 Q. As a result of that one extra
9 week delay, did this patient suffer any
10 type of injury because of that one week
11 delay in returning to your office?

12 A. Meaning if she had an injury?

13 Q. Yeah, in other words, you told
14 her to come back to you in one week. She
15 didn't come back in one week. Instead she
16 came back in two weeks. So my question is
17 the fact that she showed up now two weeks
18 after you told her to, did that play any
19 part in any of the problems or symptoms
20 that she was having, specifically the one
21 week delay?

22 : Do you understand
23 his question?

24 THE WITNESS: No.

25 : Off the record.

0109

1
2 (A discussion was held off the
3 record.)

4 Q. You told the patient to return
5 in one week. She shows up two weeks
6 later. The fact that she showed up in two
7 weeks later and not one week, did that
8 cause her any injury?

9 A. Not sure.

10 Q. Tell me why you're not sure.

11 A. Not sure why she didn't come
12 back in a week?

13 Q. I'm sorry, that wasn't my
14 question. I want to know the problems
15 that she did have that she presented to
16 with you on May 15th, were they the result
17 of not coming back to your office a week
18 earlier?

19 : Objection. He said
20 he wasn't sure.

21 MR. OGINSKI: Right. I'm trying

22 to probe. I just want to know whether
23 it's because of a delay in coming back
24 to your office or is this a natural
25 progression of whatever she was

0110

1

2 having.

3

: Or if you don't

4

know.

5

MR. OGINSKI: Correct.

6

A. I don't understand the question.

7

Maybe I'm --

8

MR. OGINSKI: I'll rephrase it.

9

Q. What complaints did the patient

10

have on May 15th?

11

A. She was still having mild pain.

12

Q. And as far as you know, did she

13

have that pain a week earlier?

14

A. Yes.

15

Q. So that pain, as far as you

16

know, did not change because it now is two

17

weeks later?

18

A. Well, it's been improving,

19

according to my notes.

20

Q. Did she have any other

21

complaints?

22

A. No.

23

Q. Was she still taking the

24

medication that you had prescribed for

25

her, the pain reliever with the

0111

1

antiinflammatory?

2

A. Yes.

3

Q. When you write "dressing left

4

foot," meaning that you took the dressing

5

off and you changed it?

6

A. That's correct.

7

Q. Was there any time where you

8

told her to change the dressing herself up

9

until that point?

10

A. No.

11

Q. And CDI, that's clear, dry and

12

intact?

13

A. That's correct.

14

Q. And no drainage, right?

15

A. That's correct.

16

Q. Was there any evidence of

17

infection at this point?

18

A. No.

19

Q. You made a note that there was

20

left foot edema and mild erythema,

21

correct?

22

A. That's correct.

23

Q. And that, again, you said is

24

normally seen postoperatively?

0112

1

2 A. That's correct.
3 Q. And it's common to see it two
4 weeks after the surgery?
5 A. That's correct.
6 Q. Had it changed in any way from
7 the time that you last saw her two weeks
8 earlier on May 1st?
9 A. I didn't make note of that.
10 Q. The sutures intact, that means
11 they were still in place?
12 A. That's correct.
13 Q. And the well coapted skin
14 margins means that the skin was still
15 together?
16 A. Yes.
17 Q. They were still touching, right?
18 A. That's correct.
19 Q. When you wrote "no purulent
20 drainage noted," meaning there was no pus?
21 A. That's right.
22 Q. Is it common for to you write
23 down no purulent drainage if you don't see
24 pus following surgery?
25 A. I don't do that all the time.

0113

1
2 Q. In other words, why do you write
3 a negative?
4 A. I just chose to at that point.
5 Q. Did you take any X-rays on this
6 visit?
7 A. No.
8 Q. The CFT. That's capillary fill
9 time?
10 A. That's correct.
11 Q. Why do you test that?
12 A. Again, make sure that the
13 vascular is intact.
14 Q. The pedal pulses, did you record
15 what they were like you had done in the
16 prior visit?
17 A. No.
18 Q. The fact that you did not record
19 what they were, but merely that they were
20 present, does that suggest to you that
21 they were the same?
22 MR. OGINSKI: Withdrawn.
23 Q. Are you able to tell what her
24 pedal pulses were just looking at the
25 note?

0114

1
2 A. No.
3 Q. If they had improved or
4 worsened, would you have expected to make
5 a note of that?
6 A. If it has worsened, yes.
7 Q. Now, on the subjective portion

8 on the second line you write "times
9 fourteen days," meaning that she had the
10 surgery fourteen days earlier, correct?

11 A. That's correct. Well --

12 Q. In fact, it's not exactly
13 fourteen days, right?

14 A. That's correct.

15 Q. Because she had it on April
16 28th?

17 A. Right.

18 Q. And there were thirty days in
19 that month so that would be two days plus
20 the fifteen, that would be seventeen days
21 out; is that fair?

22 A. That sounds right.

23 Q. Where was the pain that the
24 patient was experiencing that you noted in
25 the first paragraph?

0115

1

2 A. It was the left foot.

3 Q. Where specifically?

4 A. Not mentioned in there.

5 Q. Was this incisional pain?

6 A. I don't know.

7 Q. How would you describe

8 incisional pain?

9 A. The pain, incisional pain would
10 be more superficial.

11 Q. And the pain that she was
12 telling you about, what was that?

13 A. I don't know.

14 Q. Did you determine what the cause
15 of her pain was on May 15th?

16 A. I determined that it's most
17 likely still postoperative pain.

18 Q. What made you believe that?

19 A. It's only two weeks out.

20 Q. Did you remove any sutures on
21 this visit?

22 A. No.

23 Q. You write at the bottom under
24 your plan "will keep sutures intact for
25 one more week." Typically, how long do

0116

1

2 you wait before removing sutures after
3 this type of surgical procedure?

4 : Again, just note my
5 objection to the general nature of the
6 question. Every patient is an
7 individual, but you can answer.

8 A. Right, it really depends on if
9 you say in general, usually we like to
10 keep sutures on the dorsum side about 14
11 days.

12 Q. And the dorsum would be which
13 part of the foot?

14 A. The top side.
15 Q. And that's where her sutures
16 were?
17 A. Yes.
18 Q. Tell me why you like to keep
19 them in for fourteen days.
20 A. To make sure there was adequate
21 healing across the incision.
22 Q. Was there anything that you
23 observed on this visit that you recorded
24 to suggest that there was not adequate
25 healing?

0117

1
2 A. Nothing in my notes.
3 Q. And why did you elect to keep
4 the sutures in for another week?
5 A. Probably felt that she needed to
6 keep it for one more week.
7 Q. Are you able to tell from your
8 note why?
9 A. No.
10 Q. Is there anything that you
11 recorded in your note to suggest that the
12 patient simply wasn't healing either with
13 the incision or the wound or anything else
14 that she required another week?
15 A. There's nothing in my notes.
16 Q. Do you have any independent
17 memory, as you sit here now, as to why you
18 wanted to keep those sutures in for
19 another week?
20 A. No.
21 Q. Is there any risk to the patient
22 of keeping sutures in longer than you
23 typically keep them in, assuming there's
24 no problem with their wound or their
25 incision?

0118

1
2 A. They have a higher chance of
3 scarring.
4 Q. These sutures, were they
5 absorbable sutures?
6 A. No.
7 Q. So they needed to come out at
8 some point?
9 A. Yes.
10 Q. And you told the patient to
11 return in one week, correct?
12 A. That's correct.
13 Q. And the patient did, in fact,
14 return on May 24th?
15 A. That's correct.
16 Q. As far as you know, Doctor, was
17 she compliant with your instructions?
18 : Which instructions?
19 Q. With any of the instructions up

20 until this point?
21 : Do you know?
22 A. I don't know.
23 Q. Is there anything in your notes
24 to suggest that as of May 24th this
25 patient was not compliant with any of your
0119

1 instructions?
2 A. Besides missing an appointment.
3 Q. Fair enough. Was there anything
4 else?
5 A. No.
6 Q. On the May 24th visit, you write
7 that the patient is now -- in subjective
8 paragraph, you have the patient is
9 twenty-one days out, right?
10

11 A. Right.
12 Q. Again, that's not totally
13 accurate because she had the surgery April
14 28th. So if you had the two days plus the
15 24, that actually puts her 26 days,
16 correct?

17 A. Correct.
18 Q. You write "still has mild pain
19 upon ambulation." Can you tell from your
20 note where the pain was located?

21 A. No.
22 Q. Is it common for a patient to
23 have this type of pain twenty-six days
24 after this type of surgery?
25 A. Yes.

0120
1 Q. And how long do you expect a
2 patient to have continued pain relating to
3 the surgery itself?

4 A. Anywhere up to six months.
5 Q. And what is it that causes or
6 brings about such pain?

7 A. Everybody heels differently.
8 Q. Did you make any determination
9 whether this was a pain associated with
10 the incision?

11 A. No.
12 Q. You write in your note that
13 there was mild serosanguineous discharge,
14 correct?

15 A. That's correct.
16 Q. What is serosanguineous --
17 I'm sorry, let me be clear, you
18 write "mild serosanguineous drainage."
19 What is that?

20 A. Normal lymphatic fluid that's
21 seeping in from the wound.

22 Q. The fact it's serosanguineous,
23 does that mean that it's blood tinged?

24 A. Sometimes.
25

0121

1

2 Q. Where did you observe this
3 drainage?

4 A. From the wound.

5 Q. Now, I want to be clear, Doctor,
6 this is one wound that we're talking about
7 on the top of the foot?

8 A. Yes.

9 Q. Are you able to show me using
10 your hand as a reference point as to where
11 on the patient's foot she had this wound?

12 A. This -- on top of the first ray.

13 Q. For example, for purposes you're
14 showing me by the thumb area close to --
15 how would you describe the area using your
16 hand, if you can?

17 A. It would be on top of the first
18 MPJ, first metatarsal phalangeal joint.

19 Q. You also write "mild wound
20 dehiscence left foot?"

21 A. That's correct.

22 Q. Tell me what you meant.

23 A. Meaning there's drainage coming
24 from the wound. The skin is no longer --
25 the skin margins are no longer intact.

0122

1

2 Q. And am I correct that in all of
3 the prior visits after the surgery, she
4 did not have this?

5 A. That's correct.

6 Q. The patient still had not
7 removed -- as of May 24th, you were the
8 only one who has taken the bandages off
9 and changed them, correct?

10 A. That's correct.

11 Q. And the patient would have no
12 way to know whether there was any leakage
13 or fluid until you take the dressing off,
14 correct?

15 A. That's correct.

16 Q. Did you form any opinion as to
17 why she experienced dehiscence at that
18 time?

19 A. Yes.

20 Q. Why?

21 A. Again, it can be from the
22 swelling. It can be from swelling or it
23 can be from an infection.

24 Q. What test cans you perform to
25 assist you in determining whether the

0123

1

2 dehiscence is from swelling or an
3 infection?

4 A. A wound culture.

5 Q. How do you perform a wound

6 culture?
7 A. You did a swab and send that
8 out.
9 Q. What is the purpose of that?
10 A. To see what kind of bacteria
11 might be growing, if there is any
12 bacteria.
13 Q. Did you do a wound culture on
14 May 24?
15 A. No.
16 Q. Is there any reason why you did
17 not do a wound culture on May 24th?
18 A. It was not necessary.
19 Q. Tell me why.
20 A. Again, it did not show an active
21 infection. It shows that there was some
22 drainage. Maybe the start of an infection
23 or because of the open wound that there is
24 a site for an infection.
25 Q. Why did you prescribe

0124

1
2 antibiotics for the patient on this date?
3 A. This is to treat a possible
4 infection.
5 Q. How did you determine that
6 Augmentin would be your antibiotic of
7 choice as opposed to any other antibiotic?
8 A. It's pretty broad spectrum.
9 Q. You removed all the sutures?
10 A. Except over the first ray.
11 Q. Can you tell me how many sutures
12 there were?
13 A. No.
14 Q. This is all from the same
15 incision site, correct? I just want to be
16 clear --
17 : What is all?
18 Q. This patient had how many
19 different incision sites?
20 A. Four.
21 Q. The one, the wound that is
22 dehisced, that was over the first ray?
23 A. That's correct.
24 Q. And by the first MPJ?
25 A. That's correct.

0125

1
2 Q. And you removed the sutures from
3 all the other incision sites?
4 A. That's correct.
5 Q. Those were healing nicely?
6 A. That's correct.
7 Q. But it was the first ray, first
8 MPJ area that had dehisced?
9 A. That's correct.
10 : Off the record.
11 (A discussion was held off the

12 record.)
13 Q. Were you able to tell for how
14 long the wound had been dehisced, had
15 opened up and been leaking?
16 A. No.
17 Q. When you took the dressing off,
18 did you observe fluid on the dressing?
19 A. Yes.
20 Q. Was there any suggestion at that
21 point the patient had not been keeping the
22 dressing dry?
23 A. No.
24 Q. Did you ask her, did you ask Ms.
25 whether she had been elevating

0126

1
2 her foot as you had instructed?
3 A. I don't recall.
4 Q. Why did you not withdraw and
5 remove the sutures over the area where
6 there was dehiscence?
7 A. By keeping these sutures intact
8 will prevent a worsening of the scar to
9 occur.
10 Q. How?
11 A. By keeping the wounds more
12 coapted.
13 Q. If this patient had an infection
14 in that area where this suture was located
15 by keeping the suture in, does that cause
16 any problem as far as accumulation of
17 fluid or any pus that might accumulate and
18 need to get out?
19 A. No.
20 Q. Does it prevent fluid from
21 coming out if the suture is still in
22 keeping the wound closed?
23 A. Well, there were fluid coming
24 out already.
25 Q. Was it your opinion that the

0127

1
2 patient had an infection as of May 24,
3 ?
4 A. No.
5 Q. Tell me what the signs of an
6 infection are, Doctor.
7 A. Pain, redness, swelling.
8 Q. According to your note the
9 patient had pain, correct?
10 A. Yes.
11 Q. And according to your note, she
12 had edema, which is swelling, correct?
13 A. Yes.
14 Q. And she also had mild erythema
15 which is redness, correct?
16 A. Yes.
17 Q. And she also had this mild

18 serosanguineous drainage, correct?

19 A. Yes.

20 Q. According to your definition,
21 that would be considered to be an
22 infection, correct?

23 A. That's not the only definition.

24 Q. Okay.

25 : Just note my

0128

1

2 objection. You asked him what signs
3 were, not definitions. So the signs
4 are different than definition.

5 Q. What was it about this patient's
6 clinical condition that suggested to you
7 that the patient did not have an infection
8 on this date?

9 A. There was no increased
10 temperature of the foot. There's no
11 redness that's stemming away from the
12 wound site.

13 Q. Anything else?

14 A. No.

15 Q. Now, the redness stemming away
16 from the wound site, what do they call it
17 tracking?

18 A. Or cellulitis.

19 Q. Can you still have an infection
20 without an increase in temperature,
21 localized infection?

22 A. In general?

23 Q. Yeah, in general.

24 A. Yes.

25 Q. Can you have an infection

0129

1

2 without a cellulitis, without tracking or
3 the redness stemming away from the wound
4 site?

5 A. It's possible.

6 Q. If you felt that the patient did
7 not have an infection, would it be
8 appropriate to give her antibiotics?

9 A. Yes.

10 Q. Why?

11 A. Again, we're -- this is where
12 the prophylaxis would come in. We could,
13 again, we could also treat to prevent and
14 if there was an infection, it would also
15 help.

16 Q. Other than redressing the foot
17 at that time on May 24th, did you provide
18 her with any other treatment for this open
19 wound?

20 A. No.

21 Q. Did you take a wound culture at
22 that time?

23 A. No.

24 : Asked and answered.
25 MR. OGINSKI: I'm sorry.

0130

1

2 Q. The purpose of placing sutures
3 is to keep the skin together, correct --

4 A. That's correct.

5 Q. -- following surgery?

6 Now, if the wound -- how can a
7 wound dehiscence if the sutures are there
8 keeping it closed?

9 A. There's enough drainage coming
10 through.

11 Q. If a wound dehisces, is it good
12 practice to remove the suture to allow the
13 leakage and drainage to occur?

14 A. Not necessarily.

15 Q. Under what circumstance would
16 you remove a suture in order to allow
17 drainage, leakage of the fluid to occur?

18 A. If there was an abscess inside
19 the wound or there's enough tension along
20 the suture line causing ischemia.

21 Q. Are there any other reasons you
22 would take out a suture if you observe a
23 dehiscence of a wound?

24 A. No.

25 Q. If the suture is not removed,

0131

1

2 Doctor, and the infection is present and
3 progresses, where is the infection likely
4 to go if the suture still remains? Are
5 you able to tell?

6 : Objection. I don't
7 understand your question. Could you
8 rephrase it?

9 MR. OGINSKI: Um-hum.

10 Q. Going back to the May 15th
11 visit, Doctor, are you removed both K
12 wires at that time?

13 A. That's correct.

14 Q. Why did you do that?

15 A. Because patient is still having
16 swelling.

17 Q. Customarily, how long do you
18 leave the K wires in, assuming there's no
19 complication?

20 A. About four to six weeks.

21 Q. What is the purpose of keeping
22 the K wires in for that period of time?

23 A. Just to keep the toes splinted.

24 Q. Does it provide stability?

25 A. Stability in terms of?

0132

1

2 Q. Well, you said "keep the toes
3 splinted." Why is that important?

4 A. Try to keep it straight.

5 Q. When you removed the K wires,
6 what is the effect, if any, on the patient
7 and the surgical site?

8 A. Well, they could get a
9 recurrence of the contracture of the
10 digits.

11 Q. What made you believe that the
12 patient's swelling was in any way related
13 to the K wires?

14 A. I was more worried -- probably
15 because if there was swelling, the K
16 wires, would just make the situation
17 worse.

18 Q. How?

19 A. Because at this time, with the K
20 wires intact and the digits in straight
21 position, there's a possibility for
22 ischemia to the digits.

23 Q. Can you be more specific and
24 explain why?

25 A. Swelling, the swelling could cut
0133

1
2 off circulation.

3 Q. What is it about keeping the K
4 wires in there that would cause that
5 ischemia? In other words, I'm not clear
6 on the process of how that works.

7 A. It's not going to allow the toes
8 to move adequately.

9 Q. Was it your opinion, Doctor,
10 that as of May 24th when the wound had
11 dehisced for the first time, that it was
12 appropriate to keep the suture at the
13 location of the first ray by the first
14 MPJ?

15 A. You're asking if it's
16 appropriate?

17 Q. Was it appropriate in this case?

18 A. Yes.

19 MR. OGINSKI: Why don't we take
20 a break?

21 (A short recess was taken.)

22 Q. Doctor, when do you take
23 postoperative X-rays to evaluate the
24 wound?

25 : Objection to the

0134

1
2 general nature of the question. It
3 can vary from patient to patient.

4 A. Usually postoperatively the
5 first week.

6 Q. And do you then take additional
7 postoperative X-rays at any time after
8 that?

9 A. Sometimes.

10 Q. And under what circumstances
11 would you take another set?

12 A. If I feel that it's necessary.

13 Q. Tell me why you changed the
14 patient's pain relief to Vicodin on May
15 24th?

16 A. We changed from Vicodin extra
17 strength to Vicodin. We were trying to
18 lower the pain medication.

19 Q. Did you learn from the patient
20 whether she had had any relief when taking
21 the Vicodin, in other words, was it
22 helping, working?

23 A. I don't recall.

24 Q. Is there anything in your note
25 to suggest that the pain relievers were

0135

1
2 working?

3 A. No.

4 Q. Is there anything to suggest
5 that the erythema, the edema was affected
6 or changed in any way from -- as a result
7 of the antiinflammatory medication?

8 A. No.

9 Q. Let's turn, please, to the next
10 visit, June 7th, . You had told the
11 patient to return in one week, which would
12 be the end of May or the beginning of June
13 and she returns instead two weeks later,
14 correct?

15 A. That's correct.

16 Q. Because she came to you two
17 weeks later, did she suffer any injury or
18 problem that you can attribute to her not
19 returning to your office a week earlier?

20 A. Not sure.

21 Q. What complaints, if any, did she
22 make on June 7th?

23 A. Well, she's still having the
24 same pain on ambulation.

25 Q. What exact pain was that?

0136

1
2 A. Left foot pain.

3 Q. Where?

4 A. Don't have a specific location.

5 Q. And is it important for you as a
6 podiatrist who is treating the patient
7 postoperatively to understand and to know
8 exactly where the pain is, whether it's
9 superficial skin pain or whether it's
10 something more significant?

11 A. Not necessarily.

12 Q. Tell me why.

13 A. Well, most of the pains we're
14 seeing now we're still under the
15 assumption that it's still postoperative

16 pain and it can be anywhere within the
17 left foot.
18 Q. The fact that she only had the
19 pain upon ambulating, what does that tell
20 you, if anything?
21 A. Swelling.
22 Q. That the pain is related to the
23 swelling?
24 A. That's correct.
25 Q. But yet, on your examination,
0137

1
2 you observed there was decrease swelling
3 and erythema?
4 A. That's correct.
5 Q. On May 24th, the edema and
6 erythema that you observed, that was over
7 specifically the first ray of the left
8 foot, right?
9 A. That's correct.
10 Q. And on June 7th you observed
11 that that swelling and erythema was
12 decreased in comparison to the prior
13 visit, right?
14 A. That's correct.
15 Q. Was there drainage when you took
16 off the dressing that you observed?
17 A. I don't recall.
18 Q. Was there any fluid collection
19 noted on the dressing, on the bandages?
20 A. I don't recall.
21 Q. The wound incisions for the
22 second digit and third digit and left
23 heel, these wounds were healing nicely,
24 correct?
25 A. That's correct.

0138
1
2 Q. You wrote "sutures," plural,
3 "still intact first ray?"
4 A. That's correct.
5 Q. That tells you that more than
6 one suture was still in, correct?
7 A. On the first ray.
8 Q. Yes. And this is the ray that
9 had the dehiscence?
10 A. That's correct.
11 Q. Are you able to tell me how many
12 sutures were still in?
13 A. No.
14 Q. And can you tell me why you did
15 not remove that or those sutures on June
16 7th?
17 A. There was no need to.
18 Q. What was the purpose of keeping
19 those sutures in place on June 7th?
20 A. Again, to keep the wound or the
21 incision site well coapted.

22 Q. Is there anything in your note
23 to indicate that the wound was not
24 coapted?

25 A. There's nothing in my note that
0139

1
2 says the dehiscence has disappeared.

3 Q. If you look in your assessment,
4 Doctor, the second to last paragraph on
5 the bottom, it says "mass left foot" --
6 I'm sorry, let me read the whole
7 thing.

8 "Status post bunionectomy,
9 arthroplasty second and third digits and
10 excision ST. Mass left foot resolved
11 wound dehiscence." Okay.

12 Does that refresh your memory
13 that the wound dehiscence that you had
14 observed on the last visit May 24th has
15 resolved?

16 A. That might have been a mistake.
17 It could mean resolving. It doesn't
18 necessarily mean the wound -- there must
19 have been a reason or something that would
20 have made me decide to keep the sutures
21 intact.

22 Q. If the wound which had dehisced
23 on the first ray at the first MPJ area had
24 resolved and the wound was no longer open,
25 would you agree that it would be
0140

1
2 appropriate at that point to take out the
3 sutures?

4 MR. : Can you read that
5 back, please, ?
6 (The requested portion was read
7 back.)

8 MR. : Are you asking him
9 whether it would have been appropriate
10 to remove the sutures at the prior
11 visit?

12 MR. OGINSKI: No.

13 Q. On June 7th, if you saw that
14 there was no longer a dehiscence and that
15 had resolved, would you agree that it
16 would be appropriate at that time to
17 remove the sutures?

18 A. No.

19 Q. Why?

20 A. Again, it depends.

21 Q. On what?

22 A. If I felt that the wound is
23 not -- if I take the sutures out, it would
24 open up the incision site again. I
25 wanted -- the sutures right now is just to
0141

1

2 keep tension along the skin. There is no
3 contraindications to keep sutures in
4 longer.

5 Q. You indicated, Doctor, in your
6 observation or in your objective paragraph
7 you said "sutures still intact first
8 ray. And digits two and three and left
9 heel are good skin coaptation." Did the
10 coaptation refer to the second and third
11 digits or also the first ray?

12 A. It only refers to the second,
13 third and left heel.

14 Q. Are you able to tell from
15 reading your note under the assessment
16 when you write "resolved wound dehiscence"
17 whether that refers to the only dehiscence
18 that you had observed at the first ray?

19 A. Yes, that would refer to only
20 the first ray.

21 Q. So if there is no longer a
22 dehiscence, what would make you keep the
23 sutures in in this particular patient's
24 case?

25 A. I've answered that. I felt that
0142

1
2 the wound needed to continue to be
3 contracted or coapted.

4 Q. Why?

5 A. Otherwise the incision might
6 have opened.

7 Q. And is there anything in your
8 note to reflect those thoughts?

9 A. No.

10 Q. Did you form any opinion as to
11 whether this patient had any type of
12 infection?

13 A. Well, I had an opinion that she
14 might have an infection.

15 Q. And what, within your notes,
16 suggests that the patient might have an
17 infection as of June 7th?

18 A. Well, on June 7th I don't
19 believe she had an infection.

20 Q. That's what I'm asking. Maybe I
21 wasn't clear, sorry.

22 As of June 7th, did this patient
23 have an infection?

24 A. No.

25 Q. As far as you know, had the
0143

1
2 patient taken the Augmentin that you had
3 prescribed for her?

4 A. Yes.

5 Q. Was there any purulent drainage
6 that you observed on this visit?

7 A. No.

8 Q. Was the area surrounding the
9 first ray first MPJ joint, was it warm to
10 the touch as in an infection?

11 A. I don't recall.

12 Q. Did the patient have any fever?

13 A. I don't recall.

14 Q. Did you observe any necrosis on
15 June 7th?

16 A. No.

17 Q. Did you observe any fluctuance?

18 A. No.

19 Q. Did the patient have an ulcer at
20 that point at that location, the first
21 ray, first MPJ?

22 A. No.

23 Q. Did the patient express to you
24 any feelings of malaise or just feeling
25 tired or fatigued?

0144

1

2 A. I don't recall.

3 Q. Did the patient have evidence of
4 cellulitis?

5 A. No.

6 Q. Was the patient still taking the
7 antibiotics as of the June 7th visit?

8 This is now two weeks after the May 24th
9 visit.

10 A. I don't recall how long I had
11 her on the antibiotics for.

12 Q. When you prescribe a medication
13 for the patient, do you actually give them
14 a script or do you call it into their
15 pharmacy?

16 A. I give her a script.

17 Q. Do you make copies of that
18 script?

19 A. No.

20 Q. By the way, are you still
21 affiliated with Dr. 's office?

22 A. No.

23 Q. When did your affiliation with
24 him end?

25 A. .

0145

1

2 Q. Do you recall when?

3 A. Not exactly.

4 Q. Winter, spring, summer, fall?

5 A. I can't recall.

6 Q. Did your affiliation with Dr.
7 end as a result of anything
8 involving his particular patient?

9 A. No.

10 Q. Where do you currently work?

11 A. I have a private practice.

12 Q. Where?

13 A. , New York.

14 Q. What's the address, please?

15 A. ,
16 New York .

17 Q. What is the name of your
18 practice?

19 A. , DPM, PC.

20 Q. Were you born in the United
21 States?

22 A. Yes.

23 Q. Your last name , what is its
24 ancestry? It's unusual.

25 MR. : Objection. Don't

0146

1

2 ask that on the record. Then I'm
3 going to start handing you with Batz
4 objections.

5 MR. OGINSKI: That's fine.

6 Q. Doctor, how long have you been
7 at your office in ?

8 A. Four years.

9 Q. Are you affiliated with any
10 hospitals now?

11 A. Yes.

12 Q. Which ones?

13 A. ,
14 .

15 Q. And what are your affiliations
16 with those hospitals?

17 A. As an admitting attending.

18 Q. In podiatry?

19 A. That's correct.

20 Q. Have you ever testified before?

21 A. Yes.

22 Q. How many times?

23 A. Twice.

24 Q. In what capacity? Expert,
25 witness, somebody who's been sued or

0147

1

2 something else?

3 A. Once as an expert. Once as a
4 defendant.

5 Q. And when you testified as an
6 expert, was that at trial or in a
7 deposition like we're doing now?

8 A. No. At a trial.

9 Q. And was that on behalf of a
10 patient or on behalf of a doctor who's
11 being sued or something else?

12 A. On behalf of a patient.

13 Q. Do you recall what county that
14 was in?

15 A. New York.

16 Q. How long ago?

17 A. Maybe six years ago.

18 Q. And the case where you testified
19 as a defendant, did you testify at trial?

20 A. No, deposition.
21 Q. How long ago was that,
22 approximately?
23 A. Three years ago.
24 Q. As of June 7th, the patient is
25 now five weeks postoperative. Is it

0148

1
2 customary to keep sutures in that long?
3 MR. : Objection. Asked
4 and answered. You've gone through the
5 reasons why he would have kept them in
6 longer. You've gone through the
7 reasons why they would have been kept
8 in a different time period. I think
9 you've questioned about that already.

10 Q. When did you intend on removing
11 this patient's sutures?

12 A. When it was necessary.

13 Q. Did you have an opinion on June
14 7th as to when you expected to remove
15 those sutures?

16 A. Yes.

17 Q. When?

18 A. When it was ready.

19 Q. Did you have an opinion as to
20 when that might be?

21 A. In the near future.

22 Q. Did you record anything in your
23 note as to when that would be?

24 A. No.

25 Q. You wanted the patient to

0149

1
2 continue to remain partial weightbearing,
3 correct?

4 A. Yes.

5 Q. And to remain in the surgical
6 shoe?

7 A. That's correct.

8 Q. Why did you still want her to
9 remain partial weightbearing?

10 A. She just started to resolve the
11 swelling.

12 Q. Was there any evidence, in your
13 mind, that the bone was not healing
14 properly or correctly at that time?

15 A. No.

16 Q. And did you learn from Ms.
17 that your instructions for her to
18 elevate her leg, did you learn that she
19 was doing as you instructed?

20 A. I don't recall.

21 Q. You told her to return to your
22 office in one week and she returned to
23 your office three weeks later on June
24 28th. Again, the same question: Did any
25 of the problems that she presented with on

0150

1

2 June 28th, were they a result of the
3 additional two week delay in returning to
4 your office?

5 A. I don't know.

6 Q. Are you able to tell from any of
7 the complaints that she had on June 28
8 whether they were a result of not
9 returning to your office two weeks
10 earlier?

11 A. She started to soak her foot.

12 Q. Did you ever tell the patient to
13 soak her foot in Epson salt?

14 A. No.

15 Q. What is Epson salt?

16 A. It's a salt.

17 Q. Have you ever told patients to
18 soak their feet in Epson salt?

19 A. Have I ever told any patient?

20 Q. Yes.

21 A. Yes.

22 Q. Why do you do that? What is the
23 purpose of that?

24 A. Therapeutic.

25 Q. What does that accomplish?

0151

1

2 A. I guess the same thing you get
3 when you get a massage.

4 Q. It's temporary superficial
5 relief?

6 A. Yes.

7 Q. What did she tell you as to why
8 she was unable to return to your office,
9 as you indicated to come back a week
10 later?

11 A. Well, I wrote down that she's
12 having transportation issues.

13 Q. Did you ask her what she meant
14 by that?

15 A. She can't afford to get to the
16 office and when she calls a like
17 Access-A-Ride or one of those services, it
18 takes forever. And she just can't make it
19 to the office.

20 Q. Did you suggest that she go to
21 somebody more local to her or closer to
22 her?

23 A. I don't recall if I suggested
24 that.

25 Q. The fact that she started

0152

1

2 soaking her foot in Epson salt, what did
3 that mean to you, if anything?

4 A. Well, that could soften up the
5 wound edges and could have caused the

6 dehiscence again.

7 Q. Is there any other risk for
8 using Epson salt soak for her condition as
9 it existed when you last saw her on June
10 7th?

11 A. Could you --

12 Q. Sure. Other than softening up
13 the edges of the wound, is there any other
14 risk to her for doing a soak like this?

15 A. Sure. The incision is not
16 completely closed, which is why the
17 sutures are still intact, yes, soaking it
18 in any water can cause problems.

19 Q. Now, you told me a little
20 earlier on the June 7th note that the
21 dehiscence had resolved?

22 A. That's correct.

23 Q. And the sutures were still in.
24 So what would lead you to believe that the
25 wound might still be open?

0153

1

2 A. That's why I leave the sutures
3 in.

4 Q. I'm not clear, Doctor, I'm
5 sorry.

6 The sutures caused the skin to
7 be closed, correct?

8 A. No, it does not cause the skin
9 to be closed.

10 Q. It allows the skin to close?

11 A. No. It keeps the skin coapted.

12 Q. And as of the time you saw her
13 on June 7th, there was no indication that
14 that wound was open, correct?

15 A. No, there was no indication that
16 it was completely closed.

17 Q. Is there anything in your note
18 to suggest that the wound was open in any
19 fashion at all?

20 A. The note prior to that visit.

21 Q. I'm only talking about the June
22 7th visit where you have the sutures still
23 intact and the wound dehiscence has
24 resolved.

25 A. That's correct.

0154

1

2 Q. Is there anything in your notes
3 to suggest that that wound on the first
4 ray, the first MPJ was open in any regard?

5 A. There's nothing in my notes.

6 Q. Did the patient have any
7 complaints of pain on June 28th?

8 A. No.

9 Q. You observed mild
10 serosanguineous drainage again, correct?

11 A. That's correct.

12 Q. Where did you observe this?
13 A. From the first ray.
14 Q. Was this in the same location
15 that you had originally observed it on the
16 24th of May?
17 A. I'm not sure.
18 Q. Was it in a different incision
19 or was it the same incision?
20 A. Same incision.
21 Q. Are you able to describe the
22 dehiscence as to how much opened?
23 A. I did not mention it.
24 Q. Did you take any photographs at
25 that time?

0155

1
2 A. No.
3 Q. You noted something new that was
4 not present before. You say that the
5 patient had necrotic tissue?
6 A. That's correct.
7 Q. And where did you observe the
8 necrotic tissue?
9 A. Probably surrounding the wound
10 on the incision.
11 Q. Was it on the wound edges or
12 somewhere else?
13 A. I did not describe that.
14 Q. What was the significance of
15 that finding to you, if any, the fact that
16 now the patient has necrotic tissue where
17 before there was none?
18 A. That the wound might be getting
19 worse.
20 Q. Did you form an opinion as to
21 whether this patient's dehiscence came
22 about as a result of her Epson salt soaks?
23 A. Yes.
24 Q. What was your opinion?
25 A. That it's possible.

0156

1
2 Q. What else is possible as to what
3 could have caused the dehiscence other
4 than the Epson salt soak?
5 A. Her -- because of her history of
6 missing appointments, we don't know what
7 she's been doing.
8 Q. Did you ask her whether there
9 was anything she did during those two
10 weeks that could account for opening up of
11 the wound?
12 A. I don't recall.
13 Q. You wrote "started soaking foot
14 in Epson salt last week." Did you ask her
15 why she did that?
16 A. I don't recall.
17 Q. Is there anything in your note

18 that would indicate why she did that?
19 A. Nope.
20 Q. Is that something you would have
21 expected to ask her why did you do it?
22 A. If I would expect to ask?
23 Q. No. A patient tells you now
24 she's missed two weeks --
25 I'm sorry.

0157

1
2 She comes to your office three
3 weeks after being told to come back one
4 week. She tells you that only last week
5 she soaked her foot in an Epson salt soak.
6 Would you expect to ask her why did you do
7 that?

8 A. I might have.
9 Q. Did you ask her whether she
10 changed the dressing in order to soak her
11 foot in an Epson salt?

12 A. I believe I had her start
13 changing the dressing herself. Yeah, back
14 in May 24.

15 Q. And where do you indicate that,
16 Doctor?

17 A. Change dressing every other day.

18 Q. Okay. On the third line of your
19 objective paragraph you write "full ROM,"
20 that's range of motion?

21 A. Third line?

22 Q. Yes, right there.

23 A. Oh, yes.

24 Q. "First MPJ mild wound dehiscence
25 to dorsal first MPJ left foot," where does

0158

1
2 that actually refer to?

3 A. The top of the joint.

4 Q. Is that any different from the
5 location of the dehiscence that you
6 observed back on May 24th?

7 A. That's still in the same
8 incision site.

9 Q. Now, in your assessment, do you
10 write wound dehiscence left foot with mild
11 cellulitis? You told me at the beginning
12 that a cellulitis was a localized
13 infection?

14 MR. : Or inflammation, he
15 said.

16 MR. OGINSKI: Thank you.

17 Q. In this particular case on June
18 28th, what was your understanding or
19 impression as to whether this was an
20 infection, inflammation or something else?

21 A. Well, there's a possibility for
22 an infection again.

23 MR. : Anything else

24 besides a possible infection?
25 THE WITNESS: And patient not

0159

1

2 compliant.

3 Q. In what way, that she didn't
4 return?

5 A. She's doing stuff that I did not
6 tell her to do.

7 Q. How could an Epson salt soak
8 cause cellulitis, if at all?

9 A. Because it weakens the skin
10 integrity and pathogens are now able to
11 enter the wound.

12 Q. You told her specifically don't
13 soak her foot in Epson salt?

14 A. That was my initial post-op
15 instruction.

16 MR. : On the 28th.

17 Q. On the 28th?

18 A. Yes.

19 Q. Did she indicate to you or
20 verbalize to you that she would follow
21 that instruction?

22 A. It's not written in my notes.

23 Q. How many times did she soak her
24 foot in Epson salt prior to her arrival on
25 June 28th?

0160

1

2 A. I don't know.

3 Q. Are you able to tell from your
4 note how many times she did that?

5 A. No.

6 Q. And would she still be
7 susceptible to the softening of the wound
8 edges with just one soak?

9 A. Sure.

10 Q. Did she have an infection on
11 June 28th?

12 MR. : Objection. Asked
13 and answered. He said it was a
14 possible infection.

15 Q. Why did you prescribe Augmentin?

16 A. Because it worked before.

17 Q. Did you obtain a wound culture?

18 A. No.

19 Q. Did you take X-rays to evaluate
20 the patient's wound or bone?

21 A. I took one in the prior visit.

22 Q. On June 7th you took X-rays?

23 A. That's correct.

24 Q. How many X-rays did you take?

25 A. I don't know.

0161

1

2 It looks like I took one X-ray.

3 Q. What is the date of that X-ray?

4 A. June 7th.
5 MR. : Let the record
6 reflect in response to the question,
7 Dr. took out the original X-rays
8 and looked at the dates June 7th.
9 Q. What view is that, Doctor?
10 A. The DP.
11 Q. Have you ever been able to
12 observe evidence of osteomyelitis on an
13 X-ray?
14 MR. : In this patient?
15 Q. In your career.
16 A. Yes.
17 Q. Looking at this June 7th X-ray,
18 Doctor, is there any evidence of
19 osteomyelitis anywhere in the patient's
20 foot?
21 A. No.
22 Q. Am I correct that an X-ray is
23 not a useful diagnostic tool to diagnose
24 or help you diagnose infection, correct,
25 soft tissue infection?

0162

1
2 A. Right.
3 Q. Was the patient still using a
4 cane as of June 28th?
5 A. I'm not sure.
6 Q. Did you still want her to
7 continue partial weightbearing on June
8 28th?
9 A. Yes.
10 Q. Do you indicate that anywhere in
11 your note?
12 A. No.
13 Q. Had the edema or erythema that
14 you saw on June 28th, had it changed at
15 all from any of the prior visits?
16 A. I don't know.
17 Q. Is there anything in your note
18 to suggest that the edema or erythema had
19 changed in any regard?
20 A. No.
21 Q. Were the sutures still in on
22 June 28th.
23 A. Yes.
24 Q. Did you remove the sutures on
25 June 28th?

0163

1
2 A. No.
3 Q. Why not?
4 A. Still did not think it was
5 necessary.
6 Q. Tell me why.
7 A. Again to keep the wound coapted.
8 Q. Where was the serosanguineous
9 drainage that you observed coming out of?

10 A. The incision site on the first
11 left MPJ.

12 Q. What made you think that by
13 keeping the sutures in that would benefit
14 the patient?

15 A. Again, it's to limit a scar and
16 to help resolve whatever issues she's
17 having with this wound.

18 Q. If she did, in fact, have an
19 infection, is it appropriate at this point
20 to continue to leave the wound with those
21 sutures in?

22 A. Yes.

23 Q. Tell me why.

24 A. Again, it's not a
25 contraindication to remove sutures at this
0164

1
2 point.

3 MR. : Did you mean a
4 contraindication to keep it in?

5 THE WITNESS: Right.

6 Q. Did you debried any of the
7 necrotic tissue that you observed?

8 A. Yes.

9 MR. : Lunch is here.

10 (A lunch recess was taken from
11 1:15 to 1:40 p.m.)

12
13
14
15
16
17
18
19
20
21
22
23
24
25
0165

1
2 , resumed the
3 stand and testified further:

4 EXAMINATION BY

5 MR. OGINSKI:

6 Q. Doctor, once started to observe
7 drainage, would it be good medical
8 practice to remove the sutures to allow
9 continued drainage so that complete
10 drainage can occur?

11 MR. : In this case Mrs.
12 ?

13 MR. OGINSKI: Yes.

14 A. Not necessarily.

15 Q. Not necessary or not

16 necessarily?
17 A. Not necessarily.
18 Q. Why?
19 A. Because at this time I don't
20 believe the sutures were inhibiting any of
21 the drainage.
22 Q. In instances where the sutures
23 did inhibit drainage, would you agree you
24 would then remove the sutures to allow
25 complete drainage?

0166

1
2 A. Yes.
3 Q. Why?
4 A. Because then the suture would be
5 inhibiting the drainage.
6 Q. What effect could it have on the
7 patient if the drainage was inhibited?
8 A. It can build an abscess.
9 Q. What is an abscess?
10 A. The collection of fluid in soft
11 tissue.
12 Q. What could that cause?
13 A. An infection, a deep infection.
14 Q. Can you tell me, Doctor, when
15 you did take out the sutures on the first
16 ray, the first MPJ joint?
17 A. I'm not sure. It might have
18 been at the hospital.
19 Q. Let's just go through it and
20 then I'm going to go through in detail.
21 On the July 10, visit, is there any
22 notation that you removed the sutures on
23 that visit?
24 A. July 10th?
25 Q. 10th.

0167

1
2 A. No.
3 Q. And as of the following visit
4 July 26th, she's already postoperative
5 from being in
6 , correct?
7 A. That is correct.
8 Q. Doctor, going back to the Epson
9 salt soak, if the patient has an
10 underlying infection in the wound, would
11 the wetness cause the wound to reopen?
12 A. Could you repeat that question?
13 Q. Sure.
14 You've told me that the Epson
15 salt soak can cause the wound edges to
16 soften and to open, correct?
17 A. That's correct.
18 Q. Now, can that occur in the
19 absence of any infection?
20 A. Yes.
21 Q. Can it also occur in the

22 presence of an infection?
23 A. Yes.
24 Q. Based upon your observation, she
25 had necrotic tissue, she had bloody

0168

1
2 drainage, she had redness and swelling and
3 now she had an open wound. Is it your
4 opinion that as of June 28th, this
5 patient did not have an infection in the
6 wound?

7 A. That she had a possible
8 infection in the wound.

9 MR. : Objection. Asked
10 and answered. He answered that.

11 Q. What did you determine to
12 definitively diagnose whether she had an
13 infection in the wound?

14 A. I did not perform any definitive
15 test to prove that she had an infection.

16 Q. You did not take any X-rays on
17 the 28th of June, correct?

18 A. That's correct.

19 Q. You did not take any wound
20 culture?

21 A. No.

22 Q. You did not draw bloods for a
23 CBC or sedimentation rate, right?

24 A. Correct.

25 Q. Would it have been good practice

0169

1
2 to draw bloods to obtain a CBC and sed
3 rate at that time to evaluate her possible
4 infection?

5 A. It was not necessary at that
6 time.

7 Q. Why?

8 A. There was no evidence of any
9 systemic infection at this time.

10 Q. Describe what you mean by
11 systemic infection.

12 A. Fever, malaise.

13 Q. And is that what you would have
14 needed to see in order to draw bloods?

15 A. Well, that would have gave me a
16 better understanding that an infection
17 might be present.

18 Q. But in order to draw bloods, I'm
19 trying to find out what -- what are the
20 criteria that you use in order to draw
21 bloods in a suspected infection?

22 MR. : Objection. I think
23 he answered that before. He said if
24 there were evidence of any systemic
25 infection.

0170

1

2 Q. Are there occasions when a
3 patient does not have evidence of systemic
4 infection and you will still draw bloods?
5 A. No.
6 Q. Under what circumstance would
7 you obtain a wound culture?
8 A. If I see a purulent type
9 drainage with maybe a possible sinus
10 tract.
11 Q. And what would you see if there
12 was a drainage tract?
13 A. You will see a separation within
14 the soft tissue line.
15 Q. What would that suggest to you,
16 if anything?
17 A. That the infection is traveling
18 along a track. It's making a track.
19 Q. Did you observe any purulent
20 drainage on June 28th?
21 A. No.
22 Q. Did you observe any drainage
23 tract at that time?
24 A. No.
25 Q. The cellulitis that -- it was
0171

1
2 your assessment that she had a mild
3 cellulitis. Is that a localized
4 infection, inflammation or something else
5 specifically as it relates to
6 on June 28th?
7 A. Yes, it was a localized either
8 inflammation or an infection of the soft
9 tissue.
10 Q. Are there instances where a
11 patient will develop necrotic tissue with
12 an infection?
13 A. Yes.
14 Q. And are there instances where a
15 patient will development necrotic tissue
16 without an infection?
17 A. Yes.
18 Q. Did you form any opinion on June
19 28th that the patient had a compromised
20 blood supply to the tissues where you
21 observed the necrotic tissue?
22 A. I did have an opinion but there
23 was, again, no evidence that suggested
24 that she was vascular compromised.
25 Q. What was your opinion?

0172
1
2 A. My opinion was she had multiple
3 surgeries done and, if there was any
4 compromise of her vascular status, that
5 the digits would be the first -- the
6 lesser digits would be the first to show
7 signs of ischemia.

8 Q. I may have asked you this, sorry
9 if I did: Why would a patient develop
10 necrotic tissue so many weeks
11 postoperatively?

12 A. Again, if the wound is open, if
13 it's dried, that could cause necrosis.

14 Q. Anything else?

15 A. An infection can cause necrosis.

16 Q. And on June 28th, did you form
17 an opinion as to why this patient had
18 necrotic tissue, whether it was from an
19 infection or the wound had been open and
20 dried?

21 A. Well, it could be all of that.

22 Q. We know, based upon your note,
23 that she had an Epsom salt soak which
24 would obviously make her foot wet. We
25 know that there was necrotic tissue. Were

0173

1 you able to tell whether the necrotic
2 tissue occurred after the soak or before
3 the soak?

4 A. No.

5 Q. Once you clean away the dead
6 tissue, if you continue to see necrotic
7 tissue, what does that suggest to you?

8 MR. : Objection. I don't
9 understand. You're saying you can
10 remove the dead tissue and there's
11 still dead tissue?

12 MR. OGINSKI: No.

13 MR. : I didn't follow
14 that.

15 Q. Once you debried the necrotic
16 tissue and on another visit you see new
17 necrotic tissue, what does that suggest to
18 you?

19 A. At what time frame?

20 Q. Within a few weeks later?

21 A. A lot of things can happen in a
22 few weeks.

23 Q. Could one of the things indicate
24 that --

0174

1 MR. OGINSKI: Withdrawn.

2 Q. Let's go, please, to the next
3 visit July 10th. You write that "the
4 patient missed last week's appointment
5 because of transportation issue," correct?

6 A. That's correct.

7 Q. Did you again have a
8 conversation with her about what she could
9 do to try to resolve the transportation
10 issue?

11 A. That conversation might have
12 occurred with my office staff at the time.
13

14 Q. Do you know how that was
15 resolved, if it was?
16 A. No.
17 Q. She told you that she continued
18 to soak her foot in Epson salt last week?
19 A. That's correct.
20 Q. Did she tell you why or did you
21 ask her why?
22 A. I'm sure I might have asked why.
23 Q. Did you record what she said?
24 A. No.
25 Q. You write down "still notice

0175

1
2 large amount of drainage and wound getting
3 larger." Is that your observation or the
4 patient's observation?
5 A. That would be the patient's
6 observation.
7 Q. Again, you observed mild
8 serosanguineous drainage, correct?
9 A. That's correct.
10 Q. When you write positive wound
11 dehiscence, what does that mean?
12 A. That the wound is open.
13 Q. Left foot measuring 2
14 centimeters. That's the size of the
15 dehiscence?
16 A. Correct. But I probably did not
17 record all the dimensions. Because
18 there's only one measurement here.
19 Q. You have a memory as to what the
20 size was at that time?
21 A. No.
22 Q. This was something larger than
23 what you had observed at the last visit?
24 A. I'm not sure.
25 Q. Based upon the fact that you

0176

1
2 actually noted a measurement, does that
3 suggest that this is somewhat larger than
4 the last observation on June 28th?
5 A. That's possible.
6 Q. Again, you observe edema and
7 mild erythema, correct?
8 A. That's correct.
9 Q. Where do you observe that?
10 A. Surrounding tissue.
11 Q. Of the first ray, first MPJ?
12 A. That's correct.
13 Q. And again, you see this time
14 mild purulent drainage?
15 A. That's correct.
16 Q. And just to be clear, Doctor, on
17 the last visit, did you not observe
18 purulent drainage?
19 A. That's correct.

20 Q. Did you form an opinion on this
21 visit that the patient had an ongoing
22 infection?
23 A. Yes.
24 Q. Did you record that information?
25 A. No.

0177

1
2 Q. Is there a reason why you did
3 not?
4 A. I mean, there are a lot of times
5 where informations are not put on to the
6 notes.
7 Q. I'm just asking if there is a
8 specific reason that you remember --
9 A. Not that I recall.
10 Q. -- as you sit here now?
11 Okay. Did you ask her whether
12 she had taken the antibiotics that you had
13 prescribed for her, the Augmentin on June
14 28th?
15 A. I'm sure I must have asked that.
16 Q. As of July 10th, had she
17 finished her dose of antibiotics?
18 A. No.
19 Q. She was still taking it?
20 A. Yes.
21 Q. Was it your opinion that the
22 antibiotics were not effective in treating
23 this infection?
24 A. That's correct.
25 Q. What was it about your

0178

1
2 observations that suggested this
3 antibiotic was not effective in treating
4 this infection?
5 A. The wound is getting larger.
6 Q. What effect, if any, did her
7 soaking her foot in Epsom salt, again,
8 have on her wound getting larger?
9 A. It could be -- the soaking can
10 be a very large role in her problem.
11 Again, we don't know her situation at
12 home. Once the wound is open and been
13 soaked, was she dressing it? Was she
14 leaving it open? Again, we don't know if
15 she's using what type of water, if it's
16 sterile water, dirty water and the fact is
17 she is soaking it. There is a higher
18 chance of pathogens to enter the foot.
19 Q. Even with sterile water, right?
20 A. Well, yes. I mean her foot's
21 not sterile. So there could be debris
22 floating in the water.
23 Q. And, likewise, on the other
24 side, Doctor, is it possible that the
25 Epsom soak had nothing to do with

0179

1

2 continuing to open up her wound?

3 A. That is also possible.

4 Q. When you mentioned in your

5 assessment that she had mild cellulitis,

6 was it your opinion that this was a

7 greater form of cellulitis than you had

8 observed on the last visit, June 28th?

9 A. That I can't give you an answer

10 on that.

11 Q. This cellulitis that you

12 describe, at this point, you would

13 indicate that this is an infection,

14 correct?

15 A. Yes.

16 Q. Did you observe any necrotic

17 tissue on this visit?

18 A. No. Well, yes, I did.

19 Q. Look down at the second line.

20 A. Yes.

21 Q. Where did you observe the

22 necrotic tissue?

23 A. Again, it could have been at the

24 wound entrance.

25 Q. Did you form an opinion as to

0180

1

2 why she continued to develop necrotic

3 tissue?

4 A. Yes.

5 Q. What was your opinion?

6 A. That she might have an ongoing

7 infection.

8 Q. Did you obtain a wound culture?

9 A. No.

10 Q. Is there a particular reason why

11 you did not?

12 A. Because we were planning to

13 admit her to the hospital.

14 Q. Did you obtain X-rays?

15 A. At this point, no.

16 Q. Did you feel that this infection

17 impacted her healing ability and any bone

18 problem?

19 MR. : Objection,

20 compound. Could you split it up,

21 please?

22 MR. OGINSKI: Sure.

23 Q. Did you form an opinion as to

24 whether the infection she had as of July

25 10th was impacting her ability to heal her

0181

1

2 bone properly?

3 A. There's never been a point where

4 we thought the bone wasn't healing.

5 Q. Was it your opinion that this

6 infection was a soft tissue infection?
7 A. Yes.
8 Q. Was there any sinus tract or
9 drainage that you observed?
10 A. No.
11 Q. The tenderness to the first MPJ
12 of the left foot, did you attribute that
13 tenderness to be the infection or to
14 something else?
15 A. It could be either swelling or
16 infection.
17 Q. In comparison to prior visits,
18 did you make any observation that you
19 recorded about whether the swelling was
20 the same as prior visits, worse or better?
21 A. No.
22 Q. Why did you want to admit the
23 patient to the hospital?
24 A. To start her on IV antibiotic
25 and to remove the hardware.

0182

1
2 Q. Why did you want to remove the
3 hardware?
4 A. Well, that's an implant that's
5 in the deep soft tissue that could
6 contribute to some of the infection.
7 Q. And the hardware you're
8 referring to are the screws?
9 A. One screw.
10 Q. Is there any way for you to
11 determine whether there was an infection
12 in the area of the screw without removing
13 the screw?
14 A. No.
15 Q. Would repeat blood work have
16 assisted you in determining whether or not
17 there was an ongoing infection?
18 A. A repeat blood work?
19 Q. A CBC and a sedimentation rate,
20 would that have helped you for purpose of
21 diagnosis and treatment?
22 MR. : When you say
23 "repeat," you mean repeat from the
24 time of surgery?
25 MR. OGINSKI: Correct.

0183

1
2 A. If I was planning this as an
3 elective removal of a screw fixation,
4 yeah, repeat CBC would be ordered.
5 Q. Why?
6 A. Again, to make sure that the
7 patient's hemodynamically stable and make
8 sure if there is a white count that might
9 be affecting this infection.
10 Q. Now, the patient came to you on
11 July 10th. Tell me why you did not admit

12 the patient or send the patient to the
13 hospital to be admitted on July 10th?

14 A. We did not feel that there was
15 an emergency admission. There might have
16 been situation -- well, I can only
17 speculate from here.

18 Q. I don't want you to speculate.
19 Just tell me either based on your note or
20 what you specifically remember.

21 A. We were planning for a removal
22 of the screw. That's probably the date
23 that we were given for the OR.

24 Q. Are there instances where you
25 will or can obtain an operating room on an

0184

1

2 emergency basis?

3 A. Yes. If it's an emergency.

4 Q. It was your opinion that this
5 did not need to be removed on July 10th?

6 A. That's correct.

7 Q. Did you obtain any X-rays on
8 this date, on July 10th?

9 A. No.

10 Q. Did you ever consider the
11 possibility --

12 A. I don't know.

13 MR. : Do you want to
14 double check that?

15 THE WITNESS: Yeah, let me
16 double check that.

17 A. No, we did obtain X-ray on July
18 10th.

19 Q. You did or did not?

20 A. We did.

21 Q. Is there anything in your note
22 to confirm that you took X-rays and read
23 them at that time?

24 A. There's nothing in my notes.

25 Q. And the X-ray that you are

0185

1

2 looking at now, Doctor, that's dated July
3 10th, ?

4 A. That's correct.

5 Q. How many views?

6 A. Two views.

7 Q. Which ones?

8 A. D P and a lateral.

9 Q. Why did you obtain X-rays at
10 that time?

11 A. Looking to see what might be
12 causing this infection.

13 Q. Why would an X-ray assist you in
14 evaluating whether or not there was an
15 infection or the etiology of an infection?

16 A. Well, again, we're looking for
17 the integrity of the hardware to see if

18 there's any erosion around the area and
19 looking at the bone itself.

20 Q. Did you record your
21 interpretation of the X-rays anywhere in
22 your office record?

23 A. I did not.

24 Q. Is one of the reasons that an
25 interpretation does not appear there,

0186

1

2 could it be that you did not read and
3 interpret those X-rays at the time that
4 they were taken?

5 A. No.

6 Q. Is there any reason that you
7 know of, as you sit here now, as to why
8 your note or your interpretation of those
9 X-rays do not appear anywhere in your
10 office chart?

11 A. I don't recall the reason.

12 Q. What do those X-rays show,
13 Doctor, the July 10, ?

14 A. Again, we got good bone union
15 between the osteotomy site. The internal
16 fixation is intact and good position.

17 Q. In terms of correlating
18 infection with anything that's going on,
19 what, if anything, can you conclude from
20 looking at those X-rays?

21 A. That the most likely cause of
22 the infection is not coming from the bone.

23 Q. Is there any evidence of
24 osteomyelitis on either of those two
25 views?

0187

1

2 A. No.

3 Q. Just to be clear, on July 10th,
4 there's no indication that you removed the
5 patient's sutures on this visit, correct?

6 A. That's correct.

7 Q. Can you tell me why the
8 patient's sutures were not removed on this
9 visit?

10 A. It was not necessary.

11 Q. You told me earlier, Doctor,
12 that if there's purulent drainage where a
13 drainage tract is present that it would be
14 appropriate to remove the sutures,
15 correct?

16 A. No. I said if there's an
17 abscess.

18 Q. Thank you.

19 And did you form any opinion as
20 to whether this patient had an abscess?

21 A. There was no abscess.

22 Q. How would you recognize if there
23 was an abscess, what would you see?

24 A. Well, you would feel fluctuance.
25 Q. And that's the fluid?

0188

1
2 A. That's correct.
3 Q. Under the skin or in the area of
4 the wound?

5 A. Underneath the soft tissue, yes.

6 Q. Would you agree that this
7 infection that you observed on July 10th
8 was more than a superficial infection?

9 A. I agree it's getting worse.

10 Q. And when I say superficial
11 infection, I'm talking about something
12 relating to the skin?

13 A. Right.

14 Q. Now, these findings, except for
15 the purulent drainage, were all present on
16 the prior visit on June 28th, correct?

17 A. June 28 we did not have any
18 purulent drainage.

19 Q. That's right, that was the only
20 difference between your findings on the
21 28th and the 10th?

22 A. That's correct.

23 Q. Would you agree, Doctor, that
24 the earlier that you recognize and treat
25 an infection, the better it is for the

0189

1
2 patient?

3 MR. : Note my objection.

4 A. In general?

5 Q. Yes.

6 A. Yes.

7 Q. Why?

8 A. The earlier we start treatment.

9 Q. Do you have an opinion with a
10 reasonable degree of medical probability
11 as to whether this patient needed to be
12 admitted to the hospital for IV
13 antibiotics on June 28th?

14 MR. : Objection to form.

15 You can answer the question.

16 A. I did have an opinion and I did
17 not believe it was necessary to admit the
18 patient at that time.

19 Q. Did you attempt to change the
20 patient's antibiotics before sending her
21 into the hospital for IV antibiotics?

22 A. No.

23 Q. Would it have been beneficial
24 for the patient to try --

25 MR. OGINSKI: Withdrawn.

0190

1
2 Q. You told me a little while ago
3 that the Augmentin you did not feel was

4 working as of July 10th. In order to
5 determine what antibiotics work
6 appropriately, is it good practice to
7 obtain a wound culture?
8 MR. : Note my objection.
9 Are you asking generally speaking --
10 MR. OGINSKI: Generally.
11 MR. : -- or at a
12 particular time for this patient?
13 MR. OGINSKI: General.
14 A. In general there's not going to
15 be any antibiotics that's gonna work with
16 the conditions in this situation.
17 Q. Tell me why.
18 A. Because she's exposing her wound
19 to a dirty environment.
20 Q. So why don't antibiotics help
21 her?
22 A. Because as quickly as you're
23 killing the bacteria, you're probably
24 getting other microorganisms.
25 Q. Did you explain that to her?
0191
1
2 A. I'm sure I might have -- I'm
3 sure I would have.
4 Q. And to your -- was it your
5 impression that she understood whatever it
6 was that you were explaining to her?
7 A. I'm sure each time we gave her
8 strict instructions she appeared she
9 understood what we were talking about.
10 Q. Did you have to give the patient
11 a note to go to the hospital in order for
12 her to be admitted?
13 A. I'm sure I might have gave her
14 the directions and instructions.
15 Q. And did you make a copy of that
16 for your file?
17 A. No.
18 Q. I'd like you to turn, please, to
19 the hospital record for
20 for the July admission.
21 MR. : For the record, we
22 can use my copy for him to look at of
23 the hospital chart. Obviously, he
24 can't testify as to whether it's
25 complete or not. But we'll work on
0192
1
2 whatever documents they gave us
3 pursuant to authorization.
4 MR. OGINSKI: Okay.
5 Q. Did you see the patient on each
6 day that she was in the hospital?
7 A. I'm not sure.
8 Q. I'm going to go through the
9 dates, but, just off the top of your head,

10 after having reviewed the chart, do you
11 remember whether you saw her each day?

12 MR. : If you don't
13 remember, Doctor, take your time to go
14 through the chart.

15 Q. If you don't remember, I'll come
16 back to that later.

17 A. Yeah, I don't remember.

18 Q. Did you ever learn from
19 either during this hospital
20 admission or at any time while you were
21 treating her up until July 12 that she
22 used marijuana on occasion?

23 A. She did not relay that
24 information to me.

25 Q. Did you learn during this

0193

1
2 hospital admission that she had a history
3 of using marijuana?

4 A. I might have seen it.

5 Q. Did you learn that the reason
6 she used it was to help the pain that she
7 was experiencing in her left foot?

8 A. No.

9 Q. Did you read any notes in the
10 hospital record to indicate that the
11 reason she was using marijuana was to
12 alleviate the pain? Specifically let me
13 direct you to the history and present
14 illness on the bottom left of that page,
15 Doctor.

16 A. Sure. It says "to help her
17 pain." It doesn't say from what pain.

18 Q. Did you ever ask Ms.
19 whether she had pain from any other source
20 for which she was using marijuana?

21 A. I didn't perform this.

22 Q. I understand that. But at any
23 time did you ever ask her while she was in
24 the hospital?

25 A. Was she having any other pain?

0194

1
2 Q. Is it fair to say, Doctor, when
3 you are seeing and evaluating a patient in
4 a hospital setting that it's customary for
5 you, as the treating physician, to read
6 and review the patient's note, the notes
7 of her doctors in the hospital record?

8 A. That's correct.

9 Q. And during the course of this
10 hospital admission, did you read and
11 review the patient's history of present
12 illness at some point?

13 A. I might have reviewed it, yes.

14 Q. When you saw in the history
15 that, at least it's recorded that she used

16 marijuana to help her pain, did you ever
17 have a discussion with the patient as to
18 what pain that referred to?

19 A. No.

20 Q. Did you ever determine for
21 yourself as to whether there was any other
22 pain she was experiencing other than the
23 foot pain for which she used this
24 marijuana?

25 A. No.

0195

1

2 Q. With regard to alcohol
3 consumption, where it's recorded that she
4 was consuming two to three beers per day,
5 did you ever learn that information either
6 from reviewing the history or from talking
7 with the patient?

8 A. I knew from past history that
9 she did drink.

10 Q. Did you ever form an opinion up
11 until July 12th, , whether her alcohol
12 consumption in any way contributed to what
13 was going on with her foot condition?

14 A. I did have an opinion.

15 Q. What was that?

16 A. But I don't believe that was the
17 cause.

18 Q. Did you form an opinion as of
19 July of as to whether the patient's
20 marijuana usage contributed to anything
21 involving the patient's foot condition as
22 it existed in July of ?

23 A. Again, I believe that I did have
24 an opinion.

25 Q. What was the opinion?

0196

1

2 A. I do believe that her use of a
3 substance or a substance such as marijuana
4 can influence better judgment and could
5 have caused some of her missed office
6 visits as well as her lack of following my
7 instructions.

8 Q. And that's based upon what,
9 Doctor? What do you base that upon?

10 A. Because marijuana is known to
11 impair judgment.

12 Q. Short-term or long-term?

13 A. I don't know.

14 Q. And other than her ability to
15 possibly have an impaired judgment from
16 use of marijuana, did you ever form an
17 opinion as to whether the use of marijuana
18 itself caused or contributed to the
19 infection or opening of her wound?

20 A. I do have an opinion regarding
21 marijuana and I do believe that because

22 she used marijuana it would have affected
23 her judgment.

24 Q. Aside from judgment.

25 A. Her judgment of what she did

0197

1

2 postoperatively could have caused the

3 infection.

4 Q. I'm not talking about actions
5 that she took. I'm only asking whether
6 you have an opinion as to whether the
7 marijuana itself may have caused or
8 contributed to any infection or worsening
9 of her wound?

10 MR. : Excluding its
11 effect on her behavior and judgment?

12 MR. OGINSKI: Yes.

13 A. You're talking about just the
14 chemical?

15 Q. Yes.

16 A. Then, no, it does not contribute
17 to it.

18 Q. Can you turn, please, to the
19 physical exam?

20 MR. : Whose?

21 MR. OGINSKI: This would be, I
22 imagine, the history and physical.

23 MR. : Just show me which
24 page you're on.

25 MR. OGINSKI: Same one he's on

0198

1

2 now.

3 Q. Physical exam, it has a pain
4 intensity scale but under general
5 appearances "obese female. Patient in
6 severe pain." Do you see that? Did I
7 read that correctly, first line?

8 At the time that you saw her on
9 July 10th, did she make any expression to
10 you about the pain she was in?

11 MR. OGINSKI: I should rephrase
12 that.

13 Q. Did you record anything about
14 whether or not the patient had pain at
15 that time?

16 A. I did not document that.

17 Q. And did you form an opinion on
18 July 12 when the patient was admitted to
19 the hospital as to the reason for her
20 severe pain?

21 A. Did I see her on the 12th? I
22 did not see her on the 12th.

23 Q. Is there any particular reason
24 that you're aware of as to why you did not
25 see her on that first day of admission?

0199

1

2 A. Because I will be an acting
3 consultant, even though it is a patient
4 I'm bringing to the hospital, I am not
5 allowed to really see that patient until I
6 have been called on as a consult.

7 Q. It was your intention to perform
8 surgery to remove the screw, correct?

9 A. It was to -- that was part of
10 the admission.

11 Q. And was it your intention that
12 the screw removal would be done on the
13 same day that the patient was originally
14 admitted?

15 A. No.

16 Q. Was it your intention that the
17 patient would be started on IV antibiotics
18 prior to doing surgery?

19 A. That's correct.

20 Q. Now, going back, Doctor, to the
21 physical exam, the one where it says
22 "extremities." Keep going. Right. At
23 the bottom it says "an ulcer six by." Can
24 you read that number?

25 A. It looks like four.

0200

1
2 Q. Centimeters size. Can you read
3 the rest of that sentence?

4 A. "Size toe on the medial aspect
5 of the left leg. Metatarsals are exposed.
6 Some pus positive, negative blood,
7 negative edema, negative varicosities."

8 Q. The size that's recorded here, 6
9 by 4 centimeters, did that refresh your
10 memory as to the size of this patient's
11 open wound?

12 A. Those are incorrect. I mean,
13 this physical examination was done by a
14 medical resident who probably doesn't know
15 how to document wounds.

16 Q. How do you know that?

17 A. Well, they're saying left leg
18 and a toe. There's already a lot of
19 inconsistencies there.

20 Q. Do you have any personal
21 knowledge as to the individual who
22 actually made this observation, made this
23 notation?

24 A. Well, I went through the same
25 residency program and I know first year --

0201

1
2 Q. I'm only ask you whether you
3 specifically know --

4 MR. : Who this person is.

5 A. I don't know this person.

6 Q. Other than noting that the
7 person recorded left leg instead of left

8 toe or left foot, do you have any other
9 reason to believe that the measurement
10 that's recorded here is inaccurate?
11 A. Yes, I believe it's inaccurate.
12 Q. What other -- what do you base
13 that upon?
14 A. Well, I never observed any large
15 wound.
16 Q. Would you agree that a six by
17 four centimeter wound would be considered
18 large?
19 A. Yes.
20 Q. And what was -- did you record
21 on your last visit on July 10th the size
22 of this wound?
23 A. Again, I only have one
24 measurement on July 10th and I wrote down
25 measurement 2 centimeters.

0202

1
2 Q. When this individual wrote
3 metatarsals are exposed, what does that
4 mean to you?
5 A. It means the bone is exposed.
6 Q. Did you make similar observation
7 on July 10th?
8 A. No.
9 Q. Is that a significant finding,
10 Doctor?
11 A. Yes.
12 Q. Why?
13 A. Exposed bone can lead you to a
14 diagnosis of osteomyelitis.
15 Q. Is it possible that this
16 patient's wound increased in size from the
17 time that you saw her on July 10th until
18 the time that she presented on July 12th?
19 A. I don't think it's possible.
20 Q. Why?
21 A. None of our notes suggesting
22 while we were preoperatively seeing the
23 patient saw any exposed bone or a lesion
24 of that size.

25 Q. The individual who performed

0203

1
2 this particular history and physical, do
3 you know this person named _____, _____, S.
4 _____ or _____ ?
5 A. Again, I do not know this
6 individual.
7 Q. Do you know what year resident
8 this individual was?
9 A. No.
10 Q. Other than the comment you told
11 me about, no other observations prior to
12 surgery concerning the exposed
13 metatarsals, do you have any other --

14 MR. OGINSKI: Withdrawn.
15 Q. Would you expect a medicine
16 resident of any year to be able to
17 recognize whether bone was exposed or not,
18 an open wound?

19 MR. : Objection. He's
20 not a medical doctor, so he can't
21 comment about what the medicine
22 standard of care would be for a
23 medical resident.

24 Q. Doctor, you told me you went
25 through similar training at the same

0204

1
2 hospital?

3 MR. : As a podiatry
4 resident.

5 MR. OGINSKI: I'll rephrase it.

6 Q. Is it your opinion that a
7 medical resident would not be able to
8 recognize exposed metatarsals?

9 MR. : Again, I'm going to
10 object on standard of care questions.
11 If he wants to, you know -- if you can
12 answer that question as a layperson
13 commenting on observations, he can.

14 MR. OGINSKI: No, this is not a
15 standard of care question. He made a
16 comment that he doesn't think that
17 it's accurate. So I'm only trying to
18 find out why.

19 MR. : I'm going to let
20 him answer the question but the way
21 you phrased it is a standard of care
22 question for a medical doctor and that
23 a podiatrist can't give expert
24 opinions on. With that qualification,
25 he can answer the question.

0205

1
2 A. From my experience at the
3 hospital, I don't believe the medical
4 residents are trained adequately to
5 evaluate wounds of any sorts. They don't
6 usually document accurately.

7 If you're asking if they can see
8 a metatarsal, most likely. But they also
9 could probably make an error if they saw a
10 tendon as opposed to a bone.

11 Q. Why do you say that?

12 A. Again, that's my observations
13 with the medical residents.

14 Q. On the other hand, there are
15 residents who would be able to distinguish
16 the difference between a tendon and a
17 bone, correct?

18 A. That's correct.

19 Q. I would like you to turn please,

20 to the admission note dated July 13, .
21 MR. : Show me, please.
22 Q. A progress report.
23 A. Okay.
24 Q. Are you able to tell whose note
25 this is based upon the next page?

0206

1
2 A. Yes.
3 Q. Who is that?
4 A. Dr. .
5 Q. Spell it, please.
6 A. .
7 Q. Who is Dr. ?
8 A. He's a medical attending.
9 Q. I'm going to ask you to read Dr.
10 ' note, recognizing that it's not
11 your note. And as best you can, I would
12 like you to read what it says, Doctor.

13 MR. : The most important
14 thing, Doctor, is if you can't
15 decipher a word, don't guess. Okay?

16 THE WITNESS: Sure.
17 Q. By the way, if there's an
18 abbreviation, you don't have to read the
19 abbreviation, just tell me what it means?

20 MR. : If you know it.
21 A. "Patient is a middle age black
22 female with history of hypertension
23 admitted for pain to left great toe.
24 Patient on meds."

25 Q. Does that say hypertension?

0207

1
2 A. I don't know.
3 Q. Go ahead.
4 MR. : Now we're looking
5 at the word before hypertension.
6 A. Patient on meds. I don't know
7 what that is. I don't know what that is.
8 I don't know.

9 Q. Just tell me what line it is
10 that you are able to continue and start
11 reading on.

12 A. Sure. Okay, on the fourth line
13 she missed -- on the fifth line again she
14 writes "patient had bunion surgery April
15 , " with question mark something, "for
16 the suture site if," something, "stitches
17 busted."

18 Q. Does it say patient?

19 A. I don't know.

20 Q. Go ahead.

21 A. "Stitches busted with pus,"
22 something "no" -- I don't know.

23 Q. Let's go down to the second page
24 of his note, please, to the impression.
25 Number one, it says "cellulitis slash

0208

1

2 questionable abscess?"

3 A. That's correct. "Number two,
4 hypertension."

5 Q. And under podiatry, it says "for
6 Dr. " or something else?

7 MR. : Where?

8 Q. A couple of lines down.

9 A. "For Dr. , will examine
10 further with podiatry."

11 Q. What is ESR that appears right
12 under that?

13 A. Underneath ESR?

14 Q. No, what is ESR?

15 A. Sed rate.

16 Q. Question mark, I.D. CX?

17 A. Yes, consult.

18 Q. Did you have any conversation
19 with Dr. on July 13th?

20 A. I don't recall.

21 Q. Turn, please, to the July 12,
22 medicine admission note.

23 A. Okay.

24 MR. : July what?

25 MR. OGINSKI: July 12th.

0209

1

2 Q. The first full paragraph that
3 appears in the bottom third of the page,
4 again, I'm going to ask you to read that
5 note, Doctor, as best you can.

6 A. Okay. "Patient admitted as
7 direct admission under Dr. due to
8 the left foot cellulitis. As per patient
9 she had recently April surgery to
10 connect left" --

11 Q. Correct?

12 A. "Correct left toe deformity,
13 but" --

14 Q. Since?

15 A. Okay, "that time wound is still
16 open and very difficult to heal. There is
17 an open wound on the metatarsal area
18 dorsum right foot. Approximately 1.5 by
19 point 8 centimeter, deep point 5
20 centimeter and partial with skin change 2
21 centimeters."

22 Q. I'm sorry, Doctor, does it say
23 painful or partial?

24 A. It says partial.

25 Q. Go ahead.

0210

1

2 A. "Partial with skin change two
3 centimeter around above-mentioned central
4 area. As per patient, sometimes pus came
5 out of the wound. She has been followed

6 up by Dr. ."
7 Q. What does it mean to you where
8 he's recording the measurements it says
9 "approximately 1.5 by point 8 centimeters,
10 deep point 5 centimeters and partial with
11 skin changes 2 centimeters?"
12 A. I believe the first two
13 measurement, the point 5 is the length.
14 Q. 1.5?
15 A. 1.5 and the point 8 is the width
16 and the point 5 centimeters is how deep.
17 Q. And the reference to partial
18 what does that mean?
19 A. Partial, I believe he's talking
20 about the skin around the wound has some
21 changes.
22 Q. Okay. By the way, Doctor, in
23 the course of your training in the
24 podiatry training, did you learn how to
25 treat patients with wound care?

0211

1
2 A. Yes.
3 Q. And over the course of your
4 career up until the time that you were
5 seeing Ms. , you had treated
6 patients who had had infections in the
7 past, correct?
8 A. That's correct.
9 Q. Go, please, to the second page
10 of this physician's note.
11 By the way, do you know this Dr.
12 ?
13 A. No.
14 Q. Are you able to tell if this was
15 a resident or an attending?
16 A. Usually medical admission note
17 is done by a second year.
18 MR. : Second year
19 resident?
20 THE WITNESS: That's correct.
21 Q. And in the middle of the page
22 under skin and extremities, it says "left
23 foot open wound." Can you read the rest
24 of it?

25 A. Yes. Again, "1.5 by point 8

0212

1
2 centimeter surrounded by skin change
3 dark."
4 MR. : Do you want him to
5 keep reading?
6 MR. OGINSKI: Yeah.
7 Q. Go down to assessment and plan
8 please, number one.
9 A. "Left foot cellulitis open
10 wound. Start IV Ancef one gram cube six
11 hours. Wound care by podiatry. Blood

12 cultures, x-ray left foot to rule out
13 osteomyelitis. Hypertension under
14 control. Start hydrochlorothiazide and
15 ACE inhibitor. Obesity dietary evaluation
16 HG 1 AC."

17 Q. Did you form any opinion on July
18 13th that this patient might have
19 osteomyelitis?

20 A. I did have an opinion and it's a
21 possibility.

22 Q. What led you to that
23 possibility?

24 A. Again, we have an open wound for
25 a long period that is worsening.

0213

1

2 Q. If a patient had an acute
3 osteomyelitis, would you expect evidence
4 of that to show up on an X-ray?

5 A. Yes.

6 Q. How long does it take for
7 evidence of acute osteomyelitis to show up
8 on an X-ray?

9 MR. : Objection, asked
10 and answered. You asked that a few
11 hours ago and he said weeks.

12 Q. Doctor, at the bottom of this
13 page that we are reading from, it says
14 podiatry consult and the date, is that
15 July 13?

16 A. Yes.

17 Q. And that's your note?

18 A. That's correct.

19 Q. It says "full consult in chart?"

20 A. That's correct.

21 Q. Before we get to your note,
22 Doctor, I would like you to turn, please,
23 to another note titled MS3 also in the
24 progress report for July 13.

25 A. Okay.

0214

1

2 Q. Can you determine who wrote this
3 note?

4 A. Well, MS3 stands for medical
5 student third year. And it looks like
6 it's a Daniel Barron.

7 Q. Did you ever tell the patient
8 that she had poor circulation?

9 A. No.

10 Q. Did you ever suggest to Ms.
11 that the reason why she had
12 necrosis or necrotic tissue that you
13 observed on two different occasions was
14 because of poor circulation?

15 A. No.

16 Q. Did you ever form an opinion
17 that the reason she had necrotic tissue on

18 two prior occasions was because of poor
19 circulation?
20 A. I did have an opinion but I did
21 not believe it was due to poor
22 circulation.
23 Q. This individual, this medical
24 student writes on the second line
25 "complaints of pain ten out of ten in left
0215

1
2 foot due to infected surgery wound since
3 two months ago." Do you agree or disagree
4 with that statement?

5 A. That is ten out of ten, I'm not
6 sure what scale he's using, but in terms
7 of the surgery, it was a little more than
8 two months ago.

9 Q. What scales are there, Doctor,
10 for evaluating pain?

11 A. Again, I don't know what scale
12 he's using.

13 Q. What scale do you typically find
14 in a hospital setting that somebody --

15 A. It's usually the same scale
16 that's in the history and physical form
17 which is he noted five out of ten.

18 Q. Five to six out of ten?

19 A. That's correct.

20 Q. And that's in the graphical?

21 A. Right, the pain intensity scale.

22 Q. And the following day, do you
23 have any reason to believe that the
24 patient's pain was not as severe as
25 described here as being ten out of ten?
0216

1
2 A. Can you repeat that question?

3 Q. Sure. The pain intensity scale
4 we talked about was on the admission date
5 July 12th. This is now the following day
6 and someone, this medical student is
7 recording a pain of ten out of ten.

8 A. That's correct.

9 Q. Do you have any reason to
10 believe that that is not an accurate
11 reflection of the patient's extent of
12 pain?

13 A. I think that's a subjective
14 observation.

15 Q. Who's subjective, the medical
16 student?

17 A. The medical student.

18 Q. Or the patient?

19 A. The medical student.

20 Q. Typically, Doctor, when a doctor
21 or a nurse asks a patient well, how bad is
22 your pain on a scale of one to ten with
23 ten being the worst, one being the least,

24 if the patient replies that it's a ten, is
25 that the same thing? Is that subjective

0217

1

2 in the sense that it's not coming from the
3 person recording it but the person who
4 answered it?

5 A. Again, it could be the
6 situation. I'm not sure if Ms.
7 knew who this person was. It could have
8 been a nurse. Maybe she was asking for
9 pain meds. Maybe she was just
10 exaggerating the pain to get more pain
11 medication. I don't know the situation.

12 Q. Have you ever known Ms.
13 to exaggerate her condition in order to
14 seek drug medication or pain relievers?

15 A. No. But she has been on pain
16 medication for quite a bit.

17 Q. Do you have any objective reason
18 to believe that the patient's reported
19 pain condition as of July 13 is not as it
20 was reported here as a ten out of ten?

21 A. No, I don't have any.

22 Q. Continuing the sentence, it
23 says -- continuing the next sentence, "the
24 wound is in metatarsal area and has not
25 healed for two months." Do you agree or

0218

1

2 disagree with that statement?

3 A. Disagree.

4 Q. What do you disagree with?

5 A. Well, the wound was healing and
6 it was healing fine.

7 Q. And at what point do you believe
8 the wound was healing fine?

9 A. May 24, , the patient was
10 doing much better. We put her on some
11 antibiotic and on June 7th, the wound
12 seems to be healing very fine, doing fine.

13 Q. And it was the next visit on the
14 28th that you recognize there was a
15 dehiscence?

16 A. That's correct.

17 Q. Did you learn during this
18 hospital admission that the patient smoked
19 cigarettes?

20 A. Yes.

21 Q. Did you form an opinion
22 during --

23 MR. : Objection to form.

24 Did he learn again or did he learn for
25 the first time? The form of the

0219

1

2 question wasn't clear.

3

Q. At any time before the patient's

4 hospital admission, did you learn that the
5 patient was a smoker?

6 A. Yes.

7 Q. Up until her admission on June
8 12th, did you form an opinion whether her
9 smoking caused or contributed to her
10 worsening wound condition in her left
11 foot?

12 A. I believe a smoker or smoking
13 can cause a worsening of her wound.

14 Q. I just want to be clear that I
15 understand your answer, Doctor.

16 Specifically in this patient's
17 case, did her smoking cause or contribute
18 to worsening of her wound condition on her
19 left foot before July of ?

20 A. I don't have it documented that
21 she was smoking actively.

22 Q. Is there anything in your notes
23 to indicate that you had an opinion at
24 that time in May or June of to
25 indicate that any smoking she may have

0220

1
2 done caused or contributed to worsening of
3 her foot condition?

4 A. I do have an opinion. And I
5 do --

6 Q. I'm only asking if you record
7 anything?

8 A. I do not record it in my notes.

9 Q. Now what is your opinion?

10 MR. : About the impact of
11 smoking on wound healing?

12 Q. Yes, for this patient.

13 A. My opinion has never changed.
14 I've always thought that smoking can
15 contribute to wound complication.

16 Q. In this patient's case, do you
17 have an opinion as to whether her smoking,
18 if she was smoking, caused or contributed
19 to degradation or the deteriorating
20 condition of her wound on her left foot?

21 A. Yes.

22 Q. What is your opinion?

23 A. My opinion that, if she was
24 actively smoking, it can complicate her
25 wound.

0221

1
2 Q. How?

3 A. Well, there would definitely
4 decrease her circulation to the extremity
5 and would increase her likelihood of
6 getting an infection.

7 Q. Would it matter the amount that
8 she was smoking in order to arrive at the
9 conclusion that you told her about, that

10 it might impact her ability to heal her
11 wound?

12 MR. : Generally
13 speaking --

14 MR. OGINSKI: Yes.

15 MR. : -- would more
16 smoking be worse than less is that
17 what you're asking?

18 MR. OGINSKI: Yeah.

19 A. I don't know.

20 Q. Let's turn, please, to the
21 second page of the medical student note.
22 On the top paragraph five lines down, it
23 says "skin slash extremities left foot has
24 open wound approximately 2 centimeters in
25 length and 0.5 centimeters in width,

0222

1
2 leaking pus and the surrounding skin is
3 darker." Did I read that correctly?

4 A. That's correct.

5 Q. Was that consistent with your
6 observations on July 13th?

7 A. I did not make any note of any
8 darkened wound edges or surrounding skin.

9 Q. Tell me why you elected to
10 proceed forward with surgery on July 13th
11 but not on the 12th.

12 You told me a little while ago
13 that you wanted to give her a chance to
14 have IV antibiotics. Why is it that you
15 chose the 13th to proceed and not any
16 other particular day?

17 A. I have to see when I did the
18 surgery. I did it on the 13th, okay. I
19 wanted her -- on my consult I do mention
20 that I do want her to be medically clear
21 for the surgery.

22 MR. : The surgery is on
23 the 14th.

24 A. No, that's post-op note. Oh,
25 yeah, the 14th.

0223

1
2 Q. Let's turn, please, again before
3 reading your note, go to the pre-op note
4 dated July 13, .

5 A. Okay.

6 Q. This Dr. , do you
7 know Dr. ?

8 A. I do.

9 Q. Is he an attending or a
10 resident?

11 A. He was a resident.

12 Q. What year?

13 A. I don't recall.

14 Q. Does Dr. work at
15 currently?

16 A. No.
17 Q. Do you know where Dr.
18 works?
19 A. No.
20 Q. I would like you to read the
21 first paragraph in this note.
22 A. "Fifty-three-year-old female
23 admitted on direct admission for left foot
24 cellulitis with status post wound
25 dehiscence. After surgical correction

0224

1
2 bunion April 28, , patient states the
3 surgical site opened up."

4 Q. One week?
5 A. "One week after surgery.
6 Patient stated that past treatment of
7 local wound care involving soaking with
8 Epson salt and warm water and application
9 of LidaMantle and antibiotics."

10 Q. What is LidaMantle?
11 A. It's a mixture of hydrocortisone
12 and lidocaine in a cream.

13 Q. And did you recommend that for
14 her?

15 A. I did.
16 Q. When did you recommend that for
17 her?

18 A. I don't recall.
19 Q. For what reason did you
20 recommend that for her?

21 A. For pain and swelling.
22 Q. Is there any notation that we
23 have read so far that reflects when it was
24 or for what reason it was that you gave
25 her or recommended LidaMantle?

0225

1
2 A. It was not noted in any of my
3 notes.

4 Q. Let's go down to the middle of
5 the page beginning with "positive open
6 lesion."

7 A. Okay. "Positive open lesion
8 left foot. Positive pus on dressing.
9 Positive pain on palpation. No mal odor.
10 Positive signs infection. Positive sign
11 edema left foot at surgical site."

12 Q. Now, the patient had X-rays on
13 admission, correct, to evaluate her foot?

14 A. That's correct.
15 Q. Did you read and interpret those
16 X-rays?

17 A. Yes.
18 Q. And do you have a note
19 reflecting that you interpreted those
20 X-rays?

21 A. No.

22 Q. Is there a radiology note in
23 this hospital chart confirming or
24 interpreting the X-ray taken on July 12th?
25 MR. : He has the report.

0226

1

2 Q. What is the date of that report?

3 A. July 13, .

4 Q. What is the impression of the
5 report, Doctor?

6 A. "Postoperative changes over the
7 distal first metatarsal bone. There is a
8 metallic screw at the osteotomy site.
9 There is absence of the distal end of the
10 proximal fundus of the second and fifth
11 toes. No radiographic evidence of a
12 destructive process is identified."

13 Q. What does that mean to you?

14 A. There's no signs of any active
15 osteomyelitis.

16 Q. And did you read and interpret
17 the X-ray before doing surgery?

18 A. I'm sure I would have went over
19 that.

20 Q. Did you discuss your findings
21 and interpretation with the radiologist?

22 A. No.

23 Q. Were your conclusions after
24 reading the X-ray consistent with what the
25 radiologist reported?

0227

1

2 A. Say again.

3 Q. When you read the X-rays, did
4 you come to the same conclusions that you
5 just told me about in the radiologist's
6 report?

7 A. Well, I'm not completely sure
8 about the absence of the distal end of the
9 proximal fundus because I believe we
10 worked on the second and third toe. Not
11 the second -- well, second and fifth toes.

12 Q. What about the observation about
13 that there was no destructive bony
14 process?

15 A. I agree with that.

16 Q. And it was your interpretation
17 that there was nothing to suggest that the
18 patient had osteomyelitis based on the
19 X-ray?

20 A. That's correct.

21 Q. Why would you then still proceed
22 forward with the removal of the screw?

23 A. My impression at the time the
24 infection was becoming a deeper type of
25 infection. Leaving a hardware in a bone

0228

1

2 can compromise the bone.

3 Q. Before doing the surgery on July
4 12th and July 13, did the patient still
5 have the sutures in place in the first ray
6 in the first MPJ joint?

7 A. Prior to the surgery?

8 Q. Yes.

9 A. I don't recall.

10 Q. Is there anything in any note
11 that you have for July 13th to suggest
12 that the sutures had been removed either
13 on July 12 or July 13?

14 A. Well, there's nothing suggesting
15 that there are sutures in tact.

16 Q. There's no note anywhere to
17 indicate when, if ever, the sutures were
18 removed, correct?

19 A. That's correct.

20 Q. Let's turn, please, to your
21 consult note.

22 Do you have a time associated
23 with your note of July 13?

24 A. No.

25 Q. Do you remember when it was that

0229

1
2 you wrote your note or saw the patient
3 that day?

4 A. No.

5 Q. I'd like you to read your note
6 in its entirety, please.

7 A. "A 53-year-old black female with
8 history of hypertension and status post
9 bunionectomy left foot times five weeks.
10 Patient presents with an open wound to the
11 left foot with mild cellulitis times three
12 weeks."

13 Q. Does it say with or and
14 cellulitis?

15 A. And.

16 "Patient complaining of severe
17 pain to her left foot and currently on
18 Augmentin."

19 Q. I'm sorry, Doctor, if you can
20 just read it word for word. You wrote to
21 her foot and currently on Augmentin,
22 correct?

23 A. That is correct.

24 Q. Go ahead.

25 A. "Vitals afebrile, vital signs

0230

1
2 stable. Dressing left foot intact with
3 purulent drainage."

4 Q. Doctor, let me stop you dressing
5 left foot intacted?

6 A. Intacted.

7 Q. Go ahead.

8 A. "With purulent drainage.
9 Positive open wound, dorsal first MPJ
10 possible 2 centimeters by 2 centimeters
11 with mal odor and afebrile issues. DPPT 2
12 over 4 bilateral. Local -- mild localized
13 erythema and edema."

14 Q. Let me stop you, Doctor, the mal
15 odor, what does that suggest to you?

16 A. Bad smell.

17 Q. What is that from?

18 A. Either a necrotic tissue or an
19 infection.

20 Q. And the pedal pulses, is that
21 two over two or two over four?

22 A. Two over four.

23 Q. What is next to that with the
24 initials?

25 A. That's bilateral.

0231

1

2 Q. Okay.

3 A. Do you want me to continue?

4 Q. One second. Had your
5 observation of the patient's open wound
6 changed since you last saw her on July
7 10th?

8 A. No.

9 Q. She now had mal odor. On July
10 10 you made no notation of any mal odor,
11 correct?

12 A. That's correct.

13 Q. What is the significance that
14 now she does have the mal odor in terms of
15 changes?

16 A. The mal odor just signified that
17 the infection is destroying any viable
18 tissue.

19 Q. Before we get to your assessment
20 and plan, can you go to the labs, please?

21 A. Sure. We have a white count of
22 13.3.

23 Q. That's abnormal, correct?

24 A. That's elevated. Hemoglobin at
25 12.3. Hematocrit at 38.8. Platelets 2 4

0232

1

2 4. Sodium of 1 4 3. Chlorides of 1 0 9.
3 CO2 at 25. Potassium 93.6. BUN of 9.

4 Creatinine of 0.8 and the glucose 74.

5 Q. And the X-ray --

6 A. Pending.

7 Q. Let's go to your assessment and
8 plan.

9 A. "Cellulitis left foot with
10 possible infected screw. Will schedule
11 patient for debriedment of left foot and
12 removal hardware tomorrow. Will need
13 medical clearance. Agree with Ancef one

14 gram Q, is it, six hours."
15 Q. That's to be given by IV?
16 A. That's correct.
17 Q. Was it your opinion that this
18 patient had an active ongoing infection at
19 that time?
20 A. Yes.
21 Q. What is the risk to the patient
22 to performing a surgical screw removal in
23 light of her active infection with an
24 elevated white count?
25 A. Say that -- can you repeat that?

0233

1
2 Q. Sure. Were there any risks to
3 the patient to undergo a screw removal
4 with an active infection and an elevated
5 white count?
6 A. No.
7 Q. Was it your opinion that by
8 removing the screw it would improve her
9 ability to treat the infection?
10 MR. : Improve her ability
11 to treat the infection? Objection to
12 form.
13 MR. OGINSKI: I'll rephrase it.
14 Q. What was it about the hardware
15 itself that suggested to you that that
16 might be contributing to her infection?
17 A. I did not say that it
18 contributed to an infection.
19 Q. Let me rephrase it then. Did
20 you have an opinion that this hardware was
21 contributing to her infection?
22 A. No.
23 Q. Then why remove the screw?
24 MR. : Objection. Asked
25 and answered. He gave the reason why

0234

1
2 he would remove the screw. If you
3 want to read it back again because now
4 we're starting to repeat the same
5 questions so, , if you want to
6 find that testimony.
7 MR. OGINSKI: No.
8 Q. Did you make any observation of
9 being able to visualize the bone?
10 A. No.
11 Q. Let's turn, please, to the July
12 14 note by Dr. .
13 MR. : Preoperative,
14 postoperative?
15 MR. OGINSKI: Let's hold that
16 section. Let's go to the infectious
17 disease consult July 13.
18 A. Okay.
19 Q. Do you know the doctor who

20 performed that Dr. , ?

21 A. I do.

22 Q. What is Dr. ?

23 A. She's head of infectious
24 disease.

25 Q. In the second paragraph of the

0235

1

2 consult report it says "she underwent left
3 foot surgery April 28, ." Can you
4 read the next part?

5 MR. : Where are you
6 looking?

7 MR. OGINSKI: Second paragraph.

8 MR. : That's probably the
9 resident's note. Her note's probably
10 underneath that.

11 Q. Okay. Do you know the name of
12 the resident?

13 A. No. It looks like it's Dr.
14 , but I don't know that person.

15 Q. In any regard, are you able to
16 read what it says in the second paragraph?

17 A. She underwent left foot surgery?

18 Q. Yes.

19 A. "On April 28, to second
20 left hallux deformity. She was advised
21 non weightbearing by the patient."

22 Q. Continue?

23 A. "Continue weightbearing."

24 Q. Let me stop you for a second.

25 We know from reading your records, Doctor,

0236

1

2 that that is not accurate?

3 A. That is correct.

4 Q. In fact, the patient was partial
5 weightbearing?

6 A. That's correct.

7 Q. Continue, please.

8 A. "A week after surgery, she."

9 Q. Noted?

10 A. "Noted surgical" -- what's that.

11 Q. Disruption?

12 A. "Disruption of sutures with
13 purulent drainage."

14 Q. Do you agree or disagree?

15 A. Disagree. She's seen something
16 podiatrist Dr. who had prescribed her
17 with PO antibiotics times two months with
18 no improvement.

19 Q. Do you agree or disagree with
20 that?

21 A. I disagree.

22 Q. Was it your impression that the
23 first round of antibiotics you had
24 administered was effective?

25 A. That is correct. And she was

0237

1

2 not on continuing two months of
3 antibiotics.

4

Q. Your notes do not indicate the
5 length of time for which the patient was
6 on antibiotics either the first or second
7 time, correct?

8

A. Well, the first time -- well,
9 yeah, I did not put down the exact time
10 frame. Do you want me to continue?

11

Q. Go down, please, to the
12 extremities portion of the exam.

13

A. Okay.

14

Q. Left first?

15

A. "Left first metatarsal with one
16 by 1.5 centimeter. Open ulcer on dorsum,
17 positive purulent drainage. Positive
18 tenderness. Something ulcer positive
19 black discoloration of dorsum first --
20 first something toe. No pedal pulses."

21

Q. When you examined this patient,
22 she had pedal pulses?

23

A. That's correct.

24

Q. This is the first time we see
25 anything described about an ulcer on the

0238

1

2 dorsum?

3

A. Well, an open wound can be
4 considered an ulcer.

5

Q. Now, go down, please, to Dr.
6 's note if you can read that,
7 please.

8

A. "Patient seen evaluated with Dr.

9

. Agree with above history and
10 something. Patient status post surgery
11 4/28/ had non healing ulcer since her
12 sutures dehisced. Has been on prolonged
13 antibiotic. Still with purulent
14 discharge. Exam middle-age female.
15 Awake, alert." I don't know. "Lungs
16 clear. Afebrile." What's that? Cardiac?

17

MR. : That's probably
18 cardiac.

19

A. Something "negative abdomen
20 nontender. Extremity decrease pulse."

21

Q. To be clear, Doctor, there's two
22 arrows pointing down. What does that
23 signify to you?

24

A. That there's decrease pulse.

25

Q. Go ahead.

0239

1

A. "Left first met open wound with
3 discharge. Positive hyperpigmented skin
4 with tenderness."

5

Q. Let's go, please, to the

6 podiatry note July 14th, 8:55 a.m. by Dr.

7

8 A. July what? 14?

9 Q. 14.

10 A. Okay.

11 Q. The middle of the note it says
12 "positive open wound left foot at surgical
13 site, positive drainage, mild fibrotic
14 tissue and wound. Positive pain. Mild
15 edema. Positive erythema. Positive signs
16 of infection." Did I read those
17 correctly?

18 A. That's correct.

19 Q. Did you agree and were those
20 consistent with your findings on that
21 date?

22 A. That's correct.

23 Q. Were there any complications
24 associated with the screw removal?

25 A. No.

0240

1

2 Q. The medical attending note that
3 appears directly under Dr. 's July
4 14 impression it says "infected hardware
5 slash abscess left foot."

6 A. That's correct.

7 Q. And that's Dr. again,
8 right?

9 A. That's correct.

10 Q. Are you able to read his note
11 four lines from the bottom it says
12 "patient is acceptable risk?"

13 A. For podiatric procedure in the
14 OR. I.e. no medical contraindication
15 something procedure may blank -- I don't
16 know. May resume PO meds with -- I don't
17 know.

18 Q. Who is Dr. , the
19 assistant during your procedure?

20 A. He was one of the residents.

21 Q. Does he still work at
22 ?

23 A. No.

24 Q. Do you know where he works?

25 A. No.

0241

1

2 Q. Who is Dr. , ?

3 A. He was another resident.

4 Q. How would you describe the
5 complexity or difficulty of doing this
6 procedure on July 14 to remove the screw?

7 A. Very low complexity.

8 Q. Did you ever learn whether the
9 wound culture taken was positive?

10 A. Yes.

11 Q. What was it?

12 A. Well, it was multiple organism.

13 Q. Staphoreus, I believe?

14 A. No.

15 MR. : Let him just find

16 it.

17 A. It grew out a couple organism.

18 Q. Which one?

19 A. One was lycro proteus probalus.

20 And the other one was lycro proteus

21 morganii.

22 Q. Do you recall any conversation

23 you had with Ms. prior to the

24 surgery during her hospital admission?

25 A. No.

0242

1

2 Q. Did you have any conversations
3 with any of her family members during this
4 hospital admission?

5 A. No.

6 THE WITNESS: Let me go to the
7 bathroom.

8 (A short recess was taken.)

9 Q. Doctor, when you removed the
10 screw during surgery on July 14th, did you
11 obtain a bone culture?

12 A. No.

13 Q. Would bone culture have assisted
14 you in evaluating whether there was any
15 infection in the bone?

16 A. It was not necessary.

17 Q. Why?

18 A. When we took out the screw, the
19 bone seems to be viable -- there was no
20 signs of any infection in the bone.

21 Q. In your operative report, did
22 you describe the quality or the appearance
23 of the bone?

24 A. No, I did not describe it.

25 Q. Is there any reason why you did

0243

1

2 not describe the quality of the bone?

3 A. It's impossible to put every
4 detail in every note.

5 Q. I'm only asking is there any
6 particular reason you did not include a
7 description of the bone?

8 A. No, there is no reason.

9 Q. Do you have an independent
10 memory of what the bone looked like during
11 surgery July 14, ?

12 A. No.

13 Q. Healthy bone is what color?

14 A. White.

15 Q. Necrotic bone or unhealthy bone
16 is what color?

17 A. Yellowish.

18 Q. Or gray?
19 A. Grayish.
20 Q. Healthy bone is hard, typically?
21 A. That's correct.
22 Q. And unhealthy bone tends to have
23 a softer texture?
24 A. That's correct.
25 Q. The specimen that was submitted

0244

1
2 to pathology only included the screw and
3 the skin, correct?
4 A. The screw and skin, yes.
5 Q. There was no bone submitted for
6 pathology?
7 A. That's correct.
8 Q. Can you turn to the patient's
9 lab reports, please, for this hospital
10 admission?
11 A. Okay.
12 Q. What was the patient's
13 sedimentation rate on admission?
14 MR. : Do you have the
15 page with the ESR?
16 MR. OGINSKI: I'm looking for
17 it.
18 MR. : Do you have it? I
19 haven't found it yet.
20 MR. OGINSKI: No. I have the
21 July 17 lab note.
22 MR. : Where is it in your
23 copy? Because I don't know if our
24 copy is in the same order.
25 MR. OGINSKI: A hematology

0245

1
2 report blood cell count reporting July
3 12, 14 and 15.
4 MR. : It's not on that
5 page, though.
6 MR. OGINSKI: No.
7 A. I can't find the sed rate.
8 Q. Is it possible to do blood work
9 without obtaining a sedimentation rate?
10 MR. : Objection.
11 MR. OGINSKI: I'll rephrase it.
12 Q. In the lab values that you
13 recorded on your consult note on July
14 13th, do you report on the patient's
15 sedimentation rate?
16 A. Not on my consult.
17 Q. Is there any doctor's note that
18 you saw in this chart that reports on the
19 patient's sedimentation rate?
20 A. No.
21 Q. Is there any hematology or blood
22 report that reports the sedimentation rate
23 for this patient during this hospital

24 admission?

25 A. No.

0246

1

2 Q. Does sedimentation rate help you
3 in evaluating whether or not this patient
4 had osteomyelitis?

5 A. It doesn't.

6 Q. It does or does not?

7 A. Does not.

8 Q. Why not?

9 A. All it does is tell us if
10 there's an acute inflammation or an
11 infection going on.

12 Q. Doctor, does an elevated
13 sedimentation rate, is that an indicator
14 of a bone infection?

15 A. No.

16 Q. Are you aware of osteomyelitis
17 being present in cases where the
18 sedimentation rate is over a hundred?

19 MR. : Objection.

20 THE WITNESS: I can answer that?

21 MR. : I'm sorry, over my
22 objection. I object to the general
23 nature of the question, but over
24 objection, you can answer it.

25 A. Yes.

0247

1

2 Q. Did you order an MRI after the
3 screws were removed?

4 A. In terms of this visit at the
5 hospital?

6 Q. Yes.

7 A. I don't think so.

8 Q. Would an MRI have assisted you
9 in evaluating whether or not there was any
10 infection to this patient's bone?

11 A. No.

12 Q. Tell me why.

13 A. Because we just removed the
14 screw. The patient will have some
15 bleeding in the bone which can give you a
16 false positive.

17 Q. And if the patient does, in
18 fact, have osteomyelitis, would you also
19 see that on an MRI?

20 A. Rephrase that.

21 Q. Sure.

22 Are there instances where
23 osteomyelitis will be present but not
24 visible on an X-ray?

25 A. Yes.

0248

1

2 Q. And in those instances where
3 osteomyelitis is present in the bone but

4 you can't see it on X-ray, can you see it
5 on an MRI?

6 A. Yes.

7 Q. Did you ever consider ordering
8 an MRI for this patient to evaluate the
9 extent of the infection?

10 A. During this visit?

11 Q. Yes, during this
12 hospitalization.

13 A. No.

14 Q. Now, at the time of this
15 patient's discharge, you discharged her to
16 be placed on oral antibiotics, correct?

17 A. That's correct.

18 Q. And you placed her on Augmentin,
19 correct?

20 A. That's correct.

21 Q. Why did you choose to use
22 Augmentin, the medication that did not
23 work for her previously as of the last
24 visit before she arrived at the hospital?

25 A. Because when she arrived in the
0249

1 hospital, she was on Ancef, which is a
2 lesser antibiotic than Augmentin, but in
3 the same family.

4 Q. On June 28, , you prescribed
5 Augmentin for her?

6 A. That's correct.

7 Q. According to your note. On July
8 10th, you told me that she was still
9 taking the Augmentin and in your opinion
10 it was not working and not effective to
11 treat her infection?

12 A. That's correct.

13 Q. So now my question is why did
14 you return her to an oral antibiotic that
15 you knew a few weeks earlier had not been
16 effective in treating her infection?

17 A. There are two reasons. First
18 reason the Ancef was working at the
19 hospital. Second reason we took her out
20 of the environment of her soaking and
21 cleaned out the wound. So the wound has
22 been cleaned out. And we got a
23 sensitivity of her bacteria that's
24 sensitive to Augmentin.
0250

1 Q. Do you have any knowledge -- can
2 you tell me why the Augmentin was not
3 working back between June 28th and July --

4 A. I can only speculate.

5 Q. At the time the patient was
6 discharged, did she have any evidence of
7 infection?

8 A. No.
9

10 Q. Was it your opinion that her
11 infection was totally cleared up and she
12 was free of any infection?

13 A. She was responding to the
14 treatment.

15 Q. Is that different than --
16 Cleared, yes.

17 Q. Tell me the difference.

18 A. Well, we would need a clear
19 culture.

20 Q. Were repeat cultures taken
21 before her discharge?

22 A. No.

23 Q. Is there a reason why repeat
24 cultures were not taken?

25 A. It wasn't necessary.

0251

1

2 Q. Why not?

3 A. It wouldn't have changed our
4 treatment plan.

5 Q. And would repeat blood work with
6 a white blood count have assisted you in
7 determining whether or not she had an
8 ongoing infection at the time of
9 discharge?

10 A. It would be only a piece of the
11 clue.

12 Q. Was a repeat blood count drawn
13 the day of admission or the day before
14 admission?

15 MR. : Was a repeat drawn
16 the day of admission, is that what you
17 said?

18 MR. OGINSKI: Sorry, thank you,
19 thank you. The day of discharge or
20 the day before discharge.

21 A. When was she discharged?

22 Q. She is discharged on July 17th
23 so either the 16th?

24 A. No.

25 Q. Was there a reason why repeat

0252

1

2 bloods were not drawn on either the 16th
3 or the 17th to evaluate her white blood
4 count?

5 MR. : He obviously can
6 only speak for himself.

7 MR. OGINSKI: Correct.

8 MR. : He doesn't know why
9 the medical attending and the IV
10 attending who were treating this
11 patient didn't.

12 MR. OGINSKI: I understand. I'm
13 only asking whether it was done.

14 A. Repeat CBC was not done prior to
15 her discharge.

16 Q. Did you ever recommend or
17 request that a repeat CBC be done prior to
18 the patient's discharge?

19 A. There was one order prior to her
20 discharge.

21 Q. What was the order for?

22 A. For a CBC and BMP.

23 Q. What date was that?

24 A. July 16th.

25 Q. Do you have any knowledge --

0253

1

2 A. I don't have the results in
3 here.

4 Q. Do you have any knowledge to
5 know where those -- number one, do you
6 know whether that was done?

7 A. No.

8 Q. Did you ever see any lab report
9 for July 16th for a CBC?

10 A. No.

11 Q. Did you ever request a repeat
12 CBC to be done either on the 16th or 17th?

13 A. I did not request.

14 Q. That order that you see in
15 there, was that because of an order you
16 requested or one of the medicine residents
17 or doctors?

18 A. I can't read the handwriting.
19 Well, it looks like the medical resident.

20 Q. And if you had wanted the white
21 blood count done, would you actually write
22 the order or would you have one of the
23 medicine residents do it for you?

24 A. I would suggest it to the
25 medical if we wanted a repeat CBC.

0254

1

2 Q. And did you ever request a
3 sedimentation rate be done prior to the
4 patient's discharge?

5 A. I believe a sedimentation rate
6 was requested by not only -- by the
7 medical attending himself.

8 Q. When was that?

9 A. The date of admission.

10 Q. And did you see any notation,
11 any report anywhere in this chart that
12 that was actually carried out?

13 A. No.

14 Q. Did you ever ask or inquire of
15 any physician as to why there was no sed
16 rate seen in the patient's hospital
17 record?

18 A. No. I don't recall.

19 Q. Did you request that the patient
20 have repeat X-rays prior to her discharge
21 to evaluate her foot?

22 A. No.
23 Q. Would repeat X-rays have
24 assisted you in treating her condition?
25 A. No.

0255

1
2 Q. I don't think I asked the
3 following question this way: Would an MRI
4 have assisted you in treating this
5 patient's condition as of the time of her
6 discharge either on the 16th or 17th?

7 A. No.

8 Q. Why not?

9 A. Again, there would be
10 postoperative change and we would have
11 gotten a false positive.

12 Q. And with what likelihood would
13 you expect to see a false positive if an
14 MRI were done?

15 A. Very likely.

16 Q. Can you be any more specific in
17 terms of quantifying that?

18 A. Better than half.

19 Q. Doctor, there is a report dated
20 July 16th, saying "cancelled tests"
21 and one of them is a CBC. Do you have any
22 reason to know why those tests were
23 cancelled?

24 A. No.

25 Q. Did you have any conversation

0256

1
2 with any doctor at the hospital during
3 this hospital admission to determine, who
4 came to the conclusion or impression that
5 this patient had evidence of
6 osteomyelitis?

7 A. No.

8 Q. How would you know if this
9 patient had osteomyelitis?

10 A. A bone biopsy.

11 Q. Is there any other way you would
12 know the patient had osteomyelitis?

13 A. Not at this time.

14 Q. Did you observe any necrosis at
15 any time while the patient was at the
16 hospital ?

17 Better than that, I'm sorry.

18 Let's go back.

19 The next note that you have for
20 this patient after your consult note of
21 July 13, specifically any progress note
22 that you have.

23 A. On July 15th.

24 : There's a 14th.

25 After the 14th, you said?

0257

1

2 MR. OGINSKI: After the 13th.
3 A. The 14th was my consult.
4 MR. : Oh, oh, okay.
5 MR. OGINSKI: No, the 13th was
6 your consult.
7 THE WITNESS: The 13th?
8 : 13th was the
9 consult. Oh, I know. There's
10 probably a post-op note.
11 MR. OGINSKI: Surgery was the
12 14th.
13 THE WITNESS: I don't see any
14 other notes. July 15 is the only one
15 I see.
16 Q. What is morphine?
17 A. It's an opioid analgesic.
18 Q. How would you characterize
19 morphine in comparison to say Vicodin or
20 Tylenol number 3?
21 A. Much stronger.
22 Q. It's a narcotic?
23 A. That's correct.
24 Q. On July 14 to July 15 a nursing
25 note describes the patient describing foot
0258

1
2 pain on a scale of eight to nine?
3 : Show me where
4 you're reading.
5 Q. See that?
6 A. I see.
7 Q. And morphine was ordered,
8 correct?
9 A. Yes.
10 Q. The note underneath that, is
11 that July 15?
12 A. That's correct.
13 Q. Podiatry note, again, by Dr.
14 ?
15 A. Yes.
16 Q. Underneath his note, Doctor, is
17 your note there?
18 A. That's correct.
19 Q. What time did you write your
20 note?
21 A. I don't have a time on mine.
22 Q. Is there a reason why there's no
23 time there?
24 A. No reason.
25 Q. Are you aware of any hospital
0259

1
2 rule or regulation that existed at that
3 time requiring date and timing of the
4 note?
5 A. I'm not sure.
6 Q. Can you read your note, please?
7 A. "Patient is status post screw

8 removal and debriedment of left foot.
9 Wound looks clean with mild active
10 bleeding. No purulent drainage. Will
11 await wound culture sensitivity. DC
12 home," discharge home.

13 Q. Do you know a Dr. ,
14 ?

15 A. No.

16 Q. On June 16, at 6:15 p.m.
17 there's a podiatry note?

18 A. 6:15 a.m.?

19 Q. Yes, thank you.

20 By the way, can you tell who
21 wrote that note?

22 A. No.

23 Q. Can you read beginning with the
24 second paragraph "left foot dressing?"

25 A. "Left foot dressing clean --

0260

1
2 dry, clean, intact. Wound dorsal of left
3 foot, first MPJ no drainage, no erythema,
4 no edema, no signs of infection. Positive
5 hyper pigmentation around excision site.
6 Positive pain on palpation. Wound culture
7 non latus fermenters."

8 You want me to continue?

9 Q. Yes, please.

10 A. Left foot --

11 Q. Assessments and plan, go ahead.

12 A. "Left foot wound dehiscence
13 cellulitis status post surgery. Status
14 post removal of hardware. Continue IV
15 antibiotics. Awaiting sensitivity. Will
16 follow. Will plan on discharging patient
17 once culture sensitivity results are
18 completed."

19 Q. Do you have a note for July 16?

20 A. No.

21 Q. Did you have a partner or
22 anybody who would make rounds on your
23 patients in the event you weren't able to
24 attend to your patient on any given day?

25 A. The residents do the rounds.

0261

1
2 Q. Anybody in Dr. 's office
3 or anybody you work with there who would
4 cover you when you wouldn't be able to
5 make on any particular day?

6 A. Dr. would cover me.

7 Q. Does Dr. 's notes appear
8 anywhere in this patient's chart?

9 A. No.

10 Q. Did you see and examine the
11 patient on July 16?

12 A. No.

13 Q. If you had seen and examined the

14 patient, you would have written a note,
15 correct?
16 A. Yes.
17 Q. Did you call anyone at
18 Medical Center on July 16 to
19 inquire about the status of your patient?
20 A. I might have.
21 Q. I'm not asking you to speculate
22 or guess, Doctor.
23 A. I don't know.
24 Q. Let's turn, please, to the July
25 17 note.

0262

1
2 : Whose note, his
3 note?
4 MR. OGINSKI: That's what I was
5 going to ask.
6 Q. Do you know who wrote this July
7 17 podiatry note?
8 A. Dr. .
9 Q. Did you see the patient on July
10 17?
11 A. No.
12 Q. Is there a reason why you did
13 not see her on July 17?
14 A. She was being discharged before
15 I could get to the hospital.
16 Q. What are retention sutures?
17 A. Those are sutures but we don't
18 completely close the wound. We just use
19 it as tension.
20 Q. What is its purpose?
21 A. To keep the wounds contracted
22 and somewhat approximated.
23 Q. Is it to allow drainage?
24 A. It's to prevent an abscess.
25 Q. How does that happen? How does

0263

1
2 that prevent abscess?
3 A. Because the wound can still be
4 open and drainage can occur.
5 Q. Drainage can occur regardless
6 whether the wound is open or closed,
7 correct?
8 A. No. A wound is closed, drainage
9 would first turn into an abscess before it
10 drains. Or it has to go through the
11 incision.
12 Q. Tell me why the wound was not
13 closed.
14 A. Because we just did a IND of the
15 wound. I did not want to close a wound
16 that has a potential of still having some
17 sort of infection.
18 Q. So you wanted to allow for
19 drainage, if it occurred, correct?

20 A. Okay.
21 Q. I'm just asking.
22 A. Sure.
23 Q. At the bottom, Doctor, you would
24 note under assessment and plan says "will
25 discuss with Dr. ." Do you have any

0264

1
2 memory of any discussion that you had with
3 Dr. about the patient on the day of
4 discharge?

5 A. It doesn't say will. It says
6 discuss with. Discuss with Dr.

7 Q. The word before it "will discuss
8 with Dr. ?"

9 A. On the bottom it says "discuss
10 with Dr. ."

11 Q. Oh, okay. Looking at the same
12 thing different places.

13 A. I don't recall the conversation.

14 Q. Okay. Did the patient still
15 have an ulcer on the first ray at the time
16 of discharge?

17 A. The wound was never closed.

18 Q. So that open wound is synonymous
19 with the word ulcer, correct?

20 A. That's correct.

21 Q. The patient was scheduled to
22 follow-up with you in one week?

23 A. I don't know when.

24 Q. Just look at this, Doctor.

25 A. Yes.

0265

1
2 Q. Doctor, can you turn, please, to
3 your July 14 operative report?

4 A. Okay.

5 Q. I'm sorry, before I ask you a
6 question about that, going back to the
7 July 10th office visit.

8 A. Okay.

9 MR. OGINSKI: Withdrawn.

10 Q. Did you ever come to the
11 conclusion after this patient was
12 discharged on July 17, , that her
13 infection was totally cleared and healed?

14 A. Again, I cannot say that it was
15 totally clear.

16 Q. What test would you have needed
17 to perform in order to confirm whether or
18 not her infection was totally cleared and
19 healed?

20 A. Repeat wound culture.

21 Q. Did you ever do that?

22 A. No.

23 Q. Any reason why?

24 A. We were planning.

25 Q. When?

0266

1

2 A. When she had a course of
3 antibiotics.

4 Q. When she completed it?

5 A. That's correct.

6 Q. For how many days did you intend
7 for her to continue with the oral
8 antibiotics following her discharge? Let
9 me show you the plan and discharge
10 summary.

11 : We have it.

12 A. Ten days.

13 Q. So around the 27th -- I'm sorry,
14 around the 27th you expected her to be
15 finished with the antibiotics, correct?

16 A. That's correct.

17 Q. And at that time your intention
18 was to perform a repeat wound culture?

19 A. If we were planning to do a
20 repeat to plan for a second surgery to
21 close the wound.

22 MR. OGINSKI: I'm sorry, can I
23 have that read back, please?

24 : Did you say "if we
25 were?"

0267

1

2 (The requested portion was read
3 back.)

4 A. Let me just rephrase what I'm
5 saying. Once she -- the plan was, once
6 she completed her antibiotic, we repeat
7 the culture and plan for secondary or
8 primary closure of the wound.

9 MR. OGINSKI: Off the record.

10 (A discussion was held off the
11 record.)

12 Q. Doctor, the patient returns to
13 your office on ?

14 A. That's correct.

15 Q. And you report that she still
16 has pain and that swelling has improved.
17 Where did she have the pain?

18 A. In the left foot.

19 Q. Where?

20 A. I didn't describe it on the
21 note.

22 Q. She was discharged home with a
23 visiting nurse or was supposed to have a
24 visiting nurse following her discharge?

25 A. Visiting nurse was sent to her

0268

1

2 home.

3 Q. Right, why?

4 A. For wound care.

5 Q. What type of wound care would

6 she need?
7 A. Someone to clean the dressing --
8 change the dressing clean the wound.
9 Q. And you instructed her to
10 ambulate with a cane?
11 A. Again, partial ambulating with a
12 cane.
13 Q. Who received a call, you
14 received a call from visiting nurse?
15 A. I received a call.
16 Q. And when did you receive a call?
17 A. Sometime during the week.
18 Q. In response to that, did you
19 call Ms. ?
20 A. I relayed the message to the
21 office to speak to her.
22 Q. Can you be more specific just
23 what you mean?
24 A. Since I don't have her
25 information with me, I called Dr. 's
0269

1
2 office to let know that she
3 has to follow her instructions.
4 Q. What did it mean to you when you
5 got a call from visiting nurse saying she
6 was seen without a dressing several times?
7 A. It means that she wasn't
8 following her instructions, walking around
9 with the wound open.
10 Q. When you say open, meaning what,
11 without a dressing?
12 A. Without a dressing.
13 Q. And did you ever receive a
14 response from the patient as to why she
15 was walking around without a dressing?
16 A. I'm sure I did get a response.
17 Q. Did you record any reason why?
18 A. No.
19 Q. What was the purpose of keeping
20 the dressing on during the time that she's
21 at home?
22 A. Keeping it clean.
23 Q. And the fact that she had the
24 dressing off, what made you believe that
25 the wound was not being kept clean?

0270
1
2 A. Again, I can only speculate.
3 Q. Let me go back.
4 : Off the record.
5 (A discussion was held off the
6 record.)
7 Q. When you got a call from the
8 visiting nurse, was it a man or a woman?
9 A. A woman.
10 Q. Do you know the name?
11 A. No.

12 Q. Did this person call you once or
13 more than once?

14 A. She called me I think twice.

15 Q. Did you record each of those
16 calls?

17 A. Well, each time the call wasn't
18 down in this office. It was done when I
19 was somewhere else.

20 Q. How did you get the call if you
21 were somewhere else?

22 A. They were able to reach me. I
23 forget how.

24 Q. What did you tell the visiting
25 nurse in response to this observation that

0271

1

2 she called to tell you about?

3 A. Well, I told the nurse that it
4 was nice of her to let me know, but she
5 has to really instruct the patient the
6 consequences of her actions.

7 Q. When the patient came to you on
8 , was there evidence of an
9 infection?

10 A. There's no evidence of an active
11 infection.

12 Q. Was there redness?

13 A. Yes.

14 Q. Was there -- and that's
15 erythema, correct?

16 A. That's right.

17 Q. Was there swelling?

18 A. Yes.

19 Q. Was it warm to the touch in
20 comparison to the rest of her foot or
21 skin?

22 A. I didn't document that.

23 Q. Was there any tracking that you
24 observed, any travelling of any redness?

25 A. I don't recall.

0272

1

2 Q. Where was the redness located?

3 A. Surrounding the wound.

4 Q. Again we're only talking about
5 the first ray and the first MPJ joint?

6 A. That's correct.

7 Q. Was there pain on palpation?

8 A. I don't recall.

9 Q. When the patient came into your
10 office, did she have a cane with her?

11 A. I don't recall.

12 Q. The serosanguineous drainage,
13 that's something that you expected to see?

14 A. That's correct.

15 Q. So this was not an unusual
16 finding, correct?

17 A. That's correct.

18 Q. And the open wound left foot,
19 again, this is something you intentionally
20 left open following the debriedment and
21 the removal of the screw?
22 A. That's correct.
23 Q. The wound granulating well means
24 it's healing properly?
25 A. That's a good sign, yes.

0273

1
2 Q. The pedal pulses, she still had
3 pulses at that time?
4 A. That's correct.
5 Q. Had those pulses changed at all
6 from any of the prior evaluations of her
7 pedal pulses at any other time?
8 A. I don't believe so.
9 Q. Now, you're assessment was wound
10 dehiscence left foot. Tell me what you
11 meant by that.
12 A. That was just a note diagnosis.
13 Q. Not that it had opened any
14 further?
15 A. That's correct.
16 Q. It's still the same as when you
17 left it after the surgical procedure at
18 the hospital?
19 A. As I recall, yes.
20 Q. What was the Bactroban cream
21 for?
22 A. It was, again, just as a wound
23 care product.
24 Q. That's an antibiotic cream?
25 A. That's correct.

0274

1
2 Q. A topical, right?
3 A. That's correct.
4 Q. Did you tell her to put that in
5 the open wound area or someplace else?
6 A. Probably surrounding the wound.
7 Q. Is there ever an occasion where
8 you will tell a patient to put the
9 Bactroban into the or on to the open
10 wound?
11 A. Not deep into the wound, no.
12 Q. No, just superficially you apply
13 it on top of it?
14 A. That's correct.
15 Q. So it's okay to do that?
16 A. With a bandage, yes.
17 Q. You mean a bandage on top?
18 A. With a sterile dressing, yes.
19 Q. Just to be clear, Doctor, you
20 have the open wound with the retention
21 sutures, correct? And you can then put
22 the Bactroban as a cream on top of that
23 open wound and then put a sterile dressing

24 on top?

25 A. That's correct.

0275

1

2 Q. Was there any evidence that this
3 patient had cellulitis on ?

4 A. No.

5 MR. OGINSKI: Off the record.

6 (A discussion was held off the
7 record.)

8 MR. OGINSKI: What was the last
9 question?

10 (The requested portion was read
11 back.)

12 Q. Doctor, did you form an opinion
13 on as to whether the patient's
14 noncompliance of walking around without a
15 dressing caused or contributed to any
16 further injury that she had as of ?

17 A. I did form an opinion.

18 Q. What was it?

19 A. That she is not improving her
20 situation by doing or deviating from our
21 instructions.

22 Q. When you say not improving her
23 situation, what do you mean?

24 A. She could worsen her situation.

25 Q. I'm not asking about could. Did

0276

1

2 she, by not following your instructions to
3 keep the dressing covered and clean, as of
4 the time you saw her on , was
5 there evidence that because she hadn't
6 kept the dressing on that it had
7 compromised her condition in any regard?

8 : Are you able to
9 give an opinion or you don't know?

10 You do know.

11 A. I do not know.

12 Q. You requested that she follow up
13 in a week, correct?

14 A. That's correct.

15 Q. And she returned to your office
16 on , which is about, I think
17 thirteen days later.

18 : Fourteen. July has
19 31 days.

20 MR. OGINSKI: Thank you, how
21 many? July has 31 days.

22 Q. Again, the same question because
23 she now comes to you a week beyond the
24 time you instructed her, did you find her
25 to have any additional problem with her

0277

1

2 foot directly attributable to that one
3 week delay in showing up in your office?

4 A. I don't know.
5 Q. On , where did she
6 tell you that she was experiencing pain?

7 A. It's the left foot.
8 Q. Did you record where
9 specifically she had pain in her left
10 foot?

11 A. No, I did not record
12 specifically.

13 Q. When you examined her, did you
14 identify where she had pain?

15 A. I'm sure I have.

16 Q. Was this pain on ambulation or
17 only pain on palpation?

18 A. I don't recall.

19 Q. Now, she told you that she was
20 applying the Bactroban on a daily basis,
21 correct?

22 A. That's correct.

23 Q. Did you have any repeat calls
24 from the visiting nurse indicating that
25 she was noncompliant anymore?

0278

1
2 A. Not at this time.

3 Q. Was there anything that the
4 patient told you to suggest that she was
5 not compliant with any of your
6 instructions from the last visit other
7 than showing up a week later than you told
8 her to?

9 A. No.

10 Q. Again, there was still mild
11 serosanguineous drainage, correct?

12 A. That's correct.

13 Q. Was this any different than the
14 drainage you had observed two weeks
15 earlier?

16 A. I don't know.

17 Q. What was your plan, as far as
18 when you would take out the retention
19 sutures?

20 A. When I find that the wound
21 continues to improve or if it's continued
22 to granulate well, it might not be a need
23 for a primary closure.

24 Q. Am I correct that the retention
25 sutures have to be removed; they're not

0279

1
2 absorbable?

3 A. These are nonabsorbable,
4 correct.

5 Q. Again, the patient had edema and
6 erythema, correct?

7 A. That's right.

8 Q. Was there any difference than
9 what you had observed two weeks earlier?

10 A. I don't recall.
11 Q. Was the swelling, in other
12 words, the edema and the erythema
13 different than what you had observed on my
14 other visit when which you noted this
15 condition?
16 A. I don't recall.
17 Q. Again, you observed that the
18 wound is continuing to granulate well?
19 A. Yes.
20 Q. In your opinion the wound was
21 healing?
22 A. It was doing better.
23 Q. In your assessment you write
24 "mild cellulitis left foot." What was it
25 about this patient's condition that now
0280

1
2 suggested to you that she now had a mild
3 cellulitis whereas you had the same
4 observations two weeks earlier but you did
5 not record that there was any cellulitis?
6 A. I didn't document that well.
7 Q. Was it your opinion, Doctor,
8 that she had a cellulitis on ?

9 A. No.
10 Q. Was it your opinion that she had
11 a cellulitis on ?
12 A. ?
13 Q. Yes.
14 A. Yes. No, no, no. I'm getting
15 confused.

16 : Why don't you back
17 up. Read back the question and
18 answer.
19 (The requested portion was read
20 back.)

21 Q. You recorded on in
22 your note that she had a mild cellulitis
23 of the left foot, correct?
24 A. That's correct.
25 Q. And the reason you reported that
0281

1
2 she had a mild cellulitis was because it
3 was your opinion at that time that she had
4 a cellulitis, correct?
5 A. That's correct.

6 : So the testimony
7 before was in error, you were referred
8 to a different date?

9 THE WITNESS: That's correct.
10 Q. Can you tell me what is it about
11 the condition on the patient's foot on
12 August 9th that it was not present on the
13 visit before on ?
14 A. Whatever I saw I did not
15 document it.

16 Q. For which date?
17 A. .
18 Q. Can you be more specific?
19 A. No.
20 Q. You indicated that you did a
21 debriedment of necrotic tissue?
22 A. That's correct.
23 Q. Where was there evidence of
24 necrotic tissue?
25 A. Again, I did not document well.

0282

1
2 Q. Now, what was your opinion at
3 that time as to why the patient had
4 necrotic tissue? Was it from infection?
5 Was it from swelling or from something
6 else?
7 A. I don't know.
8 Q. And what tests could you have
9 performed at that point in order to tell
10 you or help you determine whether or not
11 it was from infection or from swelling or
12 something else?
13 A. A wound culture can help.
14 Q. Did you obtain a wound culture
15 on that date?
16 A. No.
17 Q. Would an MRI have assisted you
18 in evaluating whether or not there was an
19 ongoing infection or whether the basis for
20 the necrosis?
21 A. Of the soft tissue?
22 Q. Yes.
23 A. No.
24 Q. Would a CAT scan have assisted
25 you?

0283

1
2 A. No.
3 Q. You wrote removal of sutures
4 under your plan. Was that something that
5 was going to be done in the future or had
6 you done that on that visit?
7 A. I think what we might have done
8 was done some of the sutures but not all
9 of them.
10 Q. Why would you take out some but
11 not all?
12 A. If I find that some of the
13 sutures are not ready to come out.
14 Q. Yet your note only says removal
15 of sutures?
16 A. That's correct.
17 Q. Is there anything in the note to
18 suggest that you did not remove all of
19 them?
20 A. No.
21 Q. And was the wound still open at

22 the time that you removed sutures?

23 A. Yes.

24 Q. And the patient was instructed
25 to return in two weeks, correct?

0284

1

2 A. That's correct.

3 Q. Did you form an opinion as to
4 why the patient was still having pain on
5 ?

6 A. I believe she was just having an
7 ongoing complication from the surgery.

8 Q. Which surgery?

9 A. The bunionectomy.

10 Q. Going back to the --

11 A. Yes, that's correct.

12 Q. -- surgery?

13 A. That's correct.

14 Q. What was it about the
15 bunionectomy that was responsible for this
16 pain?

17 A. Could be joint stiffness. Could
18 be pain from the infection that she had.
19 Soft tissue changes.

20 Q. And the joint stiffness, could
21 her arthritis be affecting that at all?

22 A. The arthritis of her knee?

23 Q. Yes. Let me go back.

24 Were you aware of her having
25 arthritis in any other part of her body

0285

1

2 besides her knee?

3 A. No.

4 Q. The bunionectomy that you
5 performed on , could that cause
6 arthritic changes?

7 A. Arthritic changes where?

8 Q. In her foot?

9 A. Yes.

10 Q. How would you be able to
11 determine whether the pain she was
12 experiencing was either from the joint
13 stiffness or from soft tissue changes or
14 from infection?

15 A. Can't.

16 Q. Are there any tests that you
17 could perform at that time that would
18 assist you in ruling out or determining
19 whether the pain she's having was from an
20 infection as opposed to anything else?

21 A. Again, the only definitive test
22 at this time would be a wound culture.

23 Q. Would blood tests assist you in
24 determining whether or not there's an
25 ongoing infection?

0286

1

2 A. Yes.
3 Q. And that includes the CBC and
4 the sedimentation rate?
5 A. That's correct.
6 Q. Did you draw bloods on
7 ?
8 A. No.
9 Q. The patient returned to you not
10 two weeks later, but, in fact, a number of
11 weeks later on ,
12 correct?
13 A. That's correct.
14 Q. She told you that she missed her
15 visits because of transportation problems?
16 A. That's correct.
17 Q. When you write that she presents
18 with a chronic ulcer to the left great
19 toe, is that a different location than
20 where she had the dehiscence in the past?
21 A. Where did I write this?
22 Q. First line in the subjective
23 paragraph.
24 A. No, not a different location.
25 Q. That's the same thing?
0287

1
2 A. That's correct.
3 Q. Had the wound gotten better,
4 worse or stayed the same since you had
5 last seen her on ? It's now a
6 month and a half later.
7 A. The wound has gotten worse.
8 Q. In what way?
9 A. Again, I did not document that
10 well.
11 Q. How do you know the wound is
12 worse now?
13 A. Because I started her on
14 antibiotics.
15 Q. Why did you choose to give her
16 the Augmentin as opposed to any other
17 antibiotic?
18 A. Again, it's a broad spectrum
19 antibiotic.
20 Q. What made you believe that she
21 had an infection on this visit?
22 A. I don't recall.
23 Q. You note the same observation
24 that you had on the last two visits
25 including the mild serosanguineous

0288
1
2 drainage, correct?
3 A. That's correct.
4 Q. The positive wound dehiscence
5 which we talked about. And you observed
6 the edema and mild erythema, correct?
7 A. That's correct.

8 Q. What is it about her condition
9 on that is different from
10 the visit or the visit
11 that suggests that she now has an
12 infection?

13 A. Again, it's not documented well
14 in the chart.

15 Q. Again, your assessment is that
16 she has a mild cellulitis, correct, the
17 same as the last visit?

18 A. That's correct.

19 Q. How would you characterize the
20 infection that you prescribe her
21 antibiotics for?

22 A. Say again.

23 Q. You prescribed antibiotics for
24 her on . Are you able to
25 characterize the infection, severe, mild,

0289

1
2 something else?

3 A. I don't recall.

4 Q. Again, you debrieded necrotic
5 tissue?

6 A. That's correct.

7 Q. Where was this necrotic tissue?

8 A. Probably surrounding the wound.

9 Q. Did you form an opinion as to
10 why she continued to experience the
11 necrotic tissue?

12 A. I did.

13 Q. What was your opinion?

14 A. The foot is probably getting
15 reinfected.

16 Q. Now, at the top paragraph, you
17 again report that you received a call from
18 visiting nurse, right, that she was
19 without a dressing to her foot many times,
20 correct?

21 A. That's correct.

22 Q. There's also information that
23 there is cat hair floating around the
24 house, correct?

25 A. That's correct.

0290

1
2 Q. And why is that a significant
3 fact for you to know and record?

4 A. Again, she's being very
5 incontinent and now we have possibility of
6 having more pathogens entering the wound.

7 Q. When you examined her on
8 , did you find any evidence
9 of cat hair in any part of the wound?

10 A. Again, I did not document well.

11 Q. Is there anything you recorded
12 to suggest that cat hair --

13 : You want to laugh

14 too.
15 MR. OGINSKI: I have to ask.
16 Q. Is there anything in your note
17 to suggest that you found cat hair in her
18 wound?
19 A. No.
20 Q. Did you believe on
21 the fact that she walked around
22 without a dressing caused or contributed
23 to the condition of her foot?
24 A. Yes.
25 Q. And other than her noncompliance

0291

1
2 with the dressing, is there anything else
3 that would account for why she's now
4 redeveloping an infection?
5 A. Could you repeat that?
6 Q. Sure. You've told me that
7 leaving the wound open makes her
8 susceptible to pathogens in the home,
9 correct?
10 A. That's correct.
11 Q. Is there anything else that
12 might contribute to her developing
13 infection that you recognize on
14 ?
15 A. Her missing appointments.
16 Q. How would missed appointment
17 contribute to an infection?
18 A. Well, if there were noticeable
19 change, we could correct and treat at a
20 more rapid pace and since we did miss
21 about three weeks of visits, again, a lot
22 of things can happen and probably affected
23 her wound.
24 Q. Other than diagnosis and
25 treatment of an infection, is there

0292

1
2 anything about the fact that she didn't
3 appear that caused or contributed to the
4 infection?
5 : Objection. He's
6 already answered that multiple times.
7 Q. Did you culture the patient's
8 wound on ?
9 A. I did.
10 Q. You did or did not?
11 A. I did.
12 Q. Where do you note that you
13 cultured the wound?
14 A. Third line on plan: "x-ray left
15 foot two views, wound cultures taken."
16 Q. Why did you obtain X-rays at
17 that time?
18 A. Again, to see the integrity of
19 the bone.

20 Q. What date is on there?
21 A. .
22 Q. Which views did you take?
23 A. DP and medial oblique.
24 Q. What do you observe on those
25 X-rays, Doctor?

0293

1
2 A. That there are some erosive
3 changes seen at the first metatarsal head.
4 Q. Are these new findings?
5 A. Yes.
6 Q. What do they mean to you?
7 A. It means it could be
8 postoperative changes when we removed the
9 screw.
10 Q. What else could it mean?
11 A. Possible infection.
12 Q. In the bone?
13 A. That's correct.
14 Q. Also known as osteomyelitis?
15 A. That's correct.
16 Q. And the wound cultures showed
17 staphoreus, correct?
18 A. That's correct.
19 Q. Did you change the antibiotics
20 based upon the wound culture results?
21 A. I did.
22 Q. What did you change it to?
23 A. No, I did not change it.
24 Q. Is staphoreus responsive to
25 Augmentin?

0294

1
2 A. Yes. I'm sorry, I did not
3 change the antibiotic.
4 Q. Did you draw bloods on
5 ?
6 A. No.
7 Q. Would blood work have assisted
8 you in determining whether or not she has
9 an infection at that time?
10 A. It wasn't necessary at the time.
11 Q. Why?
12 A. She did not have any systemic
13 signs of infection.
14 Q. And again, the systemic
15 infection would be the fever?
16 A. That's correct.
17 Q. And the tracking of the wound?
18 A. No.
19 Q. Tell me what else.
20 A. Again, fever, fatigue, malaise,
21 rapid heart rate.
22 Q. How are you able to tell whether
23 or not this patient's infection was in her
24 bone on ?
25 A. I did not say that she had an

0295

1

2 infection in her bone.

3 Q. Right, you've now told me based
4 upon her X-rays that she has erosive
5 changes to the first metatarsal head which
6 could possibly be an infection to the
7 bone?

8 A. As well as possible changes from
9 the screw removal.

10 Q. Correct. So what do you do as a
11 physician to determine or rule out the
12 possibility of osteomyelitis -- now that
13 there's the possibility it can occur or
14 the possibility it's present?

15 A. If that was -- again, in my
16 judgment I did not believe she had an
17 infection in the bone.

18 Q. What led you to that conclusion?

19 A. Because the wound -- well, the
20 wound in February was not deep enough. It
21 wasn't tracking to bone.

22 Q. How would you know that if you
23 don't -- I'm sorry. If you don't open up
24 the wound to investigate, how would you
25 know that it was not deep enough?

0296

1

2 A. The wound was open.

3 Q. You said the wound was not deep
4 enough. My question is how would you know
5 how deep the possible infection went to
6 make that conclusion?

7 A. Because I would have examined
8 that. I would have probed. I would have
9 checked to see if there was any tracking.

10 Q. Is there any other way that you
11 could evaluate and determine for sure
12 whether or not the patient had a bone
13 infection?

14 A. Generally speaking now?

15 Q. No, no, in this case.

16 A. Again, I had at no point where I
17 decided she had a bone infection. So I
18 didn't come up with any plan to try to
19 prove or disprove that she had a bone
20 infection.

21 Q. Here's my trouble I'm having.
22 You've told me now based upon the X-rays
23 that she could have an infection to the
24 bone or it could be changes from the
25 surgery to remove the screw.

0297

1

2 A. That's correct.

3 Q. You now have two different
4 possibilities based upon the X-ray?

5 A. That's correct.

6 Q. Would it be good medical
7 practice at this point to now attempt to
8 do any tests that are available to you to
9 rule out the most serious of those
10 possibilities?

11 A. But clinically there was --
12 : Let him finish his
13 answer and then you can make whatever
14 statements you want. Go ahead,
15 Doctor.

16 A. Clinically, there was no
17 evidence to show that it's more likely to
18 be a bone infection.

19 Q. Yet, radiographically, there is
20 some suggestion or possibility that it
21 could be a bone infection. And my
22 question to you, Doctor, is once you
23 recognize that possibility, is it good
24 medical practice to now do tests to
25 evaluate the possibility of the most

0298

1
2 likely or, I'm sorry, the most serious
3 possibility?

4 : In other words, are
5 you asking him did he need to do more
6 tests than he already did on this day,
7 the cultures sensitivity and the
8 clinical assessment?

9 MR. OGINSKI: Correct.

10 : Did you need to do
11 more tests.

12 THE WITNESS: It wasn't
13 necessary to do more tests.

14 Q. Now if this patient had a bone
15 infection, what are the clinical signs you
16 would expect to see, other than the ones
17 that were present and observable?

18 Objection. I don't
19 understand.

20 MR. OGINSKI: I'll rephrase.

21 Q. You told me that clinically
22 there was no evidence of a bone infection.
23 So my question to you is if the patient
24 did have a bone infection, what other
25 clinical things would you expect to see in

0299

1
2 the event she had a bone infection?

3 : Objection. Other
4 than what he's told you? He told you
5 he looked for tracking. There was no
6 tracking. He looked for a deep
7 infection. There was no evidence of a
8 deep infection, so other than that, is
9 that what you're asking for?

10 MR. OGINSKI: Let me go back.

11 Q. When you debried a wound, did

12 you just debrided the wound edges?
13 A. That's correct.
14 Q. You did not delve deep into the
15 wound, correct?
16 : Objection. He said
17 he probed deep in the wound. That's a
18 different question than did he debrided
19 deep into the wound.
20 Q. Okay, you told me that you
21 probed into the wound?
22 A. That's correct.
23 Q. Do you have that recorded
24 anywhere in your note?
25 A. No, I don't.

0300

1
2 Q. And why did you probe into the
3 wound?
4 A. Again, to inspect that there was
5 a deep infection.
6 Q. And if there was an infection
7 deep in the wound, what would you have
8 expected to see?
9 A. Either tracking or probing to
10 the bone.
11 Q. What was that, Doctor, sorry?
12 A. Probing to the bone.
13 : Tracking or probing
14 to the bone.
15 Q. What do you mean probing to the
16 bone?
17 A. If the probe hits the bone.
18 Q. What would that tell you?
19 A. That there's a deep infection.
20 Q. And why would the probe hitting
21 the bone indicate an infection?
22 A. It doesn't indicate if there is
23 an infection. It indicates if there's a
24 deep infection. Okay, there could be an
25 infection, but not deep.

0301

1
2 Q. I'm sorry. What is the fact
3 that the probe is going deep into the
4 wound have to do with whether or not
5 there's an infection?
6 A. Because if I probe deep into the
7 wound and if it's tracking, then the
8 infection's in deep tissue. And if it
9 probes to the bone, hits the bone, then it
10 has violated the deep tissues.
11 Q. I see. Now, is it possible that
12 even though the infection does not allow
13 the probe to go down to the bone that the
14 patient can still have a bone infection?
15 A. It's possible for anything.
16 Q. So now given the patient's
17 radiographic evidence that you observed of

18 the possibility that there can be bone
19 infection, would it be good medical
20 practice to now run whatever tests you can
21 to rule out for sure whether or not the
22 infection is in the bone?
23 : Objection. Asked
24 and answered. He already told you it
25 wasn't necessary.

0302

1
2 Q. You have indicated to me,
3 Doctor, that a patient can still have a
4 bone infection even if the infection does
5 not go down to the bone on wound
6 inspection, correct?
7 A. That's correct.
8 Q. So now do you rule out whether
9 or not the patient has infection in the
10 bone then in light of radiographic
11 evidence of the possibility of that?
12 A. But I wasn't there to rule out a
13 bone infection.
14 Q. I understand that.
15 A. Okay.
16 Q. On , you determine
17 the patient had an infection, correct?
18 A. Yes.
19 Q. You gave her antibiotics?
20 A. That's correct.
21 Q. You debrieded the wound and you
22 probed the wound, correct?
23 A. I inspected the wound, yes.
24 Q. You took an X-ray that showed
25 erosive changes to the first metatarsal

0303

1
2 head?
3 : Are you going to
4 review all the testimony again?
5 MR. OGINSKI: No. I want to
6 establish instead of going right into
7 it.
8 : Just ask the
9 question because now you're doing this
10 two and three times again. If he
11 doesn't understand it, he'll let you
12 know.
13 MR. OGINSKI: Okay.
14 Q. Is it good medical practice in
15 light of your finding of an infection and
16 in light of the possibility on X-ray that
17 the patient could have osteomyelitis to
18 now take it one step further, to now rule
19 out whether the patient has osteomyelitis?
20 : Over my objection
21 since it's been answered three times,
22 he can answer it one more time.
23 A. It was not necessary at the

24 time.
25 Q. My question is different. I'm

0304

1

2 asking whether it's good medical practice
3 to take it one step --

4 A. But then you're talking about a
5 general question.

6 : Don't argue.

7 Q. I'm asking, based upon the facts
8 as they existed and your observations on
9 , whether it's good medical
10 practice at that time to order additional
11 tests to rule out osteomyelitis at that
12 time?

13 MR. : He already answered
14 your question and said it's not
15 necessary. Are you looking for him to
16 say the answer is no, it was not
17 necessary to do that medical practice
18 that day.

19 MR. OGINSKI: If that's what his
20 answer is.

21 MR. : Is that what you
22 mean?

23 THE WITNESS: Yes.

24 MR. : Let's take a quick
25 break for a second.

0305

1

2 (A short recess was taken.)

3 Q. Will oral antibiotics treat
4 osteomyelitis?

5 A. It can.

6 Q. Is it as effective as IV
7 antibiotics?

8 A. For a chronic osteo or acute
9 osteo?

10 Q. Let's take them separately.

11 A. Neither treatment has shown to
12 be a definitive treatment in terms of IV
13 or oral. There are suggestions that an
14 oral antibiotic can be as penetrating as
15 IV antibiotics.

16 : For chronic?

17 THE WITNESS: For chronic.

18 Q. Is there a particular study that
19 you're referring to?

20 A. No.

21 Q. What is the effect on a patient
22 if they have osteomyelitis and it is
23 untreated, what can happen?

24 A. The infection can get worse and
25 other -- I mean, you can get necrosis of

0306

1

2 any amount of viable tissue.

3 Q. You had told me earlier that the

4 treatment for acute osteomyelitis included
5 debriedment and IV antibiotics and the
6 treatment for chronic osteomyelitis was to
7 excise bone and give either IV or oral
8 antibiotics, right?

9 A. That's correct.

10 Q. Just a moment ago you told me
11 that antibiotics is not the definitive
12 treatment for treatment of osteomyelitis?

13 A. For active osteomyelitis, that's
14 right.

15 Q. What is definitive treatment?

16 A. Excising an infected bone.

17 (Continued on the next page to
18 include the jurat.)
19
20
21
22
23
24
25

0307

1
2 MR. OGINSKI: We're going to
3 stop today and continue at a date
4 that's convenient for everyone.
5 (Time noted: 4:33 p.m.)
6
7

8
9 Subscribed and sworn to
10 before me on this _____ day
11 of _____, 2009.
12
13

NOTARY PUBLIC

14
15
16
17
18
19
20
21
22
23
24
25

0308

1
2 INDEX TO TESTIMONY
3 Examination by: Page
4 MR. OGINSKI 5
5
6

7 INDEX TO PLAINTIFFS' EXHIBITS

8 _____ / _____ / _____ / _____
9 _____ / _____ / _____ / _____
10 _____ / _____ / _____ / _____
11 _____ / _____ / _____ / _____
12 _____ / _____ / _____ / _____
13 _____ / _____ / _____ / _____
14 _____ / _____ / _____ / _____
15 _____ / _____ / _____ / _____
16 _____ / _____ / _____ / _____
17 _____ / _____ / _____ / _____
18 _____ / _____ / _____ / _____
19 _____ / _____ / _____ / _____
20 _____ / _____ / _____ / _____

21
22 SUBSCRIBED AND SWORN TO
23 BEFORE ME THIS _____ DAY
24 OF _____, 2009.

24 _____
25 NOTARY PUBLIC
MY COMMISSION EXPIRES _____