

0001

1

2

SUPREME COURT OF THE STATE OF NEW YORK  
COUNTY OF KINGS

3

4

5

6

7

8

9

10

11

12

13

\_\_\_\_\_  
,  
Plaintiff,  
-against-  
MEDICAL CENTER,  
, M.D.,  
M.D., , M.D.,  
, M.D., , M.D.,  
, M.D., , M.D.,  
, M.D. (FIRST NAME BEING  
FICTITIOUS) , M.D. (FIRST  
NAME BEING FICTITIOUS) , R.N.  
and , R.N.,  
Defendants.

Index No. XXXXX/06

14

15

16

17

18

19

20

21

22

23

24

25

0002

1

2

3

4

5

6

7

8

9

10

11

12

13

December 18, 2007  
10:22 a.m.  
  
EXAMINATION BEFORE TRIAL of  
, taken by Plaintiff, pursuant  
to Court Order, held at the offices of  
, P.C.,  
Street, New York, New York before Wayne  
Hock, a Notary Public of the State of New  
York.  
  
A P P E A R A N C E S:  
  
THE LAW OFFICE OF GERALD M. OGINSKI, LLC  
Attorneys for Plaintiff  
25 Great Neck Road  
Great Neck, New York 11021  
BY: GERALD M. OGINSKI, ESQ.  
  
, P.C.  
Attorneys for Defendants  
MEDICAL CENTER  
, D.O.  
, M.D.  
, M.D.  
, M.D.  
, R.N.  
, R.N.

14 Street  
New York, New York 10038

15 BY: , ESQ.

16  
17 , ESQS.  
Attorneys for Defendant

18 , M.D. Street  
19 New York, New York 10038

20 BY: , ESQ.

21 \* \* \*

22  
23  
24  
25  
0003

1  
2 IT IS HEREBY STIPULATED AND AGREED by and  
3 between the attorneys for the respective  
4 parties hereto that all rights provided by  
5 the CPLR, and Part 221 of the Uniform  
6 Rules for the Conduct of Depositions,  
7 including the right to object to any  
8 question, except as to the form, or to  
9 move to strike any testimony at this  
10 examination, are reserved; and, in  
11 addition, the failure to object to any  
12 question or to move to strike any  
13 testimony at this examination shall not be  
14 a bar or waiver to make such motion at,  
15 and is reserved for, the trial or this  
16 action.

17 IT IS FURTHER STIPULATED AND  
18 AGREED that this examination may be signed  
19 and sworn to, by the witness being  
20 examined, before any Notary Public other  
21 than the Notary Public before whom the  
22 examination was begun, but the failure to  
23 do so, or to return the original of this  
24 examination, shall not be deemed a waiver  
25 of rights provided by Rules 3116 and 3117

0004  
1  
2 of the CPLR and shall be controlled  
3 thereby.

4 IT IS FURTHER STIPULATED AND  
5 AGREED that the filing of the original of  
6 this examination shall be and the same  
7 hereby is waived.

8 \* \* \*  
9 MR. OGINSKI: Can you mark these.

10 (Whereupon, a medical chart  
11 was marked Plaintiff's Exhibit 1A  
12 for identification.)

13 (Whereupon, a medical chart  
14 was marked Plaintiff's Exhibit 1B

15 for identification.)  
16 (Whereupon, a medical chart  
17 was marked Plaintiff's Exhibit 1C  
18 for identification.)  
19 (Whereupon, a document entitled  
20 Curriculum Vitae of ,  
21 was marked Plaintiff's Exhibit 2  
22 for identification.)  
23 , having  
24 been first duly sworn by a Notary Public  
25 of the State of New York, upon being

0005

1  
2 examined, testified as follows:  
3 EXAMINATION BY  
4 MR. OGINSKI:  
5 Q. Please state your full name.  
6 A. .  
7 Q. What is your current address?  
8 A. Avenue,  
9 apartment , New York, New York .  
10 Q. Good morning, Doctor.  
11 A. Good morning.  
12 Q. Did you perform a shoulder  
13 reduction to on  
14 September 7, at Medical  
15 Center?  
16 A. Yes.  
17 Q. What is a shoulder dislocation?  
18 A. It's when the humeral head,  
19 which normally is within the joint against  
20 the glenohumeral fossa, is displaced out  
21 of that fossa, out of that joint.  
22 Q. How does that happen?  
23 MS. : Note my objection.  
24 You can answer over objection.  
25 In other words, you want to know

0006

1  
2 every type of thing that could happen  
3 or physiologically how it happens?  
4 Q. In general, how does someone  
5 dislocate their shoulder?  
6 A. Well, there's different types of  
7 shoulder dislocations. There's  
8 dislocations that happen from trauma where  
9 you've had direct contact to the shoulder  
10 joint and then there's dislocations where  
11 you've strained the joint from lifting  
12 something, from trying to pull something  
13 up. There's different kinds of  
14 dislocations -- interior, posterior,  
15 inferior --- so each one, there's  
16 different things that can precipitate  
17 those.  
18 Q. In the course of your career --  
19 A. Those are the pain points.

20 Q. In the course of your career,  
21 have you had occasion to see patients  
22 who've had shoulder dislocations from  
23 different types of trauma and other  
24 sources?

25 A. Yes.

0007

1

2 Q. And have you had occasion to  
3 treat those shoulder dislocations?

4 A. Many.

5 Q. What is shoulder reduction?

6 A. Shoulder reduction is taking the  
7 humeral head, which is not in the correct  
8 position for the shoulder joint, and  
9 putting it back into the correct position.

10 Q. Are you familiar with something  
11 known as an axillary sheath hematoma?

12 A. Yes.

13 Q. What is that?

14 A. The axillary area is the area  
15 under your what would commonly be known as  
16 the armpit area. Blood can form in that  
17 area and that becomes a hematoma when  
18 blood forms in that area.

19 Q. Are you familiar with a term  
20 known as "rhabdomyolysis?"

21 A. Yes, it comes from the breakdown  
22 of muscle tissue.

23 Q. What is nerve compression?

24 A. It's when -- any type of  
25 compression on the nerve.

0008

1

2 Q. Are you familiar with a term  
3 known as ischemic neuritis?

4 A. Yes.

5 Q. What is it?

6 A. It's when there's decreased  
7 blood flow to the nerve.

8 Q. Can that occur from nerve  
9 compression?

10 A. It can.

11 Q. What is brachial plexopathy?

12 A. It's when you damage in the  
13 brachial area nerves.

14 Q. What is a compartment syndrome?

15 A. Compartment syndrome is when an  
16 area which is confined has expansion that  
17 becomes limited and causes compression.  
18 There are symptoms that are suggestive of  
19 that and it's part of the definition of  
20 it.

21 Q. And in your career, have you  
22 seen and examined patients who had  
23 compartment syndrome?

24 A. Yes.

25 Q. And as an emergency room

0009

1

2 physician, have you had occasion to treat  
3 compartment syndrome?

4 A. Yes.

5 Q. I'll go into more detail about  
6 compartment syndromes a little bit later.

7 What is a fasciotomy?

8 A. A fasciotomy is when you open  
9 the fascia so that you can release some of  
10 the pressure in the area where you have  
11 compartment syndrome.

12 Q. And what is the purpose of that?

13 A. To release the tension in the  
14 area which is causing the damage.

15 Q. And how does the compression  
16 cause damage?

17 A. Well, if you compress an artery,  
18 then there's no blood flow to the area.  
19 Compression of the vein means there's no  
20 blood flow away from the area. Decreasing  
21 the blood flow directly affects the  
22 muscles and the nerves and compression on  
23 the muscles and nerves themselves cause  
24 damage.

25 Q. What happens if there's

0010

1

2 compression on nerves that may be in that  
3 area?

4 A. It depends on how much  
5 compression. Prolonged severe suppression  
6 can cause nerve damage.

7 Q. Can you give me an estimate as  
8 to the number of shoulder reductions  
9 you've done in your career?

10 MS. : Up-to-date?

11 MR. OGINSKI: Yes.

12 A. More than a hundred.

13 Q. Now, all of my questions are  
14 going to be confined to the September,  
15 time period unless I indicate  
16 otherwise.

17 At that time you were working  
18 for Medical Center?

19 A. Yes.

20 Q. And you were an attending  
21 physician in the emergency room?

22 A. Yes.

23 Q. Am I correct that you are a ,  
24 a doctor of ?

25 A. Yes, I am.

0011

1

2 Q. How long had you been working at  
3 emergency department as of

4 September of ?  
5 A. Approximately one year.  
6 Q. Did you have a particular shift  
7 or number of days that you would work in  
8 the emergency room on any given week?  
9 A. It varies because they have  
10 eight-hour and twelve-hour shifts, so  
11 depending on how many eight-hour or  
12 twelve-hour shifts you got, if you got  
13 more twelve hours, it might be less shifts  
14 for the month; eight-hour, more shifts for  
15 the month.  
16 Q. Were there times that you took  
17 shifts back to back?  
18 A. No, you're not allowed to do  
19 back-to-back shifts at .  
20 Q. Who was the chief or head of the  
21 emergency room department at the time that  
22 you were working there in September of  
23 ?  
24 A. The chairman is Steve Davidson.  
25 Q. Now, I understand you left

0012

1  
2 in 2006?  
3 A. Yes.  
4 Q. And you currently work at  
5 Medical Center in ?  
6 A. Yes.  
7 Q. Also as an emergency room  
8 attending?  
9 A. Yes.  
10 Q. Did your leaving  
11 Medical Center in have anything to do  
12 whatsoever with the treatment and care  
13 rendered to ?  
14 A. No.  
15 Q. Going back to in  
16 September of , did the emergency room  
17 have documented policies and procedures  
18 for monitoring a preliminary imaging study  
19 performed by an emergency room physician?  
20 MS. : Objection to the  
21 form.  
22 MR. OGINSKI: I'll rephrase it.  
23 Q. If you wanted to obtain let's  
24 say an MRI of a patient, describe for me  
25 whether there are certain written

0013

1  
2 documented policies and procedures about  
3 what actually happens once you want a film  
4 done, it gets taken, it gets read. I'm  
5 looking for procedures.  
6 MS. : Objection to form.  
7 You can answer over objection.  
8 A. You have to determine if the

9 patient's actually suitable for an MRI.  
10 MS. : He wants to know  
11 what, if anything, was in writing.

12 A. And so there's a form that you  
13 have to fill out to determine if the  
14 patient is actually even suitable, which  
15 is in the chart, requesting the patient to  
16 either answer questions or, if he's not  
17 able to, the physician to note if it seems  
18 reasonable that the patient has those  
19 things, such as a pacemaker, any other  
20 electronic devices. At the point that you  
21 determine that the patient is able to and  
22 that form has to be filled out before you  
23 request an MRI, you request an MRI through  
24 a computer system which then transmits it  
25 to the MRI department. And then the MRI

0014

1 department has to see how they can  
2 accommodate the patient. If there's a  
3 patient already in the MRI machine,  
4 they're not going to take that patient out  
5 and put somebody else in.

6  
7 Once the MRI was done, there was  
8 a system in place where you could phone  
9 the radiology resident on call to get a  
10 preliminary reading.

11 MS. : Let me interrupt  
12 you for a second.

13 Is all of this in writing how do  
14 you do this? That's what he's asking  
15 you.

16 THE WITNESS: The form?

17 MS. : No, is there a  
18 rule that says first you fill out the  
19 form, then you do the next thing and  
20 then the next thing, that's what his  
21 question was. Is there a policy  
22 manual or something?

23 THE WITNESS: I don't know.

24 Q. Once an MRI is actually taken  
25 within the emergency room, was there any

0015

1 documented policy, written policy, rules  
2 or regulations, about what happens next in  
3 terms of getting a preliminary read and  
4 getting an official read by the  
5 radiologist and --

6  
7 A. I don't know if it's a written  
8 policy.

9 Q. -- communicating that  
10 information to the doctor who ordered it  
11 in the emergency room?

12 A. I don't know that there's a  
13 written policy for that.

14 Q. At the time that you joined  
15 Medical Center, were you given  
16 some type of booklet or pamphlet or  
17 something that discusses the rules and  
18 regulations of the hospital, in general?

19 A. I believe there was a book in  
20 the emergency room available.

21 Q. So if you needed to consult it,  
22 you could go to it?

23 A. Yes.

24 Q. Do you know what that book is  
25 called?

0016

1

2 A. I don't know what the name of  
3 that book was.

4 Q. Is that a compilation of rules  
5 and policies and procedures for the  
6 emergency room?

7 A. I know there was a policy and  
8 procedure book. I'm not sure that this  
9 was specifically in the book, but there's  
10 a policy and procedure book if you had  
11 difficulty with a situation and needed  
12 something.

13 Q. And where would that usually be  
14 kept?

15 A. There was one in the pharmacy  
16 area of the emergency room.

17 Q. Now, you told me that there was  
18 a protocol that you could phone the  
19 radiology resident to get a preliminary  
20 reading.

21 A. Yes.

22 Q. And how would you get notified  
23 that an MRI had actually been completed so  
24 that you could now contact the radiology  
25 resident to get that preliminary reading?

0017

1

2 A. You wouldn't be contacted, you  
3 would contact them.

4 Q. Would you be told at some point,  
5 other than maybe the patient returning  
6 back to their bed or area in the emergency  
7 room, that the patient had had an MRI and  
8 now it was available to be read?

9 MS. : Note my objection  
10 to the form.

11 MR. OGINSKI: I'll rephrase it.

12 Q. After a patient is sent to the  
13 MRI for an MRI in the emergency room,  
14 other than you as the doctor picking up  
15 the phone and calling the radiology  
16 resident, is there any other way that you  
17 are notified about the fact an MRI was  
18 done for a patient that you had ordered an

19 MRI for?  
20 A. No, you requested the reading,  
21 got the reading because they were around  
22 -- you initiated getting some form of  
23 result.

24 Q. Did the emergency room have a  
25 system for timely notification to the

0018

1  
2 emergency room doctor when there is a  
3 substantial discrepancy between the  
4 preliminary read and the official read?

5 MS. : Note my objection  
6 to the form. I think it's got a lot  
7 of qualifications.

8 MR. OGINSKI: I'll break it up.

9 Q. Am I correct that there was  
10 always a radiology resident available?

11 A. Yes.

12 Q. And what happens as far as the  
13 MRI film after the preliminary  
14 interpretation is done?

15 MS. : Note my objection.

16 What happens from a radiology  
17 point of view?

18 MR. OGINSKI: No, from an  
19 emergency room standpoint.

20 MR. : Off the record.  
21 (Discussion held off the record)

22 Q. Once an MRI imaging study was  
23 done and a preliminary report was obtained  
24 from the radiology resident, how do you  
25 get notified or learn what the official

0019

1  
2 interpretation is?

3 MS. : Just note my  
4 objection to the form.

5 You can answer over objection.

6 A. If the patient was still in the  
7 emergency room when an official reading  
8 was made and the patient was not already  
9 admitted to another attending's service,  
10 then there would be a phone call made to  
11 the emergency room to notify them that  
12 there was a discrepancy in the reading.  
13 If the patient were already admitted to  
14 the hospital and was in a room somewhere  
15 else in the hospital, then that service  
16 would be notified of the change in the  
17 result. If the patient had been  
18 discharged from the hospital, there was a  
19 discrepancy book that was filled out so  
20 that the PA who was triaging that day  
21 could notify the patient to come back to  
22 the emergency room. So the notification  
23 is different for a patient gone, a patient

24 in the ER still under ER service, a  
25 service in the ER under another service

0020

1  
2 but being held because there's no bed  
3 available, and a patient upstairs under  
4 another service.

5 Q. You've mentioned that the  
6 emergency room would be called.

7 Would the doctor who ordered the  
8 MRI actually be called or would a message  
9 be left for that physician?

10 A. Neither.

11 Q. How would that work?

12 MS. : Off the record.

13 (Discussion held off the record)

14 Q. If the patient is still in the  
15 emergency room at the time that an  
16 official read is done, how do you get  
17 notified if you have ordered that MRI?

18 A. I actually answered that  
19 question. If the patient's in the  
20 emergency room but he's already admitted  
21 to another service, so the doctor record  
22 now is Dr. X, Dr. X will be notified. In  
23 the emergency room, we work limited shift  
24 hours so they would call the attending  
25 that was on during that shift that the

0021

1  
2 answer was changed if the patient was  
3 still listed as an emergency room patient.

4 Q. Now, to jump ahead to what your  
5 attorney had mentioned --

6 MR. OGINSKI: Withdrawn.

7 Q. At any time while you were  
8 caring for , did you ever  
9 talk to any radiology resident about a  
10 preliminary read that he had done while he  
11 was in the emergency room?

12 A. Yes.

13 Q. Who did you speak to?

14 A. Whoever was on call that night.

15 Q. Do you have a name?

16 A. I don't.

17 Q. Did you make a notation about  
18 your conversation with the radiology  
19 resident regarding the preliminary read?

20 MS. : You can look at  
21 the chart.

22 A. I called the radiology resident  
23 to confirm the preliminary reading that I  
24 had been told by the orthopedic because I  
25 had documented that in the chart. Because

0022

1  
2 there was no change in the reading, I did

3 not document it in the chart.  
4 Q. The orthopedic resident you  
5 mentioned, do you know that individual's  
6 name?  
7 A. Dr. .  
8 Q. ?  
9 A. Dr. , I'm sorry.  
10 Q. Was this a telephone  
11 conversation with Dr. , was it in  
12 person, or something else?  
13 A. Dr. came down to the  
14 emergency room and spoke to me about the  
15 MRI. I called the radiology resident to  
16 confirm that that reading was correct and  
17 then I documented in the chart that I had  
18 spoken to Dr. about the MRI results  
19 and that we were going to be admitting the  
20 patient to the hospital.  
21 Q. To whose service?  
22 A. Orthopedic service.  
23 Q. What did Dr. tell you  
24 about his interpretation of the MRI?  
25 MS. : Just for the

0023

1  
2 record, it's her.  
3 A. It's documented in the chart.  
4 There's an attending note. is my  
5 initials. Page seventeen. "MRI of the  
6 arm and shoulder with STS," which is soft  
7 tissue swelling. "No evidence of muscle  
8 tendon tear. There was no vascular  
9 injury." The preliminary report was from  
10 the ortho resident. And ortho was taking  
11 the patient to Dr. 's service for  
12 observation overnight.  
13 Q. What time was that note?  
14 A. 23:28.  
15 Q. And that's on 9/7/ ?  
16 A. Yes.  
17 Q. What was your custom and  
18 practice back then about when you entered  
19 notes into the computer?  
20 A. Notes were entered for different  
21 reasons. If I saw a patient but I was  
22 unable to write an entire note, I would  
23 write a small note to remind myself of a  
24 particular physical aspect or a particular  
25 reaction to a medication or a particular

0024

1  
2 event that happened. Sometimes the notes  
3 are written as you're talking to somebody,  
4 if you happen to be logged onto a  
5 computer, we had telephones that worked  
6 only within the hospital. So if I was  
7 with a patient, I could receive a phone

8 call from another doctor but, if I was in  
9 the middle of a procedure, it may not get  
10 documented for quite some time because I  
11 was aware of the situation but not next to  
12 a computer.

13 Q. Did you personally review the  
14 MRI images of this patient?

15 A. No, I did not.

16 Q. What was the purpose of  
17 contacting the radiology resident who was  
18 on call after you spoke to Dr. about  
19 her interpretation of the images?

20 A. To make sure there were no other  
21 further comments that I needed to be aware  
22 of.

23 Q. Did the radiology resident  
24 review them while you were on the  
25 telephone?

0025

1

2 A. He had just reviewed them with  
3 Dr. and was aware of the case.

4 Q. Was there anything in your  
5 review of this patient's chart that would  
6 suggest to you who that radiology resident  
7 was?

8 A. No.

9 Q. Other than the notes that are  
10 contained within this patient's record, do  
11 you have any handwritten notes separate  
12 and apart from the patient's record about  
13 care and treatment during his admission to  
14 ?

15 A. I don't know.

16 Q. Back in September of , were  
17 there occasions when you made handwritten  
18 notes into the patient's emergency room  
19 record?

20 A. Not into the patient's emergency  
21 room record.

22 Q. What would you make handwritten  
23 notes about or where would they be?

24 THE WITNESS: Can I answer it?

25 MS. : Yes.

0026

1

2 A. I try, on most shifts, to keep  
3 the name, the diagnosis of the patient.  
4 Sometimes there may be something  
5 interesting about the patient I want to go  
6 back and review for academic purposes to  
7 review with residents to teach them about  
8 unusual presentations, sometimes just to  
9 follow up because it's something you don't  
10 see very often, and so I tend to keep a  
11 listing of the patients that I've seen.  
12 The only thing that I keep consistently on

13 every patient is the name, the date, and  
14 the diagnosis so I can remind myself at  
15 later dates if somebody asks did I see the  
16 patient. I don't know if I had written  
17 anything else on that patient.

18 Q. Did you ever present Mr.  
19 situation or his case to any of  
20 the residents either informally or at  
21 rounds or at grand rounds?

22 A. I had a resident on the case  
23 with me.

24 MR. OGINSKI: Let me rephrase the  
25 question.

0027

1  
2 Q. At any point after you treated  
3 Mr. in September of , did you  
4 ever have a discussion with the residents  
5 for teaching purposes about what treatment  
6 he received and anything else that  
7 happened during his time he was at  
8 ?

9 A. Not after he left the emergency  
10 room.

11 Q. Were you ever asked to prepare a  
12 written statement by anyone from the  
13 hospital after Mr. had left the  
14 emergency room other than the notes that  
15 you made within this chart?

16 A. No.

17 Q. Going back to the rules or  
18 policies of the emergency room, were there  
19 any written documented policies regarding  
20 the notification going from a radiology  
21 resident on call to an official read by  
22 the attending radiologist that you are  
23 aware of?

24 MS. : Can I hear that  
25 back?

0028

1  
2 MR. OGINSKI: I'll rephrase it.

3 Q. Was there a written policy in  
4 the emergency room as to how much time an  
5 attending radiologist had in order to  
6 perform an official read of an MRI that  
7 was taken in the emergency room?

8 A. I don't know.

9 Q. Were you aware, regardless of  
10 whether or not it was written, as to how  
11 long a radiologist had to occasionally  
12 interpret an MRI that was taken in the  
13 emergency room?

14 MS. : Just note my  
15 objection to the form. You're  
16 presuming that -- the way it's worded.

17 Q. Was there any type of unofficial

18 policy as to how long a radiology  
19 attending would have to perform an  
20 official read of an image taken in the  
21 emergency room?

22 A. In general, if they were  
23 performed after regular daytime hours,  
24 they would be looked at the next morning.  
25 That was my understanding.

0029

1

2 Q. Were there occasions when you  
3 would speak to a radiology attending to  
4 read or interpret a particular imaging  
5 study in the evening hours?

6 A. If the radiology resident was  
7 unclear about something or told me they  
8 couldn't interpret something, then the  
9 attending could be contacted.

10 Q. Now, did , back in  
11 , have the ability to send images to  
12 radiologists who were not physically  
13 within the hospital?

14 A. I don't know.

15 Q. Are you familiar with whether  
16 the hospital had the ability to send  
17 images by teleradiology?

18 A. I don't know what the radiology  
19 department has the ability to do.

20 Q. I'm just asking your knowledge.

21 A. I don't know if the radiology  
22 department had that ability.

23 Q. Were there any documented  
24 policies for the emergency room regarding  
25 making notes in a patient's chart as to

0030

1

2 when notes were to be made?

3 MS. : Specifically a  
4 written policy as to when the notes  
5 had to be made in relation to when the  
6 attending saw the patient?

7 MR. OGINSKI: Correct.

8 A. No.

9 Q. Were there any written policies  
10 regarding the fact that notes had to be  
11 made if the patient was seen by a nurse or  
12 a physician or somebody else?

13 A. Notes had to be completed within  
14 twenty-four hours of the patient being  
15 seen.

16 Q. And what was the purpose of  
17 that, if any, if you know?

18 A. To ensure accurate  
19 documentation.

20 Q. If a nurse --

21 MR. OGINSKI: Withdrawn.

22 Q. Were there occasions when nurses

23 in the emergency room would take patients'  
24 vital signs?

25 A. Yes.

0031

1

2 Q. Do you know if the nurses had  
3 any obligation to make notations in the  
4 chart about vital signs that they  
5 obtained?

6 MS. : Just note my  
7 objection.

8 You can answer over objection.

9 A. I don't know what the nursing  
10 department's policy is.

11 Q. Did the nurses, to your  
12 knowledge, use the computer to make  
13 entries in the chart?

14 A. They do use the computer, yes,  
15 that's how they enter the notes for the  
16 patients.

17 Q. Let's go back to shoulder  
18 reduction.

19 A. Okay.

20 Q. I'd like to ask you how you  
21 actually perform the reduction.

22 A. It depends on what technique  
23 you're using.

24 MS. : You're talking in  
25 this particular case or in general?

0032

1

2 Q. I'm going to focus on cases just  
3 like this one. I'm not asking  
4 specifically yet, I'm just asking shoulder  
5 dislocations as a result of some type of  
6 trauma.

7 MS. : Note my objection  
8 to the form. I think we have a lot of

9 discrepancy as to exactly what  
10 happened. If you want to ask her  
11 specifically as to what she did here,  
12 I don't have a problem with that.

13 A. There's three general --

14 MS. : Wait for a  
15 question.

16 Q. Tell me the different ways you  
17 can reduce a shoulder dislocation.

18 A. There's three generally accepted  
19 techniques for anterior dislocations,  
20 which would be the Stimson, the  
21 traction/countertraction, and the Hennepin  
22 technique.

23 Q. What's the last one?

24 A. Hennepin.

25 Q. Can you spell it?

0033

1

2 A. H E N N E P I N.

3 Q. And what is the Stimson method?

4 A. The Stimson method is used by  
5 having the patient lie prone with the  
6 affected arm over the side of the  
7 stretcher and you take a ten to  
8 fifteen-pound weight and attach it to the  
9 wrist. Obviously if it's a child, less of  
10 a weight. And you wait about twenty or  
11 thirty minutes until the muscles of the  
12 joint are fatigued and you manipulate the  
13 humeral head back into the joint.

14 Q. And what is the  
15 traction/countertraction method?

16 A. The traction/countertraction  
17 method is used by applying traction to the  
18 arm and applying countertraction in the  
19 axillary area in the opposite direction to  
20 separate the area enough that the humeral  
21 head can go back into the joint.

22 Q. You have to pull it out almost  
23 to put it back in; is that a layman's way  
24 of understanding it?

25 A. You're performing traction,

0034

1  
2 you're not -- it's a gradual traction,  
3 it's not a sudden movement.

4 Q. And the Hennepin method, what is  
5 that?

6 A. It's a method where you flex the  
7 elbow to ninety degrees and slightly  
8 externally rotate and lift the arm up over  
9 the head so that the humeral head can then  
10 replace itself back into the joint.

11 Q. How do you decide which of those  
12 procedures is appropriate for an adult?

13 A. It depends on if the adult is  
14 able to cooperate, whether or not you have  
15 more than one person available to assist  
16 you, and the body habitus of the patient.

17 Q. In 's case, did  
18 you have anybody to assist you in  
19 performing the reduction?

20 A. Yes.

21 Q. Who?

22 A. I had the resident who was  
23 assigned to the resuscitation area.

24 Q. And who was this resident?

25 A. Dr. .

0035

1  
2 Q. ?

3 A. Yes.  
4 MS. : Is his first or  
5 last name?

6 THE WITNESS: That's his last

7 name.  
8 Q. Do you know Dr. first  
9 name?  
10 A. It's in the chart. I don't  
11 remember.  
12 Q. And do you know what -- was Dr.  
13 an emergency room resident or --  
14 A. Yes.  
15 Q. Do you know what year he was in?  
16 A. I believe he was second year  
17 resident.  
18 Q. And what, if anything, did Dr.  
19 do --  
20 MS. : Can I interrupt  
21 you for a second.  
22 Did you finish your answer as to  
23 who was assisting you?  
24 A. There were other people who  
25 assisted.

0036

1  
2 Q. Other than the anesthesiologist,  
3 who else assisted you?  
4 MS. : Objection to the  
5 form.  
6 A. There's no anesthesiologist.  
7 Q. Who else assisted you?  
8 A. Dr. .  
9 Q. Anybody else?  
10 A. She had a colleague that  
11 accompanied her whose name I don't  
12 remember.  
13 Q. Was that also a resident?  
14 A. No.  
15 Q. Do you know what --  
16 A. He was an orthopedic PA.  
17 Q. What did Dr. do?  
18 A. Dr. provided  
19 countertraction.  
20 Q. What did Dr. do?  
21 A. Dr. assisted in traction.  
22 Q. And what did the ortho PA do?  
23 A. He assisted Dr. .  
24 Q. And what were you doing during  
25 the reduction?

0037

1  
2 A. I attempted, with Dr. , to  
3 reduce the shoulder twice. We were  
4 unsuccessful. I had the orthopedic  
5 resident come down to assist us. The  
6 third attempt was by Dr. and her PA,  
7 and I believe Dr. assisted just with  
8 the countertraction. When that was  
9 unsuccessful, the fourth and the fifth  
10 attempt were made with Dr. , myself,  
11 and I believe Dr. . The fourth and

12 fifth, I monitored the shoulder joint and  
13 determined whether or not I could feel the  
14 humeral head moving and at what point the  
15 humeral head went back into place.

16 Q. And at which attempt was it that  
17 the humeral head went back into place?

18 A. The fifth.

19 Q. How would you describe Mr.  
20 body habitus?

21 A. I described it in here many  
22 times. He's extremely muscular and large.

23 Q. Did you learn he was a  
24 bodybuilder?

25 A. He told me he went to the gym a

0038

1  
2 lot.

3 Q. Did you perform any opinion at  
4 that time as to why you were unable to  
5 reduce the shoulder after the first  
6 attempt?

7 A. Yes.

8 Q. What was your opinion?

9 A. The shoulder had been dislocated  
10 for approximately two days by the  
11 patient's description and he was extremely  
12 muscular.

13 Q. How does that affect the attempt  
14 to reduce the dislocation?

15 A. The muscles around the joint  
16 tend to spasm when there's been an injury,  
17 which makes it more difficult to  
18 manipulate the area. Also the thicker the  
19 muscle, the harder to manage any  
20 manipulation of the area.

21 Q. In general, I'm going back to  
22 general questions regarding a dislocated  
23 shoulder, is it painful?

24 A. It is. That's why we give you  
25 pain medication and sedation.

0039

1  
2 Q. In other words, to reduce it?

3 A. Conscious sedation, which is  
4 what he had, is making someone not feel  
5 the procedure or feel it less.

6 Q. I'm sorry, I wasn't clear.

7 A. That's the standard.

8 MR. OGINSKI: Let me rephrase it.

9 Q. At the time that you dislocate a  
10 shoulder from trauma, for example, is it  
11 generally painful?

12 A. It's painful.

13 Q. Is movement limited with a  
14 dislocated shoulder?

15 A. Yes.

16 Q. Why?

17 A. Because you are unable to --  
18 because the ball of the humeral head no  
19 longer being in the socket of the  
20 glenohumeral fossa makes it so that it  
21 cannot rotate for the muscles to be able  
22 to lift it up.

23 Q. It's a mechanical problem?

24 A. It's a mechanical problem.

25 Q. Does the pain contribute to the

0040

1

2 limitation of motion?

3 A. Even with pain, if you don't  
4 have a dislocated shoulder, there's a  
5 limited number of injuries that would  
6 cause you not to be able to lift your arm  
7 up.

8 Q. Is a dislocated shoulder  
9 typically seen with -- do you see  
10 swelling?

11 A. Yes, that's the number one  
12 physical exam sign.

13 Q. Do you also see discoloration?

14 A. Depending upon how it occurred,  
15 you may see some bruising, you may see  
16 some redness.

17 Q. Can you have interruption of  
18 blood flow to the hand as a result of a  
19 dislocation?

20 A. Yes.

21 Q. Can you have possibly decrease  
22 -- the possibility of a decreased blood  
23 flow to the hand from a dislocation?

24 A. Yes.

25 Q. And I think you mentioned

0041

1

2 earlier that you could also have possible  
3 nerve involvement from a dislocation?

4 A. Yes.

5 Q. Are you able to tell on exam the  
6 difference between sensory nerves and  
7 motor nerves?

8 A. Yes.

9 Q. When examining a patient who has  
10 a shoulder dislocation, do you examine the  
11 hand?

12 A. You examine the entire arm,  
13 which include the hand, the forearm, and  
14 the shoulder.

15 Q. As part of the examination, do  
16 you also check the patient's neurovascular  
17 condition?

18 A. Yes.

19 Q. What is involved? What do you  
20 look for?

21 A. Well, you can compress the nail

22 beds which causes a change in the color,  
23 release it and see how long it takes for  
24 the nail beds to go back to a normal  
25 color. That's called capillary refill.

0042

1

2 You can also check a radial pulse, which  
3 is very simple to do.

4 Q. How do you check for motor or  
5 sensory nerve involvement?

6 A. If they can move whatever you're  
7 checking.

8 Q. And as far as sensory, ask them  
9 to touch, if they can feel?

10 A. You can touch it and see if they  
11 feel it, yes.

12 Q. Going back to the MRI, if a  
13 patient was still in the emergency room  
14 and had not yet been transferred to  
15 another service, was there ever a time  
16 when you would actually receive a written  
17 report of a preliminary finding of an MRI?

18 A. No.

19 Q. Was there ever a time when you  
20 would receive a written report of an  
21 official radiology interpretation?

22 MS. : Are you talking  
23 about written on a piece of paper?

24 MR. OGINSKI: Yes. I'm not  
25 talking about in the computer.

0043

1

2 A. No.

3 Q. Was there some procedure that  
4 was available to you that, once an  
5 official interpretation was done of an MRI  
6 image, that you would be notified,  
7 e-mailed, or something else if the patient  
8 still was in the emergency room and had  
9 not yet been sent to another service?

10 A. There was -- generally they  
11 would call the emergency room if, on the  
12 computer, it was noted that the patient  
13 was still an emergency room patient.

14 Q. Other than by telephone --  
15 somebody from radiology calling the  
16 emergency room by telephone, was there any  
17 other method of notifying you as the  
18 doctor who had ordered the MRI imaging  
19 study about the results and other than you  
20 actually going and checking the computer?

21 A. Not that I'm aware of.

22 Q. Do you recall who made the  
23 decision to admit this patient overnight,  
24 to keep him?

25 A. I did and Dr. together.

0044

1  
2 Q. What was the reason for  
3 admitting the patient for overnight  
4 observation?  
5 A. Because he still had a  
6 significant amount of swelling in the  
7 upper extremity that I was concerned about  
8 and felt that he needed observation to  
9 make sure that he didn't develop any  
10 complications.  
11 Q. Am I correct that initially he  
12 was going to be discharged after his  
13 initial shoulder reduction?  
14 MS. : Note my objection  
15 to the form.  
16 MR. OGINSKI: I'll rephrase it.  
17 Q. Am I correct that the original  
18 feeling was that he would be sent home in  
19 the early afternoon?  
20 MS. : Note my objection  
21 to the form.  
22 You want to be more specific  
23 when you say the original thing? Are  
24 you asking her or somebody else?  
25 MR. OGINSKI: Right.

0045

1  
2 A. When I saw --  
3 MS. : Let him rephrase  
4 the question.  
5 Q. Had you formed an opinion after  
6 performing the shoulder reduction as to  
7 when this patient would be discharged?  
8 A. I formed an opinion before the  
9 reduction.  
10 Q. What was your opinion?  
11 A. The opinion was that he was  
12 going to have to be monitored for several  
13 hours after the reduction because he was  
14 at higher risk for developing  
15 complications because he had waited so  
16 long to come to the emergency room.  
17 Q. Is Dr. still working at  
18 ?  
19 A. I have no idea.  
20 Q. Have you ever spoken to Dr.  
21 after you left in 2006?  
22 A. No.  
23 Q. As a result of somebody  
24 suffering a shoulder dislocation, can they  
25 also tear their muscle?

0046

1  
2 A. That's one of the common  
3 complications.  
4 Q. Can they tear their tendon?  
5 A. That's another common

6 complication.  
7 Q. Did the dislocation cause trauma  
8 to the axillary artery or axillary vein?  
9 A. The longer a dislocation is out  
10 the more chance they damage the axillary  
11 artery, nerve, muscles, and tendons.  
12 Q. Explain what you mean by that.  
13 A. If a shoulder's been dislocated  
14 for twelve hours, it's much more likely  
15 that there will be damage than if it's  
16 been dislocated for one hour.  
17 Q. Why is it that the extended  
18 length of time would likely cause  
19 additional harm or injury?  
20 A. Because you're altering the  
21 normal structure of the area.  
22 Q. Are there times when a patient  
23 may dislocate their shoulder and not  
24 recognize that they have a dislocation?  
25 MS. : Note my objection  
0047  
1  
2 to the form.  
3 Q. In your experience.  
4 MR. : They don't diagnose  
5 themselves?  
6 MS. : If they don't know  
7 they have a dislocation, they may not  
8 come into the hospital at all so she  
9 wouldn't know that. You want to know  
10 didn't know he had a shoulder  
11 dislocation, you can ask her that.  
12 But whoever's out there that doesn't  
13 come into the hospital I think is --  
14 Q. Of the patients that you have  
15 seen in the emergency room over the years,  
16 have there been times when they didn't  
17 know that their shoulder had been  
18 dislocated, they just felt that they had  
19 some pain?  
20 MS. : Note my objection  
21 to the form.  
22 You can answer over objection.  
23 A. People come in and complain they  
24 have shoulder pain, that's what they  
25 complain of.  
0048  
1  
2 Q. Now, shoulder dislocation can  
3 cause trauma to associated nerves;  
4 correct?  
5 A. Yes.  
6 Q. What tests --  
7 MR. OGINSKI: Withdrawn.  
8 Q. When a patient comes into the  
9 emergency room and you suspect a shoulder  
10 dislocation, what tests, if any, can you

11 perform to rule out whether there's been a  
12 muscle tear?

13 A. It depends on whether you think  
14 it was a critical injury or not to the  
15 muscle.

16 Q. Tell me what you mean by that.

17 A. If you are able to easily reduce  
18 the shoulder and they're moving the arm  
19 relatively well, you would observe them,  
20 they would follow up with the orthopedic.  
21 If there was an obvious injury or you  
22 suspected an obvious injury, again you  
23 could observe and see how they do as the  
24 pain medications wear off, as swelling  
25 goes down, and see how the patient does

0049

1

2 and if not, if they don't improve, you may  
3 order an MRI.

4 Q. And is an MRI a good tool to use  
5 to determine whether or not there's a  
6 muscle or a tendon tear?

7 A. Yes.

8 Q. Are there any other tools that  
9 you have available to you that would  
10 assist you in determining whether or not a  
11 patient had a muscle or a tendon tear?

12 A. The MRI would be the best  
13 available test at the time that this  
14 person had his injury.

15 Q. Mr. \_\_\_\_\_ asked earlier whether  
16 or not, when an MRI is done, films are  
17 actually produced or they're computer  
18 generated images.

19 In \_\_\_\_\_, did you know which one  
20 was available at \_\_\_\_\_?

21 A. I don't know what films were  
22 available.

23 MR. \_\_\_\_\_: For the record, I  
24 didn't ask, I just pointed out that  
25 you assumed.

0050

1

2 Q. If you suspect there's been an  
3 injury to the axillary artery, are there  
4 any tests that you could perform to allow  
5 you to rule in or rule out whether there's  
6 been such an injury?

7 A. A Doppler duplex.

8 Q. And that was done in this case;  
9 is that right?

10 A. Yes, it was.

11 Q. And based upon your recollection  
12 of the reading of the chart, what was the  
13 result of the Doppler?

14 A. The most distal artery, the  
15 radial artery, had sufficient flow. The

16 brachial artery had sufficient flow. The  
17 axillary artery had sufficient flow. And  
18 if you will let me look up, I will let you  
19 know if the subclavian was documented on  
20 it but I don't remember off the top of my  
21 head. But there was no significant  
22 compromise of arterial flow that was  
23 dangerous at that time.

24 Q. Is that pre-reduction or post  
25 reduction?

0051

1

2 A. It's post reduction.

3 Q. And does the Doppler also look  
4 at any possible compromise to the veins?

5 A. Yes, it did.

6 Q. And were there any compromises  
7 to the veins during that Doppler duplex  
8 study?

9 A. There were none that were noted.

10 Q. Was a Doppler duplex study done  
11 pre-reduction?

12 A. No.

13 Q. Did you come to any opinion or  
14 working impression before you attempted  
15 the shoulder reduction as to whether there  
16 was any type of hematoma, axillary  
17 hematoma, as a result of the dislocation?

18 A. There's always a risk that there  
19 can be one. He had a large amount of  
20 swelling in the area circumferentially,  
21 and so it was difficult to tell from a  
22 physical exam whether there was or not.

23 Q. Did Mr. \_\_\_\_\_ have any  
24 discoloration around the shoulder area  
25 pre-reduction?

0052

1

2 A. No.

3 Q. Did he have pain on palpation?

4 A. Yes.

5 Q. And am I correct that his pain  
6 was graded on a scale of ten out of ten  
7 when he first arrived in the emergency  
8 room?

9 A. That's the generally accepted  
10 pain scale.

11 Q. And ten would be the maximum;  
12 correct?

13 A. Ten would be the maximum.

14 Q. Would you agree, Doctor, that in  
15 a patient who has a history of a shoulder  
16 dislocation, it would be important to  
17 obtain a detailed history of the patient?

18 A. Yes.

19 Q. And what's the purpose of  
20 obtaining a detailed history?

21 A. To determine whether or not  
22 there are factors that may affect your  
23 ability to reduce it, to find out if  
24 there's any factors that would affect his  
25 ability to recover from the injury, and

0053

1  
2 for complications that you may try to  
3 anticipate from the injury.

4 Q. Other than reviewing this  
5 particular chart, did you review any  
6 textbooks or literature in preparation for  
7 today?

8 A. No.

9 Q. Do you have a distinct memory of  
10 Mr. , what he looks like and  
11 certain conversations you may have had  
12 with him?

13 A. Yes.

14 Q. Do you recall --

15 MR. OGINSKI: Withdrawn.

16 Q. Did you have any conversations  
17 with any family member of Mr. on  
18 September 7?

19 A. The person that was --

20 MS. : Just note my  
21 objection to the form.

22 A. The person that was with him  
23 claimed to be his girlfriend in the  
24 emergency room.

25 Q. Do you recall on how many

0054

1  
2 different occasions you spoke to that  
3 individual?

4 MS. : The girlfriend?

5 MR. OGINSKI: Yes.

6 A. I don't know how many times I  
7 spoke to her.

8 Q. At the time that you were --

9 MR. OGINSKI: Withdrawn.

10 Q. When Mr. came into the  
11 emergency room, was he coherent, was he  
12 conscious?

13 A. Yes.

14 Q. Aware and oriented?

15 A. Yes.

16 Q. Did he examine him in the  
17 presence of his girlfriend?

18 A. I don't remember.

19 Q. Did you speak to Mr.  
20 about your findings in the presence of his  
21 girlfriend?

22 A. I don't remember if she was in  
23 the room.

24 Q. When you spoke to him about the  
25 need to perform a shoulder reduction, was

0055

1

2 his girlfriend present in the area where  
3 you were talking to him?

4 A. I don't remember.

5 Q. What did you tell Mr.  
6 about the need for the shoulder reduction?

7 A. I told him that a shoulder  
8 dislocation that does not get reduced will  
9 likely result in permanent disability. I  
10 also told him because it appeared to have  
11 been dislocated for approximately two  
12 days, that it was likely he already had  
13 some damage from the amount of time that  
14 it had been dislocated.

15 Q. Were you able to determine at  
16 that point what type of damage he might  
17 have sustained other than the acute phase?

18 A. No.

19 Q. At the time that you had talked  
20 to him about the need for the shoulder  
21 reduction, had Dr. \_\_\_\_\_ examined Mr.

22 ?

23 A. No.

24 Q. Had any other physician in the  
25 emergency room examined Mr. \_\_\_\_\_ before

0056

1

2 you performed your shoulder reduction?

3 A. Not a full physical exam. He  
4 was examined by the PA at triage.

5 Q. Other than the emergency room  
6 PA, was there any other doctor, other than  
7 yourself, who examined Mr. \_\_\_\_\_ before  
8 the reduction was attempted?

9 A. Dr. \_\_\_\_\_.

10 Q. And what, if anything, did Dr.  
11 \_\_\_\_\_ do before the reduction?

12 A. We examined the patient,  
13 reviewed the films, and it was determined  
14 that he needed to have his shoulder  
15 reduced.

16 Q. Was it your opinion, before  
17 doing the reduction, that he actually did  
18 have a shoulder dislocation?

19 A. Yes.

20 Q. And was that visible on the  
21 x-rays that you had ordered and obtained  
22 prior to making that decision?

23 A. The decision is partly clinical  
24 and partly radiologic.

25 Q. And did the x-rays confirm that

0057

1

2 there was a shoulder dislocation?

3 A. The x-rays suggested a shoulder  
4 dislocation.

5 Q. And did your clinical  
6 examination confirm that?  
7 A. Yes.  
8 Q. Now, again in a patient with a  
9 history of a shoulder dislocation, would  
10 you agree that it's important to perform a  
11 thorough clinical assessment?  
12 A. Yes.  
13 Q. Why?  
14 A. Because you're going to be  
15 giving medication that has other side  
16 affects. When you examine the patient, if  
17 you see something that's contraindicated  
18 to give that medication, that would be  
19 important to know.  
20 Q. And other than a medical  
21 history, is it important to actually  
22 perform a physical examination on the  
23 patient?  
24 A. Yes.  
25 Q. And what's the purpose of the

0058

1  
2 physical exam?  
3 A. To ensure that there are no  
4 other injuries, to determine if you're  
5 going to have any other complications from  
6 another deformity that he may have.  
7 Q. And as part of your clinical  
8 exam, is it important for you to ascertain  
9 whether or not the patient has good  
10 pulses?  
11 A. Yes.  
12 Q. As well as the patient's  
13 neurovascular status as well; correct?  
14 A. Yes.  
15 Q. Are there any risks associated  
16 with shoulder reduction?  
17 A. Yes.  
18 Q. I'm going to ask you certain  
19 questions about possible risks.  
20 A. Okay.  
21 Q. And I'm going to ask you  
22 generally what the risks are.  
23 A. Okay.  
24 Q. Can shoulder reduction cause  
25 damage to the shoulder or arm?

0059

1  
2 A. It's already damaged from --  
3 MR. OGINSKI: I apologize, my  
4 mistake.  
5 Q. Can a reduction cause additional  
6 damage to the patient's shoulder or arm?  
7 A. It can, yes.  
8 Q. How?  
9 A. You're manipulating an area

10 that's already been damaged, so it's  
11 already not in its correct physiologic  
12 state, anatomical state.

13 Q. Can tear or injury to the muscle  
14 occur during shoulder reduction?

15 A. Yes, as can from the dislocation  
16 itself.

17 Q. We've established already that  
18 the possible injuries that can occur from  
19 the dislocation.

20 A. Okay.

21 Q. My question now is just focused  
22 on the actual reduction itself.

23 A. Okay.

24 Q. I'm just trying to find out what  
25 can actually happen from the reduction.

0060

1

2 A. Okay.

3 Q. Can you suffer tendon damage or  
4 even cartilage damage during the  
5 reduction?

6 A. Yes.

7 Q. Can you suffer damage to an  
8 artery or a vein during reduction?

9 A. Yes.

10 Q. As well as injury to the nerve?

11 A. Yes.

12 Q. Or a nerve.

13 Can the patient experience  
14 additional bleeding?

15 A. Yes.

16 Q. Can the patient experience  
17 swelling from the reduction or as a  
18 consequence of the reduction?

19 A. From manipulation, yes.

20 Q. Can the patient experience  
21 compression of either an artery, a vein, a  
22 muscle, or a tendon as part of the  
23 reduction?

24 A. It's possible.

25 Q. Doctor, is there any way to

0061

1

2 prevent or minimize those possibilities  
3 during an attempted reduction?

4 A. By using the appropriate  
5 technique for that patient; by relaxing  
6 the patient so that his muscles are more  
7 compliant with your manipulation.

8 Q. And that would be by giving them  
9 some sort of sedative?

10 A. Yes.

11 Q. And pain medication as well?

12 A. Yes.

13 Q. Assuming that's done, that a  
14 patient is now sedated and anesthetized in

15 some fashion, is there any further way to  
16 try to reduce the risks associated with  
17 doing the reduction, and again assuming  
18 the correct procedure is used?

19 A. I'm not sure exactly what you're  
20 asking.

21 MR. OGINSKI: I'll rephrase it.

22 Q. You've told me that there are  
23 inherent risks with doing a shoulder  
24 reduction.

25 A. Yes.

0062

1

2 Q. My question is: Is there any way  
3 to minimize those risks?

4 MS. : Aside from what  
5 she's already testified to?

6 MR. OGINSKI: Sure.

7 A. If you're aware of something  
8 that needs to be corrected prior to, such  
9 as a coagulopathy, then that would reduce  
10 the risk of injury and bleeding.

11 Q. Aside from having any  
12 preexisting knowledge of any prior  
13 condition, in terms of physical damage to  
14 an artery, vein, a nerve, tendon, or  
15 muscle, is there any way to physically  
16 minimize those risks when doing a  
17 reduction?

18 MS. : Note my objection.

19 You can answer over objection.

20 You're talking now about a  
21 general patient?

22 A. You're looking for a specific  
23 answer and I'm not sure what you're  
24 looking for.

25 Q. I'm just asking, is there any

0063

1

2 way to minimize those risks; that if you  
3 do a particular technique, it's less risky  
4 than doing a different technique for a  
5 patient?

6 A. There's several acceptable  
7 techniques. Each is for a different type  
8 of patient. If you try to use the  
9 technique that's best for that patient,  
10 that decision alone helps minimize the  
11 risks.

12 Q. Am I correct that, in this  
13 particular case, you used the  
14 traction/countertraction technique?

15 A. Yes.

16 Q. How did you determine that that  
17 was the best procedure for Mr. ?

18 A. Because of the amount of  
19 musculature that he had, I did not feel

20 that either of the other two techniques  
21 would work as well or be as successful.  
22 For the Hennepin, the patient has to  
23 actually be able to cooperate which means  
24 he needs to also be not resisting.

25 Q. Awake and in pain; right?

0064

1

2 A. Not awake and in pain but it's a  
3 little bit different.

4 For the Stimson, you can only  
5 apply a certain amount of weight and his  
6 musculature made it so that that didn't  
7 seem probable that ten pounds of weight  
8 was going to relax this very large arm.

9 Q. In each of the five attempts  
10 that were made, was it done using the  
11 traction/countertraction technique?

12 A. Yes.

13 Q. If an axillary artery has been  
14 damaged as a result of the original  
15 dislocation, can delayed recognition of  
16 the damage lead to nerve deficits?

17 MS. : Can I hear the  
18 question back, please.

19 (Whereupon the requested portion  
20 was read back by the reporter)

21 MS. : You can answer.

22 A. Yes.

23 Q. How?

24 A. The longer you have compromised  
25 blood flow, the longer the tissue and the

0065

1

2 nerves to the area have decreased blood  
3 flow.

4 Q. Is that also known as ischemia?

5 A. Yes.

6 MR. OGINSKI: Let's take a  
7 two-minute break.

8 (Whereupon a break was taken)

9 Q. Doctor, who made a decision  
10 about the amount of sedation the patient  
11 would receive during the attempted  
12 reduction?

13 A. I did.

14 Q. And I understand, from looking  
15 at the sedation flow form, the patient  
16 received a total of eighty milligrams of  
17 propofol; correct?

18 MS. :

19 A. That's a standard adult dose.

20 Q. Can you tell, from looking at  
21 the note, please, as to how much Versed  
22 the patient received, please?

23 A. A total of seven.

24 Q. Seven milligrams?

25 A. Uh-huh.

0066

1

2 Q. Is that a standard dose as well?

3 A. The standard dose is five. I  
4 made a note in the chart that, because of  
5 his size, we gave an additional two  
6 milligrams because five was not enough.

7 Q. In terms of the size, are you  
8 talking about his overall body size or  
9 something else?

10 A. His overall body size.

11 Q. Can you turn to page sixteen of  
12 the emergency room note.

13 Before we do that, go back,  
14 please, to the sedation flow form.

15 Based upon the timing that  
16 appears on this form, when did the  
17 procedure, the reduction, actually start?

18 A. According to this, 11:32 to  
19 11:55.

20 Q. And who made notes on this  
21 particular form?

22 A. Whoever's signature that is.

23 Q. That would be a nurse?

24 A. The nurse does the flow sheet,  
25 yes.

0067

1

2 Q. And at some point afterwards you  
3 countersigned that sheet?

4 A. I signed the sheet for the  
5 medications that were given, yes.

6 Q. Now go back, please, to page  
7 sixteen of the emergency room record.

8 A. I just want to look at one  
9 thing.

10 (Reviewing).

11 THE WITNESS: I have to actually  
12 say something.

13 MS. : You want to amend  
14 a prior answer?

15 THE WITNESS: No, not amend a  
16 prior answer. I think there was an  
17 error on a flow sheet. It's not  
18 possible that the patient got the  
19 medications on the time there. I  
20 think she's got the time wrong.

21 Q. Tell me why.

22 A. Because the patient was triaged  
23 at 11:25. You couldn't have even  
24 unaddressed the patient, put an IV in, and  
25 filled the form out for the time that's on

0068

1

2 here. It's not physically possible.

3 Q. Sitting here looking at the

4 patient's chart, do you know what the  
5 times were as to when the procedure  
6 started and when the procedure finished.  
7 And if you can, just tell me what page  
8 you're referring to.  
9 A. I'm looking at page three. And  
10 at 12:07, we put the patient in the bed.  
11 MR. : What's the question?  
12 MR. OGINSKI: When did the  
13 procedure start and when did it end.  
14 A. The procedure would have started  
15 at 13:08.  
16 Q. And what are you looking at  
17 to --  
18 A. Because the propofol and the  
19 midazolam, having been given -- actually,  
20 at 12:42.  
21 Q. What page, Doctor, are you  
22 looking at?  
23 A. Page six.  
24 Q. And what is it that you're  
25 specifically looking at?

0069

1  
2 A. The medications that are given  
3 for the conscious sedation were given at  
4 12:42.  
5 Q. And those were the ones that you  
6 had ordered stat?  
7 A. Yes, for sedation. When there  
8 wasn't enough relaxation, I ordered an  
9 additional two milligrams of Versed at  
10 13:09.  
11 Q. Do you have any knowledge, as  
12 you sit here now, as to how it is the  
13 times on the sedation flow sheet are  
14 incorrect?  
15 A. I didn't fill the times out.  
16 Q. I'm just asking you whether you  
17 know why that might be inaccurate. I  
18 don't want you to guess.  
19 A. I don't know.  
20 Q. Based upon the medication timing  
21 as to when it was given, are you able to  
22 also determine when it was that the  
23 procedure finished?  
24 A. Before 13:44.  
25 Q. And what is it that you're

0070

1  
2 looking at that would tell you that?  
3 A. Because the patient went down to  
4 get the Doppler duplex at 13:44. At  
5 13:40, the patient went to get vascular  
6 studies done and that was post reduction.  
7 Q. The additional Versed that was  
8 given, was that for the purposes of

9 relaxation or for pain relief?  
10 A. It does both. You relax the  
11 muscle and you have less pain.  
12 Q. Now, if you can, please, turn to  
13 page sixteen to your note that appears on  
14 the bottom of the page timed at 15:47.  
15 A. Uh-huh.  
16 Q. Right above that is a note that  
17 says, "ortho called" timed at 11:57.  
18 A. Yes.  
19 Q. Who were you referring to?  
20 A. The orthopedic resident.  
21 Q. That would be Dr. ?  
22 A. Yes.  
23 Q. Do you have any notation about  
24 your conversation with Dr. after the  
25 orthopedist was called?

0071

1  
2 A. I make references to the  
3 orthopedic being involved in the case with  
4 me. The note below says, "ortho still  
5 following the patient." I have another  
6 note on the next page that I had gotten a  
7 report from the ortho resident for the MRI  
8 and that they would be taking it to Dr.  
9 's service. And I gave a report to  
10 Dr. at 23:39 that I was signing off  
11 the case. And I would have to review to  
12 see if there are any others, but there are  
13 references that ortho is still involved  
14 with the emergency room.

15 Q. Let's go to your 15:47 note for  
16 September 7.  
17 You write that the shoulder was  
18 reduced after multiple attempts.  
19 Do you indicate anywhere in  
20 there the actual number?

21 A. I don't, but I remember the  
22 actual number.

23 Q. Do you indicate in your note who  
24 participated in the procedure with you?

25 A. I don't know, I'd have to review

0072

1  
2 through the chart.

3 Q. No, I'm just asking in this  
4 note.

5 A. In this note? No.

6 Q. On the second line you indicate  
7 that the patient still with palpable  
8 radial pulse able to move fingers;  
9 correct?

10 A. Yes.

11 Q. Sensory was intact?

12 A. Sensory was intact.

13 Q. Tell me what you meant by that.

14 A. I had examined the fingers and  
15 the sensory was intact on the fingers.

16 Q. He was able to feel?

17 A. He was able to feel his  
18 fingertips.

19 Q. What's written after intact?

20 A. I suspect that the bicep was  
21 torn and hemorrhaging to the muscle  
22 because it was causing swelling and pain  
23 in the upper extremity. He still had  
24 significant swelling in the upper arm.

25 Q. At the time that you wrote the

0073

1

2 note, was this additional swelling that  
3 you observed beyond what you had seen when  
4 the patient initially came in  
5 pre-reduction?

6 A. No. Based on the information I  
7 had at the time, I had ruled out a  
8 significant vascular injury because the  
9 Doppler duplex was negative, but he still  
10 had significant swelling that had not  
11 changed and was still complaining that he  
12 had pain which, after the reduction,  
13 should have, to some degree, decreased.

14 Q. Had the manipulation, the five  
15 attempts to put the shoulder back in,  
16 caused additional swelling?

17 MS. : Note my objection.

18 You can answer over objection.

19 MR. OGINSKI: I'll rephrase it.

20 A. There may have been some minimal  
21 additional swelling.

22 Q. You continued by saying that  
23 vascular studies reveal patent arteries to  
24 radial?

25 A. Yes.

0074

1

2 Q. That means there was no  
3 diminishment of flow?

4 A. What that means is that the flow  
5 was adequate.

6 Q. Was there any caution or concern  
7 about the flow other than it being  
8 adequate?

9 A. That's why we did the Doppler  
10 duplex. We wanted to ensure that there  
11 was flow because of the swelling in the  
12 upper arm.

13 MS. : Just note my

14 objection to the form of that  
15 question. I'm not sure what you mean,  
16 was there any concern.

17 You're talking about after the  
18 Doppler was done, was there any

19 concern? I'm not sure what you were  
20 asking her.

21 MR. OGINSKI: Fair enough.

22 Q. The reason why you ordered the  
23 Doppler was to assess the flow?

24 A. When the patient presented, the  
25 degree of swelling was significant and, in

0075

1  
2 order to rule out that there was  
3 significant arterial injury which would  
4 have been the more serious injury, I  
5 ordered, after reduction, an arterial and  
6 venous Doppler duplex.

7 Q. If a patient has a nerve injury  
8 from a dislocation, would you agree that  
9 the sooner treatment is given the better  
10 chances or the better outcome for the  
11 patient with earlier treatment?

12 MS. : Objection to form.

13 You can answer.

14 A. If you mean the sooner the  
15 shoulder's put back into the joint, yes.

16 Q. After you performed the  
17 reduction, after the fifth attempt and you  
18 finally get the shoulder back into place,  
19 was there an absence of the distal pulse?

20 A. There was no absence of the  
21 distal pulse, no.

22 Q. Was there a diminution of the  
23 distal pulse?

24 A. At one point it felt less than  
25 the other side, but there was still always

0076

1  
2 a radial pulse.

3 Q. What was the significance of  
4 that finding to you?

5 A. That either soft tissue  
6 swelling, which could be muscle, or any  
7 other soft tissue in the area may be  
8 causing some compression.

9 Q. And what, if anything, is done  
10 when that condition is observed?

11 A. You continue to check the pulse  
12 and then you decide whether or not this is  
13 something that is ongoing and needs more  
14 aggressive testing, treatment to be  
15 evaluated in a different way.

16 Q. Did you make any observation as  
17 to whether there was any axillary hematoma  
18 following the reduction?

19 A. He had a large degree of  
20 swelling in the upper extremity when he  
21 presented circumferentially.

22 Q. Did you ever determine whether  
23 that swelling was due to tissue perfusion,

24 hematoma, or something else?

25 A. That's why we were ordering the

0077

1

2 studies, to try to determine whether it  
3 was just soft tissue or whether it was  
4 from something else.

5 Q. If a determination is made that  
6 the swelling is due to a hematoma, what,  
7 if anything, is done to treat that  
8 condition?

9 A. If I was aware of a hematoma  
10 into the area, then vascular would have  
11 been asked to join us in the management of  
12 the case.

13 Q. And if you had called in the  
14 vascular consult, would you have typically  
15 relied on their suggestions or  
16 recommendations?

17 MS. : Well, note my  
18 objection to the form.

19 Q. Is it typically vascular who  
20 addresses trying to relieve a hematoma?

21 A. They would be involved in it.

22 Q. If the patient was still in the  
23 emergency room, would you also participate  
24 in relieving a hematoma?

25 A. If an urgent limb-saving

0078

1

2 fasciotomy that could not wait for the  
3 vascular surgeon to come was indicated,  
4 then the emergency room doctor would be  
5 involved in the management of that.

6 Q. And can a fasciotomy be  
7 performed at bedside?

8 A. If it's urgent, yes.

9 Q. Have you performed a fasciotomy  
10 in an emergency room setting?

11 A. No.

12 Q. Now going back to history,  
13 obtaining a patient's history when they  
14 come in, as part of that history I think  
15 you mentioned it's also important to find  
16 out what medications they were on, taking?

17 A. Yes.

18 Q. To see if there are any  
19 contraindications?

20 A. Uh-huh.

21 Q. Did you ask Mr. what  
22 medications, if any, he had been taking  
23 prior to his arrival?

24 A. Yes.

25 Q. What was his response?

0079

1

2 A. That he was not taking any

3 prescribed medication.  
4 Q. Other than prescription  
5 medication, did you ask him if he was  
6 taking any nonprescription medication?  
7 A. Yes.  
8 Q. What was his response?  
9 A. That he wasn't.  
10 Q. Did you ask his girlfriend a  
11 similar question about any prior  
12 medication, prescription or otherwise?  
13 A. I don't remember specifically.  
14 Q. Do you have a specific memory of  
15 asking him whether he had ever taken any  
16 type of --  
17 MR. OGINSKI: Withdrawn.  
18 Q. You observed that Mr.  
19 was I think you said extremely large?  
20 A. Yes.  
21 Q. And you knew that he had been to  
22 the gym a lot?  
23 A. Yes.  
24 Q. Did he tell you that he lifted  
25 weights?

0080

1  
2 A. He told me that he went to the  
3 gym a lot.  
4 Q. And what did you understand that  
5 to mean, if anything?  
6 A. That he works out a lot at the  
7 gym.  
8 Q. Did you ever ask Mr.  
9 specifically whether he had any type of  
10 steroid use?  
11 A. Yes, I did.  
12 Q. Can you show me where in the  
13 chart you asked that specific question?  
14 A. On page seven. No history of  
15 bleeding --  
16 MS. : Just for the  
17 record, you're reading under where it  
18 says, "constitutional" at the bottom  
19 of the page.  
20 A. "No history of bleeding  
21 disorders. No steroid use. Denies any  
22 drug use. And no aspirin use."  
23 Q. That's ASA?  
24 A. ASA is aspirin.  
25 Q. Was Mr. able to converse

0081

1  
2 easily with you during your history?  
3 A. Yes, I took the history before I  
4 decided whether we were going to reduce  
5 and at that time he was alert and able to  
6 give me information.  
7 Q. And was it your impression that

8 he was able to understand your questions?

9 A. Yes.

10 Q. Tell me why it's important to  
11 ask whether the patient was taking any  
12 type of steroids.

13 A. Steroids have a lot of effects  
14 on your body that can change your  
15 decisions that you make in how you're  
16 going to take care of the patient and what  
17 needs to be done after you've taken care  
18 of the patient.

19 Q. Are you aware of any literature  
20 that connects steroid use with shoulder  
21 dislocation?

22 MS. : Just note my  
23 objection to the form. I'm not sure  
24 what you mean.

25 A. There are many articles out

0082

1

2 there --

3 MR. : Are we talking about  
4 anabolic steroids, corticosteroids?

5 Q. When you asked him whether he  
6 was taking any type of steroids, what kind  
7 of steroids were you asking him about?

8 MS. : Note my objection  
9 to the form.

10 Did you say steroid?

11 THE WITNESS: I said any  
12 steroids.

13 Q. And what specifically did you  
14 mean by steroids?

15 A. Any steroids.

16 Q. Can you give me the different  
17 options?

18 MS. : Note my objection  
19 to the form. She asked him steroids.  
20 What she had in her mind is somewhat  
21 irrelevant.

22 MR. OGINSKI: I want to know what  
23 she was thinking.

24 MS. : Over objection,  
25 you can answer.

0083

1

2 Q. Doctor, let me do it this way.

3 When you asked Mr.  
4 whether he had been taking any type of  
5 steroids, what type of information were  
6 you looking for from him with that  
7 question?

8 A. I wanted to know if he had been  
9 taking any anabolic steroids and also if  
10 he had been on any prescribed steroids.

11 Q. And is there a difference?

12 A. There is a difference, yes.

13 Q. What is the difference?

14 A. One is a glucocorticoid that's  
15 prescribed and easily controlled and you  
16 can have an idea of how much the patient  
17 has gotten and the other one is most  
18 likely illegally obtained and much less  
19 likely easy for me to tell what effects  
20 the patient has from it.

21 Q. Which is which, is it the  
22 anabolic steroids that are --

23 A. Generally illegally obtained and  
24 much more difficult for me to determine,  
25 how much, how long, what the effects have

0084

1  
2 been because it's not as well controlled.  
3 You're not getting it prescribed let's say  
4 prednisone for an asthmatic would be.

5 Q. What is creatine?

6 A. Creatine is a supplement used by  
7 bodybuilders.

8 Q. Is that something that you would  
9 want to know about when treating a  
10 patient?

11 A. He told me he was taking some  
12 vitamins.

13 Q. Is creatine a supplement that  
14 might affect your decision-making process  
15 on treatment or medication?

16 A. It's something you would factor  
17 in. It affects your muscles. It can also  
18 affect your kidneys. And so it would have  
19 been something that, if he had  
20 specifically said creatine instead of  
21 vitamin supplements, I would have kept it  
22 in the back of my mind for additional  
23 information of things that I may want to  
24 consider when treating him.

25 Q. Did you learn at a later time

0085

1  
2 after you had seen Mr. \_\_\_\_\_ in the  
3 emergency room that other physicians had  
4 spoken to him and he had told them that he  
5 had some type of steroid use?

6 MS. \_\_\_\_\_ : Are you asking  
7 while he was still at the hospital?

8 MR. OGINSKI: Yes.

9 MS. \_\_\_\_\_ : While he still at  
10 the hospital, did you ever learn from  
11 somebody else that he had taken  
12 steroids.

13 A. I did not learn while he was  
14 still in the emergency room, no.

15 Q. At some point after leaving the  
16 emergency room did you learn from anybody,  
17 other than your lawyer, about whether he

18 had taken steroid use?  
19 A. I believe that the next time  
20 that I saw Dr. in the emergency room  
21 she mentioned she had gotten additional  
22 information from him afterwards.

23 Q. And what did she tell you, what  
24 type of information?

25 A. That what we had -- that she had

0086

1  
2 asked him about steroids and he had said  
3 no and then later confirmed that he was on  
4 steroids.

5 Q. If you had known that Mr.  
6 had taken anabolic steroids prior  
7 to his admission, how would your treatment  
8 have changed, if at all?

9 A. It increases the coagulation in  
10 the body and so it would have made me more  
11 suspicious or more careful in evaluating  
12 vascular.

13 Q. But other than being a little  
14 more careful, how --

15 MR. OGINSKI: Let me rephrase it.

16 Q. What about your treatment would  
17 have changed regarding the procedures you  
18 did, the tests you ordered, or anything  
19 else to monitor Mr. ?

20 A. If I had known he was on  
21 creatine and steroids, I would have  
22 ordered a CPK in the emergency room.

23 Q. And why would you do that?

24 A. It's an indication of diffuse --  
25 if it was very elevated, it would have

0087

1  
2 been an indication of some diffuse muscle  
3 injury.

4 Q. If that, in fact, was done and  
5 he had a high CPK, would that have changed  
6 your treatment plan?

7 A. He still would have required a  
8 reduction of the shoulder.

9 Q. Are you aware of any medical  
10 literature that connects anabolic steroid  
11 use with shoulder dislocation?

12 MS. : Can I ask you to  
13 clarify what you mean, connecting it  
14 with.

15 Q. Any cause and effect, any  
16 causation.

17 MS. : In other words,  
18 does anabolic steroid cause a shoulder  
19 dislocation?

20 MR. OGINSKI: Yes, cause or  
21 contribute to shoulder dislocation.

22 A. Anabolic steroids don't cause

23 dislocations but they do cause alterations  
24 in the tendons and the muscles and they do  
25 cause alterations in your coagulation.

0088

1

2 Q. Does that apply for chronic  
3 usage or short-term usage? Over what  
4 period of time are you referring to?

5 A. Any usage. You're putting  
6 something in your body that wasn't meant  
7 to be there. There's going to be some  
8 alteration that goes along with it.  
9 Whether it's short term and so there's a  
10 short period of change or whether it's  
11 long term and there's permanent. It would  
12 be different for each situation.

13 Q. Let me go back. My question  
14 asked whether you were aware of any  
15 specific studies that connect the use of  
16 anabolic steroid with shoulder  
17 dislocation.

18 A. I've read studies on  
19 complications associated with it. I can't  
20 name off the top of my head the name of  
21 the study.

22 Q. What I'm looking for is  
23 specifics, if you have them.

24 MS. : I think your  
25 question is a little vague as to a

0089

1

2 connection.

3 A. I can't name off the top of my  
4 head the name of the article but I have  
5 read articles on complications from  
6 dislocations from anabolic steroid use.

7 Q. Are you aware of any medical  
8 literature connecting anabolic steroid use  
9 with development of axillary sheath  
10 hematomas?

11 A. Yes.

12 Q. Specifically do you recall what  
13 the literature was or where you observed  
14 it or read it, what journal, what  
15 textbook?

16 A. It's a medical journal. I read  
17 several medical journals every week.

18 Q. I understand. I'm just asking  
19 if you know specifically.

20 A. I don't know the specific name  
21 of the article, but yes.

22 Q. Are you aware of again medical  
23 literature connecting anabolic steroid use  
24 with either stroke, clot, thrombus, or  
25 embolus?

0090

1

2 A. Absolutely.  
3 Q. Are you aware of any medical  
4 literature that connects anabolic steroid  
5 use with compartment syndrome?  
6 A. Yes.  
7 Q. Do you have the specifics?  
8 A. I would not be able to name the  
9 title of the article off the top of my  
10 head.  
11 Q. During your conversation with  
12 Dr. about the information you  
13 learned that he had used steroids, did you  
14 have any further conversation about  
15 whether his use of steroid -- by the way,  
16 did they tell you whether it was anabolic  
17 or any other kind of steroids?  
18 A. It was not on the day that Mr.  
19 was in the emergency room. I  
20 don't remember whether it was the next ay  
21 or the day after. It was the next time I  
22 saw Dr. on another shift that she  
23 mentioned it.  
24 Q. But was she specific as to what  
25 type of steroids?

0091

1  
2 A. No. We were discussing another  
3 patient so it was a passing comment.  
4 Q. Did the two of you have any  
5 discussion about whether that use of  
6 steroids might have contributed to his  
7 condition in any way?  
8 A. No.  
9 Q. Did you form an opinion at that  
10 point as to whether his use of any type of  
11 steroids may have contributed to his  
12 condition, either pre-reduction or post  
13 reduction?  
14 MS. : Did she have an  
15 opinion then?  
16 Q. At that time when you spoke to  
17 Dr. and learned that he had some  
18 type of steroid use before.  
19 A. It certainly -- that he was  
20 taking steroids and had these  
21 complications seemed to connect.  
22 Q. What do you mean?  
23 A. When she said he had been on  
24 steroids, I said, well, that seems to  
25 explain some of the problems that we

0092

1  
2 encountered.  
3 Q. Can you be more specific as to  
4 which problems you're referring to.  
5 A. Some of the problems that he had  
6 with the -- with subsequent injuries which

7 I'm not as familiar with that didn't occur  
8 in the emergency room. I have limited  
9 knowledge of the rest of his course  
10 outside the emergency room.

11 Q. Again, I'm only asking about  
12 your knowledge and I only want to know,  
13 did you form an opinion at the time that  
14 you spoke to Dr. -- a day or two,  
15 whenever it was that you spoke to her  
16 after he's out of the emergency room --  
17 whether the information you got about his  
18 steroid use caused or contributed to any  
19 of the conditions that you observed while  
20 he was in the emergency room.

21 A. It probably contributed to the  
22 size of the musculature of the patient  
23 which contributed to the difficulty in  
24 reducing the shoulder.

25 Q. You're saying that whatever

0093

1  
2 steroids he was taking bulked him up and  
3 increased the size of the muscles?

4 A. I'm saying it contributed. I  
5 didn't ask for the specifics as to the  
6 steroid use. We were not discussing him  
7 in depth.

8 Q. I understand. I just want to  
9 know of your opinion at that point.

10 A. Okay.

11 Q. Has your opinion changed up  
12 until the present time regarding whether  
13 any steroid use may have caused or  
14 contributed to his condition that existed  
15 during the time that you were treating  
16 him?

17 MS. : Note my objection.

18 I think what she thinks now is  
19 irrelevant and may be influenced by  
20 things we've talked about. That may  
21 not be a fair question because it  
22 encroaches the attorney-client  
23 privilege.

24 Q. How long does it take for  
25 someone who takes anabolic steroids to

0094

1  
2 develop large muscles from the steroid  
3 use?

4 A. It depends on how much you're  
5 working out.

6 MR. : Forever if you're  
7 not working out.

8 A. You can take steroids all day  
9 long if you don't go to the gym and take  
10 the steroids.

11 Q. Can you tell me, does it take a

12 few days, does it take a week, a month, a  
13 year? Give me some idea how long it  
14 takes.

15 A. If you take a shot of steroids  
16 today, you would not be a muscle man  
17 tomorrow.

18 Q. Is there any other method to  
19 injection or take anabolic steroids other  
20 than an injection?

21 A. Yes.

22 Q. How?

23 A. Pills.

24 Q. Let's talk about compartment  
25 syndrome.

0095

1  
2 What are the clinical findings  
3 that you observe on an examination when  
4 there is a compartment syndrome?

5 A. There are the five P's of  
6 compartment syndrome which is pallor,  
7 pain, paraesthesia, piokothermia (sic).

8 Q. Spell it, please.

9 A. P I O K O T H E R M I A.  
10 Basically a cold hand. And pulselessness.

11 Q. How do you treat a compartment  
12 syndrome once you make the diagnosis that  
13 the patient has it?

14 A. The patient needs -- if it's  
15 severe enough, the patient needs to go to  
16 the emergency room and have a fasciotomy.

17 Q. Are there times when you will  
18 obtain compartment pressures?

19 A. Not generally in the emergency  
20 room, no.

21 Q. Are you aware of a procedure or  
22 something that can be done to actually  
23 take the pressures within the particular  
24 compartment?

25 A. Yes, but if you diagnose

0096

1  
2 compartment syndrome in the emergency  
3 room, the patient would be admitted.

4 Q. Did Mr. have any  
5 evidence of compartment syndrome in his  
6 left upper extremity in the emergency room  
7 before the reduction was done?

8 A. Did he have the five symptoms of  
9 compartment syndrome? No.

10 Q. Did he have evidence of  
11 compartment syndrome in his left upper  
12 extremity in the emergency room after the  
13 reduction had been done?

14 MS. : At any time after?

15 MR. OGINSKI: While he still  
16 remained in the emergency room.

17 A. Not of the five symptoms that we  
18 look for.

19 Q. Do you recall specifically as to  
20 whether he had any of those five symptoms  
21 post reduction?

22 A. When the pulse seemed to be a  
23 little less than the other arm, there was  
24 some concern and we watched him. We had  
25 applied some ice to see if we could

0097

1 decrease some of the swelling of the  
2 shoulder area, which I think is documented  
3 in one of the nurse's notes, and discussed  
4 if this was something that continued, we  
5 needed an MRI and would have to consider  
6 aggressive treatment and vascular would be  
7 involved.  
8

9 Q. Am I correct that he did  
10 experience pain post reduction?

11 A. Everyone has pain post  
12 reduction.

13 Q. At any time while the patient  
14 was in the emergency room, was there ever  
15 any evidence of compartment syndrome in  
16 his right lower extremity before he had  
17 the reduction to his left shoulder?

18 A. No.

19 Q. Was there any evidence of a  
20 compartment syndrome in his right lower  
21 extremity in the emergency room post  
22 reduction?

23 A. I would not have checked.

24 Q. At any time while you cared for  
25 Mr. , did you ever observe any

0098

1 evidence at all of any compartment  
2 syndrome in his right lower extremity?  
3

4 MS. : Can I hear that  
5 back, please.

6 (Whereupon the requested portion  
7 was read back by the reporter)

8 A. No.

9 Q. In your career, Doctor, have you  
10 ever seen or treated a compartment  
11 syndrome in an upper extremity?

12 A. Yes.

13 Q. How many times?

14 A. Maybe three or four.

15 Q. Are you licensed to practice  
16 medicine in the State of New York?

17 A. Yes.

18 Q. When were you licensed?

19 A. In 2000.

20 Q. Are you licensed anywhere else?

21 A. No.

22 Q. Has your license ever been  
23 suspended?

24 A. No.

25 Q. Has your license ever been

0099

1

2 revoked?

3 A. No.

4 Q. Are you Board certified in  
5 emergency medicine?

6 A. No.

7 Q. Have you ever applied to take  
8 your emergency room Boards?

9 A. No.

10 Q. I notice you are Board certified  
11 in internal medicine; right?

12 A. And osteopathy.

13 Q. And what is the board name for  
14 the internal medicine?

15 A. American Board of Internal  
16 Medicine.

17 Q. And in order to become Board  
18 certified with that board, did you have to  
19 take a written and an oral examination?

20 A. It is a written exam only.

21 Q. Did you have to take that exam  
22 more than once?

23 A. I took that exam twice.

24 Q. And when did you become Board  
25 certified in certainly medicine?

0100

1

2 A. .

3 MR. : ?

4 THE WITNESS: Yes.

5 Q. And when was the first time that  
6 you took the exam for the Board  
7 certification?

8 A. .

9 Q. And the diplomate in osteopathy,  
10 what is the organization that governs  
11 that?

12 A. American Osteopathic  
13 Association.

14 Q. And in order to become a  
15 diplomate in that organization, do you  
16 need to take a written examination?

17 A. It is a written completion of  
18 training designation.

19 Q. So once you complete the  
20 training requirements, you automatically  
21 receive the diplomate in osteopathy?

22 A. If you completed them  
23 satisfactorily.

24 Q. You don't have to take any  
25 additional examinations or tests; correct?

0101

1  
2 A. It's during the training.  
3 Q. Hands-on clinical training;  
4 correct?  
5 A. It's a clinical training.  
6 Q. When did you receive that  
7 diplomate of --  
8 A. 1997.  
9 Q. Do you have any publications to  
10 your name, Doctor?  
11 A. No.  
12 Q. Have you given any lectures to  
13 any national body of physicians at any  
14 national organizational meeting?  
15 A. No.  
16 Q. Have you ever testified before?  
17 A. No.  
18 Q. This is the first time  
19 testifying in your career?  
20 A. Yes.  
21 Q. Have you ever reviewed records  
22 as an expert either for a patient or on  
23 behalf of a hospital or a doctor?  
24 MS. : As an expert  
25 witness, he's asking.

0102

1  
2 A. Not a peer review?  
3 Q. No.  
4 A. No, not as an expert witness,  
5 no.  
6 Q. Currently in your work at  
7 Medical Center, other than as an  
8 attending emergency room physician, do you  
9 have any other title or what you're known  
10 as, any academic title?  
11 A. No, I'm an attending.  
12 MS. : A faculty  
13 appointment, he means.  
14 A. We're not affiliated with a  
15 medical school.  
16 Q. When you were at from  
17 2004 to 2006, did you have any academic  
18 title or faculty appointment?  
19 A. Just attending.  
20 Q. Were your privileges at  
21 ever suspended?  
22 A. No.  
23 Q. Were they ever revoked?  
24 A. No.  
25 Q. At any of the hospitals you

0103

1  
2 worked for before , had your  
3 privileges ever been suspended?  
4 A. No.  
5 Q. Ever been revoked?

6 A. No.  
7 Q. Did you learn from any doctor at  
8 , while the patient was still  
9 there in September of , that he had  
10 undergone a shoulder fasciotomy on  
11 September 8?

12 A. No.

13 Q. Other than reviewing the  
14 patient's chart in preparation for today,  
15 did you review any deposition testimony  
16 given by Mr. ?

17 A. No.

18 Q. Did you ever have a conversation  
19 with a Dr. , the orthopedist, about  
20 any treatment he rendered to Mr. ?

21 A. No.

22 Q. Did you have any further  
23 conversation with Dr. , other than  
24 the one you told me about, about any care  
25 or treatment that was rendered to Mr.

0104

1

2 after leaving the emergency room?

3 A. No.

4 Q. Before doing the reduction --

5 A. Yes.

6 Q. -- you told me that you observed  
7 circumferential swelling around the  
8 shoulder; correct?

9 A. He had circumferential swelling  
10 around the upper extremity and interior  
11 fullness of the shoulder.

12 Q. Can you characterize the amount  
13 of swelling that you observed?

14 MS. : Meaning like how  
15 wide?

16 Q. Can you describe it in any other  
17 way other than telling me he had swelling?

18 A. It was visibly larger than the  
19 other arm.

20 Q. Was Mr. right-hand  
21 dominant or left-hand dominant?

22 A. I don't remember. I would have  
23 to review all my notes.

24 Q. You mentioned that ice was  
25 applied after the reduction?

0105

1

2 A. At one point when there was  
3 still some swelling, ice was applied to  
4 the area anteriorly.

5 Q. And was ice applied before  
6 reduction as well?

7 A. I don't remember.

8 Q. Would it be good practice, in  
9 light of Mr. findings and the  
10 diagnosis of a shoulder dislocation, to

11 apply ice?  
12 MR. : Objection to form.  
13 MS. : Note my objection  
14 as well.  
15 A. Every patient is different.  
16 Q. In this particular case, would  
17 it be acceptable to apply ice to the  
18 affected shoulder?  
19 MS. : Over objection you  
20 can answer.  
21 A. The injury was already two days  
22 old so the effect of ice on an older  
23 injury is less so it would have minimal  
24 effect.  
25 Q. Was Mr. coherent after

0106

1  
2 the reduction procedure?  
3 A. After the reduction procedure,  
4 he was able to talk but not as coherent as  
5 before. He was sedated still with the  
6 medications that we had given him so that  
7 he would not experience the reduction.  
8 Q. Am I correct he was also given  
9 morphine following the procedure?  
10 A. Yes.  
11 Q. And how would you describe --  
12 MR. OGINSKI: Withdrawn.  
13 Q. Morphine is a narcotic pain  
14 reliever; right?  
15 A. Yes.  
16 Q. Is there anything stronger than  
17 morphine in terms of pain relief?  
18 A. There are some -- it's a  
19 subjective things. Fentanyl and morphine  
20 are both narcotics. Depending upon the  
21 dose that you give, they could have the  
22 same effect.  
23 Q. At what point was --  
24 MR. OGINSKI: Withdrawn.  
25 Q. Did you make the decision to

0107

1  
2 order an MRI for this patient?  
3 A. It was in conjunction with the  
4 orthopedist.  
5 Q. With Dr. ?  
6 A. Yes.  
7 Q. And describe for me the  
8 discussion that occurred leading to that  
9 decision that he needed an MRI.  
10 A. I was concerned because there  
11 wasn't more improvement in the function of  
12 his arm and wanted to be able to take a  
13 closer look at the musculature of the arm.  
14 I think I put a note in that I was  
15 concerned about rupture of the bicep

16 muscle.

17 Q. What made you think that?

18 A. He still had considerable amount  
19 of discomfort in the upper extremity with  
20 the swelling and it's one of the -- the  
21 tendon of the bicep muscle is one of the  
22 tendons that can be affected with a  
23 shoulder dislocation and alteration of the  
24 area.

25 Q. Was there any time emergency

0108

1

2 associated with the request of the MRI?

3

MS. : Note my objection

4

to form.

5

You can answer over my

6

objection.

7

A. It's an emergency request

8

procedure to be done as soon as can be

9

done.

10 Q. The MRI that the emergency room  
11 patients would use, is that the same  
12 device, the same MRI machine that is used  
13 for patients throughout the hospital?

14 A. Yes.

15 Q. Do emergency room patients take  
16 priority for other patients that may be  
17 waiting for other imaging studies from  
18 other areas?

19 A. Unless the patient from the  
20 other area has a more critical  
21 life-threatening diagnosis, such as an  
22 acute hemorrhagic CVA that the patient is  
23 likely to die from it if they don't get  
24 some form of treatment, that would take  
25 precedence over the ER. Otherwise the

0109

1

2 emergency room generally gets some  
3 accommodation as a priority.

4

5 Q. Who makes the decision as to  
6 which patients get their imaging studies  
7 on a priority? Is it the radiologist, is  
8 it a technician, who makes that decision?

8

9 A. I'm not exactly sure. I don't  
10 have access to the other patients'

10

11 requesting so I don't know if it's the  
12 radiology himself, if it's the radiology  
13 resident. I'm not sure.

13

14 Q. What was the purpose of  
15 obtaining post-reduction x-rays?

15

16 A. To ensure that the humeral head  
17 had -- was well placed, to ensure that  
18 there wasn't a humeral head fracture or  
19 any other type of fracture that can be  
20 associated with reducing a joint.

20

Q. Did you personally review the

21 x-rays pre and post reduction?

22 A. Yes, I did.

23 Q. In addition to your review of  
24 them -- by the way, were they on the  
25 computer or actual films?

0110

1

2 A. I don't remember.

3 Q. Did the orthopedic resident also  
4 review them and discuss them with you?

5 A. Yes.

6 Q. Did a radiology resident review  
7 them and discuss them with you?

8 A. I don't believe so.

9 Q. Was it Dr. who reviewed  
10 those films as well?

11 A. Yes.

12 Q. Can you tell me, over the course  
13 of your career, on how many patients whom  
14 you have diagnosed a shoulder dislocation  
15 and performed a reduction you've ordered a  
16 follow-up MRI on.

17 A. This is the first one. I have  
18 suggested patients get them for follow-up  
19 through their doctor for non-urgent  
20 suspected rotator cuff injuries though.

21 Q. You had given me a time that the  
22 patient came into triage.

23 What time was that?

24 A. 11:25.

25 Q. And was he brought in by

0111

1

2 ambulance or did he walk in?

3 A. He walked in.

4 Q. What time did Mr. remain  
5 in the emergency room until the following  
6 day?

7 A. I don't know. My shift ended at  
8 eleven, I left around midnight, and he was  
9 still there at midnight.

10 Q. Of September 7?

11 A. Yes. I stayed specifically to  
12 disposition Mr. .

13 Q. Tell me what you mean by  
14 disposition him.

15 A. He was being observed because  
16 there was concern in the emergency room  
17 and to decide whether he was going to be  
18 admitted to one service, another service,  
19 or just be observed for twelve hours had  
20 not completely been decided yet. When I  
21 got the MRI results, the decision was made  
22 to admit to the orthopedic service so he  
23 could continue to have observation because  
24 we were still concerned.

25 Q. When you were leaving that

0112

1

2 evening, what was your understanding as to  
3 what service he would be going onto?

4

A. Orthopedic.

5

Q. And had they actually made the  
6 transfer by the time you had left that  
7 evening?

8

A. I stayed to ensure that that got  
9 done.

10

Q. At the time that you left, as  
11 far as you know, he was transferred to the  
12 orthopedic service?

13

A. I know he was.

14

Q. Am I correct that he still  
15 remained in the emergency room under the  
16 orthopedic service for a period of time?

17

A. When there's not a bed  
18 available, patients stay in the emergency  
19 room.

20

Q. When was the next time you  
21 returned to the hospital for your next  
22 shift?

23

A. I don't know. It would not have  
24 been before 1:00 the next day.

25

Q. Do you know Dr. ,

0113

1

2

?

3

A. Not personally.

4

Q. Do you know or have you ever  
5 talked to him about Mr. ?

6

A. No.

7

Q. Do you know a Dr.

8

, ?

9

MS. : Do you know who he

10

is?

11

A. No, not personally.

12

Q. I'm just asking if you know them  
13 from .

14

A. They're attendings at

15

16

Q. Did you ever have any  
17 conversation with Dr. about this  
18 patient?

19

A. I don't believe so.

20

Q. Do you know Dr. ?

21

A. I know who he is.

22

Q. Who is he?

23

A. He's one of the vascular  
24 surgeons.

25

Q. Did you ever have any

0114

1

2 conversations with Dr. about Mr.

3

?

4

A. I don't believe so.

5 Q. How about a doctor named ,  
6 , ?  
7 A. I don't recognize the name.  
8 Q. Dr. ?  
9 A. I don't recognize the name at  
10 all.  
11 Q. Do you know a Dr. ,  
12 ?  
13 A. I'm not sure. I don't think so.  
14 Q. Do you know a nurse by the name  
15 of ?  
16 A. I'm not sure.  
17 Q. Do you know a nurse by the name  
18 of ?  
19 A. She was one of the emergency  
20 room nurses.  
21 Q. And from your review of the  
22 notes, did you see that she made entries  
23 in the patient's chart?  
24 A. I wouldn't know exactly who made  
25 them. A few of the initials I recognize

0115

1  
2 but they're not the person's name, it's  
3 just three initials.  
4 Q. Do you have any memory of having  
5 any conversations with Nurse  
6 about Mr. ?  
7 A. I don't remember a specific  
8 conversation with the nurse.  
9 Q. Was it your custom, Doctor, that  
10 after you read and you reviewed a  
11 patient's lab work, you would make a note  
12 indicating that you had read it or some  
13 type of check mark or something on the  
14 computer indicating that you had read  
15 that?  
16 A. I don't think that there's  
17 anything that check marks if you looked at  
18 it or not.  
19 Q. If you had read a patient's lab,  
20 would you have made some indication that  
21 you would have looked at it?  
22 MS. : You're talking  
23 about would she have made an entry in  
24 the computer?  
25 MR. OGINSKI: Yes.

0116

1  
2 A. I don't think that there's a  
3 place for you to make an entry for that.  
4 Yes, it was reviewed.  
5 Q. Did you see, on anything, that  
6 the patient's hemoglobin was noted to be  
7 11.8?  
8 MS. : Did she see that  
9 then or now?

10 MR. OGINSKI: No, at the time.

11 A. Yes.

12 Q. And is that hemoglobin level, is  
13 that normal for a twenty-nine year-old?

14 A. It's slightly decreased but it's  
15 not a critical level.

16 Q. Does it have any medical  
17 significance, that finding, in and of  
18 itself?

19 A. It's not critical enough to  
20 cause me immediate emergent concern.

21 Q. What would a significantly  
22 abnormal hemoglobin suggest to you?

23 A. If it was significantly  
24 decreased, I would think of a few things.  
25 He was African-American, I would be

0117

1  
2 concerned about sickle-cell anemia, I  
3 would be concerned about internal  
4 bleeding, I would be concerned about any  
5 other chronic illnesses, like chronic  
6 renal failure, anyone who has an  
7 infiltrating bone disease that cause you  
8 not to make enough blood, anybody who's a  
9 chronic drinker. I mean, there's probably  
10 several hundred diagnoses if it was low  
11 enough to be significant in the emergency  
12 setting.

13 Q. And what range would you  
14 consider to be low enough to be considered  
15 significantly decreased?

16 A. If he had a hematocrit below  
17 thirty, I would have been concerned enough  
18 to start some emergency investigation into  
19 it.

20 Q. And how about hemoglobin?

21 A. Hemoglobin is generally a third  
22 of the hematocrit, so ten.

23 Q. What was Mr. 's  
24 ethnicity?

25 A. African-American.

0118

1  
2 Q. Going back to the post-reduction  
3 x-rays that you reviewed, am I correct  
4 that those showed good alignment?

5 A. Yes.

6 Q. Can you view a hemorrhage on an  
7 x-ray?

8 A. If there's a very large  
9 hemorrhage in the joint space itself, you  
10 may be able to see that.

11 Q. And if it's outside the joint,  
12 are you able to on an x-ray?

13 A. It's very difficult to see.

14 Q. With an MRI, are you able to

15 view a hemorrhage or a fluid collection?

16 A. The radiologist would.

17 Q. Is it possible to visualize it  
18 using an MRI?

19 A. That was one of the -- that,  
20 looking for the muscle, and looking to see  
21 if there was anything that was torn, is  
22 one of the reasons that we did the MRI.

23 Q. Is there --

24 MR. OGINSKI: Withdrawn.

25 Q. Are you aware if a radiologist

0119

1  
2 can distinguish between a fluid collection  
3 from a hemorrhage on an MRI?

4 MS. : Note my objection  
5 to the form.

6 You can answer.

7 A. It depends on how old it is. I  
8 mean, the intensity is different for  
9 different substances and so you can tell  
10 the difference between water and blood.  
11 If it's a cyst that's mostly filled with  
12 serosanguinous fluid, then it would look  
13 different than something that was filled  
14 with pure blood.

15 Q. There was a note identified that  
16 the timing was at 2:00 p.m. which would be  
17 14:00 showing that at that time the  
18 patient had a normal pulse but weak  
19 intrinsic.

20 Do you recall seeing a note  
21 about the weak intrinsic?

22 A. That I believe was on the  
23 consultation note that Dr. wrote  
24 which was written after 2:00 p.m.

25 Q. Do you know what the weak

0120

1  
2 intrinsic refer to, are they hand muscles  
3 or something else?

4 A. They're finger movements.

5 Q. Was there any discussion as to  
6 why the patient might be experiencing that  
7 particular weakness?

8 MS. : Did she have any  
9 discussion with Dr. about that?

10 MR. OGINSKI: Yes.

11 A. No.

12 Q. I'm going to jump back to  
13 pre-reduction.

14 Did you perform any opinion,  
15 after you saw and examined Mr. but  
16 before doing the reduction, as to whether  
17 he had any evidence of any axillary artery  
18 damage?

19 A. The only conclusion you can make

20 from the physical exam is that his  
21 arteries were patent enough to create a  
22 good radial pulse which would be  
23 approximately a pressure of ninety. He  
24 had again significant swelling so I would  
25 not be able to feel pulsation in the

0121

1  
2 axillary area.

3 Q. Did you form any opinion as to  
4 whether he had any evidence of nerve  
5 damage pre-reduction?

6 A. He had -- the axillary nerve, he  
7 had evidence of sensation.

8 Q. I'm sorry, you said there was or  
9 there was not evidence?

10 A. There was evidence of sensation  
11 from the axillary nerve.

12 MS. : Can I hear the  
13 question back.

14 (Whereupon the requested portion  
15 was read back by the reporter)

16 A. There was evidence of sensation.  
17 That's all I can comment on.

18 Q. And the fact that there was  
19 evidence of sensation, were you able to  
20 tell whether there was a diminishment in  
21 sensation?

22 A. It's difficult to tell because  
23 he was in pain.

24 Q. And were you able to form an  
25 opinion pre-reduction whether there was

0122

1  
2 any vascular damage?

3 A. I think we answered that. He  
4 had a good brachial pulse which means  
5 there had to have been a pressure of at  
6 least approximately ninety.

7 Q. At the time that you got off  
8 your shift at 11:00 or 12:00 p.m. and left  
9 the hospital, had you contacted the  
10 radiology department to determine whether  
11 an official read was done on the MRI?

12 A. I had talked to the orthopedic  
13 -- to the radiology resident that was on  
14 call to confirm what Dr. had told  
15 me.

16 Q. Was there any discussion with  
17 the radiology resident about hematoma?

18 A. We asked if there were any  
19 masses and did the vasculature look good,  
20 was there muscle tear, and any significant  
21 tendon damage.

22 Q. And the response was what?

23 A. That there was -- the  
24 significant finding was soft tissue

25 swelling --

0123

1

2 Q. What page are you on?

3 A. Page seventeen.

4 Q. You're looking at the note on

5 the top timed at 23:28?

6 A. Yes.

7 Q. That you read already; correct?

8 A. Right.

9 Q. And under diagnosis, after  
10 dislocation of the shoulder anterior left  
11 closed, you wrote edema.

12 A. Yes.

13 Q. Are you able to recall how --

14 MR. OGINSKI: Withdrawn.

15 Q. Can you characterize the amount  
16 of edema that was present at that time?

17 A. The left arm was larger than the  
18 right arm.

19 Q. Any other way to characterize  
20 it?

21 A. The left arm was larger than the  
22 right arm. I mean, it visually was larger  
23 than the other arm.

24 Q. Can you what you  
25 observed at that time at 11:00, 11:30

0124

1

2 d to pre-reduction earlier in the  
3 morning?

4 A. There was no decrease in the  
5 swelling which was concerning which is one  
6 of the reasons he was admitted.

7 Q. Was there more swelling than  
8 what was originally seen when he presented  
9 to the emergency room?

10 MR. : That was asked and  
11 answered. I'm not objecting, I'm just  
12 noting that we've been over this.

13 MR. OGINSKI: Off the record.

14 (Whereupon a break was taken)

15 Q. Doctor, did you ever become  
16 aware that, when the MRI had been ordered  
17 and attempted, Mr. was unable to  
18 complete the MRI because of the bulk of  
19 his shoulders, he couldn't fit in the  
20 machine?

21 MS. : During the time  
22 that she was in the hospital?

23 MR. OGINSKI: Yes.

24 A. We had questioned whether he  
25 would fit in the machine originally

0125

1

2 because there is a size and weight limit  
3 of the MRI. I was not aware that there

4 was a problem upstairs.  
5 Q. Did you ever learn from any  
6 doctor at Medical Center that  
7 the official read of the MRI images were  
8 different than the preliminary  
9 interpretation by either the radiology  
10 resident or the orthopedic resident?  
11 A. No.  
12 Q. Did you ever review the official  
13 radiology report for the MRI that was  
14 taken in the emergency room?  
15 MS. : At what point,  
16 during the treatment?  
17 A. This morning.  
18 Q. At any point before this lawsuit  
19 was started?  
20 A. No.  
21 Q. When the patient was admitted  
22 for overnight observation, who decided how  
23 frequently neurovascular checks should be  
24 done?  
25 A. Once they're admitted, the

0126

1  
2 responsibility of care goes to the service  
3 they're admitted to.  
4 Q. When they're in the emergency  
5 room, what is the frequency with which a  
6 patient is checked from a neurovascular  
7 standpoint?  
8 A. Whatever's ordered by the  
9 admitting doctor.  
10 MR. OGINSKI: Let me go back.  
11 MS. : He's talking about  
12 while they're still in the emergency  
13 room before they're admitted into the  
14 service.  
15 A. It depends on what the patient's  
16 problem is. If it's not something that is  
17 neurologic, it may be twice a shift.  
18 Q. Did you specifically --  
19 MR. OGINSKI: Withdrawn.  
20 Q. Are there any rules or  
21 regulations for the hospital indicating  
22 how often neurovascular checks are to be  
23 performed?  
24 MS. : In the ED?  
25 MR. OGINSKI: Yes.

0127

1  
2 A. I don't know if there's a  
3 specific policy for neuro checks for all  
4 comers.  
5 Q. Did you specifically ask or  
6 enter an order or write an order that  
7 neurovascular checks should be performed  
8 on Mr. for any particular

9 frequency?

10 A. I didn't order specific, but he  
11 stayed in the resuscitation area which is  
12 only four beds, and I was in and out of  
13 the room every hour.

14 Q. Was this a cubicle or a  
15 contained room that had a door?

16 A. It's one room about twenty feet  
17 long with four open areas. The entrance  
18 is in the middle so there's two beds on  
19 each side when you enter the door and you  
20 can see all four beds from any point in  
21 the room.

22 Q. Was Mr. hooked up to any  
23 condition with us monitoring, like EKG?

24 A. Everybody in that room is hooked  
25 up to a monitor that does blood pressure,

0128

1

2 heart rate, pulse-oximetry, and rhythm.

3

4 Q. Does that information get stored  
5 anywhere in the patient's chart?

6

7 A. Gets stored in the machine that  
8 can be reviewed for any abnormalities.

9

10 What's in the chart may be once an hour or  
11 once every two hours, but it generally

12 checks -- most of those check every  
13 fifteen minutes a vital sign and it  
14 records on the machine.

15 Q. Is there a nurse's station, a  
16 central nursing area where, if you're at  
17 that nurse's area, you can visualize and  
18 observe all those beds?

19 A. The nurse's station was right in  
20 front of his bed.

21 Q. Is there a central monitoring  
22 station like a nurse's station where you  
23 can watch the EKGs and the pulse ox and  
24 everything else that he was on?

25 A. It's only a twenty-foot room, so  
26 you can see all those monitors from the  
27 nurse's station.

28 Q. In addition to that equipment,

0129

1

2 did the hospital have any type of video  
3 equipment that was monitoring that section  
4 of the emergency room?

5

6 A. I don't believe video would be  
7 allowed in the resuscitation area.

8

9 Q. I'm just asking if there was, if  
10 you are aware.

11

12 A. I don't think so.

13 Q. Did you ever have any  
14 conversation with any doctor at  
15 about whether the official reading of the  
16 MRI that was originally done in the

14 emergency room on 9/7 was read in a timely  
15 manner?

16 A. No.

17 Q. Did you -- now, I know you told  
18 me you don't recall the name of the  
19 radiology resident.

20 A. I don't.

21 Q. In reviewing this patient's  
22 chart, did you see any notations, any  
23 entries, from any radiology resident that  
24 might refresh your memory as to who it was  
25 you were referring to?

0130

1

2 A. I did not review Dr. 's  
3 notes. I don't know if she did. I know  
4 she also spoke to the radiology resident.  
5 There's only one senior resident on per  
6 night.

7 Q. And in radiology, is there  
8 anything above senior resident?

9 A. I mean, there's attending.

10 MS. : Who's there at  
11 night?

12 THE WITNESS: No, not at night.

13 Q. Did you have any conversation  
14 with any attending radiology physician at  
15 any time while Mr. was in the  
16 emergency room from the time he arrived  
17 until the time you left at  
18 11:00 or 12:00 at night?

19 A. No.

20 Q. Did you ever speak to any  
21 attending radiologist after September 7  
22 when you left your shift that evening  
23 about Mr. and his MRI?

24 A. No. I wasn't aware there was a  
25 change in the reading.

0131

1

2 Q. Doctor, I want to go back for a  
3 moment. We talked about steroids earlier  
4 and your knowledge of certain literature  
5 involving the connection between steroid  
6 use and other possible complications.

7 In any of the literature that  
8 you recall reading, did they address the  
9 distinction between short-term steroid use  
10 d to long-term steroid use?

11 A. The longer you use steroids, the  
12 more severe the effect.

13 Q. Let's turn, please, to the  
14 emergency room record which is marked as  
15 Plaintiff's 1A.

16 Starting with the triage note,  
17 the information that's obtained in triage,  
18 is that from a nurse or a PA or someone

19 else?

20 A. That's a PA.

21 Q. And it indicates that, "patient  
22 states on Monday this week he injured his  
23 left bicep while playing with a friend;"  
24 correct?

25 A. Yes.

0132

1

2 Q. "Today swelling and pain worse  
3 to said arm?"

4 A. Right.

5 Q. And directly under that it says,  
6 "swelling noted to entire left arm. The  
7 patient also states he has a 'bump' under  
8 his left arm plus strong radial pulse."

9 What does that mean, about a  
10 strong radial pulse and a plus sign?

11 MS. : Note my objection.

12 You're asking her to read an entry  
13 made by somebody else and to interpret  
14 it. I have a problem with that.

15 MR. OGINSKI: Fair enough. I'll  
16 rephrase it.

17 Q. Doctor, during the course of  
18 your function as an emergency room  
19 attending, do you, from time to time,  
20 review other people's entries in the  
21 patient's chart?

22 A. Yes.

23 Q. And when you review patients'  
24 entries, are you called upon to interpret  
25 and try to understand what it is they

0133

1

2 wrote?

3 MS. : Note my objection

4 to the form.

5 You can answer over my  
6 objection.

7 A. Yes.

8 Q. Looking at this particular note,  
9 can you tell me what it is it means to  
10 you?

11 A. The patient had abnormal  
12 swelling to the arm but it did not appear  
13 that there was significant vascular  
14 compromise at that moment.

15 Q. And a little further down it  
16 says, "pain scale, currently a ten over  
17 ten. Terrible pain to the left arm;"  
18 correct?

19 A. Yes. You'll also note that it  
20 says, "not taking any medication."

21 Q. Where do you see that?

22 A. It's also stating the patient  
23 told triage he's not taking any

24 medication.

25 Q. Turn, please, to page three of

0134

1

2 eighteen.

3 At the top, the 12:07 note under

4 objective data, whose note is that?

5 A. That's the nurse.

6 Q. She observes right shoulder --

7 A. That's a male nurse.

8 Q. Thank you.

9 He observes "right shoulder

10 deformities evident with swelling to right

11 shoulder and arm, faint radial pulse,

12 color equal with noted warmth to right

13 shoulder and arm?"

14 I read that right; right?

15 A. Yes.

16 Q. The fainted radial pulse, did

17 you observe that when you examined him?

18 A. It's a subjective thing. You

19 may feel that it should be stronger. He's

20 a young, healthy-looking guy. He had a

21 radial pulse. I can tell you that this

22 particular nurse was looking to see if

23 there's signs of compartment syndrome;

24 he's a well-trained nurse which is why he

25 wrote color equal and that the shoulder

0135

1

2 was warm. Because those are two things

3 that you would be looking for that the

4 patient did not have.

5 Q. And what's the name of this

6 nurse?

7 A. .

8 Q. Do you know the first name?

9 A. I think is the first

10 name.

11 Q. Do you know the last name?

12 MR. : Off the record.

13 (Discussion held off the record)

14 Q. Can you turn, please, to page

15 four of eighteen.

16 And toward the bottom half of

17 the page starting at 4:22, that would be

18 a.m., correct, on 9/8/05?

19 A. Uh-huh.

20 Q. Is this a nurse's note, a

21 nurse's observation?

22 A. I don't know who CCAF is. I'd

23 have to look in the back. But I was no

24 longer in the emergency room at that time.

25 .

0136

1

2 Q. That's a nurse; right?

3 A. Uh-huh.  
4 Q. Doctor, under the 4:22 a.m.  
5 note for 9/8, it  
6 indicates left arm swollen and then it has  
7 three plus signs. "Patient unable to  
8 close fingers in pulse-oximetry readings  
9 similar to right hand. Patient medicated  
10 for pain, morphine, five milligrams IV."  
11 This observation about left arm  
12 swollen with three plus signs, what, if  
13 anything, does that mean to you?  
14 MS. : Note my objection.  
15 You can answer over my  
16 objection.  
17 A. The three plus signs doesn't  
18 mean anything. Plus sometimes means  
19 positive. She may have meant to hit it  
20 just once. The pulse-oximetry reading  
21 similar to the right hand means that she  
22 still was able to get a pulse good enough.  
23 Pulse-oximetry picks up oxygen  
24 through hemoglobin concentration picked up  
25 in pulsation in the finger, so she was  
0137

1  
2 letting you know that he had difficulty  
3 closing the hand but you could still pick  
4 up a pulse-oximetry reading, meaning that  
5 there was an adequate flow to the  
6 fingertip.  
7 Q. The three plus signs, could that  
8 also represent her as to the extent of the  
9 swelling that she observed?  
10 A. I don't know.  
11 MS. : Again, note my  
12 objection.  
13 A. I don't know.  
14 Q. At the time that you left Mr.  
15 on the evening of 9/7, was he able  
16 to close his fingers, he was able to move  
17 them?  
18 A. He was able to move his fingers.  
19 He had some difficulty completely closing  
20 his hand because of pain in the arm.  
21 MS. : This is before  
22 reduction, you mean?  
23 THE WITNESS: Uh-huh.  
24 Q. Did he also have that post  
25 reduction?

0138  
1  
2 A. He had swelling in his arm.  
3 Your arm interacts completely, everything  
4 is connected.  
5 Q. But I'm asking specifically did  
6 he make any observation post reduction  
7 about whether he was able to close his

8 hand, his left hand?  
9 A. "He was able to flex his fingers  
10 fifty percent but not fully closed." Page  
11 eight.

12 Q. What time are you referring to?

13 A. This was the initial exam.

14 Q. I'm talking now about post  
15 reduction.

16 A. I'm giving you the baseline.

17 Q. Sure.

18 A. There is some timed intermittent  
19 notes.

20 At 15:47 on page sixteen he was  
21 able to move his fingers.

22 Q. Is that different than being  
23 able to make a closed fist?

24 A. He was sedated at that time and  
25 so my comment to move the fingers meant

0139

1  
2 that -- your following instructions and  
3 your ability to do things when you're  
4 sedated is different than when you're  
5 fully awake. I was documenting that he  
6 was able to move his fingers. And you can  
7 note it's very clearly -- you asked me  
8 before about the nurses being able to  
9 observe him. On page three, "call light  
10 is not needed because the patient is  
11 visible to the nursing staff."

12 Q. Is Dr. still affiliated  
13 with ?

14 A. I don't know.

15 Q. Have you had any conversations  
16 with Dr. about this patient?

17 A. No.

18 MS. : You mean after he  
19 left the hospital?

20 MR. OGINSKI: Yes.

21 Q. Going back to your history of  
22 present illness on page seven, you  
23 indicate that, on the third line, that he  
24 is unable to fully close his hand into a  
25 fist because of pain in his arm; correct?

0140

1  
2 A. That's what he told me.

3 Q. In the first line, you indicate  
4 that he has a history of lifting a person  
5 over his head Monday?

6 A. The reason I wrote the word  
7 "person" is because he had given me  
8 multiple different stories.

9 Q. "Felt pop and pain in the left  
10 shoulder but thought he pulled a muscle;"  
11 correct?

12 A. Right.

13 Q. What were the other stories that  
14 he mentioned that he told you other than  
15 this one?

16 A. You know, he had gone to the  
17 gym. He told me at one point he lifted  
18 his niece over his head, then he had some  
19 altercation with a friend. I understand  
20 he told Dr. another story, also.  
21 Since the story was not completely  
22 consistent but lifting seemed to be the  
23 more consistent portion of it, I put  
24 lifting person since I don't know if it  
25 was the friend, the niece, or something

0141

1  
2 that was done at the gym.

3 Q. Were you any more specific in  
4 any other part of your notes about these  
5 different versions he gave to you, about  
6 lifting his niece, going to the gym, or an  
7 altercation with a friend?

8 A. Do you mean in stating that he  
9 was inconsistent?

10 Q. Yes, other than having this line  
11 in the --

12 A. I remember specifically he gave  
13 several stories.

14 Q. Other than remembering the  
15 information you just told me, do you note  
16 it anywhere here in the patient's chart  
17 about those different stories that he told  
18 you?

19 MS. : Note my objection  
20 to the form.

21 You can answer.

22 A. I don't believe that I  
23 documented it, no.

24 Q. If another doctor is -- say a  
25 doctor in the emergency room is looking at

0142

1  
2 the patient's chart, is there any way for  
3 him to know, without talking to you, about  
4 these different stories that he described  
5 to you about how the injury occurred?

6 A. If he reads the various  
7 doctors', PAs', and consultants', there's  
8 inconsistency in what he said on their  
9 description of the incident, also.

10 Q. I understand that but I'm asking  
11 specifically, looking at --

12 A. From mine?

13 Q. Yes, is there any way to see any  
14 inconsistencies?

15 A. I don't think so. I'd have to  
16 review each of my notes, but I don't think  
17 so.

18 Q. Turn, please, to page eight.  
19 Under your exam of his left  
20 shoulder, you observed the patient had  
21 muscle spasm?

22 A. Yes.

23 Q. And you also observed -- again,  
24 I'm taking excerpts out of your note -- he  
25 had limited motion, thirty percent of what

0143

1

2 would be normal or expected?

3 A. Of the elbow.

4 Q. And was that part of the pain  
5 that he was experienced from the shoulder?

6 A. When I got to thirty percent of  
7 what would be expected, he did not want me  
8 to continue because of the pain. That was  
9 passive. Passive means I'm moving him.

10 Q. Was he --

11 MR. OGINSKI: Withdrawn.

12 Q. Was he actively able to move his  
13 elbow?

14 A. He did not want to because of  
15 the pain, which is why I tested it  
16 passively.

17 Q. Did you ask Mr. what

18 medications, if any, he had taken for the  
19 pain before arriving in the emergency  
20 room?

21 MS. : Objection. Asked  
22 and answered.

23 You can answer again.

24 A. He stated that he didn't like to  
25 take medications. And in fact, when I

0144

1

2 asked him why it took so long for him to  
3 come to the emergency room, he stated that  
4 he doesn't like hospitals, doctors.

5 Q. You continue on by saying,  
6 "radial pulse palpable with no NS deficit  
7 in hand."

8 What is NS?

9 A. Neurosensory.

10 Q. "Good capillary refill. Able to  
11 flex fingers fifty percent but not fully  
12 closed due to pain in upper arm;" correct?

13 A. That's right.

14 Q. Now, you made a separate  
15 observation that his hand was not swollen.

16 Why was that important to you?

17 A. Because I was evaluating for  
18 compartment syndrome.

19 Q. And if he had a compartment  
20 syndrome in the upper extremity, would you  
21 have expected to see swelling in the hand?

22 A. I would have expected to see

23 some sign in the hand.  
24 Q. And when you say, "some sign,"  
25 any one of the five P's you were referring  
0145

1  
2 to?

3 A. I would have expected to see  
4 some signs in the hand that there was a  
5 compartment syndrome above that.

6 Q. How many compartments are in the  
7 upper extremity?

8 A. It depends on what you're  
9 talking about. There's vascular, there's  
10 muscular --

11 MR. OGINSKI: I'll withdraw the  
12 question.

13 Q. Can you turn, please, to page  
14 nine.

15 In the second entry, there's a  
16 cancellation that appears for the MRI of  
17 the shoulder.

18 Do you see that?

19 A. Yeah.

20 Q. Tell me what that means, what  
21 this entry means.

22 A. It was a duplicate order. It  
23 was ordered twice. If we were to leave  
24 two orders in, there's a possibility that  
25 it A, would either get done twice or he

0146

1  
2 would get billed twice. We cancelled  
3 duplicate orders so it's less confusing  
4 for people reviewing the chart.

5 Q. Directly underneath appears to  
6 be orders made by Dr. .

7 A. Yes.

8 Q. Do you have a memory of talking  
9 to Dr. about these orders that she  
10 wanted to have done?

11 A. Dr. , once she admits the  
12 patient, doesn't review her orders with  
13 me, she reviews them with her attending.

14 Q. In this particular case, were  
15 you present for any discussion she had  
16 with any doctor about the orders that were  
17 to be done for this patient?

18 A. No.

19 Q. Under the fourth order, it says,  
20 "inform M.D. change in neuro status."

21 A. Yes.

22 Q. What does that mean to you?

23 MS. : Note my objection.

24 You can answer over objection.

25 A. It's a very broad -- if a

0147

1

2 patient is having any change in sensation  
3 of an extremity, unable to move an  
4 extremity, it also means the alertness of  
5 a patient. If you're unable to wake a  
6 patient, he seems confused, acting  
7 bizarre.

8 Q. For whose benefit are these  
9 orders for? In other words, who are they  
10 directed to?

11 A. They're for the benefit of the  
12 patient, but they're directed towards the  
13 nursing staff.

14 Q. Toward the bottom of the orders  
15 it says, "neurovascular checks Q2H."

16 Is that every two hours?

17 A. Yes.

18 Q. And what is done when somebody  
19 does a neurovascular check as indicated in  
20 this particular order?

21 MS. : Note my objection.

22 You're asking her now about orders  
23 that are directed at nurses not in her  
24 area on a service.

25 MR. OGINSKI: I'll rephrase it.

0148

1  
2 Q. Are there occasions when you  
3 will order neurovascular checks on your  
4 patients?

5 A. Yes.

6 Q. If you order them to be done  
7 every two hours, what is it you expect to  
8 be done during those neurovascular checks?

9 A. There needs to be a palpable  
10 pulse and adequate color to the area  
11 that's being checked which would give me  
12 an idea of the vasculature's function and  
13 there need to be some evaluation of  
14 movement and sensation in the area that's  
15 being checked.

16 Q. And if a nurse had done that  
17 neurovascular check, would you expect to  
18 see a notation somewhere in the chart  
19 indicating that the nurse had actually  
20 done that and recorded the findings?

21 A. It depends on how busy the nurse  
22 was. If, as is seen in the emergency  
23 room, if you're busy with something else  
24 critical and you can do a neurovascular  
25 check in less than five minutes but you

0149

1  
2 have to go back to a critical patient, you  
3 may not document it at the time that you  
4 did the neurovascular.

5 Q. We understand that emergency  
6 rooms can get busy.

7                   If you want to patient to be  
8 checked, their neurovascular status to be  
9 checked every two hours and a nurse  
10 actually does check it, at some point, not  
11 immediately, would you expect to see some  
12 note about that check at some point in  
13 time?

14                   MS.   : Note my objection.

15                   You can answer over my  
16 objection.

17                   A.    I'm not sure how the nurses  
18 document it since I'm not a nurse. I  
19 would expect, if I need to go back at some  
20 point during the shift, there should be  
21 something noted on that shift.

22                   Q.    Do you have an opinion again  
23 within a reasonable degree of medical  
24 probability that if there's no  
25 documentation about a neurovascular check

0150

1  
2 that was done as to whether that complies  
3 with any standards of either the hospital  
4 or for good medical practice?

5                   MS.   : Note my objection.

6                   We're talking about what's done  
7 in the ED while she's there?

8                   MR. OGINSKI: Yes.

9                   MS.   : Not this  
10 particular case because these orders  
11 are written afterwards?

12                   MR. OGINSKI: That's absolutely  
13 right, just for her in the emergency  
14 room at                   .

15                   MS.   : Over objection,  
16 you can answer.

17                   THE WITNESS: Can you repeat  
18 that question?

19                   (Whereupon the requested portion  
20 was read back by the reporter)

21                   MS.   : I have an  
22 objection to the way you phrased it.

23                   MR. OGINSKI: I'm going to change  
24 it.

25                   Q.    Can we agree that there are

0151

1  
2 times when a nurse will obtain let's say  
3 vitals and, for whatever reason, not make  
4 a note of it in the chart?

5                   MS.   : Generally  
6 speaking?

7                   MR. OGINSKI: Yes, generally.

8                   A.    Of course.

9                   Q.    And there are times when a nurse  
10 may not obtain vitals for a particular  
11 patient, for whatever reason, even though

12 there may be an order to do so on a  
13 frequent basis?  
14 MS. : Note my objection.  
15 MR. OGINSKI: I'm just setting up  
16 a --  
17 MS. : I understand. But  
18 you're asking her to assume that maybe  
19 something happens that she maybe  
20 doesn't have experience with. I don't  
21 think it's a fair question.  
22 Q. Are you familiar with the  
23 nursing protocol as to the documentation  
24 for documenting neurovascular checks?  
25 A. I don't know what the nurses'

0152

1  
2 protocols are.  
3 Q. Are there written protocols in  
4 the emergency room for the frequency with  
5 which documentation should be made for  
6 neurovascular checks?  
7 A. I don't know if there's a  
8 written for documentation, but certainly  
9 in an emergency room it's not always  
10 feasible to make it to a computer to log  
11 on to get into a chart to make a note of  
12 something that you did when there's other  
13 emergencies going on.  
14 Q. And would you expect, Doctor,  
15 that at some point after those emergencies  
16 are taken care of that the nurse would  
17 then at some point later on make a  
18 notation about what it is they observed?  
19 MS. : Note my objection.  
20 I think you are putting in an  
21 assumption that the emergencies are  
22 taken care of. It's an emergency room  
23 that patients are coming and going all  
24 the time.  
25 A. This is New York City, there's

0153

1  
2 always an emergency.  
3 Q. Can you turn, please, to Dr.  
4 's handwritten note. It's part of I  
5 guess her history and physical for  
6 admission.  
7 A. Uh-huh.  
8 Q. Does the note indicate when this  
9 history and physical was done?  
10 A. This only indicates the time  
11 that she wrote the note.  
12 Q. And what time is that?  
13 A. Midnight.  
14 Q. Were you present for any part of  
15 her examination of the patient?  
16 A. She assisted in the reduction of

17 the shoulder. She examined the patient  
18 before assisting in the reduction.  
19 MR. : Doctor, where are  
20 you getting the midnight from?  
21 THE WITNESS: On the very last  
22 sheet. It says 00:00.  
23 MR. : I thought that was a  
24 01:00.  
25 MS. : I thought that was

0154

1  
2 a pre-drawn line. It's not completely  
3 clear. It's either midnight or one.  
4 A. Not at 12:30 when she saw the  
5 patient.  
6 Q. Let me ask you to turn, please,  
7 to the request for consultation for ortho  
8 dated September 7, also a handwritten note  
9 by Dr.

10 At the top of the notation it's  
11 timed at 14:00.

12 Is that the time of the  
13 consultation or it was requested?

14 A. That's probably the time she was  
15 able to sit down.

16 Q. And there's also a notation with  
17 an arrow to the left indicating if:00.

18 A. That's probably when she was  
19 able to come back and complete it.

20 Q. In the middle of the page there  
21 are certain findings and it says in the  
22 middle, "patient seen initially after ED  
23 attempted reduction."

24 A. Uh-huh.

25 Q. Duplex arterial is to the right

0155

1  
2 of that. And it says, "patient not  
3 responding to verbal stimuli;" is that  
4 right?

5 A. Yes.

6 Q. Was that when the patient was  
7 sedated post reduction? Can you tell from  
8 this note as to --

9 MS. : Over objection,  
10 you can answer.

11 Q. -- when in time this occurred?

12 MR. : When what occurred?

13 MS. : Not responding to  
14 verbal stimuli.

15 Q. "Patient not responding to  
16 verbal stimuli after anesthesia for  
17 adduction left shoulder."

18 MS. : We don't know  
19 that's all the same.

20 What specifically do you want to  
21 know?

22 Q. Let's go down to the middle of  
23 her note under assessment and plan, number  
24 one.  
25 Does that say, "follow-up this  
0156  
1  
2 week with Dr. or ortho clinic?"  
3 MS. : Over objection,  
4 you can answer.  
5 A. A consultation is a consultation  
6 at their suggestion. The decision was  
7 made not to discharge the patient.  
8 Q. I'm just asking if that's what  
9 it says.  
10 MS. : If you know.  
11 A. It looks like that.  
12 Q. Did you see this written note at  
13 any time before you left the hospital?  
14 A. No.  
15 Q. At the bottom it says,  
16 "discussed with attending."  
17 Do you know which attending it  
18 refers to? Would that be the orthopedic  
19 attending?  
20 MS. : Again, note my  
21 objection.  
22 You can answer over objection.  
23 A. I would assume, since the  
24 attending that signed it was an orthopedic  
25 attending, that that's who she's talking  
0157  
1  
2 about.  
3 Q. And that's Dr. ?  
4 A. That's what it looks like.  
5 Q. Did you have a conversation with  
6 Dr. on the seventh while you were  
7 still in the hospital?  
8 A. No.  
9 Q. Let's go back, please, to her  
10 history and physical examination.  
11 Does Dr. 's note under the  
12 procedure of where at the top it says,  
13 "additional studies," it says,  
14 "procedure."  
15 MR. : What page are you  
16 on?  
17 MR. OGINSKI: It looks like this  
18 (referring).  
19 Q. Does she make any notation in  
20 this note about the multiple attempts to  
21 reduce the shoulder?  
22 MS. : Right there  
23 specifically?  
24 MR. OGINSKI: Yes.  
25 A. Under additional studies?  
0158

1  
2 Q. Yes.  
3 A. Not under additional studies.  
4 Q. That was my next question.  
5 Is there anywhere else in this  
6 history and physical note that she  
7 documents the multiple attempts for  
8 reduction?  
9 A. She --  
10 Q. I'm not talking about the  
11 consult, I'm just talking about the  
12 history and physical.  
13 A. On that particular piece of  
14 paper, no.  
15 Q. Or anywhere in the multiple  
16 pages of history and physical.  
17 A. She alludes to it in the history  
18 of present illness.  
19 Q. Where?  
20 A. After "initial attempt to  
21 relocate shoulder." That implies there  
22 was more than one attempt.  
23 Q. But that is singular, there's no  
24 plural there; correct?  
25 MS. : We're splitting

0159

1  
2 hairs. You're asking her to interpret  
3 and get into somebody else's mind. It  
4 says what it says.  
5 Q. Doctor, in her consultation  
6 note, is there anything that relates to or  
7 indicates more than one attempt at  
8 reduction?  
9 A. Yes.  
10 Q. Where?  
11 A. The "ED attempted reduction" and  
12 then "after reduction of the left  
13 shoulder." Again it implies if the ED  
14 attempted reduction and then it was  
15 subsequently reduced, there had to have  
16 been at least another attempt.  
17 Q. Does it indicate anything more  
18 than that or the multiple attempts that  
19 were made?  
20 MS. : Specifically here  
21 does it say that. That's all he's  
22 asking.  
23 A. No.  
24 Q. Before today, did you ever  
25 review the orthopedic attending note dated

0160

1  
2 September 8, at 12:30 p.m., that's  
3 Dr. 's note?  
4 A. No.  
5 Q. If a nurse --

6 MR. OGINSKI: Withdrawn.  
7 Q. Are there any notes contained on  
8 this page, page four, which appears to be  
9 nurse's notes, that any physician saw Mr.  
10 from 21:18 until 4:22 a.m.?  
11 MS. : Can I hear the  
12 whole question back, please.  
13 (Whereupon the requested portion  
14 was read back by the reporter)  
15 MS. : He's asking does  
16 it say that or is there --  
17 MR. : 21:18 until what?  
18 MR. OGINSKI: Until 4:22 the next  
19 day.  
20 A. Not on this page, no.  
21 Q. On September 7 at 21:18, the  
22 information that's contained in this  
23 assessment, this nursing assessment here,  
24 is that a neurovascular assessment?  
25 MS. : Where are you  
0161  
1  
2 looking?  
3 MR. OGINSKI: The 21:18, the  
4 three lines beginning with  
5 "assessment, neurological."  
6 MS. : Awake and  
7 oriented?  
8 MR. OGINSKI: Yes.  
9 MS. : What are you  
10 asking?  
11 MR. OGINSKI: Is that considered  
12 to be a neurovascular check.  
13 A. That is a form of neurovascular  
14 check. There is neuro and vascular  
15 included in that assessment.  
16 Q. When is the next neurovascular  
17 documented observation?  
18 MS. : That's documented?  
19 MR. OGINSKI: Yes.  
20 A. 4:22.  
21 Q. And is that also what you would  
22 consider to be a form of neurovascular  
23 check?  
24 A. It is a form of neurovascular  
25 check.  
0162  
1  
2 Q. And at the very bottom there's a  
3 notation also timed at 4:22, it says,  
4 "still awaiting bed for admission;"  
5 correct?  
6 A. Yes. That's a physical bed.  
7 Q. Did you see Dr.  
8 come in to see Mr. on September 7?  
9 A. No.  
10 Q. Do you know Dr. ?

11 A. I don't know what he looks like.  
12 If he didn't introduce himself to me, he  
13 may have been in the emergency room. I  
14 don't know if he was seeing someone else  
15 or not. I don't know what he looks like.

16 Q. Let me ask you, going back to  
17 Dr. 's history and physical under  
18 procedure, it says, "post procedure,  
19 patient initially able to weakly flex  
20 fingers."

21 Does that mean open the fingers?

22 A. Yes.

23 MS. : Note my objection.

24 She's testifying about what somebody  
25 else wrote.

0163

1

2 Q. It says, "extrinsics weaker than  
3 intrinsics."

4 What is that referring to?

5 A. Spreading the fingers out.

6 Q. "Patient re-examined hours post  
7 procedure." There's an arrow. "Able to  
8 extend wrist EPL."

9 What is EPL?

10 A. It's her abbreviation for  
11 something.

12 Q. And it also says, "addendum,  
13 patient to be transferred to vascular  
14 service."

15 At the time you left the  
16 hospital did you ever learn from anyone  
17 that the patient was going to be  
18 transferred to vascular?

19 A. Not to my knowledge.

20 Q. There is a -- at the end of Dr.

21 's history and physical note, it  
22 says, "attending of record," it says

23 , there's a slash and another name

24 that appears there under case discussed  
25 with.

0164

1

2 Can you recognize that name?

3 A. I don't recognize it.

4 Q. Did you ever learn from any  
5 doctor at that Mr. was  
6 diagnosed with a compartment syndrome in  
7 his right lower extremity?

8 A. No.

9 Q. Did you ever learn from any  
10 doctor at that Mr. was  
11 diagnosed with an axillary sheath  
12 hematoma?

13 A. No.

14 Q. Or that he had a compartment  
15 syndrome in his left upper extremity?

16 A. No.  
17 Q. Were you present for any type of  
18 rounds or any discussion about Mr.  
19 given by any other physician during any  
20 teaching rounds?  
21 A. No.  
22 Q. Now I'd like you to take a look,  
23 please, at the MRI official interpretation  
24 which is a computer-generated document  
25 with a time of September 8, -- a date

0165

1  
2 of September 8 and a time of 15:51.  
3 MS. : You're looking at  
4 the MRI now?  
5 MR. OGINSKI: Yes.  
6 Q. Based upon this particular note  
7 which apparently says, "interpreter  
8 , " are you able to tell when this  
9 report was generated? In other words,  
10 what I want to know is does the 15:51 time  
11 refer to the time the entry was made?

12 MS. : If you know.  
13 Don't guess.

14 A. I don't know.  
15 Q. Did you ever see these results  
16 relating to the official read?

17 A. Today.  
18 Q. Do you recall any other  
19 conversations you had with Mr.  
20 after his shoulder was reduced and before  
21 you left for the night?

22 A. I had multiple conversations  
23 with him.

24 Q. Tell me -- I'd like to ask you  
25 about all of them. But, if you can, tell

0166

1  
2 me what it is you discussed specifically;  
3 what it was you asked, what you said to  
4 him, and what he said to you over the  
5 course of the day.

6 MS. : In other words, go  
7 chronologically as much as you can.

8 A. At some point he asked me if we  
9 had fixed his shoulder. I told him we  
10 were able to put the bone back in place.  
11 I try to talk so that the patients can  
12 understand what I'm saying. But that his  
13 arm was still very swollen and we wanted  
14 to watch him to make sure that there  
15 wasn't anything else that we needed to be  
16 concerned about. There were other  
17 conversations during the course of the  
18 shift where I would go in and just check  
19 and see what his pain level was. I think  
20 there's a note at one point I gave him

21 some more medication. I would ask him how  
22 the arm was feeling. Clinical questions  
23 for the most part.

24 Q. When you performed this  
25 traction/countertraction in an attempt to  
0167

1  
2 reduce the dislocation, is it something  
3 that requires a lot of physical exertion?

4 A. You have to have traction, some  
5 mechanical force pulling on, and a  
6 countertraction, some mechanical force  
7 pulling away, so there is some mechanical  
8 force being exerted. I mean, we're not  
9 using enough force to break a bone,  
10 obviously, but you have very tight muscles  
11 holding a bone in a place where it's not  
12 supposed to be and you need to be able to  
13 move that back in place which requires  
14 some force.

15 Q. You need some strength in order  
16 to move it into position?

17 A. Yes.

18 Q. Did you ever form an opinion  
19 after the five attempts at reduction as to  
20 whether those attempts contributed or  
21 caused any damage to either an artery or a  
22 vein?

23 A. Not specifically. In general,  
24 reducing the shoulder assists in reducing  
25 the number of injuries that are going to  
0168

1  
2 continue forming versus the joint being  
3 still dislocated.

4 Q. I'm moving away from generally.  
5 Now I'm asking specifically in this case.

6 After you had finally managed to  
7 put the bone back into place, had you  
8 formed an opinion at that point as to  
9 whether those multiple attempts caused or  
10 contributed to an injury to the patient,  
11 where it be artery, nerve, vein, muscle,  
12 tendon as distinct from what he came in  
13 with?

14 A. There's always a risk that you  
15 can exacerbate injuries that are already  
16 there, but there could be some injuries  
17 that come from the reduction of the joint.  
18 That's one of the reasons we watch  
19 patients afterwards. I did not observe  
20 any specific injury after the reduction.

21 Q. Would it be fair to say then  
22 that your opinion was the multiple  
23 attempts at reduction, in your opinion,  
24 did not cause or contribute to any further  
25 injury or damage?

0169

1

2 A. I wouldn't be able to say that  
3 either.

4 Q. Did Dr. tell you whether  
5 she had experience performing shoulder  
6 reduction before you actually performed  
7 Mr. reduction?

8 MR. : Off the record.  
9 (Discussion held off the record)

10 MS. : Read it back.

11 MR. OGINSKI: I'll rephrase it.

12 Q. When Dr. came down after  
13 you called for assistance for the  
14 reduction, did you ever learn from her as  
15 to how many of these reductions she had  
16 performed before that one?

17 A. No.

18 Q. Did you learn since then how  
19 many times she had performed shoulder  
20 reductions?

21 A. No.

22 Q. From your performing the other  
23 attempts to reduce the shoulder, were you  
24 able to determine whether or not she  
25 seemed or appeared to be experienced in

0170

1

2 performing a shoulder reduction?

3 MS. : Note my objection.

4 You can answer over my  
5 objection.

6 A. She appeared to be knowledgeable  
7 of the correct technique used to reduce a  
8 shoulder.

9 Q. Did you ever learn from any  
10 doctor that the MRI taken in the emergency  
11 room on September 9 revealed that the  
12 patient had an axillary sheath hematoma?

13 MS. : Can you hear that  
14 back.

15 (Whereupon the requested portion  
16 was read back by the reporter).

17 MS. : Over objection you  
18 can answer.

19 A. I actually answered that  
20 already. No.

21 Q. What is a subluxation?

22 A. A subluxation is also a  
23 disruption of the normal physiology of a  
24 joint.

25 Q. Is that synonymous with the term

0171

1

2 "dislocation?"

3 A. No.

4 Q. What is the difference between

5 the two?  
6 A. A subluxation does not need to  
7 be reduced.  
8 Q. Do you know a Dr.  
9 , a neurologist at ?  
10 MS. : Do you know what  
11 he is?  
12 THE WITNESS: I don't believe  
13 so.  
14 (Discussion held off the record)  
15 Q. Doctor, can you determine from  
16 the record when Mr. MRI was  
17 actually done?  
18 A. Not the actual time.  
19 Q. Can you give me a range? We had  
20 an off-the-record discussion as to when it  
21 most likely occurred. You mentioned after  
22 7:57.  
23 A. Sometime between 7:27 and 10:59.  
24 Q. P.m.?  
25 A. P.m. It was between 21:19 and

0172

1  
2 22:59.  
3 Q. And do you have a memory as to  
4 when it was that you spoke to Dr.  
5 about the MRI?  
6 A. I know exactly when. I was  
7 waiting for it to be done and I spoke to  
8 her at I would assume two minutes before I  
9 wrote the note that I spoke to her because  
10 I was waiting, so I would say somewhere  
11 around 23:20, twenty-five.  
12 MR. OGINSKI: Off the record.  
13 (Discussion held off the record)  
14 Q. Was there any discussion with  
15 Dr. about whether the patient would  
16 have contrast or not have contrast for  
17 this MRI?  
18 A. I'm sure there was because it's  
19 my standard practice to discuss the  
20 options. I just don't remember the  
21 specific conversation.  
22 Q. Do you have any memory now, as  
23 you sit here, as to why the MRI without  
24 contrast was requested as opposed to one  
25 with contrast?

0173

1  
2 A. Probably because, for his age,  
3 his creatinine of 1.2 is higher than I  
4 would have expected and one of the side  
5 effects of the dye is it affects the  
6 kidneys. We may have also felt that we  
7 could get a good enough look without  
8 stressing the kidneys.  
9 Q. The creatinine level that you

10 just mentioned, you said it was a little  
11 high?

12 A. It's in the normal range so it's  
13 not something that need to be emergently  
14 addressed. But in considering whether I  
15 want to give a patient something that may  
16 affect current organs and their function,  
17 I would have looked at that and said, you  
18 know, it's in the normal range but I don't  
19 want to push this patient into an abnormal  
20 range and he was on the higher side of  
21 normal. That would be my standard  
22 practice, to evaluate that.

23 Q. And is it your opinion that, if  
24 the contrast dye was administered, that  
25 there was a good likelihood that it would

0174

1  
2 then pushed his creatinine to an abnormal  
3 level?

4 MS. : Note my objection.

5 You can answer.

6 A. It could. That's one of the  
7 risks of getting contrast, so it's part of  
8 your assessment of what tests you want to  
9 order on a patient for every patient.

10 Q. Did you ever make a  
11 determination while you were caring for  
12 Mr. whether he had any evidence of  
13 ischemic neuritis?

14 MS. : Note my objection  
15 to form.

16 You can answer.

17 A. Not in the emergency room care  
18 that I provided.

19 Q. Did he have any evidence of  
20 brachial plexopathy?

21 A. It was unable to determine  
22 completely because of the distortion of  
23 his anatomy and his inability to  
24 completely cooperate with his initial exam  
25 because of pain.

0175

1  
2 MR. OGINSKI: Thank you, Doctor.

3 MR. : Doctor, I just have  
4 one or two questions.

5 EXAMINATION BY

6 MR. :

7 Q. As I told you off the record, I  
8 represent Dr. .

9 Have you ever met him?

10 A. I'm sure I met him in the  
11 emergency room. I don't recall what he  
12 looks like.

13 Q. So the record's clear, in  
14 answering some questions, you had the

15 benefit the records before you.  
16 A. Yes.  
17 Q. That's the original records;  
18 right?  
19 A. Yes.  
20 Q. And you used them; true?  
21 A. I used them today, yes.  
22 Q. And some of the answers you gave  
23 were based on memory?  
24 A. Yes.  
25 Q. Do you have a memory of this

0176

1  
2 patient?  
3 A. Yes, I do.  
4 Q. In terms of memory, I'd like to  
5 know, did the patient give you a history  
6 that you can remember about any trauma or  
7 precipitating event that was associated  
8 with the onset of his pain?  
9 A. He did not tell me, but I  
10 understand from subsequent physicians'  
11 descriptions of the incident that at one  
12 point he said he was fighting with  
13 someone.  
14 MR. OGINSKI: I'm going to  
15 object.  
16 THE WITNESS: It's written in  
17 the chart.  
18 MR. OGINSKI: My objection is to  
19 the observation because they were not  
20 observed during the time that you  
21 cared for the patient on September 7.  
22 That's after the fact.  
23 Q. Do you recall what, if anything,  
24 he told you? Forget everybody else.  
25 A. Initially he told me that he was

0177

1  
2 at the gym and he was working without at  
3 the gym and felt a pop in the shoulder.  
4 But then the story changed to it might  
5 have been when he was horsing around with  
6 a friend and lifted his friend over his  
7 head and felt a pop. And then at a later  
8 point he said his niece. He was lifting  
9 -- I don't remember if it was his niece or  
10 the girlfriend's niece, but a niece over  
11 his head. Either way there was some form  
12 of lifting over the head and a pop  
13 sensation was consistent in all three  
14 stories so I used that in my chief  
15 complaint.  
16 Q. Were each of those three stories  
17 verbally relayed by Mr. to you on  
18 September 7?  
19 A. Yes.

20 MR. : Thank you.

21 EXAMINATION BY

22 MS. :

23 Q. I believe earlier, when you were  
24 asked about the use of steroids in  
25 response to Mr. Oginski's question, you

0178

1

2 testified, in sum and substance, that if  
3 you had known about the steroids you might  
4 have been more suspicious or more careful  
5 in evaluating the vasculature.

6 Q. Could you explain what you meant  
7 by that?

8 A. Steroids actually increase  
9 calcification in arteries and I probably  
10 would have suggested, when he was  
11 admitted, some type of follow-up Doppler  
12 duplex or an MRA or a CT angiogram if he  
13 continued to have the symptoms.

14 MR. OGINSKI: Of what part of his  
15 body?

16 THE WITNESS: The arm.

17 Q. Was Mr. in the ED for a  
18 typical period of time for a patient who  
19 dislocates his shoulder or was it longer  
20 or shorter?

21 MR. OGINSKI: Objection.

22 A. No.

23 Q. In what way was this not a  
24 typical period of time for a shoulder  
25 dislocation?

0179

1

2 A. Typical shoulder dislocations  
3 come to the emergency room relatively  
4 quickly after the dislocation. We put the  
5 joint back in place and we observe for a  
6 short period of time, one hours or two  
7 hours. When he came in, he was already  
8 presenting two days after the incident  
9 with swelling which made the physical exam  
10 not as easy to determine afterwards how  
11 easy his course was going to be. So  
12 already the idea was in my head that he  
13 was going to have to be observed for a  
14 longer period of time and/or be admitted  
15 for more intense observation and testing.  
16 It's not typical to order blood work on a  
17 shoulder dislocation, but we ordered it.

18 Q. Why is that?

19 A. So that, if there was a problem  
20 with the Doppler duplex or any subsequent  
21 studies and he did need some type of  
22 intervention, the patient had already been  
23 assessed for pre-op.

24 Q. Do you remember how many times

25 you actually checked Mr. , did any

0180

1

2 type of physical examination, while he was  
3 in the ED?

4 A. I was covering the resuscitation  
5 area that day, so I was in and out of the  
6 room virtually every hour. And every time  
7 I would go in, I ask -- my standard  
8 practice is I ask all my patients how  
9 they're doing and do some form of  
10 assessment.

11 Q. Do you remember what you did in  
12 terms of some form of assessment on Mr.

13

?

14 A. If he was sleeping comfortably,  
15 I may have woken him up enough to see if  
16 he could squeeze my hand and feel my hand.  
17 If he was awake, it may have been more  
18 detailed.

19 Q. Do you have a memory of any of  
20 those events, either he was asleep or he  
21 was awake?

22 A. He was in the emergency room  
23 under my care for almost twelve hours.  
24 There was several times he was awake and  
25 several times I had to wake him up to do

0181

1

2 an assessment.

3 Q. Did you observe Dr. doing  
4 any other examinations than the ones we  
5 talked about already?

6 A. Dr. went in the room  
7 several times. I wasn't there for the  
8 physical exams, but he was the only  
9 orthopedic patient in that room.

10 MS. : Nothing else.

11 Thank you.

12 EXAMINATION BY

13 MR. OGINSKI:

14 Q. Doctor, when you said you would  
15 make some form of assessment on your  
16 patients, other than asking them if they  
17 were okay, would you also examine them  
18 physically?

19 A. As I said, if he was sleeping, I  
20 would wake him up and at the very least  
21 have him squeeze my hand and see if he  
22 could feel the hand. Something to let me  
23 know that he still had neurovascular. I  
24 would check a radial pulse.

25 Q. You would expect to make a note

0182

1

2 in the patient's record about that  
3 assessment and about that finding?

4 A. And when he was admitted, I  
5 noted that he was stable for admission.  
6 Q. After each assessment that you  
7 made, not cumulatively or collectively in  
8 a summary fashion, would you expect to  
9 make an individual entry in the chart for  
10 each and every time that you made such an  
11 assessment?  
12 A. Not always.  
13 Q. In some instances would you?  
14 A. I believe I did on two  
15 occasions.  
16 Q. And those two occasions we  
17 already read those notes?  
18 A. Correct.  
19 Q. Other than those two occasions,  
20 were there any other instances where you  
21 made separate observations while he was in  
22 the resuscitation area of the emergency  
23 room that you noted in the chart?  
24 A. I did not.  
25 MR. OGINSKI: Thank you.

0183

1  
2 EXAMINATION BY  
3 MR. :  
4 Q. Doctor, what is the  
5 resuscitation area?  
6 A. The resuscitation area is an  
7 area of the hospital where you can perform  
8 advanced procedures that require conscious  
9 sedation under monitoring. It's also an  
10 area where we do resuscitation for cardiac  
11 arrests, intubations. Any patient who is  
12 critically ill, there are only four beds  
13 in the area so there's close monitoring  
14 and close nursing care, and there is a  
15 dedicated resident assigned to that one  
16 room with the four patients for the entire  
17 shift.  
18 Q. And that's part of the emergency  
19 department?  
20 A. It's part of the emergency room,  
21 it's a special area of the emergency room.  
22 Q. And he was there because he had  
23 been sedated?  
24 A. I did conscious sedation and  
25 then he was in there so that he could have

0184

1  
2 continued monitoring.  
3 MR. : Thank you.  
4 (TIME NOTED: 2:01 p.m.)  
5 \_\_\_\_\_ (Signature of witness)  
6 Subscribed and sworn to  
7 before me this \_\_\_\_\_  
8 day of \_\_\_\_\_,

9 2007.

10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

0185

1  
2  
3  
4  
5  
6  
7  
8  
9

\* \* \*

I N D E X

WITNESS	EXAMINED BY	PAGE	
	Mr. Oginski		5, 181
	Mr.		175, 183
	Ms.		177

10  
11  
12  
13  
14  
15  
16  
17

E X H I B I T S

	PLAINTIFF'S FOR IDENTIFICATION	PAGE	
1A	Medical record		4
1B	Medical record		4
1C	Medical record		4
2	Document entitled Curriculum Vitae of		
		, DO	4

ATTORNEY OGINSKI HAS RETAINED ALL EXHIBITS

18  
19  
20  
21  
22  
23  
24  
25

INSERTIONS

Page	Line
(NONE)	

0186

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13

I N D E X (continued)

REQUESTS

Page	Line
(NONE)	

RULINGS

Page	Line
(NONE)	

\* \* \*

14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
0187

1  
2 CERTIFICATION BY REPORTER  
3

4 I, Wayne Hock, a Notary Public of the  
5 State of New York, do hereby certify:

6 That the testimony in the within  
7 proceeding was held before me at the  
8 aforesaid time and place;

9 That said witness was duly sworn  
10 before the commencement of the testimony,  
11 and that the testimony was taken  
12 stenographically by me, then transcribed  
13 under my supervision, and that the within  
14 transcript is a true record of the  
15 testimony of said witness.

16 I further certify that I am not  
17 related to any of the parties to this  
18 action by blood or marriage, that I am not  
19 interested directly or indirectly in the  
20 matter in controversy, nor am I in the  
21 employ of any of the counsel.

22 IN WITNESS WHEREOF, I have hereunto  
23 set my hand this day of  
24 , 2007.

25 \_\_\_\_\_  
0188

1  
2 ERRATA SHEET  
3 VERITEXT/NEW YORK REPORTING, LLC

4 CASE NAME: \_\_\_\_\_ V.  
5 DATE OF DEPOSITION: December 18, 2007  
6 WITNESS' NAME:

PAGE/LINE(S)/	CHANGE	REASON
6	_____/_____/_____	_____/_____
7	_____/_____/_____	_____/_____
8	_____/_____/_____	_____/_____
9	_____/_____/_____	_____/_____
10	_____/_____/_____	_____/_____

11 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_  
12 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_  
13 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_  
14 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_  
15 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_  
16 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_  
17 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_  
18 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_  
19 \_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_/\_\_\_\_\_

20 \_\_\_\_\_  
21 WITNESS  
22 SUBSCRIBED AND SWORN TO  
23 BEFORE ME THIS \_\_\_\_\_ DAY  
24 OF \_\_\_\_\_, 2007.

25 \_\_\_\_\_  
NOTARY PUBLIC  
MY COMMISSION EXPIRES \_\_\_\_\_  
\* \* \*