

\*DE-IDENTIFIED EBT OF PEDIATRIC CRITICAL CARE DOCTOR\*

1

2 SUPREME COURT OF THE STATE OF

3 COUNTY OF

-----X

4

as Parents and Natural

5 Guardians of , an infant  
under the age of fourteen years,

6

Plaintiffs,

7

-against-

8

, M.D., MEDICAL

9 CENTER, , M.D., and ,  
M.D.,

10

Defendants.

11

-----X

12

13

14 July 29,  
10:09 a.m.

15

16

17 EXAMINATION BEFORE TRIAL of one of the

18 Defendants, , M.D.

19

20

21

22

23 TOMMER REPORTING, INC.

192 Lexington Avenue

24 Suite 802

New York, New York 10016

25 (212) 684-2448

TOMMER REPORTING, INC. (212) 684-2448

2

1

2 A P P E A R A N C E S:

3

4

Attorneys for Plaintiffs

5 150 Great Neck Road, Suite 304

Great Neck, New York 11021

6

BY: GERALD M. OGINSKI, ESQ.

7

8

9 Attorneys for Defendant

, M.D.

10

11

BY: , ESQ.

12

13

, LLP

14 Attorneys for Defendants

MEDICAL CENTER,

15 , M.D.,

, M.D., , M.D.

16

17

BY: , ESQ.

18

19

ALSO PRESENT:

20

, M.D.

21

22

\*\* \*\* \*

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

3

1

2 S T I P U L A T I O N S

3

4 It is hereby stipulated and agreed by and

5 between the counsel for the respective parties  
6 hereto that all rights provided by the  
7 C.P.L.R., including the right to object to any  
8 question, except as to form, or to move to  
9 strike any testimony at this examination, are  
10 reserved, and, in addition, the failure to  
11 object to any question or to move to strike any  
12 testimony at this examination shall not be a  
13 bar or waiver to doing so at, and is reserved  
14 for, the trial of this action;

15 It is further stipulated and agreed by  
16 and between counsel for the respective parties  
17 hereto that this examination may be sworn to by  
18 the witness being examined before a Notary  
19 Public other than the Notary Public before whom  
20 this examination was begun, but the failure to  
21 do so, or to return the original of this  
22 examination to counsel, shall not be deemed a  
23 waiver of the rights provided by Rules 3116 and  
24 3117 of the C.P.L.R., and shall be controlled  
25 thereby.

1

2       It is further stipulated and agreed by  
3 and between counsel for the respective parties  
4 hereto that this examination may be utilized  
5 for all purposes as provided by the C.P.L.R.;

6       It is further stipulated and agreed by  
7 and between counsel for the respective parties  
8 hereto that the filing and certification of the  
9 original of this examination shall be and the  
10 same are hereby waived;

11       It is further stipulated and agreed by  
12 and between counsel for the respective parties  
13 hereto that a copy of the within examination  
14 shall be furnished to counsel representing the  
15 witness testifying without charge.

16

17       \*\*                   \*\*                   \*\*

18

19

20



12 .

13 MR. OGINSKI: Mark this as

14 Plaintiffs' 1 and 2, the hospital

15 record and the CV.

16 (Whereupon, the hospital record

17 and the doctor's curriculum vitae was

18 received and marked as Plaintiffs'

19 Exhibits 1 and 2 for identification,

20 as of this date.)

21 Q Good morning, Doctor.

22 A Morning.

23 Q Where do you currently work?

24 A At the

25 Hospital of Health System.

TOMMER REPORTING, INC. (212) 684-2448

6

1 , M.D.

2 Q What is your function or what is

3 your position there?

4 A I'm the of the Pediatric

5 Critical Care Services.

6 Q How long have you held that

7 position?

8 A That particular position it's been  
9 like two, three years after we merged with  
10 . Before that the exec title was  
11 of the Pediatric Critical Care  
12 Medicine at Hospital, and  
13 this overall it's like eleven years.

14 Q Are you familiar with the test  
15 called a cold agglutinin test?

16 A Yes.

17 Q What is that?

18 A I would not be able to give you the  
19 precise science of this, but it's when you have  
20 an infection with a specific bug, that infection  
21 may produce antigens which is a chemical  
22 material that tends to agglutinate, which  
23 basically means tend to aggregate or stick  
24 together when it is exposed to cold temperature.

25 Q Are there certain circumstances or

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2   clinical situations that you as a physician will  
3   order a test such as a cold agglutinin test?

4       A   A cold agglutinin test is a  
5   screening test. It is a very non-specific test  
6   that one may want to do if you suspect a  
7   specific infection. One would be a mycoplasma  
8   infection.

9       Q   That was my next question. Can you  
10   tell me what is mycoplasma pneumonia?

11       A   Generally speaking the micro  
12   organism that cause infection are divided into  
13   three. You have viruses, you have bacteria and  
14   you have some other parasites. There is  
15   something between a virus and a bacteria, and  
16   that would be the best definition of the  
17   mycoplasma. It's close to bacteria, but it  
18   doesn't have a wall and it's not really quite

19 as small as a virus. It's somewhere in between.

20 Q In the course of your medical  
21 career have you had occasion to treat patients  
22 who have had mycoplasma pneumonia?

23 A Yes.

24 Q How do you diagnose mycoplasma  
25 pneumonia?

TOMMER REPORTING, INC. (212) 684-2448

8

1 , M.D.

2 A The way to diagnose it would be to,  
3 first of all, screen, do a general screening  
4 test called cold agglutinin, then you can send  
5 blood for titers of mycoplasma and this would  
6 be, you would be looking for the igM titers.

7 Q Is there also a different titer  
8 known as igG?

9 A There is igG too.

10 Q Does that have any effect on  
11 evaluating whether or not a patient has  
12 mycoplasma pneumonia?

13       A    To the best of my knowledge igG  
14    would be something that would just say yes, the  
15    patient had in the past an infection, but igM  
16    would be more current.

17       Q    In your hospital in August of            ,  
18    how long did it take to perform a cold  
19    agglutinin test, and I'm not asking for a  
20    specific --

21       A    I'm not sure I understand that.

22       Q    Do you perform a cold agglutinin  
23    test by a method of obtaining a blood specimen?

24       A    Blood specimen.

25       Q    Once the blood specimen is obtained

TOMMER REPORTING, INC. (212) 684-2448

9

1            , M.D.

2    how long does it take to get the results back

3    generally?

4       A    I don't have a real knowledge about  
5   that. I can assume that this would take up to  
6   two days.

7       Q    The same question with regard to  
8   the titers, that would be mycoplasma titers,  
9   how long does it generally take for those  
10   results to come back once a specimen is  
11   submitted?

12      A    I would say days, but I'm not sure  
13   about it.

14      Q    How do you treat mycoplasma  
15   pneumonia?

16      A    mycoplasma pneumonia is a micro  
17   organism that would be treated with either  
18   erythromycin or some other antibiotics that  
19   belong to the same family. Would be others,  
20   you know, and I can mention a few.

21      Q    Prior to coming here today did you  
22   review       's hospital chart?

23      A    Yes, I did.

24      Q    Separate and apart from the  
25   hospital record that your attorney has provided

TOMMER REPORTING, INC. (212) 684-2448

10

1                   , M.D.

2 here today, did you review any other records

3 relating to this patient?

4       A    No.

5       Q    In preparation for today did you

6 review any text books or medical literature on

7 the issues involved in this case?

8       A    No.

9       Q    At some point during this child's

10 hospitalization in August and September of

11 at       , did there come a time when you came and

12 treated       ?

13       A    Based on the chart I would say yes.

14       Q    Do you have an independent memory

15 of this child separate and apart from any notes

16 that are contained within the record?

17       A    Not really.

18       Q    Do you have any recollection of the

19 patient's parents?

20       A    Not really. I don't really recall

21 any specifics about this patient.

22 Q From your review of this chart did  
23 you learn that this child on admission to the  
24 hospital on August 19th, , had a complete  
25 white out as noted on the chest x-ray?

TOMMER REPORTING, INC. (212) 684-2448

11

1 , M.D.

2 A What I recall is that she had a  
3 left-sided pneumonia. Whether this was a  
4 complete white out or not, that I cannot tell  
5 you, I don't remember.

6 Q On August 22nd, three days after  
7 admission, I'm going to show you a copy of an  
8 x-ray report taken while she was in the  
9 hospital. Does that indicate that there was a  
10 complete white out in the left lung?

11 A That's what it says. Under full

12 results it says, this is a little unusual. It  
13 says, "Full result history: Complete white out  
14 of left lung."

15 Q What, if anything -- I'm sorry?

16 A Can I just read it?

17 Q Go right ahead.

18 What, if anything, does that signify to  
19 you medically, Doctor?

20 A That particular these three words  
21 white out?

22 Q Yes, the white out.

23 A The white out means that there is a  
24 process in the left lung which takes the air  
25 space of the alveoli of the lung branchiomere  
TOMMER REPORTING, INC. (212) 684-2448

12

1 , M.D.

2 which indicates that either this is filled with

3 fluid, pus, consolidated. So there is a

4 process there is a disease in that lung. The  
5 lung should be black, gray. It should not be  
6 white.

7 Q You're referring to observing it on  
8 a chest x-ray?

9 A Yes.

10 Q Is there any way for you to  
11 determine or to know based on the observation  
12 by the radiologist how long that white out had  
13 been present as of the time the x-ray had been  
14 taken?

15 MS. : Note my objection.

16 A No, there's no way to know how  
17 long this had been in place, that process.

18 Q Are you familiar with a Dr.  
19 ?

20 A Yes, I am.

21 Q How do you know Dr. ?

22 A Dr. is our pediatric  
23 pulmonologist. I don't really remember when he  
24 was hired.

25 MR. : He didn't ask you

TOMMER REPORTING, INC. (212) 684-2448

13

1           , M.D.

2           that. Do you know?

3           THE WITNESS: Yeah, I know.

4           Q   As you sit here now, do you recall

5   having any conversations with Dr. about

6   ?

7           A   No, I don't recall.

8           Q   Do you know Dr. ?

9           A   I know Dr. .

10          Q   What is his position at the

11   hospital?

12          A   At that time he was one of the

13   pediatric surgeons on the voluntary staff.

14          Q   Do you recall as you sit here now

15   any conversations you had with Dr. about

16   treating ?

17          A   I do not recall.

18          Q   From your review of the records did

19   you see at various times of 's

20 hospitalization she underwent various surgical  
21 procedures in addition to placement of chest  
22 tubes as well as surgery to the lung?

23 A Yes.

24 Q At any time while you were treating  
25 her did you observe the scars or the incisions

TOMMER REPORTING, INC. (212) 684-2448

14

1 , M.D.

2 that were made to her from the surgical  
3 procedures?

4 A Again, based on the chart, when I  
5 was on service she was already after the  
6 placement of chest tubes so I must have seen  
7 the scars.

8 Q After this child was discharged  
9 from the hospital, I believe it's September  
10 6th, , did you ever see  
11 again?

12 A I don't recall that.

13 MR. : I think it was the

14 12th.

15 MR. OGINSKI: Maybe you're

16 right. Yes, thank you. You are

17 right.

18 Q In addition to seeing and treating

19 patients in the critical care unit of

20 Hospital, did you also maintain

21 an office for private practice of medicine

22 where you would see patients from time to time?

23 A No, but we do have occasionally

24 patients that we would like to see in a

25 follow-up, very specific patients.

TOMMER REPORTING, INC. (212) 684-2448

15

1 , M.D.

2 Q Do you have any recollection or any

3 notes which would suggest to you whether or not

4 was one of those patients?

5 A I do not recall.

6 Q If a patient was asked to return

7 back for follow-up, where would they generally  
8 go? Would it be a clinic or is some other  
9 office within the hospital?

10 A Well, they would have to contact  
11 the physician that would follow them, and it  
12 would be in a clinic in one of the modules.

13 Q Is there anything that you recall,  
14 again, about the surgical incisions that you  
15 can tell me about, what they looked like or the  
16 size of those incisions as you sit here now?

17 A By recollection, no.

18 Q Again, from your review of this  
19 patient's chart, did you learn that during  
20 various surgical procedures she sustained  
21 iatrogenic injuries?

22 MR. : I'm not so sure  
23 through various, but I think there's  
24 mention of one in a procedure on the  
25 6th I think.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2                   MR. OGINSKI: I'll rephrase the  
3                   question.

4                   Q    Did you learn during the course of  
5                   the surgery to her lung she sustained an  
6                   iatrogenic injury?

7                   A    I don't know what you mean by  
8                   iatrogenic. I think that surgery is done by a  
9                   surgeon. So every complication that's happened  
10                  can be in a way defined as iatrogenic. She did  
11                  have a complication during the surgery.

12                  Q    Let me just step back for a second.  
13                  What's your understanding of an  
14                  iatrogenic injury?

15                  A    Iatrogenic means that an injury was  
16                  caused by something that a physician did or a  
17                  care provider did to the patient.

18                  Q    What is your understanding that of

19 the complication that sustained  
20 during the course of her lung surgery?

21 MR. : Note my objection.

22 He's not a surgeon, he didn't have  
23 anything to do with the surgery, but  
24 over my objection I'll let him answer  
25 in a general sense.

TOMMER REPORTING, INC. (212) 684-2448  
17

1 , M.D.

2 MR. OGINSKI: Generally.

3 A My understanding that that  
4 particular procedure was started out as a what  
5 we called a VATS, which is a video assisted  
6 procedure, and, again, my understanding is that  
7 inadvertently or during that procedure there  
8 was an injury in the way of a laceration or a  
9 tear on the left side of the diaphragm. I  
10 understand that the surgeon decided to turn the  
11 procedure into an open procedure and he fixed  
12 it.

13 Q Did you learn also as a general

14 matter during the course of the procedure that

15 she sustained a diaphragmatic hernia?

16 A Through the chart, yes, that's what

17 I understood. It is mentioned in the chart

18 that through that tear in the diaphragm there

19 was a creation of the diaphragmatic hernia.

20 Q And that she also sustained a

21 collapsed lung during the procedure?

22 MR. : Off the top of my

23 head, I mean, you're asking and I

24 would prefer you look at the chart

25 rather than answer. Maybe I'm wrong

TOMMER REPORTING, INC. (212) 684-2448

18

1 , M.D.

2 but it seems to me she had a

3 collapsed lung before the surgery,

4 but I wasn't focussing on the

5 surgery.

6 MR. OGINSKI: I'll rephrase it.

7 Q Is there any question in your mind

8 based on your review of the patient's records

9 that she had pneumonia when she presented to

10 the hospital on August 19th, ?

11 A No.

12 MR. : I think it was the

13 18th, but he wasn't there, but you

14 mean from his review of the chart?

15 MR. OGINSKI: Yes.

16 Q In treating pneumonia especially in

17 children is it important to know what type of

18 organism is causing the pneumonia?

19 A It is.

20 Q Why?

21 A Well, when you know the organism,

22 you can direct your treatment better, you can

23 be more specific in your management.

24 Q By management do you mean treatment

25 with antibiotics?

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    Yes.

3           Q    Are there certain medications that  
4   are more specific to certain types of organisms  
5   causing pneumonia as opposed to a broad  
6   spectrum antibiotics?

7           A    Yes, but we generally use broad  
8   spectrum antibiotics, but I don't know if the  
9   broad spectrum antibiotics cover everything,  
10   the whole spectrum of micro organisms.

11          Q    In your review of the record did  
12   you or do you recall what tests were actually  
13   done to identify this type of pneumonia this  
14   child was experiencing?

15          A    What normally is done is that a  
16   blood culture is sent which would indicate  
17   whether or not the organisms causing the  
18   pneumonia are spread into the bloodstream. When  
19   a chest tube was placed a sample was sent for  
20   cultures and when bronchoscopy was done, the  
21   lavage fluid that was used was sent for

22 cultures.

23 Q How long did it take for the result  
24 of the cultures to come back, if you know, back  
25 in August of ?

TOMMER REPORTING, INC. (212) 684-2448

20

1 , M.D.

2 A Generally speaking, cultures take  
3 about two, three days.

4 Q Did the cultures that were obtained  
5 reveal what organisms specifically was causing  
6 this child's pneumonia?

7 A No.

8 Q How do you know whether a patient,  
9 specifically a child, has a viral type of  
10 pneumonia as opposed to any other type?

11 A Normally speaking, a viral  
12 pneumonia does not give you an overwhelming  
13 picture as total white out of the lung with

14 fluid with such a significant pleural  
15 involvement. The patient may have fever, but he  
16 may not look clinically toxic and the white  
17 count, even though it's a nonspecific marker,  
18 may not be as elevated as you would see with a  
19 bacterial pneumonia.

20 Q Do you recall seeing that on  
21 admission had a normal CBC and there  
22 was no history of her having been on  
23 antibiotics prior to her admission?

24 A No.

25 Q Can you take a look at the record,

TOMMER REPORTING, INC. (212) 684-2448

21

1 , M.D.

2 please, which has been marked as Plaintiff's 1?

3 MR. : I assume you're

4 referring to the emergency room?

5 MR. OGINSKI: Yes.

6 MR. OGINSKI: Let me withdraw

7 the question and ask it a different

8 way.

9 MR. : That's the

10 problem of asking questions of

11 somebody that wasn't there, but go

12 ahead.

13 MR. OGINSKI: I'll try and

14 speed it up.

15 Q In the emergency room record is a

16 note that indicates bloods were drawn, cultures

17 were done with CBC differential. You see that,

18 right?

19 A Yes.

20 Q Do you see the results of those

21 tests?

22 A Which date are we talking about?

23 Q 8/19/.

24 MR. : August 19th was

25 when she came into the emergency room

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           and apparently blood was drawn.

3           Q    You have that date, Doctor?

4           A    I'm looking. Yes, I have it.

5           Q    What do the results of the blood  
6 test tell you, if anything?

7           A    There are many blood tests.

8           Q    No, is there anything in those  
9 blood tests that were done on 8/19 that  
10 indicates to you -- well, first of all, was the  
11 CBC normal?

12          A    It looks normal.

13          Q    Was there anything that you saw in  
14 the patient's history that indicated that this  
15 child has been on antibiotics prior to arriving  
16 at the hospital?

17          A    I didn't see that he had been on  
18 antibiotics.

19               MR. : She.

20 THE WITNESS: She, I'm sorry.

21 Q Are you familiar with the term

22 differential diagnosis?

23 A Yes.

24 Q What is your understanding of that

25 term?

TOMMER REPORTING, INC. (212) 684-2448

23

1 , M.D.

2 A That there's more than one

3 diagnosis for a disease and one would need to

4 know a whole slew of diagnoses that would

5 pertain to a certain symptom or certain

6 symptoms.

7 Q Would you agree that any

8 differential diagnosis of pneumonia should

9 include a mycoplasma as being a cause of the

10 condition?

11 A I would disagree with that because

12 the mycoplasma pneumonia is to some extent age

13 specific.

14 Q I'm sorry?

15       A    Age specific. It's very, very  
16 uncommon up to the age of five years. It's  
17 somewhat seen between five and ten and it's  
18 common after ten years of age.

19       Q    Would Legionella be included in a  
20 differential diagnosis of pneumonia or the  
21 types of organisms of pneumonia?

22       A    It would.

23       Q    How does the Legionella differ from  
24 mycoplasma?

25       A    Well, Legionella is a very rare

TOMMER REPORTING, INC. (212) 684-2448

24

1           , M.D.

2 disease and it tends to occur in patients who  
3 are immune compromised host first and foremost,  
4 and Legionella also should come in a context of  
5 a patient being exposed to some type of water  
6 vapors, some type of management through pipes  
7 and tubes with water.

8 Q In a patient specifically in an age  
9 group of four years old as this child was,  
10 would it be good practice in your opinion to  
11 consider mycoplasma as a differential  
12 diagnosis as a cause of pneumonia?

13 MR. : I'm going to object  
14 to would it be good practice. I  
15 mean, I don't know what that word  
16 means what. That phrase means, good  
17 practice. Has no legal significance  
18 to me.

19 Q There are various doctors who are  
20 in the residency in your department, correct?

21 A Correct.

22 Q During the course of training these  
23 physicians, do you teach them how to diagnose  
24 various conditions and diseases as well as  
25 advising them on what might be differential

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2   diagnosis?

3       A    Yes.

4       Q    As part of a differential diagnosis

5   when you're teaching these residents, do you

6   advise them generally speaking to consider

7   mycoplasma in an age group under the age of

8   five as part of their routine in diagnosis and

9   treatment of pneumonia?

10       A    If the age group and the symptoms

11   hint in that direction, yes.

12       Q    What is it that would suggest to

13   you that a child under the age of five would be

14   experiencing mycoplasma pneumonia as opposed

15   to any other type of pneumonia?

16       A    I'm sorry, under the age of five?

17       Q    Under, yes.

18       A    Under the age of five I would say

19   that common practice would be to consider the

20   mycoplasma pneumonia only if regular common

21   management for other types of pneumonia do not

22 yield the results that you expect.

23 Q With antibiotic treatment of  
24 pneumonia, at what point in time do you see  
25 resolution of the chest x-ray or a clearing or

TOMMER REPORTING, INC. (212) 684-2448

26

1 , M.D.

2 improving of chest x-ray?

3 MR. : That question is  
4 somewhat confusing to me.

5 MR. OGINSKI: I'll rephrase the  
6 question.

7 Q In a patient who has been diagnosed  
8 with pneumonia and who has been treated with  
9 broad spectrum antibiotics and also has a chest  
10 x-ray indicating a white out, is there some  
11 point in time where you would expect to see a  
12 resolution of the chest x-ray in response to  
13 antibiotics?

14 A In a chest x-ray that shows white

15 out pneumonia with fluid with pleural effusion,  
16 the resolution takes, to see some degree of  
17 resolution, takes many days.

18 Q How do you know if the antibiotics  
19 are working and is attacking that particular  
20 pneumonia?

21 A Well, symptoms will improve, fever  
22 will not be as high, white count may decrease,  
23 some resolution of the pneumonic process in the  
24 lung will occur. All of these are markers of  
25 improvement.

TOMMER REPORTING, INC. (212) 684-2448

27

1 , M.D.

2 Q Do you have an opinion with a  
3 reasonable degree of medical probability as to  
4 whether it would be a departure from good care  
5 to fail to consider mycoplasmas as part of an  
6 initial differential diagnosis in evaluating  
7 pneumonia?

8 MR. : I'm going to object  
9 to that. He is not here as an expert  
10 to testify what reasonable medical  
11 care is or isn't. In fact, he's  
12 already answered your questions about  
13 that particular organism.

14 MR. OGINSKI: Are you directing  
15 him not to answer?

16 MR. : Yes, I am.

17 MR. OGINSKI: Mark it for a  
18 ruling.

19 Q Did you learn from a review of this  
20 chart an Infectious Disease consult was not  
21 called until August 31st?

22 MR. : Object to the  
23 characterization was not called. You  
24 can ask him when it was called.

25 Q Did you learn that the first time

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2    an Infectious Disease consult evaluated this

3    child was on August 31st?

4        A    Yes.

5        Q    I'd like you to turn, please, to

6    Page 8 of the hospital record?

7           MR. : Could you be a

8        little more specific what it is

9        because there doesn't seem to be a

10       rhyme or reason to my copy.

11       MR. OGINSKI: For the record at

12       least some of the pages are numbered

13       on the bottom right. The date of the

14       note is August 21, . The

15       progress note August 21.

16       It's a PICU Fellow Admit Note.

17       MR. : Why don't we

18       just use that?

19       MR. OGINSKI: I just have

20       questions, I know it's in there.

21 Keep going.

22 MR. : The notes up

23 here are in September.

24 MR. OGINSKI: There you go.

25 MR. : This one here?

TOMMER REPORTING, INC. (212) 684-2448

29

1 , M.D.

2 MR. OGINSKI: That will be the

3 next one. Go back two pages. There

4 you go.

5 MR. : This PICU

6 Fellow Admitting Note?

7 MR. OGINSKI: That's correct.

8 Q Doctor, can you tell just from the

9 bottom of that page who wrote this note?

10 MR. : Well, I think the

11 trouble with the page it ends over

12 here on another page.

13 MR. OGINSKI: Correct.

14 MR. : It ends, for

15 some reason this is stuck in the

16 middle and this note ends here, I  
17 believe.

18 MR. OGINSKI: Yes, I think  
19 you're right.

20 MR. : He wants to  
21 know can you identify the handwriting  
22 of that signature?

23 MR. OGINSKI: Which appears on  
24 Page 11.

25 Q Would that be a Dr. ?

TOMMER REPORTING, INC. (212) 684-2448

30

1 , M.D.

2 A That was my first belief. I just  
3 want to make sure. I would say yes.

4 Q By the way, is that a man or a  
5 woman?

6 A It's a woman.

7 Q Is Dr. still employed at

8 ?

9 A She's still there.

10 Q Do you know what her capacity or

11 her position is?

12 A She's a third-year fellow.

13 Q In what field of medicine?

14 A Pediatric Critical Care Medicine.

15 Q What was the fellow's duties, if

16 you can tell me, back in August of , in

17 relation to treating patients?

18 A Well, the fellow is one level above

19 residents, above the pediatric residents. He or

20 she supervises residents. They provide care to

21 the patients under the supervision of the

22 attendings.

23 Q This particular admitting note,

24 that was when the patient was transferred into

25 the Pediatric Intensive Care Unit?

TOMMER REPORTING, INC. (212) 684-2448

2       A    That's correct.

3       Q    Did Dr.   have the duty of  
4   attending to this patient on a daily basis?

5       A    If she was on service.

6       Q    Getting to your involvement, were  
7   there times when you were designated to be on  
8   service in the Pediatric Intensive Care Unit?

9       A    Yes.

10      Q    On the occasions when you were not  
11   on service, did you have other partners or  
12   colleagues also in the same capacity as you who  
13   also attended to the patients in the PICU?

14      A    Yes.

15      Q    Turning to Dr. 's note,  
16   8/21/ note, on the second page, which is  
17   Page 11, at the bottom, under her plan she  
18   writes on the second line: Start Nafcillin for  
19   possible staph infection. Do you see that?

20      A    Yes.

21      Q    That was relating to the pneumonia

22 as far as you know?

23 A Right.

24 Q She also writes: Consider

25 Vancomycin for possible drug resistant

TOMMER REPORTING, INC. (212) 684-2448

32

1 , M.D.

2 pneumococca; is that right?

3 A Exactly.

4 Q What does that mean to you? What's

5 the medical significance of that statement?

6 A Well, what she was thinking.

7 MR. : Well, we don't know

8 what she was thinking, but your

9 interpretation of the note.

10 A My interpretation of that note was

11 first thought was this pneumonia was caused

12 either by a staph or by a strep. The strep is

13 strep pneumonia. Under very rare circumstances

14 the strep pneumonia may be not sensitive, may

15 not be sensitive to Ceftriaxone or Nafcillin  
16 and there is under certain circumstances where  
17 we decide to give Vancomycin.

18 Q She continues her note by saying:  
19 ID approval not obtained. What does that mean  
20 to you?

21 A It means that, what she meant here,  
22 what it looks like here is that if you want to  
23 start Vancomycin, at one point you would want  
24 to ID to approve that because Vancomycin is a  
25 drug that we would like to preserve for really

TOMMER REPORTING, INC. (212) 684-2448

33

1 , M.D.

2 very specific patients that we feel are  
3 resistant to the regular antibiotics.

4 Q Is there anything to suggest that  
5 this statement, ID approval not obtained,  
6 related to anything else other than the  
7 Vancomycin?

8 A No.

9 Q Do you have an opinion as you sit

10 here now after having reviewed this patient's

11 record as to whether this child required an

12 Infectious Disease consult as of August 21?

13 A No.

14 Q Did you learn from the record,

15 again, why an Infectious Disease consult was

16 obtained on August 31st?

17 A The consult was obtained for two

18 reasons. The reason number one would be that we

19 planned to treat the patient at home with

20 antibiotics. Patients who go home on prolonged

21 antibiotic treatments, IV, intravenous

22 treatment, we refer them to ID for future

23 follow-up.

24 The other reason was that we wanted their

25 opinion since the patient had been treated for

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2    a certain number of days and there was not a  
3    complete resolution of the pneumonic process.

4        Q    A broviac, what is that?

5        A    Broviac is a type of catheter.

6    It's a long-term catheter made of silastic,  
7    silicone that has a cuff on it. So you put it  
8    in the vessel and you tunnel it under the skin  
9    and it can stay there for a long period of  
10   time. It's safe and you can send patients home  
11   with that.

12       Q    Was that the intention for this  
13    patient to go home with a Broviac catheter for  
14    the insertion or the administration of IV  
15    antibiotics?

16       A    That's my understanding, yeah.

17       Q    Do you know who it was who called  
18    for the Infectious Disease consult?

19       A    I was the attending on service  
20    during that period.

21       Q    Did you call for the Infectious  
22    Disease consult?

23       A    That I don't remember. It could  
24   have been me, it could have been the fellow, it  
25   could have been the resident. We all work as a

TOMMER REPORTING, INC. (212) 684-2448

35

1               , M.D.

2   group. The plan was made and somebody must  
3   have called.

4       Q    If you had been the one to make a  
5   determination to get an ID consult, would you  
6   customarily have advised the resident or the  
7   fellow to carry out that instruction and  
8   actually request it and have someone come and  
9   evaluate the child?

10       A    Yes, that would be the common  
11   practice.

12       Q    What was your practice back in  
13   August, September,   , in terms of making  
14   notes and entries in the patient's chart on a  
15   daily basis?

16       A    The attendings write notes. These  
17 notes are concise, straight to the point. We  
18 use a template that has certain entries that we  
19 fill. Obviously it's attending specific. Some  
20 attendings like to write a lot, some attendings

21 write less. It's one note out of many notes

22 that are written on a patient. Everything  
23 that's written on a patient is under the  
24 supervision of the attending.

25       Q    Prior to writing your note on any

TOMMER REPORTING, INC. (212) 684-2448

36

1           , M.D.

2 given day, was it customary for you to make  
3 rounds and actually see the patient?

4       A    Yes.

5       Q    As part of your seeing the patient,  
6 did you do that in the presence of either  
7 residents or fellows or other physicians?

8       A    Yes.

9       Q    On those occasions were there times  
10   when you would physically examine the child  
11   that you were treating?

12       A    Yes.

13       Q    As part of the notes when you  
14   finished examining the patient and rounds would  
15   you indicate what your findings were on  
16   examination separate and apart from any lab  
17   notes or test results that you observed or any  
18   plans?

19       A    Not necessarily. The attending's  
20   note is an additional note to the other  
21   people's note. The fellow and the resident,  
22   you would find more about details of the  
23   physical examination in the resident's and the  
24   fellow's note than you would find in the  
25   attending's notes.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2        Q    There are references throughout the  
3  chart.  There are persons called RPN or someone  
4  making the note called RPN.  Are you familiar  
5  with that?  Would that be pediatric notes?

6        A    It's a resident pediatric note,  
7  right.

8        Q    In the Pediatric Intensive Care  
9  Unit did you generally have one fellow that was  
10  attending to patients or more than one at any  
11  given time?

12       A    There is one fellow that takes the  
13  responsibility for patients who have  
14  multidisciplinary diseases.  There is another  
15  fellow that has nothing to do with this that  
16  takes care of cardiac intensive care patients.

17       Q    As you sit here now, do you have an  
18  opinion as to whether Infectious Disease  
19  consult should have been called in earlier than  
20  August 31, ?

21       A    Based on what I read and based on

22 what I know, there was no real indication to  
23 call in an Infectious Disease consultation  
24 earlier.

25 Q In your opinion based upon your

TOMMER REPORTING, INC. (212) 684-2448

38

1 , M.D.

2 treatment of this patient and reviewing  
3 patient's notes, did you get any sense or  
4 impression as to whether this child  
5 deteriorated from the time of admission during  
6 the course of her hospitalization or improved  
7 or something else if you can tell me generally?

8 MR. : Within what time  
9 frames are we talking about?

10 MR. OGINSKI: From the time of  
11 her initial admission on August 19th  
12 up to the time when you first began  
13 to treat her on August 29th.

14 A My impression from what I read in

15 the chart was that there was an initial  
16 improvement secondary to whatever had been done

17 to this patient. There were "deteriorations"  
18 that were more due to specific procedures that  
19 were successful more or less, and a little  
20 later, but I don't think this is your question.

21 Up until that time my impression is that there  
22 were ups and downs. There was an initial  
23 period of improvement and there was a period  
24 where things did not go as well, and I thought  
25 from what I read that this was secondary to

TOMMER REPORTING, INC. (212) 684-2448

39

1 , M.D.

2 some problems with certain procedures.

3 Q Referring to the bronchoscopy?

4 A No, I'm referring to Dr. 's

5 attempt to put a chest tube which was less than

6 satisfactory in his view, and then the patient

7 was sent for interventional radiology to place  
8 a chest tube. This resulted in a response or a  
9 reaction by the airway where the patient needed  
10 to be intubated, and then there was a residual  
11 pneumothorax on the left that needed also to be  
12 treated.

13 Q I think there was also a note  
14 mentioned about a possible laryngospasm  
15 associated with that?

16 A Right.

17 Q Was the patient also placed in  
18 involuntary paralysis at that point or was it  
19 later on if you recall?

20 A Later on.

21 Q By the way since I bring that up  
22 now, the involuntary paralysis, for what  
23 benefit or what reason is that done?

24 A I don't know what you mean by  
25 involuntary paralysis. What does that mean?

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           Q    Was there an instance where the  
3 child was placed in restraints?

4           A    I don't recall that.

5           MR. : That would have  
6 anything to do with --

7           MR. OGINSKI: That was next  
8 question.

9           Q    Was there an instance where after a  
10 surgical procedure was performed the child was  
11 sedated for whatever reason for a number of  
12 days?

13          A    Yes.

14          MR. : Again, you're  
15 asking the doctor to interpret the  
16 chart. He really wasn't involved. I  
17 have no problem with that, but let's  
18 get it clear that this was from his  
19 review of the chart and his  
20 recollection of that. Let it also  
21 reflect that we're not specifically

22 referring to any page numbers and  
23 you're asking him to sort of do this  
24 off the top of his head, which I have  
25 some reservation about, but I'll let

TOMMER REPORTING, INC. (212) 684-2448

41

1 , M.D.

2 him answer it.

3 Q Was that when the patient was  
4 placed on a respirator?

5 A Yes.

6 Q Why would the child be sedated  
7 while on a respirator?

8 A Being on a ventilator.

9 Q On a ventilator, thank you.

10 A Being treated by mechanical  
11 ventilation causes discomfort and pain to  
12 patients so they need sedation.

13 Q Based on your review of the chart  
14 and your knowledge of this patient, was there

15 any consideration by anyone at the hospital  
16 before August 31st that this child might be  
17 experiencing mycoplasma pneumonia?

18 A Based on what I read, we did not  
19 think about, nobody thought about mycoplasma  
20 pneumonia.

21 Q Am I correct that on August 31st as  
22 part of the ID consult that individual  
23 recommended that a cold agglutinin test be  
24 performed?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

42

1 , M.D.

2 Q The cold agglutinin test revealed  
3 that the igM antibodies were present, correct?

4 A No, the cold agglutinin test was  
5 positive in addition to that there was an igM  
6 test.

7 Q The fact that the cold agglutinin

8 test was positive what information did that  
9 give you in terms of this child's condition?

10 What did it mean to you?

11 A It tells that mycoplasma is an  
12 option, is a possibility.

13 Q Did you also learn that the igM  
14 antibody was positive?

15 A Yes.

16 Q What information did that tell you?  
17 What was the medical significance of that?

18 A That most likely the infection was  
19 caused by mycoplasma pneumonia.

20 Q Does a positive igM result indicate  
21 an acute infection?

22 A Yes.

23 Q Was there any indication that you  
24 could tell from a review of this patient's  
25 record that a cold agglutinin test would have

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2    assisted you in diagnosing this child's  
3    condition earlier from when it was originally  
4    proposed on August 31st?

5                   MR. : I'll object to that  
6    question. It's too convoluted?

7                   MR. OGINSKI: I'll rephrase it.

8        Q    Would a cold agglutinin test if  
9    performed early in the admission have assisted  
10   you in this diagnosing child?

11                  MR. : I'm going to  
12   object. He also answered the  
13   question. He didn't feel it was  
14   called for up to that point. I don't  
15   know what would have assisted you.  
16   It's a little too speculative.

17                  MR. OGINSKI: I asked a little  
18   bit of a different question earlier.

19        Q    Was there anything to suggest in  
20   this child's chart prior to your involvement on  
21   August 29th that a cold agglutinin test should

22 have been performed from the time of her  
23 admission up until the time that you saw the  
24 patient?

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

44

1 , M.D.

2 Q Can you tell me whether it would be  
3 good medical practice to have performed a cold

4 agglutinin test when evaluating and ruling out

5 different types of pneumonia on admission?

6 MR. : Objection. Again,  
7 I object to that phrase, it's good  
8 practice, you know.

9 Q Would that test have assisted you  
10 in diagnosing and treating this child's  
11 condition?

12 MR. : Object to the  
13 question. It's not a question of

14 assisted or not. It's a question of  
15 in this view was it called for, and  
16 he's answered that in the negative.

17 Q If mycoplasma pneumonia had been  
18 initially diagnosed upon her admission or  
19 shortly after her admission, what is the  
20 accepted method of treatment of the  
21 mycoplasma?

22 MR. : I'm going to object  
23 to the question. You can ask him  
24 what the effective treatment of  
25 mycoplasma pneumonia is. I think

TOMMER REPORTING, INC. (212) 684-2448

45

1 , M.D.

2 he's already told you that.

3 Q In this instance how was the  
4 mycoplasma pneumonia treated?

5 A This particular instance?

6 Q Yes.

7       A    The patient was started on

8    Azithromycin for antibiotics for this.

9       Q    In addition to the Azithromycin was

10   the patient also continued on the other two

11   antibiotics that she had been receiving as

12   well?

13       A    I'm going to have to look.

14       Q    Specifically the Nafcillin and also

15   the Ceftriaxone?

16       A    Yes, the patient was on Nafcillin

17   and Ceftriaxone as well.

18       Q    Can you tell me why the patient was

19   continued on those two medications in addition

20   to the Azithromycin that was prescribed for

21   her?

22       A    I believe that one of the thoughts

23   was that perhaps this was not just a pure

24   mycoplasma pneumonia. Maybe it was a

25   pneumonia that may have started with

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2   mycoplasma and it was a superimposed infection

3   later or visa versa. It started from a

4   different micro organism and mycoplasma came

5   in a little later.

6       Q   Are you familiar with a medication

7   known as Macrolide?

8       A   No.

9       Q   Is Amoxicillin effective in

10   treating mycoplasma pneumonia?

11       A   As far as I know, no.

12       Q   Can you determine from the record

13   whether the Azithromycin was successful in

14   attacking or treating this particular type of

15   pneumonia?

16       A   I don't think you can say from the

17   record whether or not this was the Azithromycin

18   in and of itself because, again, it was given

19   with other antibiotics as well, but the patient

20   did well, did better as time went by.

21 Q Do you have an opinion, Doctor,  
22 with a reasonable degree of medical probability  
23 that if this child's mycoplasma pneumonia had  
24 been diagnosed in or around the time that she  
25 was admitted to the hospital whether she still

TOMMER REPORTING, INC. (212) 684-2448

47

1 , M.D.

2 would have required the lung surgery that she  
3 underwent?

4 MR. : That's highly  
5 speculative.

6 MR. OGINSKI: I'm only asking  
7 his opinion if he has one.

8 A That's really impossible to know.

9 Q Do you have an opinion, again, with  
10 a reasonable degree of medical probability if  
11 this child had been treated for mycoplasma  
12 pneumonia on admission or shortly afterwards  
13 whether she would have required the

14 bronchoscopy that she had?

15 A Again, impossible to know.

16 Q Is there any way to know with a

17 reasonable degree of medical probability

18 whether she would have needed to have a portion

19 of her lung removed during the course of the

20 lung surgery?

21 A I don't have a way of knowing that.

22 Q Can you turn, please, to the

23 Infectious Disease Consultation Note, which is

24 dated August 31, ?

25 Doctor, this is a two-page note.

TOMMER REPORTING, INC. (212) 684-2448

48

1 , M.D.

2 Can you tell from the bottom of the second page

3 the name of the individual who evaluated this

4 child on August 31.

5 A I would say, but not with, I

6 wouldn't be a hundred percent sure about it,

7 but it looks like it's Dr. .

8 Q What was Dr. 's capacity or

9 his title at the hospital at that time?

10 A He's of

11 Pediatric Infectious Diseases.

12 Q Did you ever have a conversation

13 with Dr. about this patient in or around

14 August 31?

15 A I don't recall.

16 Q I'd like you to turn to the second

17 page of his note, the bottom third of it where

18 he discusses the assessment and plan. Could

19 you read as best you can, Doctor, that note?

20 A From four-year-old female?

21 Q Yes, please.

22 A Four-year-old female with, I think,

23 pneumonia left. Left pleural effusion. Current

24 treatment Ceftriaxone. There's something in

25 parenthesis there that I can't --

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           Q    Is that Nafcillin?

3           A    Nafcillin, yeah, sorry. Adequate  
4 for strep pneumonia and streptococcus areas.

5           Q    Infections?

6           A    Infections, right. Secondary -- no,  
7 I'm sorry. Yeah, infections secondary to low  
8 WBC count. What is that, can't?

9           Q    Would it be continue?

10           MR. : Don't guess or  
11 speculate. If you can't read his  
12 handwriting, just say I can't read  
13 it. Go to the next word.

14           A    Low grade temps. Unresponsiveness  
15 to current prescription and failure to find  
16 empyema on, I think it's thoracocentesis.  
17 Would consider alternate etiologies.

18           Q    Let me stop you for a moment,  
19 Doctor. What is empyema?

20           A    Empyema is a fluid, it's an exudate

21 in the pleural space that has a high content of  
22 protein and white blood cells. In its extreme  
23 form it would be pus.

24 Q The failure to find empyema on  
25 thoracocentesis, what is the medical

TOMMER REPORTING, INC. (212) 684-2448

50

1 , M.D.

2 significance of that to you?

3 A I would have to say that we all  
4 believed and continue to believe that the  
5 patient had empyema. I have no idea why he said  
6 failure to find empyema.

7 Q Underneath where he writes,  
8 alternate etiologies, he lists various things.  
9 Do you see that?

10 A Yes.

11 Q Can you read what those are please?

12 A EG mycoplasma, chlamydia and  
13 Legionella.

14 Q He recommended various tests?

15 A He recommends cold agglutinin is  
16 number one, number two is mycoplasma titers,  
17 number three is would continue IV.

18 Q Would that be antibiotics, ABX?

19 A Continue IV antibiotics, right,  
20 until discharge.

21 Q Then he writes, is possible or if  
22 possible?

23 A Would then suggest.

24 Q Oral?

25 MR. : Doctor. He's

TOMMER REPORTING, INC. (212) 684-2448

51

1 , M.D.

2 asking you. I'm not here to guess or

3 speculate. If you can't read the

4 guy's handwriting, and I have to

5 admit I have a hard time, don't guess

6 or speculate.

7 Q Doctor, does it look like oral

8 antibiotics?

9 A Oral, right, antibiotics.

10 Q And the doctor adds high does of

11 Amoxicillin or Macrolide antibiotics?

12 A That's what it says.

13 Q Depending upon cold agglutinin?

14 A Right.

15 Q At the bottom he continues by

16 stating and I'm going to read if you don't

17 mind: No definite empyema with an arrow,

18 effusion. Only modest white blood cell count.

19 Do you see that?

20 A Yes.

21 Q What is the significance of that

22 statement to you?

23 A Well, the significance of this the

24 way I interpret it is that he wasn't sure or he

25 felt it wasn't a full blown picture of empyema.

1                   , M.D.

2    So he says no definite empyema. He wasn't  
3    definite about it. The effusion does has WBC,  
4    which is what you would want to see, which is  
5    part of the definition of empyema, but in his  
6    opinion it was only a modest WBC count.

7        Q    Prior to the child receiving  
8    Azithromycin, can you tell with a reasonable  
9    degree of medical probability whether the  
10   antibiotics she had been receiving prior to  
11   that time were effective in treating the  
12   mycoplasma pneumonia?

13       A    I would say not effective, but  
14   could have done something to it.

15       Q    Can you say with a reasonable  
16   degree of medical probability that since the  
17   cold agglutinin test was positive as of August  
18   31 that it also would have been positive on her  
19   admission of August 19?

20           MR. : I'm going to object

21 to the question. How is somebody  
22 going to know that?

23 MR. OGINSKI: I don't know,  
24 that's why I'm asking the question.

25 A There's no way of knowing.

TOMMER REPORTING, INC. (212) 684-2448

53

1 , M.D.

2 Q In a four-year-old child who has  
3 undiagnosed and untreated pneumonia, what  
4 clinical symptoms would you expect to see in  
5 such a patient?

6 MS. : Objection.

7 MR. : I'm going to  
8 object. First of all, I don't quite  
9 understand the question. A child  
10 came in and pneumonia was diagnosed.  
11 So, you're asking him some  
12 speculation about before the child

13 came in or something?

14 MR. OGINSKI: I'll rephrase the  
15 question.

16 MR. : His involvement  
17 was even ten days after she came in  
18 with the pneumonia.

19 Q As a general question, not specific  
20 to this case, in a child under the age of five  
21 who does have pneumonia that goes undiagnosed  
22 and untreated, as a physician what clinical  
23 symptoms would you expect the child to have as  
24 the disease progresses?

25 MS. : Objection.

TOMMER REPORTING, INC. (212) 684-2448

54

1 , M.D.

2 MR. : I don't see the  
3 relevancy to the issues of this case  
4 vis-a-vis  
5 Hospital. You're attempting to use

6 the doctor as an expert against the  
7 co-defendant and private attending.

8 I would object. I'm not even sure  
9 that's what you're doing. I'm just  
10 confused by the question.

11 Q Is there any way for you to tell me  
12 what symptoms an untreated pneumonia produces  
13 as a general question?

14 MS. : Just note my  
15 objection.

16 MR. : In children? I  
17 mean, I don't think, you know, this  
18 is like trying to practice medicine  
19 by Merck's Manual. I don't think it  
20 works that way.

21 Q From the time that was  
22 admitted to the hospital on August 19th, ,  
23 am I correct that she remained in the general  
24 pediatrics unit for about two days before being  
25 transferred to PICU?

1                   , M.D.

2           A    Yes.

3           Q    From the time she was transferred  
4 to the Pediatric Intensive Care Unit until the  
5 time of her discharge, am I correct that she  
6 remained in the Pediatric Intensive Care Unit  
7 through the length of her hospitalization?

8           A    To my recollection from reviewing  
9 the chart she stayed in the ICU until she was  
10 discharged.

11          Q    Are you aware of the total cost for  
12 her hospitalization at                    in  
13 August and September?

14          A    No.

15          Q    If I were to tell you that we have  
16 received a bill or a printout from the hospital  
17 evidencing charges in the amount in excess of a  
18 hundred and two thousand dollars for that  
19 hospitalization, would you be able to express

20 an opinion as to whether those charges were

21 reasonable and customary in August of ?

22 MR. : I'm going to

23 object. Whatever the bill is, the

24 bill is. I mean, he has nothing to

25 do with the billing. If you have a

TOMMER REPORTING, INC. (212) 684-2448

56

1 , M.D.

2 bill, I assume that's what it is.

3 I'm not going to argue with you. I

4 don't even have a bill. I don't

5 think it's fair to ask him what's

6 reasonable and unreasonable.

7 Q Is mycoplasma pneumonia

8 considered a bacterial infection?

9 MR. : I think he's

10 answered that already.

11 A It's not a real bacterial

12 infection. The only thing that resembles a

13 bacterial infection is the fact that there is  
14 antibiotics that this organism would be, there  
15 is antibiotics that would eradicate mycoplasma  
16 infection.

17 Q Would you agree that the sooner the  
18 patient is treated for this particular  
19 condition, the better it would be for the  
20 patient?

21 MR. : I'm going to object  
22 to the question.

23 Q Would you agree with the general  
24 principal that the sooner the patient is  
25 diagnosed with whatever condition they're

TOMMER REPORTING, INC. (212) 684-2448

57

1 , M.D.  
2 experiencing, the greater likelihood the  
3 patient's problems with resolve in an earlier  
4 time?

5 MR. : I don't think

6 that's a fair question in the general  
7 sense. I'll let him -- I figure  
8 you're getting to the near of your  
9 tender. I don't want to be  
10 obstreperous. I'll reserve my  
11 objection to the time of trial.

12 A As a general principal an early  
13 diagnosis is better.

14 Q Do you have any knowledge as you  
15 sit here as to whether this child received  
16 antibiotics for any condition at all in the  
17 week or two prior to her admission to  
18 Hospital?

19 MS. : Note my objection.

20 MR. : You can answer  
21 the question if you know.

22 A From what I read it appears like  
23 the patient had not been getting antibiotics  
24 before coming to .

25 Q Do you have an opinion as you sit

1                   , M.D.

2 here now and having the benefit of treated the

3 child and also having reviewed this patient's

4 chart as to whether this child had been given

5 antibiotics a week or two prior to her

6 admission whether her outcome and progress at

7 would have been any

8 different?

9           MS. : Note my objection.

10          MR. : It's very

11         speculative. I'll let him answer

12         over my objection.

13          MS. : Just note my

14         objection.

15         A    I wouldn't know because --

16          MR. : You don't have to

17         because. If you wouldn't know, you

18         wouldn't know.

19         Q    Can you tell me why you wouldn't

20         know?

21       A    Because I wouldn't know what type  
22 of antibiotics the care provider elects to give  
23 to this patient.

24       Q    If the child had received broad  
25 spectrum antibiotics within a week or two

TOMMER REPORTING, INC. (212) 684-2448

59

1                   , M.D.  
2 before being admitted to the hospital, would  
3 you then have an opinion as to whether her  
4 outcome or her progress would be any different  
5 at ?

6           MS. : Note my objection.

7           MR. : This is  
8 speculative. Again, I don't want to  
9 have to drag the doctor back here.

10       I'll let him answer over my  
11 objection.

12       A    A broad spectrum antibiotic

13 doesn't say much. It doesn't necessarily say  
14 that it covers the organism that may have  
15 caused that infection.

16 Q Do you know Dr. who's sitting  
17 here at the table today?

18 A No.

19 Q Have you ever had a conversation  
20 with Dr. about this patient?

21 A Not that I recall.

22 Q When you came on service on August  
23 29th, in the Pediatric Intensive Care  
24 Unit, do you have any memory of 's  
25 parents being at her bedside?

TOMMER REPORTING, INC. (212) 684-2448

60

1 , M.D.

2 A I don't have that recollection.

3 Q Based upon your treatment of this  
4 child and, again, your review of the notes, was  
5 there any consideration by you or any other  
6 physician at that this child

7 was experiencing a viral pneumonia?

8 A It doesn't appear from the chart  
9 that we were considering a viral pneumonia.

10 Q Did you ever learn from any of the  
11 doctors or nurses or 's parents that  
12 the child had been treated by her pediatrician  
13 specifically Dr. in the week or two prior  
14 to her arrival at ?

15 A I don't have that recollection.

16 Q Is there anything to indicate to  
17 you that 's pediatrician prior to her  
18 admission to had been  
19 treating her as if she had some type of viral  
20 infection?

21 MS. : Just note my  
22 objection.

23 MR. OGINSKI: You may answer.

24 MR. : I control you.

25 THE WITNESS: I have no ability to

1                   , M.D.

2           interpret what she's saying so I'm

3           not going to listen anymore.

4           Q    Did you ever learn from anyone at

5   the hospital that prior to           's

6   admission to           on August 19th

7   that her pediatrician had considered her to

8   have some type of viral infection?

9           MS. : Objection.

10          MR. : I think he

11         answered that already.

12          MR. OGINSKI: I don't think I

13         got an answer.

14          MR. : I think he said

15         he didn't know about the doctor.

16          MR. OGINSKI: I know they

17         didn't have any conversations.

18          MR. : How would he

19         ever know what anybody said about

20         him?

21 MR. OGINSKI: Not specifically

22 Dr. , but a pediatrician that

23 was caring for the child prior to her

24 admission.

25 MR. : You can answer.

TOMMER REPORTING, INC. (212) 684-2448

62

1 , M.D.

2 A What one can learn from the

3 chart was that the patient had had a period of

4 fever of a febrile illness prior to coming to

5 , and it appears that the child had received

6 only Motrin, I guess, against a fever or for

7 pain or something. So, one could indirectly

8 assume that that febrile illness was considered

9 to be viral and not bacterial.

10 Q Is periumbilical pain a clinical

11 symptom of pneumonia?

12 A Yes.

13 Q How does that present itself in

14 terms of the diagnosis?

15 A A child who has a low burn  
16 pneumonia or has a one-sided pneumonia, the  
17 referred pain can go to the abdomen. So, it's  
18 quite common to see pediatric patients with  
19 pneumonia who complain of abdominal pain.

20 Q Before we get to your specific  
21 notes, Doctor, before you came on service am I  
22 correct that Dr. [redacted] was the physician  
23 on service?

24 A That is correct.

25 Q Dr. [redacted] is an attending at

TOMMER REPORTING, INC. (212) 684-2448

63

1 [redacted], M.D.

2 [redacted] ?

3 A Exactly.

4 Q He still works there, correct?

5 A Yes.

6 Q Have you had any conversations with

7 Dr. from the time that this lawsuit

8 started until today about ?

9 A No.

10 Q Have you reviewed Mr. or

11 Mrs. 's deposition transcript prior to

12 coming here today?

13 A No.

14 Q Can you turn, please, to Page 19 of

15 the chart?

16 MR. : Could you be more

17 specific?

18 MR. OGINSKI: Well, the page

19 that would be --

20 MR. : What date?

21 MR. OGINSKI: -- August 22nd,

22 .

23 MR. : We were there

24 already, right?

25 MR. OGINSKI: No.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2                   MR. : The resident's

3                   note?

4                   MR. OGINSKI: The PICU Fellow

5                   Procedure Note.

6           Q    Doctor, this note indicates

7   that Dr.     performed the insertion of

8   the left chest tube, correct?

9                   MR. : Assuming that's Dr.

10                  and he says he thinks it

11                  is.

12           Q    In the top or in the middle where

13   it says Operator, it says:     , do you

14   see that?

15           A    Yes.

16           Q    Does that indicate to you that Dr.

17   placed the chest tube?

18           A    She placed the chest tube and

19   supervised.

20           Q    Was this done in the Pediatric

21   Intensive Care Unit or at another place within

22 the hospital?

23 MR. : Again, he wasn't

24 there, but is he able to interpret

25 from the note?

TOMMER REPORTING, INC. (212) 684-2448

65

1 , M.D.

2 Q From the note can you tell where

3 the procedure was done?

4 A I would say within the Pediatric

5 Intensive Care, yes.

6 Q At the second to last line of Dr.

7 's note there is a question mark and

8 then it says: Laryngeal spasm without

9 intubation. Do you see that?

10 A Yes.

11 Q What does that mean to you?

12 A It means that during sedation, this

13 is deep sedation, we're talking about some of

14 the patients may respond with laryngeal spasm.

15 Q Can you turn please to the next  
16 page, Page 20, dated August 23rd, , where  
17 it says RPN at the top?

18 A Yes.

19 MR. : That's 8/23.

20 MR. OGINSKI: Yes. 8/23 timed  
21 at ten o'clock.

22 A I see that.

23 Q In the middle of the page under the  
24 chest x-ray this physician writes: Still with  
25 "white out" of left lobe CT in place, correct?

TOMMER REPORTING, INC. (212) 684-2448

66

1 , M.D.

2 A Correct.

3 Q This person is referring to the  
4 chest x-ray and the chest tube still being in  
5 place, correct?

6 A Correct.

7 Q Doctor, as of August 22nd according  
8 to the order sheet this patient was receiving  
9 morphine sulfate. Can you tell me what  
10 morphine sulfate is, what type of medication it  
11 is?

12 A It's a pain killer.

13 Q How would you describe this type of  
14 pain killer. Is this a narcotic, is this an  
15 over-the-counter type of medication or  
16 something else?

17 A It's a narcotic.

18 Q There's also a note in the order  
19 sheet of August 22nd, , requesting that  
20 pleural fluid be evaluated for cell count gram  
21 stain, culture, glucose, protein, albumin, LDH  
22 and AFB?

23 MR. : We don't have the  
24 order sheet in front of us, but if  
25 you say it, you're reading from a

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           copy, I assume you're doing it

3           accurately.

4           Q    Assuming that to be the case, for

5   what purpose would you generally order those

6   types of lab tests?

7           A    We would want to know what type of

8   fluid this was exudate, transudate.

9           Q    Did you learn, again, from your

10   treatment of this patient that at various times

11   she received a morphine drip?

12          A    I don't recall that.

13          Q    What is a fentanyl drip?

14          A    Fentanyl drip is a, fentanyl

15   belongs to the same family as morphine is,

16   which is opiate narcotics, and fentanyl drip,

17   drip is drugs we give to patients who receive

18   mechanical ventilation, again, to alleviate

19   discomfort and pain.

20          Q    Do you recall as you sit here now

21 at various times did receive a

22 fentanyl drip?

23 A Yes.

24 Q Are you familiar with a medication

25 known as Norcuron?

TOMMER REPORTING, INC. (212) 684-2448

68

1 , M.D.

2 A Norcuron, yes.

3 Q What is that?

4 A This is a neuromuscular blocking

5 agent it causes paralysis, it paralyzes the

6 patient.

7 Q Are you familiar with a medication

8 known as Propofol?

9 A Yes.

10 Q What is that?

11 A It's a sedative/anesthetic.

12 Q What is Ativan?

13 A Ativan is a valium-like medication,

14 a sedative.

15 Q Are you aware from time to time

16 did receive those various medications

17 either by bolus or IV or other methods during

18 her hospitalization?

19 A Yes.

20 Q What is Dopamine?

21 A Dopamine is a drug that belongs to

22 the vasoactive drugs. It causes an increase in

23 the cardiovascular performance. It improves

24 cardiovascular performance. If a patient has a

25 low blood pressure, that would be one drug they

TOMMER REPORTING, INC. (212) 684-2448

69

1 , M.D.

2 would give in order to stabilize the blood

3 pressure.

4 Q Did have arterial blood

5 gases done on a frequent basis?

6 A How did you start the question

7 again, I'm sorry?

8 Q Let me rephrase it. Arterial blood

9 gas testing, that's done by taking a needle and

10 inserting it somewhere in the arterial system,

11 correct?

12 A That would be one way of doing it.

13 Q Are there other ways?

14 A A patient who is in the ICU on

15 mechanical ventilation we tend to put a

16 catheter in the artery and have it stay there

17 so we don't need to stick the patient

18 periodically we just have a catheter there and

19 we can always go and draw blood.

20 Q As far as you recall based upon

21 your review of the chart and treating this

22 patient, did receive arterial blood

23 gas tests from time to time?

24 A Yes.

25 Q What is the purpose of the arterial

1                   , M.D.

2   blood gas?

3       A    The purpose is to make sure that  
4   there is adequate gas exchange for the patient  
5   during mechanical ventilation.

6       Q    I'd like you to turn, please, to  
7   your first note, which is on Page 71 dated  
8   August 29th.

9       Is this the template that you discussed  
10  earlier?

11      A    That's the template.

12      Q    Within the template you check off  
13  or mark on the computer various items that you  
14  feel is necessary, correct?

15      A    Right.

16      Q    At the time that you came on  
17  service and saw        on August 29th, she  
18  was on a ventilator, correct?

19      A    Correct.

20      Q    You note the various settings

21 there, correct?

22 A Right.

23 Q Towards the meddle of the page

24 under ID, you note that she is afebrile with a

25 maximum temperature of 100.7?

TOMMER REPORTING, INC. (212) 684-2448

71

1 , M.D.

2 A Right.

3 Q How do you define febrile?

4 A Again, there's, I would say there

5 is the black and white range and then there is

6 the grayish range. 100.7 could be defined as

7 afebrile or could be defined as a very low

8 grade temperature.

9 Q Is there a specific black and white

10 range that you define to be febrile or from a

11 particular number upward?

12 A I would say that under these

13 circumstances we would say that anything above

14 one hundred and one we would mark as febrile.

15 Q Why is that different as opposed to

16 a text book definition of what you would

17 consider to be febrile?

18 A Because a patient on mechanical

19 ventilation gets heated gases through the tube

20 of the ventilator. There is a cascading

21 humidifier that attaches to the ventilators and

22 we heat it because we don't want the patient to

23 become hypothermic. It's a matter of

24 titration. It depends on how much you dilate

25 up and how much you dilate as necessary.

TOMMER REPORTING, INC. (212) 684-2448

72

1 , M.D.

2 So sometimes 100.7 could indicate that

3 the patient is afebrile, but because the

4 humidifier was a little too hot the temperature

5 went a little higher.

6 Q As of the August 29th date she's

7 receiving Nafcillin and Ceftriaxone?

8 A That's correct.

9 Q How would you those characterize

10 those two antibiotics, if you can?

11 MR. : This is somewhat

12 repetitive, but I'll let him answer

13 it again.

14 A Ceftriaxone is broad spectrum,

15 Nafcillin is more of a narrow spectrum.

16 Q Separate and apart from this

17 commuter template do you have any handwritten

18 notes throughout the chart that you have seen

19 during your review of the chart?

20 A I don't recall seeing any.

21 Q Can you turn to the next page,

22 please. It's Page 72, same date, August 2,

23 it's the PICU Fellow Progress Note. Toward the

24 bottom third of the page the doctor writes

25 Decadron 425 milligrams for extubation.

1                   , M.D.

2           What does that refer to?

3           A    This is a steroid that we sometimes  
4    give to patients before extubation.    The  
5    purpose of this is to reduce the edema in the  
6    windpipe, in the trachea.

7           Q    Can you turn, please, to Page 76,

8    your note dated August 30th.

9           Is the patient still on the ventilator  
10   as of this date?

11          A    No.

12          Q    Is there any change that you note  
13   in your examination of this patient on August  
14   30th in comparison to your note the day before  
15   other than the fact she's no longer on the  
16   ventilator?

17          A    Patient is stable from a  
18   cardiovascular standpoint.  No, there's not

19 much, and, you know, there's a finding there  
20 was found on cat scan which I made a note of it  
21 there that the patient had a residual  
22 pneumothorax.

23 Q How, if at all, was that residual  
24 pneumothorax being addressed?

25 A What was that again, I'm sorry?

TOMMER REPORTING, INC. (212) 684-2448

74

1 , M.D.

2 MR. : How was it being

3 addressed.

4 Q Let me ask it this way, is there  
5 anything to suggest or to indicate in your own  
6 note how the residual pneumothorax was being  
7 addressed?

8 A From the note, no. I don't see it.

9 Q Can you turn, please, to the  
10 following page, Page 77, the PICU Progress

11 Note, dated August 30th. Specifically toward  
12 the bottom of the page where the doctor writes  
13 about the cat scan. It says: Pneumothorax  
14 left small post collection of, does that say,  
15 abscess?

16 MR. : What? Where are  
17 we?

18 A Where are you reading?

19 Q The lower third of the page where  
20 it's written gas there's a note that says: Cat  
21 scan, CT scan?

22 A Okay.

23 Q It says pneumothorax left small  
24 post collection. Can you read the next two  
25 words?

TOMMER REPORTING, INC. (212) 684-2448

75

1 , M.D.

2 A Small posterior collection.

3 Q Thank you.

4 A Of or, either of or or abscess.

5 Q Then it indicates the arrow going

6 up would be increase aeration?

7 A Increased aeration in the apical

8 portion still with consolidation of lower base.

9 Q The fact that there's a

10 consolidation of the lower base, what does that

11 refer to and what does that mean to you?

12 A That could mean that the patient

13 still has the pneumonic process in place to

14 some extent. The other option is that because

15 the patient has the pneumothorax there is air

16 that occupies space in the hemithorax that the

17 lung trunk and the medical term would be became

18 atelectasic. Patient developed atelectasis.

19 Q Can you turn, please, to the August

20 31 RPN note timed at 9 A.M., and at the bottom

21 under the assessment and plan, under number 4

22 it lists ID and has Nafcillin with a dosage

23 Ceftriaxone, and then it has next line, I want

24 to know if you can read that, Doctor?

25       A    Yes, trache culture positive for

TOMMER REPORTING, INC. (212) 684-2448

76

1               , M.D.

2   streptococcus viridans group.

3       Q    Underneath that can you read what

4   it says?

5       A    ID consult I think it's pneumonia

6   X.

7       Q    What does that indicate to you, if

8   anything?

9       A    I don't know.

10      Q    Can you read the name of the

11   physician or the name of the individual who

12   wrote that note, which just for the record is

13   at Page 80?

14      A    I cannot.

15      Q    Can you into your next note please

16   on August 31, which is noted as Page 81. You

17   note that in the respiratory column that she is

18 extubated on a face mask, correct?

19 A Right.

20 Q She is receiving oxygen at that

21 time?

22 A Yes.

23 Q In the ID portion of your note you

24 comment that she receives antibiotics at home

25 via Broviac?

TOMMER REPORTING, INC. (212) 684-2448

77

1 , M.D.

2 A Right.

3 Q Was there some impression or some

4 plan at that point that you intended to

5 discharge the patient in the very near future?

6 A Based on that I would say that was

7 the intent.

8 Q Is there something about the

9 child's condition that changed that caused you

10 to change your plan to allow her to remain

11 further in the hospital?

12 A I can't see it from this note.

13 Q Is there anything in your review of

14 the other notes in the chart which would

15 suggest to you why the patient remained in the

16 hospital after the plan was formulated that she

17 would be discharged shortly thereafter?

18 A From the notes that come later and

19 from the re-evaluation by surgery, it appeared

20 that the patient in that side of the chest, in

21 the left pleural space, had what we call

22 loculated pneumothorax, loculated collection of

23 fluid, and it appeared that in order to get rid

24 of that, in order to remove those loculations

25 the patient would need a surgical intervention.

TOMMER REPORTING, INC. (212) 684-2448

78

1 , M.D.

2 Q How does the loculated pneumothorax

3 occur?

4 A Well, if you have pus, if you have

5 debris in the pleural space, it tends to

6 organize, it tends to create a peel around

7 certain areas. Even though we were born with

8 one space, the pleural space, because of the

9 infectious process one can have loculated areas

10 with processes.

11 Q How do you treat these loculations

12 that you described?

13 A One way to treat it would be to be

14 conservative and just give antibiotics for a

15 long period of time in which case symptoms may

16 linger a little, but eventually, in most of the

17 these patients not all of them, recover and it

18 just resolves. If one wants to facilitate

19 recovery, one would resort to surgery which

20 would be a removal of those peels that the

21 patient developed in the pleural space.

22 Q Does mycoplasma pneumonia resolve

23 on its own without treatment?

24 A I would say it might resolve on its

25 own. Again, based on my knowledge mycoplasma

TOMMER REPORTING, INC. (212) 684-2448

79

1 , M.D.

2 pneumonia under certain circumstances could be

3 a self-limiting disease.

4 Q Can you turn, please, to your

5 September 1 note -- before we get to that,

6 Doctor, let me just ask you to go back one or

7 two pages to Page 85?

8 MR. OGINSKI: That's it right

9 there.

10 MR. : The back is

11 social service note.

12 MR. OGINSKI: Correct, social

13 service note.

14 Q At the top of the page it says

15 underneath the first line it says: Family

16 remains constant at bedside. Do you see that?

17 A Yes.

18 Q The second line of the note, okay.

19 That's the social work note, correct, at the

20 top right?

21 MR. : Department of

22 Social Services.

23 A Yes.

24 Q Can you turn, please, to your note

25 dated September 1. Is there anything different

TOMMER REPORTING, INC. (212) 684-2448

80

1 , M.D.

2 about what you observed that's contained within

3 your note in comparison with the prior day's

4 note, Doctor?

5 MR. : I'm confused.

6 Q Based upon your note are you able

7 to determine whether the child's condition has

8 changed, improved, gotten worse or anything

9 else just based on your own note?

10       A    What it says here is that the  
11   patient requires a little less oxygen.  So, I  
12   would believe that overall he's doing better.  
13   There's a statement here that he required  
14   aseptic epinephrine.  So I guess he had some  
15   degree of stridor which was treated with  
16   epinephrine.

17       Q    Strider, can you define that,  
18   Doctor?

19       A    Strider in this instance may  
20   reflect the fact is that he.

21       MR. : She.

22       A    She had an endotracheal tube and  
23   once you remove the tube there's some degree of  
24   edema that could cause some degree of airway  
25   obstruction that manifests itself by noisy

TOMMER REPORTING, INC. (212) 684-2448

81

1           , M.D.

2   breathing.

3 Q Is nasal flaring a clinical sign to

4 you of difficulty breathing?

5 A Could be, yes.

6 Q Is grunting a clinical sign to you

7 of a patient experiencing difficulty breathing?

8 A Could be.

9 Q Could you turn back one page,

10 please, to Page 88, PICU Fellow Note, dated

11 8/29/. In the middle of the note where

12 this physician discusses ID, he or she

13 discusses the results of the mycoplasma

14 titers, correct?

15 A Yeah.

16 Q Here it's described as 1:256?

17 A Yes.

18 Q What is the significance of that to

19 you?

20 A It's positive.

21 Q The value in and of itself does it

22 have any significance other than the fact that

23 it's positive, whether it's high, low?

24 A I have to look at reference values.

25 I wouldn't know offhand.

TOMMER REPORTING, INC. (212) 684-2448

82

1 , M.D.

2 Q The doctor also towards the bottom  
3 of the note in the assessment and plan writes:  
4 Four-year-old female pneumonia/empyema status  
5 post acute respiratory failure.

6 Do you know at what point in time the  
7 physician who wrote this note was referring to  
8 with acute respiratory failure?

9 A He was referring to the time this  
10 the patient was placed on mechanical  
11 ventilation and was intubated. This was the  
12 time of the procedure of interventional  
13 radiology.

14 Q Can you turn, please, to Page 92  
15 with the date of September 2nd. It's an RPN  
16 note.

17 MR. : 12 P.M. note?

18 MR. OGINSKI: 12:40 P.M.,

19 correct.

20 Q Do you know the name of the  
21 physician who wrote this note, Doctor?

22 A No.

23 Q According to this note in the  
24 middle of the page under ID, this individual  
25 writes mycoplasma igG/M pending; is that

TOMMER REPORTING, INC. (212) 684-2448

83

1 , M.D.

2 correct?

3 A Yes.

4 Q As of that time those results had  
5 not come back, correct?

6 MR. : That's what pending

7 means.

8 A I don't know how true this is, but  
9 that's what he meant, he or she.

10 Q At the top of the note the doctor

11 writes the patient required stat Albuterol. Do

12 you see that?

13 A Yes.

14 Q I'm sorry, stat Albuterol

15 Nebulizer?

16 A Right.

17 Q For labored breathing and strider?

18 A Right.

19 Q What is the purpose of giving

20 Albuterol Nebulizer for this patient for this

21 condition?

22 A What it say stat Albuterol Neb and

23 vesemic epinephrine so the Albuterol --

24 MR. : Overnight for

25 labored breathing. It's better to

TOMMER REPORTING, INC. (212) 684-2448

84

1 , M.D.

2 read the note in its entirety than

3 take it out of context.

4       A    If the labored breathing was  
5   secondary to strider, which means something  
6   that the airway obstruction, the Albuterol  
7   would do nothing. If the resident, it was  
8   unclear to the resident it was strider only or  
9   a combination of strider and some wheezing over  
10  the lung field, then a combination of the two  
11  would make sense. Based on my note it looks  
12  like the patient required vesemic epinephrine  
13  for some airway obstruction as described.

14       Q    During the course of     's  
15  hospitalization did she receive x-rays on a  
16  somewhat frequent basis?

17       A    Yes.

18       Q    In addition to x-rays did she also  
19  receive cat scans or have cat scans performed?

20       A    Yes.

21       Q    Were there occasions when you would  
22  personally review the chest x-rays that were  
23  obtained for her?

24       A    Yes.

25 Q Were there also times from time to

TOMMER REPORTING, INC. (212) 684-2448

85

1 , M.D.

2 time that you reviewed the actual CT films that

3 were obtained for her?

4 A Yes.

5 Q For what length of time did you

6 remain the service attending in the PICU from

7 the time that you began your service on August

8 29th?

9 A Well, the modus operandi for the

10 ICU, and it's been like that for a few years

11 already, is that each attending comes on

12 service for a few weeks. Normally we start on

13 a Monday and we sign out by the next Monday

14 morning. From what I read in the chart it

15 appears that I started for that particular week

16 I started on a Tuesday.

17 Q You continued for the one week to

18 the next Tuesday?

19 A Right. Not Tuesday, probably until  
20 Monday.

21 Q Is there anything in the record to  
22 suggest that you saw, treated or examined  
23 at any time after you left the PICU  
24 service?

25 A I don't remember seeing that.

TOMMER REPORTING, INC. (212) 684-2448

86

1 , M.D.

2 Q Can you turn, please, to Page 94,  
3 with a date of September 2nd, .

4 This note, am I correct that this is not  
5 in your handwriting?

6 A Exactly.

7 Q Would that be Dr. 's  
8 handwriting?

9 A Yes.

10 Q Explain to me, Doctor, how is it

11 that you came to sign Dr. 's note?

12 A I think what happened is that  
13 because I'm the chief of the division, I have  
14 other duties. So, a lot of times I would ask  
15 somebody else to take over the service for me  
16 and I would come a little later, a little later  
17 of the day and would stay on call for the  
18 night. I must have reviewed the note and I  
19 don't see that I added anything, but most of  
20 the time what I do is I review the note and I  
21 add my signature so that I agree with what you  
22 wrote and I take over from that spot.

23 Q Is there anything to indicate that  
24 your signature to this particular note occurred  
25 at any time after the patient was discharged in

TOMMER REPORTING, INC. (212) 684-2448

87

1 , M.D.

2 terms of a post discharge review of the chart?

3 A I wouldn't know that.

4 Q Are there times when a patient will  
5 be discharged and you'll be asked to either  
6 prepare discharge summaries or review certain  
7 records where you will counter sign certain  
8 notes in a chart after the patient has left the  
9 hospital?

10 A There would be cases where I will  
11 be called to the medical records to sign  
12 certain pages that for some reason I forgot to  
13 sign or something of that nature.

14 Q Is there any way for you to  
15 determine as you sit here today as to whether  
16 that was the case in this instance or whether  
17 this is simply --

18 MR. : As he told you that  
19 it more likely was.

20 MR. OGINSKI: Correct.

21 Q Is there any way to tell?

22 A No, but in this instance it would  
23 be the same day I would add my signature as one  
24 who took over for either that  
25 afternoon or at one point.

TOMMER REPORTING, INC. (212) 684-2448

88

1                   , M.D.

2           Q    Dr.       writes in the general  
3   comment in the bottom of her notes that surgery  
4   was reconsulted. Do you know what surgery was  
5   asked to re-evaluate the patient?

6           A    Yeah, I think I answered that.  
7   Based on the CT scan, based on the chest x-ray  
8   and based on some of the clinical findings that  
9   the patient may have had we felt that it was  
10   loculated that pneumothorax, loculated fluid  
11   that we felt would not resolve on its own  
12   within an acceptable period of time. It would  
13   take very, very long, and we wanted their  
14   opinion about a surgical procedure to remove  
15   that loculation.

16           Q    Did you have any conversation that  
17   you noted in the patient's record to indicate

18 that you spoke to any surgeon or anyone on the  
19 surgery service about the surgical option?

20 A I don't have recollection of that.

21 I may have done it, but I don't have

22 recollection of that.

23 Q Is there anything that you have had

24 seen in the notes other than the surgeon's own

25 notes that suggests to you that any of your

TOMMER REPORTING, INC. (212) 684-2448

89

1 , M.D.

2 colleagues in the ICU had any conversation

3 about the potential surgery with anyone in the

4 surgical team?

5 A I didn't see anything in the notes

6 although we do talk to the surgeons about our

7 patients. That would be a general statement

8 for everybody.

9 Q Can you turn, please, to Page 99,

10 which is dated 9/3 at 11 A.M.?

11 MR. : Surgical attending

12 note?

13 MR. OGINSKI: No, above that.

14 MR. : Infectious, ID

15 note?

16 MR. OGINSKI: Yes, ID.

17 Q By the way, Doctor, do you know the

18 name of the individual who wrote this note?

19 A I can't interpret the signature.

20 Q On the third line down from the

21 note it says on IV what appears to be

22 Ceftriaxone, PO Azithromycin. Do you see that?

23 A Yes.

24 Q As far as you know this patient was

25 receiving Azithromycin by IV, correct, and not

TOMMER REPORTING, INC. (212) 684-2448

90

1 , M.D.

2 PO?

3 A It says PO here.

4 Q I understand that, but from your  
5 knowledge of this patient and what she was  
6 receiving from the Azithromycin, was it your  
7 understanding that she would be receiving it  
8 from intravenous methods?

9 A I have to go back to my note.

10 Q Go ahead.

11 A According to my note the  
12 antibiotics were given IV.

13 Q Is there anything in the record to  
14 suggest that this patient, ,  
15 received oral Azithromycin?

16 MR. : Other than this  
17 apparent typo?

18 MR. OGINSKI: Well, it's a  
19 handwritten note, but other than that  
20 note.

21 A I don't recall.

22 Q Would, in your opinion, oral  
23 Azithromycin have you been as effective as IV  
24 administration of the Azithromycin?

25 A Generally speaking we believe that

TOMMER REPORTING, INC. (212) 684-2448

91

1 , M.D.

2 IV antibiotics is more effective than PO.

3 Q Can you turn, please, to Page 99,

4 same page, the surgical attending note. In the

5 middle -- by the way, do you know the name of

6 the individual who wrote this note?

7 A Looks like it's .

8 Q In the middle of the note he writes

9 CT from yesterday showed total left and upper

10 lobe collapse with loculated pneumothorax,

11 correct?

12 A Yes.

13 Q How does the left and lower lobe

14 collapse in this instance, what causes it to

15 collapse?

16 A As I said before, when you have air

17 trapped in the pleural space, something else

18 has to give so the lung collapses and the air  
19 is taking its place.

20 Q In that instance where you have a  
21 collapsed lung, do you also see any type of  
22 shift of the trachea or the adjoining  
23 structures within the chest?

24 A You could see.

25 Q The surgeon also mentions the

TOMMER REPORTING, INC. (212) 684-2448

92

1 , M.D.

2 possibility of a thoracotomy decortication. Do  
3 you see that?

4 A Yes.

5 Q Describe for me, Doctor, or explain  
6 to me what is a decortication is?

7 A A cortex is a peel. Decortication  
8 is actually taking off that peel and letting  
9 the lung re-expand.

10 Q The VATS, that would be the video

11 assisted?

12 A Right.

13 Q Are you familiar with the method in

14 which that is performed in terms of the

15 incisions necessary to accomplish that

16 procedure?

17 MR. : The doctor's not a

18 surgeon. To some extent I'm familiar

19 with it, but I don't think I'm

20 qualified to testify about it and I

21 don't think a critical care physician

22 would be expected to testify about it

23 either.

24 MR. OGINSKI: I only have one

25 or two questions about it.

TOMMER REPORTING, INC. (212) 684-2448

93

1 , M.D.

2 MR. : You can answer.

3 A I'm familiar to the extent that

4 I need to be familiar with it.

5 Q Do you know what type of incisions  
6 are made to accomplish the VATS procedure?

7 A It's a small incision to allow the  
8 insertion of a scope.

9 Q Can you estimate the size of such  
10 an incision allowing variances from patient to  
11 patient?

12 MR. : It would depend on  
13 the size of the person. These  
14 questions are best answered to a  
15 surgeon not to this doctor.

16 THE WITNESS: Can I answer?

17 MR. : If you can.

18 A I would say if you know the caliber  
19 of the scope, the diameter of the scope it  
20 would be a centimeter or maybe two centimeters  
21 larger than the diameter of the scope.

22 Q Did you learn at some point during  
23 the procedure which underwent that  
24 she needed to have an open thoracotomy or the  
25 procedure converted to an open thoracotomy?

TOMMER REPORTING, INC. (212) 684-2448

94

1                   , M.D.

2                   MR. : He didn't learn

3                   during the scope procedure. At some

4                   later point it had to be converted to

5                   a full thoracotomy.

6                   Q    Can you turn, please, to Page 108

7                   with the date of September 4, ?

8                   At the top it says: Pediatric Critical

9                   Care. Do you know whether this was a physician

10                  nurse or some other?

11                  A    This was a fellow.

12                  Q    What was name of the fellow?

13                  A                   .

14                  Q    Is Dr.       still at

15                  ?

16                  A    Yes.

17                  Q    What is her capacity there?

18                  A    At the moment she is an

19 Q At the Department of Pediatrics?

20 A In the Pediatric

21 Critical Care that's within the Department of

22 Pediatrics.

23 Q At the bottom of her note she

24 writes: Supervised by Dr. ?

25 A That's me.

TOMMER REPORTING, INC. (212) 684-2448

95

1 , M.D.

2 Q What is it that you were

3 supervising in relation to her note if you can

4 tell?

5 A The common practice is that

6 everything that's done by the fellows and by

7 the residents is supervised by the attending.

8 The fellows are requested to write it not every

9 time they do it, but they are requested to

10 write who was their attending for that

11 particular day or for that particular week, but

12 since it's a daily note, for that particular  
13 day who supervised them. So that's all she  
14 did. The whole care is supervised by Dr. .  
15 I was the attending on service.

16 Q This phrase does that necessarily  
17 mean that you were present at the time she  
18 conducted her examination or any procedures  
19 that was done?

20 A It means that everything that was  
21 done to the patient was discussed with me that  
22 either I was there to give instruction or was  
23 there in any way, shape or form that she needed  
24 me to be.

25 Q Turn to Page 113 with the date of

TOMMER REPORTING, INC. (212) 684-2448

96

1 , M.D.

2 September 5 timed at 8:30 A.M. It's an  
3 Attending Progress Note. Am I correct again  
4 that this is Dr. 's note?

5 A Right, she wrote the note.

6 Q And you countersigned it at some  
7 point later that day?

8 A Right, for the record I believe  
9 this was the way it was done. I really don't  
10 recall why at that particular day or the two  
11 notes that we saw she wrote it. I'm sure she  
12 was involved, but I don't know if this was  
13 later today, later that same morning, in the  
14 evening I came back on service and  
15 countersigned it.

16 Q Have you had any conversations with  
17 Dr. from the time this child was  
18 discharged up until today about the care and  
19 treatment she received at ?

20 A Not that I recall.

21 Q Is Dr. currently working at  
22 the hospital?

23 A Yes.

24 Q What is her position?

25 A She's an attending.

TOMMER REPORTING, INC. (212) 684-2448

97

1                   , M.D.

2           Q   After    underwent surgery  
3   on September 6, did she return back to the  
4   Pediatric Intensive Care Unit?

5           A   From what I recall she did.

6           Q   Did you see any other notes after  
7   September 6th to suggest to you that you had  
8   seen and evaluated           at any time  
9   afterward?

10          A   No.

11          Q   Doctor, can you turn, please, to  
12   Page 129, with a date of September 7th. Dr.  
13           again writes the note and in it she  
14   indicates that the patient was going to be  
15   receiving a transfusion, correct?

16          A   Yes.

17          Q   Do you know the reason for the  
18   transfusion?

19 A Well, she indicates that the

20 hematocrit dropped to 20.4.

21 Q This was during the course of

22 surgery?

23 MR. : This is post

24 surgery after one day.

25 Q The rest of the note indicates that

TOMMER REPORTING, INC. (212) 684-2448

98

1 , M.D.

2 she was intubated at that time?

3 A Yes.

4 Q She writes when intubated better

5 air entry in left than yesterday but still

6 decreased, correct?

7 A Correct.

8 Q You, again, countersigned that

9 note?

10 A Yes.

11 Q She writes: Will speak with Dr.

12 . Is she referring to herself, to you or to  
13 anybody else that was part of your team?

14 A Either or.

15 Q Can you turn, please, to Page 139,  
16 the attending note, dated 9/8, and, again, this  
17 is Dr. 's note?

18 A Right.

19 Q In the middle of the page under ID  
20 she writes: Following up mycoplasma pneumonia  
21 titers (very high) -- can you read the next  
22 part of the line, Doctor -- oh, as per  
23 ?

24 A Right.

25 Q What does that mean?

TOMMER REPORTING, INC. (212) 684-2448

99

1 , M.D.

2 A Well, that, you know, that type of  
3 test is sent out to that Laboratory  
4 and they give you reference values.

5 Q The fact that the titers were very  
6 high according to the note, what does that  
7 signify to you medically?

8 A It means that it's not equivocal.  
9 It's more likely to be the infection.

10 Q Referring to what, the mycoplasma?

11 A The mycoplasma.

12 Q On this date the lower chest tube  
13 was going to be discontinued and she was to be  
14 extubated?

15 A Yes.

16 Q Am I correct that she was still on  
17 sedation as of that time, but was going to be  
18 weaned from the sedation?

19 A Right.

20 Q Turn, please, to the next note Page  
21 145.

22 MR. : Under 9/9?

23 MR. OGINSKI: Yes.

24 Q Again, this is Dr. 's note?

25 A Right.

TOMMER REPORTING, INC. (212) 684-2448

100

1                   , M.D.

2           Q   As of 9/9 she had been extubated,  
3 correct?

4           A   It says successfully extubated,  
5 right.

6           Q   Is there anything to suggest the  
7 condition of the child in comparison to how she  
8 was after the surgery for the three days prior  
9 to that time?

10           MR. : I'm a little  
11 confused about that.

12           Q   Does Dr. 's September 9 note  
13 compare the child's condition for the prior  
14 day, two or three?

15           MR. : The note speaks for  
16 itself, but I'll let him, over my  
17 objection, interpret it.

18           A   I was going to say the note speaks  
19 on itself. The fact that the patient was

20 successfully extubated that's one thing. She  
21 can breathe on her own, the gas looks good, it  
22 looks like the Azithromycin was discontinued  
23 because it says stat post Azithromycin and the  
24 only thing the patient is getting at the moment  
25 is the Nafcillin and the Ceftriaxone.

TOMMER REPORTING, INC. (212) 684-2448

101

1 , M.D.

2 Q Is there any reason you can think  
3 of as you sit here now as to why the  
4 Azithromycin would be discontinued in light of  
5 the high mycoplasma titers that were observed?

6 A I think the Infectious Disease  
7 people suggested a certain period of treatment  
8 of the Azithromycin. I don't recall how long  
9 they wanted, but it could have been five days  
10 or so, but we continued the other drugs with  
11 their approval, with their blessing for a  
12 little longer.

13 Q Can you turn, please, to Page 151  
14 with a date of September 10th. This would be a  
15 note that you wrote, correct?

16 A Right.

17 Q What is the child's overall  
18 condition as of that date?

19 A I would say pretty good.

20 Q According to the chest x-ray, her  
21 left lung is re-expanded?

22 A Re-expanded.

23 Q I take it that's a good thing?

24 A A good thing.

25 Q How would you describe her white

TOMMER REPORTING, INC. (212) 684-2448

102

1 , M.D.

2 blood count and her hematocrit on that date?

3 A Hematocrit is adequate, but it

4 would be post transfusion obviously and the

5 white count is normal.

6 Q On a daily basis when you examine  
7 the patient and then later make your notes, as  
8 part of your examination did you elicit from  
9 the patient any complaints he or she may have  
10 on daily basis if they're able to communicate?

11 A Right, I mean, it all depends. It  
12 depends on the patient, depends on, you know,  
13 how I want to examine the patient, what I need  
14 to know from the patient.

15 Q In the event that you elicit  
16 information from the patient specifically from  
17 a child, would you customarily make a note in  
18 that your template chart about what your  
19 findings were on the examination?

20 A As I said the purpose of the  
21 pediatric care attending progress note is not  
22 to elaborate every little detail of the  
23 examination and every little details of the  
24 test that we send off. That belongs to the  
25 residents and the fellows. They do their job

1                   , M.D.

2   under our supervision. We just point out  
3   certain important issues that we feel are  
4   important from the attending standpoint.

5       Q   Can you turn, please, to Page 156,  
6   dated September 11th, ?

7       A   Right.

8       Q   Dr.           wrote this note,  
9   correct?

10      A   Exactly.

11      Q   He is also one of the members of  
12   your team?

13      A   Yes.

14      Q   He is also still currently at your  
15   hospital?

16      A   Yes.

17      Q   Is there any change in Dr.       's  
18   evaluation of this patient in comparison to  
19   yours from the day before, any significant

20 change?

21 A Not a significant change.

22 Q Based upon your review of this

23 patient's record did you see any other note

24 with your name or entry on it after September

25 10th?

TOMMER REPORTING, INC. (212) 684-2448

104

1 , M.D.

2 A No.

3 Q Can you tell me why

4 needed a nasogastric tube feeding?

5 A Do you want to refer to a certain

6 period of time?

7 MR. : Can you direct his

8 attention to something, you know,

9 it's a big chart and you're asking

10 him there's a lot of times that he

11 wasn't directly involved.

12 MR. OGINSKI: Yes, I'll clarify

13 it.

14 Q On August 29th, there's a  
15 Pediatric Nutritional Screening Form. At the  
16 top of the note it indicates that the patient  
17 was at a poor appetite and was receiving  
18 nasogastric feedings?

19 A I want to see if this comes under  
20 the context of this patient being ventilated or  
21 not.

22 Q Other than possibly needing the  
23 nasogastric feedings when she was ventilated,  
24 is there anything else that you recall that  
25 suggested or required her to have nasogastric

TOMMER REPORTING, INC. (212) 684-2448

105

1 , M.D.

2 feedings after being taken off the ventilator?

3 A I don't recall.

4 Q That's fine. Do you have an

5 opinion, Doctor, as you sit here today with a  
6 reasonable degree of medical probability as to  
7 those types of activities that this child might  
8 be unable to perform today, again, realizing  
9 that you have not seen and examined her today,  
10 but based upon your treatment of her in August  
11 and September of ?

12 MS. : Note my objection.

13 MR. : How could he  
14 have an opinion? I mean, you know he  
15 hasn't seen her.

16 MR. OGINSKI: Let me rephrase  
17 it.

18 Q As of the time that you last saw  
19 , have you formed any opinion as to  
20 those types of activities that you would expect  
21 that she either could not do as a result of her  
22 medical condition at that time or would be  
23 limited from doing as of the time that you last  
24 saw her?

25 MS. : Again, note my

TOMMER REPORTING, INC. (212) 684-2448

106

1                   , M.D.

2           objection.

3           MR. : If you can

4           answer it, go ahead, but over my

5           objection.

6           A    I would say once the lungs

7           re-expand the patient should have a full

8           recovery.

9           Q    Would that be true regardless of

10          whether she had surgery to re-expand the lungs?

11          A    That would be true regardless.

12          Q    The fact that            had a

13          portion of her lung removed during the open

14          thoracotomy, does that in and of itself limit

15          or inhibit her activities as of the time that

16          you last saw her or would you expect it to?

17          MS. : Objection.

18          MR. : I think he's

19          answered the question. Now you're

20 nitpicking through individual things  
21 and asking him I don't think that's  
22 fair, but, again, I'll let him answer  
23 over my objection.

24 MR. OGINSKI: I disagree with  
25 your assessment.

TOMMER REPORTING, INC. (212) 684-2448

107

1 , M.D.

2 MR. : That's what  
3 happens during litigation.

4 MR. OGINSKI: Let me rephrase  
5 the question.

6 Q Is there anything to indicate to  
7 you as a physician who was treating  
8 that the removal of part of her lung during the  
9 open thoracotomy would in any way impact her  
10 ability to do any type of activity after  
11 resolving or leaving the hospital?

12 MS. : Not my objection.

13 A If there was a removal of the lobe  
14 or partial of the lobe of a lung under the age  
15 of eight years, this shouldn't effect the  
16 performance of a child because at that age they  
17 continue to grow new lung tissue. Obviously  
18 every patient should have a period of  
19 convalescence after surgery in any disease, but  
20 she should be fully recovered.

21 Q Does the regrowth of lung tissue  
22 occur so that the lung is now as it was before  
23 as the lobe had been removed or a portion of  
24 lobe? To what extent should the lung tissue  
25 regrow?

TOMMER REPORTING, INC. (212) 684-2448

108

1 , M.D.

2 A She should have the same amount of  
3 lung tissues as she had before.

4 Q Would that same be true of the air

5 capacity within that lung?

6 A The same would be true.

7 Q Doctor, your attorney has provided  
8 me with a copy of your curriculum vitae; is  
9 that correct?

10 A Yes.

11 Q Have you seen this?

12 A Yes.

13 Q As far as you know is it up-to-date  
14 and accurate to the best of your knowledge?

15 A Yes.

16 Q Just to move things along quickly,  
17 you are licensed to practice medicine in the  
18 State of 0?

19 A Yes.

20 Q Are you board certified in any  
21 field of medicine?

22 A Pediatrics and Pediatric Critical  
23 Care Medicine.

24 Q When was the last time you were  
25 recertified in any of those fields?

TOMMER REPORTING, INC. (212) 684-2448

109

1                   , M.D.

2           A    It should say here. It says that

3   in    I was board re-certified in Pediatric

4   Critical Care and board re-certified in

5   Pediatrics,                   .

6           Q    Has there ever been a time through

7   the course of your career that your license to

8   practice medicine in the State of New York has

9   been revoked or suspended?

10          A    No.

11          Q    Are you board certified in any other

12   specialties of medicine other than the ones you

13   have here?

14          A    No.

15          Q    Do you have any other licenses in

16   any other states to practice medicine?

17          A    Not at the moment.

18          Q    In August of        were you licensed

19 in any other states?

20 A No.

21 Q Are you affiliated with any other  
22 hospital other than the one you currently work  
23 for?

24 A In ?

25 Q Yes.

TOMMER REPORTING, INC. (212) 684-2448

110

1 , M.D.

2 MR. : No, now.

3 MR. OGINSKI: I'm sorry.

4 Q Currently are you affiliated  
5 with any other hospital other than the  
6 0?

7 A It's hard to answer this question,  
8 and I'll tell you why it's hard.

9 Q Tell you what, let me ask you this  
10 way, do you have attending privileges with  
11 other hospitals that are not directly

12 affiliated with ?

13 A They're in a dormant phase. We

14 used to have some affiliations with

15 Hospital. I may still have privileges there

16 and in the past a little before that we had

17 some affiliation with Hospital.

18 Q I notice you have various

19 publications to your name. To your knowledge,

20 Doctor, is this a complete listing of

21 publications as best you can tell?

22 A Yes.

23 Q Do you have any publications

24 dealing with the diagnosis and treatment of

25 pneumonia or specifically -- let me just stick

TOMMER REPORTING, INC. (212) 684-2448

111

1 , M.D.

2 with pneumonia first?

3 A Not directly pneumonia.

4 Q Have you published anything

5 involving the diagnosis and treatment of

6 mycoplasma pneumonia?

7 A No.

8 Q Can you turn, please, to the

9 operative report of 9/6?

10 MR. : You mean the

11 dictated operative report?

12 MR. OGINSKI: Correct.

13 Q Doctor, we had discussed previously

14 about, well, you mentioned were complications

15 that arose during the course of the procedure.

16 Dr. in his note in his preoperative

17 diagnosis uses the term iatrogenic creation of

18 diaphragmatic hernia, correct?

19 A Yes.

20 Q Is there any difference in your

21 mind whether this is one and the same in terms

22 of what you had mentioned was complications?

23 A It's the same.

24 MR. OGINSKI: Thank you, Doctor.

25 (Time noted: 12:32 P.M.)

TOMMER REPORTING, INC. (212) 684-2448

112

1

2           A C K N O W L E D G E M E N T

3

4   STATE OF NEW YORK   )

5                   ) ss.:

6   COUNTY OF        )

7

8       I,               , M.D., hereby certify that

9   I have read the transcript of my testimony

10   taken under oath in my deposition of the 29th

11   day of July,   . That the transcript is a

12   true, complete and correct record of what was

13   asked, answered and said during this

14   deposition, and that the answers on the record

15   as given by me are true and correct.

16

17

18                       , M.D.

19

20 Signed and subscribed to

21 before me this day

22 of , .

23

24

25 Notary Public

TOMMER REPORTING, INC. (212) 684-2448

113

1

2 INDEX

3

EXAMINATION BY	PAGE
----------------	------

4

Mr. Oginski	5
-------------	---

5

6

EXHIBITS

7

PLF'S	DESCRIPTION	PAGE
-------	-------------	------

9

1	Hospital Record	5
---	-----------------	---

10

2	Curriculum Vitae	5
---	------------------	---

11

12 QUESTION MARKED FOR RULING

13 PAGE LINE

27 2

14

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

114

1

2 CERTIFICATE

3

4 I, , hereby certify

5 that the Examination of , M.D., was

6 held before me on July 29, ;

7 That said witness was duly sworn

8 before the commencement of the testimony;

9 That the within testimony was

10 stenographically recorded by myself, and is an

11 accurate record of the Examination of said

12 witness;

13 That the parties herein were

14 represented by counsel as stated herein;

15 That I am not related to any of the

16 parties, in the employ of any of the counsel,

17 nor interested in the outcome of this matter.

18

19 IN WITNESS WHEREOF, I have hereunto set my hand

20 this 29th day of July, .

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448