

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

SUPREME COURT OF THE STATE OF NEW YORK
COUNTY OF NEW YORK
Index No.

- - - - - x

, as Administrator of the
Estate of , Deceased, and
, individually,

Plaintiff,

- against -

, MD,

,

, MD, , MD, , MD, , MD,

MD, , MD, , MD, , MD, and

,

Defendants.

- - - - - x

January 19, 2010
11:44 a.m.

DEPOSITION of DR. , a Defendant

herein, taken by the Plaintiff, pursuant to Order, held
at Broadway, New York, New York, before Kim
Auslander, a Notary Public of the State of New York.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A P P E A R A N C E S :

THE LAW OFFICE OF GERALD M. OGINSKI, LLC
25 Great Neck Road
Great Neck, NY 11021
Attorney for Plaintiff
BY: GERALD M. OGINSKI, ESQ.

, LLP

Attorneys for the Witness,
DR.
BY: , ESQ.

, LLP

New York, NY 10017
Attorneys for Defendant,
NEW YORK
BY: , ESQ.

1

2 IT IS HEREBY STIPULATED, by and between the attorneys
3 for the respective parties hereto that:

4 All rights provided by the C.P.L.R., and Part 221 of
5 the Uniform Rules for the Conduct of Depositions,
6 including the right to object to any question,
7 except as to form, or to move to strike any
8 testimony at this examination is reserved;
9 and in addition, the failure to object to
10 any question or to move to strike any testimony
11 at this examination shall not be a bar or
12 waiver to make such motion at, and is reserved
13 to, the trial of this action.

14 This deposition may be sworn to by the witness
15 being examined before a Notary Public other
16 than the Notary Public before whom this
17 examination was begun; but failure to do so
18 or to return the original of this deposition
19 to counsel, shall not be deemed a waiver of
20 the rights provided by Rule 3116 of the C.P.L.R., and
21 shall be controlled thereby.

22 The filing of the original of this deposition is
23 waived.

24 IT IS FURTHER STIPULATED, that a copy of this
25 examination shall be furnished to the attorney for the

1
2 witness being examined without charge.
3 D R . after having first
4 been duly sworn by a Notary Public of the State of New
5 York, was examined and testified as follows:

6 NOTARY PUBLIC: Please state
7 your name for the record.

8 THE WITNESS: .

9 NOTARY PUBLIC: What is your
10 present business address?

11 THE WITNESS: New York, New York.

13 MR. OGINSKI: Off the record.

14 (Discussion held off the record.)

15 MR. OGINSKI: Defense counsel
16 has agreed to accept service on behalf
17 of Dr. .

18 MR. : Just as I said,
19 if there is any issue in that regard I
20 will let you know.

21 MR. OGINSKI: Sure.

22 EXAMINATION BY

23 MR. OGINSKI:

24 Q. Good morning, Doctor. What is
25 sepsis?

1

2 A. Sepsis is a condition where
3 there's body alterations and changes
4 possibly associated with shock or
5 infection.

6 Q. What are the symptoms that you
7 typically would see in a patient who has
8 sepsis?

9 MR. : Note my objection
10 as to form.

11 It was broad, but I guess you
12 are asking in a broad sense, right?

13 MR. OGINSKI: Yes.

14 A. There are many symptoms you
15 might see: Low blood pressure, mental
16 status changes, possibly fever, poor
17 respiratory function, poor renal function.

18 Q. Anything else?

19 MR. : Are we talking
20 about clinical or laboratory?

21 MR. OGINSKI: Just clinical
22 right now.

23 A. Alterations in cardiac
24 function.

25 Q. How do you diagnose sepsis?

1

2 MR. : Note my objection

3 to form.

4 Are you asking him in his

5 specialty area?

6 MR. OGINSKI: Correct.

7 A. It's a clinical judgment.

8 Q. What diagnostic tools do you

9 have available to assist you in coming to a

10 diagnosis that a patient has sepsis?

11 A. You can look at laboratory

12 tests.

13 Q. Like what?

14 A. White blood cell count, called

15 CBC, complete blood count.

16 Q. Anything else?

17 A. You can look at vital signs,

18 clinical exam.

19 Q. Can a person die from

20 overwhelming sepsis?

21 A. Yes.

22 Q. What is septic shock?

23 A. Septic shock is a part of

24 sepsis when the patient cannot maintain

25 their blood pressure.

1

2 Q. Are you aware of the mechanics
3 that would cause the inability to maintain
4 blood pressure in light of sepsis?

5 MR. : On a cellular
6 level?

7 Q. On any level. If you can just
8 tell me.

9 A. Not specifically.

10 Q. What symptoms would you expect
11 a patient to have if they were in septic
12 shock? Again, I'm talking generally.

13 A. General --

14 MR. : I think he was
15 asked and answered that; low BP,
16 mental status.

17 MR. OGINSKI: I will rephrase
18 it.

19 Q. Doctor, you told me what
20 sepsis was and the symptoms of sepsis.

21 In your opinion, is sepsis the
22 same as septic shock?

23 A. No.

24 Q. Tell me the difference.

25 A. Shock might be when there's --

1
2 shock is the -- when you have some of the
3 symptoms of sepsis but also with the
4 profound low blood pressure.

5 Q. How do you treat septic shock?

6 A. Depends on what the cause is.

7 Q. How do you determine what the
8 cause is?

9 MR. : Objection.

10 That's overbroad.

11 MR. OGINSKI: Withdrawn.

12 Q. In order to make a diagnosis
13 of sepsis, you use clinical laboratory
14 tests, correct?

15 MR. : You may?

16 A. Right.

17 Q. One of the factors you use to
18 assist you in coming to a diagnosis that a
19 patient has sepsis is clinical laboratory
20 tests, correct?

21 A. Correct.

22 Q. You also use clinical
23 examination?

24 A. Correct.

25 Q. And you may also feel the need

1

2 to do various diagnostic tests, such as a
3 CT scan or an MRI scan, correct?

4 A. Not necessarily.

5 Q. Are there instances where you
6 will obtain a CT or an MRI to evaluate or
7 rule out the patient who has sepsis?

8 A. To rule in or out if the
9 patient has sepsis?

10 Q. Yes.

11 A. Not necessarily.

12 Q. To evaluate or come to a
13 diagnosis that a patient has septic shock,
14 what tests do you use to come to the
15 conclusion that a patient has septic shock?

16 A. I think you would look at
17 their vital signs, many of the things I
18 mentioned; their mental status, look at
19 their urine output. That's some of the
20 clinical findings and laboratory findings.

21 Q. Are there ever instances where
22 you will use or order a CT scan or an MRI
23 scan to assist you in evaluating a patient
24 who you believe may have septic shock?

25 MR. : That's overbroad.

1

2 Ever instances? He is not
3 going to go through every scenario he
4 has ever been confronted with.

5 MR. OGINSKI: Withdrawn.

6 Q. When you are evaluating a
7 patient and you suspect that the patient
8 may have septic shock, have there been
9 instances in your career where you have
10 ordered or requested that a CT or MRI scan
11 be performed?

12 A. Occasionally.

13 Q. For what purpose would you use
14 that? I'm asking generally.

15 A. General, if there's no
16 obvious -- or if you are searching for a
17 cause of septic shock.

18 Q. How do you treat septic shock?

19 A. Usually you will give
20 antibiotics.

21 Q. Would that be IV antibiotics?

22 A. IV antibiotics.

23 Q. Is there any other way to
24 treat it?

25 A. Support, whether that be

1

2 cardiac support, ventilatory support.

3 Q. Is there anything else that
4 you do for septic shock?5 A. Those are the first two
6 components that you would establish; make
7 sure their circulation is adequate and
8 airway is adequate.9 Q. Do you have a memory of this
10 patient, Mrs. ?

11 A. I have a memory.

12 Q. Do you remember what she looks
13 like?

14 A. Specifically?

15 Q. Yes.

16 A. No.

17 Q. In preparation for today's
18 question and answer session you reviewed
19 the patient's chart, correct?

20 A. Yes.

21 Q. Did you review the husband's
22 deposition testimony that he has given in
23 this case?

24 A. No.

25 Q. Did you review any medical

1
2 literature in preparation for today?

3 A. No.

4 Q. Did you review any notes that
5 you may have separate and apart from what's
6 contained within these charts?

7 MR. : Yes. We brought
8 something for you. I made a copy of
9 it. This, right?

10 THE WITNESS: Yes.

11 MR. OGINSKI: Okay.

12 Q. Other than the page that your
13 attorney just provided to me, did you
14 review any other notes that are not
15 contained within the records that you
16 reviewed for today?

17 A. No.

18 Q. In the course of your career,
19 Doctor, you have performed bowel resection
20 with anastomosis, correct?

21 A. Yes.

22 Q. The surgery you performed on
23 , 2007 on Mrs. , that was
24 a ventral hernia repair, correct?

25 A. It was an exploratory

1

2 laparotomy and ventral.

3 Q. The primary purpose was to fix
4 the hernia, correct?

5 A. No.

6 Q. What was the primary purpose
7 of the surgery?

8 A. Explore the patient.

9 Q. Did you have access to the
10 patient's prior surgical records at the
11 time that they first came to see you for
12 evaluation?

13 A. Yes.

14 Q. Did you review the patient's
15 prior surgical records, either at the first
16 visit or at some time shortly before you
17 performed surgery on th?

18 MR. : In what respect?

19 How in depth?

20 Q. Did you review it in any
21 regard?

22 A. I seem to recall I reviewed
23 it.

24 Q. Do you know Dr. ?

25 A. Do I know her?

1

2 Q. Yes.

3 A. Yes.

4 Q. How do you know her?

5 A. She is another surgeon at

6 .

7 Q. Had you worked with her in the
8 past?9 MR. : What does that
10 mean? Operated with her?11 MR. OGINSKI: Let's start with
12 that.13 Q. Have you ever operated with
14 her in the past?

15 A. Yes.

16 Q. In what regard? What
17 relationship? As attendings who
18 participated on the same case, as a
19 consultant, something else? You tell me,
20 doctor?21 A. I can't recall exactly what
22 role I operated with her, but I have
23 operated with her in the past.24 Q. Did you train with her as a
25 resident?

1

2 A. I trained as a fellow under
3 her.

4 Q. Did you have any discussions
5 with her specifically about this particular
6 patient prior to performing your surgery on
7 , 2007?

8 A. I don't recall.

9 Q. Is there anything in your
10 office records to suggest or indicate that
11 you had spoken with her, Dr. ,
12 prior to performing surgery on Mrs.
13 on , 2007?

14 MR. : You mean from the
15 timeframe that he first started seeing
16 the patient up until then?

17 MR. OGINSKI: Yes.

18 MR. : Could you repeat
19 the question?

20 MR. OGINSKI: I will rephrase
21 it.

22 Q. Doctor, from the time you
23 first started seeing and treating
24 Mrs. up until , 2007,
25 had you ever spoken with Dr.

1
2 about this particular patient?

3 A. I don't recall.

4 Q. Is there anything within your
5 notes specifically that would suggest or
6 indicate to you that you had a conversation
7 with Dr. about this particular
8 patient?

9 A. Not that I can recall.

10 Q. In the course of your career
11 before , 2007, had you ever had
12 a situation where you performed a bowel
13 resection with anastomosis that later broke
14 down?

15 A. Not that I can recall.

16 Q. As far as you understand, as
17 far as you recall, was this the first time
18 that you had a bowel resection where the
19 anastomosis failed?

20 A. That I can recall specifically
21 of my patients?

22 Q. Yes.

23 A. Yes. The first time are you
24 saying?

25 MR. : He asking with

1

2 certitude, so if you're not sure, say
3 you are not sure.

4 A. I can't recall.

5 Q. Before , 2007, had
6 you ever performed a bowel resection with
7 anastomosis that had broken down where the
8 patient ultimately died as a result of
9 sepsis?

10 A. Not that I can recall.

11 Q. When there is a breakdown in a
12 bowel anastomosis, what causes irritation
13 to the abdominal cavity? Is it the fecal
14 contents?

15 A. Typically.

16 Q. What causes infection when you
17 have a breakdown in anastomosis?

18 A. The fecal contents.

19 MR. : Off the record.

20 (Discussion held off the record.)

21 Q. In a patient who has an
22 anastomotic breakdown, what symptoms would
23 you expect to see in such a patient?

24 A. You can see abdominal pain,
25 you can see abdominal distension, fever.

1
2 Those are some of the symptoms you can
3 typically see.

4 Q. What type of clinical
5 findings -- what kind of laboratory
6 findings would you expect to see in a
7 patient who has an anastomotic breakdown?

8 MR. : I object to form.

9 Expect to see? I'm not sure
10 if that means will see or can see or
11 may see.

12 MR. OGINSKI: Okay.

13 Q. In a patient who suffers an
14 anastomotic breakdown of bowel, if you run
15 lab tests on the patient; blood tests, CBC,
16 what would you typically expect to see?
17 Again, as a general question.

18 A. You can see an elevation in
19 white blood cell count. You might not see
20 any of these clinical symptoms that I had
21 mentioned.

22 Q. In the course of your career,
23 have you had occasion to see and treat
24 patients who have had an anastomotic
25 breakdown?

1

2 A. Yes.

3 Q. Have you had occasion to
4 evaluate patients postoperatively where you
5 suspect that a patient has had an
6 anastomotic breakdown?

7 A. Yes.

8 Q. Have you also had occasion to
9 reoperate on one or more patients who have
10 had what you believe to be a breakdown of
11 the anastomosis?

12 A. Yes.

13 Q. In addition to those symptoms
14 that you just told me about, would you also
15 expect to see respiratory difficulties?

16 A. You can.

17 Q. Would you expect to see
18 cardiac abnormalities?

19 A. It's possible.

20 Q. Would you expect to see
21 hypotension?

22 A. It's possible.

23 Q. What diagnostic tools do you
24 use in order to assist you to determine
25 whether or not a patient has a breakdown of

1
2 the anastomosis?

3 A. A lot is clinical judgment.

4 Q. Putting aside the -- I'm going
5 to get to your clinical judgment, Doctor,
6 but specifically what diagnostic tests are
7 available to you to assist you in
8 determining whether or not there may or may
9 not be an anastomotic breakdown?

10 MR. : You are asking
11 what's available, not what's required
12 to be done?

13 MR. OGINSKI: Correct.

14 A. You can get some type of
15 imaging study.

16 Q. Can you be more specific?

17 A. A contrast study where you
18 take in dye and do some form of X ray or
19 imaging, radiographic imaging.

20 Q. Is there a preferred test,
21 whether you call it a gold standard test or
22 some other test that is preferred, such as
23 CT scan or MRI scan, to help you evaluate
24 possible anastomosis breakdown?

25 MR. : Objection to

1

2 form.

3 MR. OGINSKI: I will rephrase

4 it.

5 Q. If you suspect that a patient

6 has some type of anastomotic breakdown,

7 what diagnostic tests specifically; MRI,

8 X ray, CAT scan or something else, is a

9 preferred test to perform to assist you?

10 MR. : I have to object

11 to the form when you say preferred,

12 because preferred -- I'm not sure --

13 MR. OGINSKI: I will rephrase

14 it.

15 Q. The contrast study you

16 mentioned, is there a particular type of

17 imaging study that is better to perform to

18 help you see what's going on?

19 A. CAT scan or -- it also depends

20 on where you suspect the leak.

21 Q. In 2007 the

22 which you practiced,

23 , they had CAT scans,

24 correct?

25 A. That's correct.

1

2 Q. They had MRI equipment as
3 well?

4 A. Yes.

5 Q. Now, the clinical examination
6 that you mentioned a moment ago, that all
7 goes to evaluating the patient's abdominal
8 pain, distension and any other problems
9 that may show up, correct?

10 A. Yes.

11 Q. At
12 you had residents rotating through your
13 department, correct?

14 A. Yes.

15 Q. You also had fellows?

16 A. Yes.

17 Q. Did you have any particular
18 responsibility for overseeing or
19 supervising fellows in the work that you
20 did on a daily basis?

21 A. Could you be more specific?

22 Q. Sure. You were an attending
23 in 2007, correct?

24 A. Yes.

25 Q. In the Department of

1
2 Gynecologic Oncology?
3 A. Department of Surgery.
4 Q. And the subdivision as well?
5 A. Subdivision, gynecologic
6 service.
7 Q. Your specialty was gynecologic
8 oncology?
9 A. Yes.
10 Q. You are board certified in GYN
11 oncology?
12 A. Yes.
13 Q. As well as obstetrics and
14 gynecology, correct?
15 A. Yes.
16 Q. You focus your practice
17 primarily on GYN oncology, correct?
18 A. Yes.
19 Q. Is it fair to say you haven't
20 delivered any babies since residency?
21 A. Yes.
22 Q. In addition to seeing patients
23 in your office and operating on patients,
24 do you also have a responsibility to teach
25 residents and fellows at the ?

1

2 A. Yes.

3 Q. And you do that by teaching
4 during surgery?

5 A. Yes.

6 Q. Do these residents or fellows
7 also participate in your office hours?

8 A. Occasionally.

9 Q. Just talking about the
10 teaching these residents and fellows during
11 surgery, how do you actually teach them?

12 MR. : It is a broad
13 question. It may depend on the
14 situation.

15 MR. OGINSKI: I will rephrase
16 it.

17 Q. When you perform surgery at
18 -- again, my
19 timeframe only refers to 2007 unless I
20 indicate otherwise --

21 A. Okay.

22 Q. -- is it fair to say that you
23 typically had a resident or a fellow
24 participate in surgery with you?

25 A. Yes.

1

2 Q. Tell me what a resident or
3 fellow would typically do during surgery.

4 MR. : Is there a way to
5 quantify that or does that depend on
6 the person or fellow or resident in
7 the surgery?

8 A. It all depends. Typically
9 residents do not do much at . It's
10 mostly the attending and the fellow.

11 Q. With regard to Mrs. 's
12 surgery on , 2007, you were
13 scrubbed for the surgery, correct?

14 A. Yes.

15 Q. You had a resident also
16 scrubbed for the surgery?

17 A. Yes.

18 Q. There was also a fellow, if I
19 am not mistaken?

20 A. Yes.

21 Q. Do you recall -- we will go
22 through a little bit later the op notes and
23 things like that -- but do you recall who
24 the resident was and who the fellow was?

25 A. The fellow was Dr. .

1

2 Q. And the resident?

3 A. Dr. .

4 Q. And as you sit here now, do

5 you remember what year Dr. was at the

6 time in of '07?

7 A. No.

8 Q. And the fellowship in GYN

9 oncology, how many years is that?

10 A. Four.

11 Q. What year was Dr. in?

12 A. Dr. is a surgical

13 oncology fellow.

14 Q. What year was he in at the

15 time?

16 A. He was in his first year.

17 Q. As a fellow, correct?

18 A. As a fellow.

19 Q. Do you know how much training

20 he had received prior to starting his

21 first-year fellowship?

22 A. He completed a residency in

23 general surgery.

24 Q. Do you know how many years

25 that was?

1

2 A. I don't know specifically,

3 but -- I can't --

4 Q. It's okay. I don't want you

5 to guess.

6 The day after surgery, on

7 1st, 2007, I want you to assume for

8 the purposes of my question that there was a

9 delay in giving the patient her cardiac

10 medication, specifically the Metoprolol.

11 A. Yes.

12 MR. : I have to object.

13 MR. OGINSKI: Let me just

14 finish the question.

15 Q. I want you to assume that.

16 Do you have an opinion whether

17 the delay in giving her her cardiac

18 medication was a contributing factor to her

19 cardiac symptoms?

20 MR. : I have to object

21 to that.

22 MR. OGINSKI: Tell me why.

23 MR. : He is not going

24 to assume any delay, alright? It may

25 have been -- that's assuming already

1
2 an allegation is a fact. I object to
3 that.

4 MR. OGINSKI: There's stuff in
5 the records that I think bear me out,
6 but I'm asking as a hypothetical.

7 You are not going to let him
8 answer?

9 MR. : Not with an
10 assumed delay, no. You can ask facts,
11 but you can't assume delays.

12 MR. OGINSKI: I disagree.

13 Q. Did you learn from any doctor
14 that there was an issue about when the
15 patient was going to get her cardiac
16 medications after her surgery of
17 ?

18 A. Did I learn from any doctor?

19 MR. : That there was an
20 issue?

21 MR. OGINSKI: Yes.

22 MR. : Objection to
23 form.

24 Did you learn in that way?

25 THE WITNESS: No.

1

2 Q. Did you learn that
3 Mrs. was taking cardiac medications
4 to control her palpitations?

5 A. I don't understand the
6 question. If you can --

7 Q. Prior to surgery, did you
8 learn that the patient was on some type of
9 cardiac medications?

10 A. I knew she was.

11 Q. What was your understanding as
12 to why she was on a cardiac medication?

13 A. Because she had some
14 palpitations.

15 Q. Do you remember as you sit
16 here now what that medication was?

17 A. Metoprolol.

18 Q. Following the surgery, did you
19 learn from any physician or nurse about the
20 timing in which she received her Metoprolol
21 following the surgery?

22 A. Following the surgery? I
23 learned about it just before she was
24 transferred to .

25 Q. What did you learn?

1

2 A. That she got her dose in the
3 afternoon. Let me just -- I don't recall
4 exactly what was said to me.

5 Q. Was this from a nurse, a
6 physician or somebody else?

7 A. I don't recall who.

8 Q. The medication that she had
9 received in the afternoon, was that
10 extended release or instant release?

11 A. I don't recall what she
12 actually took.

13 Q. Did you ever form an opinion
14 as to whether the timing as to when she
15 received her Metoprolol was a contributing
16 factor to her cardiac situation right
17 before her transfer to New York

18 ?

19 MR. : Within a
20 reasonable degree of medical
21 certainty?

22 MR. OGINSKI: Yes.

23 A. No.

24 Q. No, you never formed an
25 opinion?

1

2 A. Did I feel that it contributed
3 to it?

4 Q. Yes.

5 A. I never formed an opinion, no.

6 Q. Did you learn from anyone
7 whether this patient should have received
8 that particular cardiac medication earlier
9 in the day before she was transferred to
10 the across the street?

11 A. No, I did not learn from
12 anybody.

13 Q. Did you have a discussion with
14 any consulting cardiologist before the
15 patient was transferred to ?

16 A. Directly, no.

17 Q. Tell me about any indirect
18 conversation where you learned about a
19 conversation with a cardiologist.

20 A. There was a cardiac
21 consultation called the evening that she
22 was transferred, and it's my recollection
23 that it was felt that she should be -- she
24 would receive better cardiac care at

25 .

1

2 Q. Is there a difference, to your
3 knowledge, between extended release
4 Metoprolol and instant release Metoprolol?

5 A. In general?

6 Q. Yes.

7 A. In general, extended release
8 is probably longer-acting.

9 Q. Did you learn from either the
10 patient or the patient's husband that
11 Mrs. had in the past used the
12 extended released Metoprolol and it simply
13 had no real effect on her?

14 A. No.

15 Q. Did you learn from anybody
16 that the patient's husband had specifically
17 requested the immediate release form of the
18 Metoprolol?

19 A. Nobody -- I did not learn from
20 anybody that.

21 Q. In reviewing the notes for
22 today's question and answer session, did
23 you see any nursing notes about when this
24 patient actually received her Metoprolol
25 prior to the transfer to ?

1

2 A. In reviewing the notes, I
3 believe it was in the afternoon.

4 Q. Did you see any notes -- by
5 the way, was that a nurse's note?

6 A. Yes.

7 Q. Did you see any notation about
8 the issue of timing of that particular
9 medication and the conversations that
10 ensued in order to get the patient that
11 medication?

12 A. I don't recall the specifics
13 of the note, I don't.

14 Q. During your preop consultation
15 with the patient and her husband, did you
16 tell them that they should do the --
17 withdrawn.

18 During your preop consultation
19 with the patient, did you suggest to the
20 patient that they should have -- let me
21 rephrase it.

22 During your preop consultation
23 did you tell the patient that she should
24 have her hernia repair with you at

25 ?

1

2 MR. : I have to object,

3 but did you use those words?

4 THE WITNESS: No.

5 Q. Did you tell the patient that

6 she should have the hernia repair with you

7 instead of going to a general surgeon?

8 A. No.

9 Q. Did Mr. ask you

10 whether they should go to a general surgeon

11 to get the hernia repaired?

12 A. I don't recall that.

13 Q. Do you have privileges to

14 perform general surgery at

15 ?

16 A. Yes.

17 Q. In your practice, Doctor, do

18 you perform hernia surgery?

19 A. Yes.

20 Q. Do you typically perform

21 hernia surgery when it is related to

22 surgery that you would perform regarding

23 GYN oncology?

24 A. Yes.

25 Q. Now, I would like to go back

1
2 for a moment to what we were talking about
3 regarding sepsis.

4 Would you agree, Doctor, that
5 the earlier you intervene and treat a
6 patient with sepsis the better likelihood
7 that you can salvage the patient's
8 situation?

9 MR. : Objection.
10 That's vague as to time.

11 MR. OGINSKI: I'm sorry?

12 MR. : That's vague.
13 Are you saying --

14 MR. OGINSKI: I will rephrase
15 it.

16 Q. If you suspect that a patient
17 has sepsis, would you agree that the
18 earlier you treat or you diagnose and treat
19 the condition the better chances of the
20 outcome?

21 MR. : Objection to
22 form. Over objection to form, I
23 guess.

24 A. I think that would be a
25 reasonable thing to assume.

1

2 Q. Tell me why.

3 A. As a condition gets worse, it
4 typically in general gets more difficult to
5 treat.

6 Q. What are the implications for
7 the patient for not treating the patient
8 earlier rather than later?

9 MR. : Objection.

10 Objection to that. I will
11 tell you my basis.

12 MR. OGINSKI: It's okay.

13 Q. The surgery on ,
14 2007 was an elective hernia repair,
15 correct?

16 A. It was an exploratory
17 laparotomy and hernia repair.

18 Q. The hernia repair,
19 specifically, was elective?

20 A. Both surgeries were elective.

21 Q. I'm just focusing right now on
22 the hernia repair. Correct?

23 A. Yes.

24 Q. I just want to make sure --
25 the exploratory laparotomy, as you

1

2 mentioned, was an elective procedure?

3 A. Yes.

4 Q. What were the indications for
5 performing an elective laparoscopy?

6 A. Laparoscopy?

7 Q. I apologize.

8 What were the indications for
9 performing an exploratory laparotomy on
10 ?

11 A. The patient was having
12 worsening abdominal pain in the setting of
13 unexplained cause with a possibility of
14 recurrence of ovarian cancer.

15 Q. In your review of the
16 patient's records --

17 A. Yes.

18 Q. -- you recognize, I saw, that
19 she had been treated for ovarian cancer by
20 Dr. , correct?

21 A. Dr. was her surgeon
22 before, yes.

23 Q. And that she had undergone
24 surgery in 2004 and also in 2005, correct?

25 A. Yes.

1

2 Q. And that she continued to be
3 seen and followed by various physicians
4 following those two surgeries, correct?

5 A. Yes.

6 Q. And one of the purposes for
7 her continuing follow-up was to check for
8 any recurrence of cancer, correct?

9 A. Yes.

10 Q. On any of the notes that you
11 reviewed did you observe any suggestion to
12 indicate that there was a recurrence of
13 cancer at any time up to , 2007?

14 MR. : I'm not sure what
15 you mean by a suggestion. You mean
16 concerns, suspicions?

17 MR. OGINSKI: I will rephrase
18 it.

19 Q. Was there any documented
20 notation by Dr. where she is
21 indicating the possibility or the
22 likelihood that this patient has a
23 recurrence of cancer?

24 A. Dr. or any of the --

25 Q. I will start with

1

2 Dr. .

3 A. Not that I recall.

4 Q. Was there any discussion by
5 Dr. , the patient's oncologist,
6 that there was any type of recurrence of
7 cancer up until , 2007?

8 MR. : Or suspicion or
9 concern for?

10 MR. OGINSKI: Anything
11 documented in the records.

12 A. In some of the records there
13 was -- I recall that there was a note that
14 cancer cannot be ruled out.

15 Q. Would it be fair to say,
16 Doctor, that following surgical de-bulking
17 that you can never completely rule out the
18 recurrence of cancer, certainly on a
19 microscopic level?

20 A. That's correct.

21 Q. From all the tests that this
22 patient was receiving for follow-up to
23 check for cancer recurrence, was there any
24 evidence that any of those tests were
25 positive for recurrence?

1

2 A. There was --

3 MR. : Answer the way
4 you want to answer.

5 A. There was a PET scan that was
6 concerning.

7 Q. And how far away was that PET
8 scan performed in relation to the surgery
9 that you performed?

10 A. I think it was a few months.

11 Q. Do you recall, was that a test
12 you had ordered?

13 A. It was ordered by
14 Dr. .

15 Q. And did you see the results of
16 that test?

17 A. Yes.

18 Q. What, if anything, did that
19 test result signify to you?

20 A. That she might be recurring.

21 Q. What tests do you typically
22 have patients do to follow up for
23 recurrence of cancer?

24 A. Well, physical exam, CA 125 is
25 a blood test, some people order CAT scans.

1

2 Q. On any physical exam findings
3 by Dr. or , was there
4 any suggestion that there might be a
5 recurrence of cancer?

6 A. Not that I recall on physical
7 findings. Not that I recall.

8 Q. Was there anything about the
9 patient's CA 125 levels that were
10 concerning to any physician who had seen
11 her in the past?

12 A. Not that I recall.

13 Q. She had had CAT scans,
14 correct, for follow-up?

15 A. She had several CAT scans.

16 Q. Was there any suspicion or
17 concern on any of the CAT scans that she
18 had to suggest there was a recurrence of
19 cancer?

20 A. There were some nonspecific
21 nodules noted.

22 Q. Would you agree that those
23 nonspecific nodules could be nothing or
24 they might be recurrence?

25 A. They can be.

1

2 Q. But the fact they were
3 nonspecific did not give you cause for
4 concern?

5 MR. : Objection.

6 MR. OGINSKI: I will rephrase
7 it.

8 Q. What was your opinion about
9 these nonspecific findings?

10 A. These were findings that could
11 be an early recurrence.

12 Q. What tests did you perform in
13 order to evaluate the patient's abdominal
14 pain prior to performing the exploratory
15 laparotomy?

16 A. I believe she had a CAT scan
17 and a small bowel series.

18 Q. What were the results of the
19 CAT scan?

20 A. The CAT scan --

21 Q. We have the records. I am
22 just asking your memory.

23 MR. : You want to ask
24 him in what specific sense?

25 MR. OGINSKI: I will ask it a

1

2 different way.

3 Q. Do you have a memory as you
4 sit here now whether the CAT scan results
5 showed something that made you believe
6 there was some recurrence?

7 MR. : In conjunction
8 with -- just the findings?

9 MR. OGINSKI: Just the CT
10 findings.

11 A. There was some increase in
12 some adenopathy.

13 Q. Meaning what?

14 A. Enlargement of some
15 lymph nodes.

16 Q. What does that suggest to you?

17 A. It could be a recurrence.

18 Q. What else could it be?

19 A. Inflammation, infection.

20 Q. You performed blood work on
21 the patient as well?

22 A. I believe I ordered a CA 125.

23 Q. Was there anything abnormal
24 about that result?

25 A. No.

1

2 Q. The small bowel series, was
3 there anything to suggest that there was a
4 problem with the bowel or any recurrence of
5 cancer?

6 A. No.

7 Q. What else would account for
8 the patient's abdominal pain? Withdrawn.

9 Did you form a differential
10 diagnosis when trying to evaluate this
11 patient's abdominal pain that was worsening?

12 A. It was unclear to me what the
13 cause was, but I was concerned about cancer
14 recurrence.

15 Q. What else could cause the
16 patient's worsening abdominal pain?

17 A. Adhesions.

18 Q. Anything else?

19 A. Back then I thought it
20 might -- there might be some component of
21 her hernia, but I was not -- it was not
22 clear to me what was the actual cause.

23 Q. What do you do to rule out or
24 evaluate further the possible causes for
25 the patient's worsening abdominal pain?

1

2 A. She had had a series of CAT
3 scans, she had had a GI visit.

4 Q. Did you have any other tests
5 that were available to you that would help
6 you rule in or rule out the cause for this
7 patient's continuing abdominal pain?

8 A. Radiologic tests?

9 Q. Anything else you had
10 available to you.

11 A. I think she had had a series
12 of CAT scans and the small bowel series.

13 Q. Did either of those two
14 diagnostic tests explain what the pain was
15 or the etiology was?

16 A. No.

17 Q. Why can adhesions cause
18 abdominal pain?

19 A. If there's some component of
20 tethering of the bowel.

21 Q. Explain what you mean by
22 tethering.

23 A. If -- the bowel is a muscle
24 and it squeezes the contents through. If
25 there is some stricture or kinking, it

1
2 potentially could cause some cramping
3 abdominal pain.

4 Q. There was nothing in the bowel
5 series to suggest that there was any type
6 of stricture; is that correct?

7 A. That's correct.

8 Q. How can a hernia cause
9 abdominal pain?

10 A. If there is -- in a similar
11 way; if there is stricture by the hernia
12 causing some form of obstruction, that
13 potentially could cause abdominal pain.

14 Q. Now, before you started to
15 care for this patient had you learned from
16 Dr. 's notes that the patient had
17 already been diagnosed with a hernia?

18 A. No.

19 Q. Were you the first person to
20 diagnose -- as far as you know -- to
21 diagnose the patient's hernia?

22 A. No.

23 Q. Was this an incisional hernia?

24 A. It appeared to be.

25 Q. Was the hernia strangulating

1

2 any part of the bowel?

3 A. It did not appear to be.

4 Q. Do you base that upon your

5 clinical examination?

6 A. That's correct.

7 Q. Did you also perform any X ray

8 studies to confirm or rule out that

9 possibility?

10 A. She had a series of CAT scans

11 and small bowel series.

12 Q. How would you describe the

13 nature of her hernia? Are you able to

14 characterize it in any way?

15 MR. : I am not sure

16 what you mean by the nature of it; how

17 it was progressing, how it changed?

18 Q. When you evaluated this

19 patient's hernia-- by the way, that was a

20 ventral hernia, correct?

21 A. Yes.

22 Q. Just describe what a ventral

23 hernia is.

24 A. A hernia on the ventral

25 abdominal wall.

1

2 Q. You told me that a possible
3 cancer occurrence could also cause
4 abdominal pain. Tell me how.

5 A. Sometimes with ovarian cancer
6 you can get some nodularity or tethering of
7 the bowel in similar ways that adhesions
8 can cause the pain.

9 Q. Now, in a patient who has had
10 prior GYN surgery, the type of laparotomies
11 this patient had in 2004 and 2005, would it
12 be correct to say that you would expect the
13 patient would have some adhesions?

14 A. Expect.

15 Q. As far as your understanding,
16 this patient's abdominal pain continued to
17 worsen over time?

18 A. Yes.

19 Q. Did you learn how it limited
20 her daily activities, if at all?

21 A. Prior to the surgery, she had
22 come in and said that it was to the point
23 where it was really impeding her
24 activities.

25 Q. Did she also tell you about

1
2 her scheduling as to when she was going to
3 have this done or whether she would have
4 this done?

5 MR. : I object to form.

6 MR. OGINSKI: I will rephrase
7 it.

8 Q. Are there any diagnostic tests
9 that were available to you that would
10 determine conclusively other than surgery
11 whether or not the patient's adhesions were
12 the cause for her worsening abdominal
13 complaints?

14 A. Not with 100 percent
15 certainty.

16 Q. Was there anything, again, any
17 other diagnostic tools, that were available
18 that would help you determine conclusively
19 whether or not the hernia was the primary
20 cause of her worsening of abdominal pain?

21 MR. : Objection to
22 form.

23 When you say the primary
24 cause, with 100 percent certainty it
25 was the only cause?

1

2 MR. OGINSKI: No. I will

3 rephrase it.

4 Q. Other than the CT scan where
5 you told me there was some increase in
6 adenopathy and the PET scan which you said
7 was concerning for recurrence, was there
8 anything else to suggest to you the
9 possibility that there was a recurrence of
10 cancer?

11 MR. : He also said the
12 small bowel, right?

13 MR. OGINSKI: Yes.

14 A. Possibility of recurrence?

15 Q. Yes.

16 MR. : You mean on
17 laboratory tests or -- not clinical?

18 MR. OGINSKI: Thank you. I
19 will rephrase it.

20 Q. You have told me so far that
21 the two things that suggest -- or were
22 concerning to you for recurrence of cancer
23 were the results of the PET scan and the CT
24 scan; is that correct?

25 A. As far as testing?

1

2 Q. Yes. Just testing right now.

3 A. Testing.

4 Q. Were there any other tests

5 that suggest to you the possibility of

6 recurrence?

7 A. Not that I recall.

8 Q. From a clinical standpoint,

9 what suggested to you the possibility of a

10 recurrence?

11 A. Her worsening symptoms,

12 abdominal pain in the setting of no

13 explainable cause.

14 Q. Have there been cases where

15 you have been called in to a general

16 surgery case where you were on standby as a

17 GYN oncology consult?

18 A. Occasionally.

19 Q. In other words, a patient is

20 having general surgery and there is a

21 finding during surgery and suspicions for

22 cancer and you are now called down to

23 evaluate or address it?

24 A. Not specifically at .

25 Q. In your training have you had

1
2 occasion to be called in as a consult from
3 a GYN oncology standpoint?

4 A. To another service?

5 Q. Yes.

6 A. Once I recall some other
7 surgeons wanted an opinion about a cyst of
8 the ovary.

9 MR. : Let me get a menu
10 to order lunch.

11 MR. OGINSKI: Sure.

12 (A short recess was taken.)

13 (Plaintiff's Exhibit 3 marked for
14 identification.)

15 Q. Am I correct that the patient
16 had no internal female organs that could
17 account for any possible GYN pathology that
18 would account for worsening abdominal pain?

19 A. Yes.

20 Q. During preop consultation with
21 the patient and her husband, did she
22 specifically ask any questions?

23 A. She was concerned if this
24 could be cancer.

25 Q. Other than that concern, do

1
2 you recall any other specific questions
3 that she raised?

4 A. Not specifically.

5 Q. Did Mr. ask you any
6 questions specifically?

7 A. I don't recall the specific
8 questions, but once again, there was
9 concern about cancer.

10 Q. Did you ever recommend that
11 they should go to a general surgeon to have
12 the hernia repaired?

13 MR. : I thought that
14 was asked and answered.

15 MR. OGINSKI: It's a little
16 bit different.

17 A. I don't recall specifically
18 saying that to them.

19 Q. Are there general surgeons
20 within
21 that you have on occasion referred patients
22 to?

23 A. Yes.

24 Q. Did you at any time refer this
25 patient to a general surgeon for

1

2 evaluation?

3 A. I don't recall doing so.

4 Q. Was there any evidence in any

5 of your notes to suggest that you had

6 referred the patient to a general surgeon?

7 A. No.

8 Q. Did you practice in the same

9 group -- withdrawn.

10 A. Can I --

11 Q. Go ahead.

12 A. I did offer that she can go

13 get another opinion.

14 Q. What was the response?

15 A. No. They specifically wanted

16 me to do it.

17 Q. Were you aware as to why

18 Dr. was no longer practicing or

19 being able to see and treat Mrs. ?

20 A. She focused her practice on

21 breast cancer surgery.

22 Q. Did you practice with her when

23 she was practicing GYN oncology?

24 MR. : Again, objection

25 to the form.

1

2 MR. OGINSKI: I will rephrase

3 it.

4 Q. Did you participate in any

5 type of faculty practice?

6 A. Yes.

7 Q. What was the name of that

8 faculty practice?

9 A. It's the Department of

10 Surgery, GYN Service Practice.

11 Q. Was Dr. part of that

12 service?

13 A. Yes.

14 Q. How many other physicians in

15 2007 were part of that service? I don't

16 need the exact number, Doctor.

17 A. Six or seven.

18 MR. : Is that an

19 estimate?

20 THE WITNESS: Yes.

21 Q. How was it that you came to

22 see Mrs. as opposed to any of one

23 of those other physicians?

24 A. When Dr. decided to

25 stop practicing GYN oncology, her patients

1
2 were assigned to new doctors.

3 Q. This was one of the new
4 patients you were assigned to?

5 A. Yes.

6 Q. Now, once the patient was
7 reassigned, did you request the patient
8 come in for follow-up or did she come back
9 on her own for routine follow-up care?

10 A. Yes. I did not request that
11 they come in. I don't know the mechanism
12 of how her appointment with me was
13 scheduled.

14 Q. In your preop consultation
15 note, did you indicate the possibility that
16 this patient might have a recurrence of
17 cancer?

18 A. Yes.

19 Q. Did you indicate that one of
20 the reasons you were going to be performing
21 an exploratory laparotomy was because of
22 the unclear etiology of her worsening
23 abdominal pain?

24 A. Yes.

25 Q. Doctor, let me show you a

1
2 photograph that's been marked as
3 Plaintiff's 3 for identification and ask
4 you if that photograph refreshes your
5 memory about what the patient looked like.

6 MR. : Do I have this?
7 Mr. Oginski, do I have copies of all
8 of these?

9 MR. OGINSKI: I believe you
10 do.

11 MR. : Do we know the
12 date this was taken?

13 MR. OGINSKI: No, at least not
14 off the top of my head.

15 I know the patient's husband
16 talked about it.

17 MS. : At his deposition?
18 I don't recall him testifying as to
19 that picture. I don't recall ever
20 seeing that picture.

21 MR. OGINSKI: I just marked it
22 now. Regardless, you can have a copy
23 of it.

24 MR. : We are going to
25 need copies of it if you are asking

1

2 about anything that is marked today.

3 MR. OGINSKI: Yes.

4 MR. : By looking at the

5 appearance, do you remember her or

6 not?

7 THE WITNESS: I think her hair

8 was different. I don't know if this

9 is before her chemotherapy or not.

10 Q. Let's talk about the surgery

11 you performed on .

12 A. Yes.

13 Q. Am I correct that you

14 performed an anastomosis during that

15 surgery?

16 A. Yes.

17 Q. An enterostomy was made during

18 the surgery, correct?

19 A. Was noted, yes.

20 Q. What is an enterostomy?

21 A. It's a hole in the intestine.

22 Q. This particular enterostomy,

23 was it intended to be made?

24 A. No.

25 Q. Do you have an opinion,

1

2 Doctor, with a reasonable degree of medical
3 probability as to whether making an
4 unintentional enterostomy during an
5 exploratory laparotomy is departing from
6 good and accepted medical care?

7 A. It is an occurrence that
8 happens.

9 Q. In other words, it's a risk
10 that you are aware that can occur, correct?

11 A. Yes.

12 Q. Am I also correct that during
13 the course of your preop consultation this
14 is one of the things that you will tell
15 patients can occur during this type of
16 surgery?

17 A. Yes.

18 Q. Just to be clear, the fact
19 that an enterostomy occurs, in your
20 opinion, is not a departure from good and
21 accepted care, correct?

22 A. Yes.

23 MR. : I think he
24 answered that.

25 Q. Would you agree, Doctor, that

1
2 if an unintended enterostomy is made and
3 not recognized during the time of surgery
4 that that would be a departure from good
5 and accepted care?

6 MR. : Objection.

7 MR. OGINSKI: What is the
8 objection?

9 MR. : Number one, that
10 is not the facts in issue in the case.

11 MR. OGINSKI: I am just
12 establishing --

13 MR. : Number two, there
14 are enterostomies that can occur that
15 are not observed until later.

16 MR. OGINSKI: That is why I am
17 asking the question.

18 MR. : Those are not the
19 facts in the case. I would like to
20 keep it to the case.

21 MR. OGINSKI: There is no
22 issue there. I will rephrase it,
23 Doctor.

24 Q. Would it be correct to say
25 that if an unintended enterostomy occurred

1
2 and you did not recognize it at the time it
3 occurred, that that would be considered a
4 departure from good and accepted medical
5 care?

6 A. No.

7 Q. Tell me why.

8 A. It can happen. Some things
9 are not clinically recognized.

10 Q. Now, in this patient's case
11 you observed adhesions, correct?

12 A. Yes.

13 Q. Can you characterize the
14 amount or type of adhesions that you
15 encountered?

16 A. There were many adhesions. I
17 can't specifically say all the details
18 about it.

19 Q. Can you characterize the
20 difficulty in taking down the adhesions
21 during the surgery?

22 MR. : What do you mean
23 characterize the difficulty?

24 Q. You performed a lysis of
25 adhesions, correct?

1

2 A. Yes.

3 Q. Did you need to spend a lot of

4 time taking down the adhesions, a short

5 period of time or something else?

6 A. I don't recall the exact

7 length of the lysis of adhesions part or

8 segment of the operation.

9 Q. Was this, in your opinion, a

10 difficult procedure?

11 MR. : Objection to

12 form. What aspect of the procedure?

13 The whole thing?

14 Q. The exploratory laparotomy.

15 MR. : When you say

16 difficult, what does that mean?

17 Difficult because you need a surgeon's

18 skill to do it?

19 MR. OGINSKI: No.

20 Q. Did you find it, Doctor, to be

21 a difficult procedure on this particular

22 patient?

23 A. Not particularly difficult.

24 Q. Who performed the lysis of

25 adhesions?

1

2 A. It was myself and Dr. .

3 Q. What was Dr. doing as far
4 as lysing the adhesions?

5 A. He was either cutting some of
6 the adhesions or I was cutting some of the
7 adhesions. We work in tandem.

8 Q. What was Dr. doing?

9 A. Observing.

10 Q. Was she assisting in any
11 regard?

12 A. Potentially with a retractor.

13 Q. The area where the enterostomy
14 was made -- withdrawn.

15 Am I correct that the
16 enterostomy was made in the bowel?

17 A. Yes.

18 Q. Is it your opinion that the
19 enterostomy occurred during the course of
20 taking down the adhesions?

21 A. Yes.

22 Q. Is that in an area where the
23 bowel was adherent to the abdominal wall?

24 A. Yes.

25 Q. How did you recognize the

1

2 enterostomy?

3 A. After lysing adhesions you
4 usually check the bowel to see if there has
5 been any enterostomies.

6 Q. Do you surgeons use a term
7 known as running the bowel?

8 A. Yes.

9 Q. What does that mean?

10 A. It means basically looking at
11 the length of the bowel to inspect it.

12 Q. You mentioned a few moments
13 ago that there are instances where you do
14 not clinically recognize that there is an
15 enterostomy, correct?

16 A. Possibly.

17 Q. In other words, the hole might
18 be too small or it may --

19 MR. : Can we --

20 MR. OGINSKI: I will rephrase
21 it.

22 MR. : I don't think it
23 is an issue of fact. I don't think it
24 is a claim in the case.

25 He is not going to give a

1

2 theoretical deposition.

3 Q. The area where the enterostomy
4 occurred, what part of the small bowel was
5 that?

6 A. I believe that was the ileum.

7 Q. What area is that?

8 A. That's the second part of the
9 small bowel.

10 Q. If you could, Doctor, if you
11 could turn to your operative note for a
12 moment, please.

13 MR. : This would be in
14 Exhibit 1.

15 Q. Again, the record that your
16 attorney has provided has been marked as
17 Plaintiff's 1 for identification.

18 If you can just look at that
19 note, please.

20 A. The operative note?

21 Q. Yes, please.

22 MR. : That's it.

23 A. Okay.

24 Q. Now, did you dictate that
25 operative note?

1

2 A. Yes.

3 Q. By the way, was it the custom

4 and practice back at that you

5 dictate your notes or did you type it into

6 the computer or something else?

7 MR. : All of the notes?

8 MR. OGINSKI: The operative

9 note.

10 A. Dictate.

11 Q. After you have dictated it, do

12 you get a typed copy back for you to

13 review?

14 A. Yeah.

15 Q. Do you then sign off on it?

16 A. Yeah.

17 Q. The copy that you are looking

18 at now, is that a copy that you had signed?

19 A. Yes.

20 Q. You are talking about an

21 electronic signature, correct?

22 A. Yes.

23 Q. Is there anything in your

24 dictated operative note to indicate where

25 the enterostomy was made?

1

2 MR. : Where it

3 occurred?

4 MR. OGINSKI: Yes. Thank you.

5 A. Small bowel.

6 Q. Can you be anymore specific

7 where within the small bowel the

8 enterostomy occurred?

9 A. No.

10 Q. Now, in that area where the

11 enterostomy occurred, was that area

12 friable?

13 A. I seem to recall. I can't

14 specifically say.

15 Q. Looking at your note, your

16 operative note, Doctor, is there anything

17 in your typed operative note to suggest

18 that the area where the enterostomy

19 occurred was friable?

20 A. I don't know if it was

21 friable, but I was not happy with the

22 appearance.

23 Q. I understand. I am going to

24 get to that. I am just asking about the

25 friability of the tissue.

1

2 A. No.

3 Q. When I use the term friable,
4 what does that mean to you?

5 A. The tissue does not appear
6 healthy, easily bleeds.

7 Q. Did you observe any evidence
8 of a recurrence of cancer in the area where
9 the enterostomy was found?

10 A. At the time of surgery?

11 Q. Correct. I'm talking about
12 gross evidence of recurrence.

13 A. Gross evidence, no.

14 Q. On pathology, when you
15 received the specimen back from pathology,
16 was there any microscopic evidence of
17 recurrence of cancer?

18 A. No.

19 Q. Did you observe any evidence
20 of radiation-related bowel damage in the
21 area of the enterostomy?

22 A. Radiation?

23 Q. Yes. Radiation-related bowel
24 damage.

25 A. I did not.

1

2 Q. Are there instances, Doctor,
3 where a patient will undergo a course of
4 radiation and later on when you perform a
5 laparotomy you will find evidence of bowel
6 that has been damaged from radiation?

7 A. Yes.

8 Q. Am I correct that you did not
9 see any type of evidence in this patient
10 during --

11 MR. : He just said
12 that.

13 Q. You are shaking your head yes?

14 A. Yes.

15 Q. The area where the enterostomy
16 occurred, was that near the original bowel
17 resection and anastomosis that was done by
18 Dr. in 2005?

19 MR. : Just repeat that.

20 (Record read back.)

21 MR. : Characterize the
22 word near.

23 Q. Was it in the vicinity? I
24 will rephrase it.

25 Based on your review of

1
2 Dr. 's operative report and based
3 upon your examination of the patient during
4 surgery on , 2007, are you able
5 to tell me whether the location where this
6 enterostomy was found was near where
7 Dr. had performed her anastomosis?

8 A. I can't recall.

9 Q. Is there anything in your
10 operative note to indicate whether the
11 enterostomy -- withdrawn.

12 Is there anything in your
13 operative note to indicate where the
14 patient's original anastomosis was and your
15 observation of it?

16 A. No, I don't see anything.

17 Q. At any time during the course
18 of your , 2007 operation, did
19 you observe any evidence of gross
20 recurrence of cancer anywhere within this
21 patient's abdomen?

22 MR. : I think you asked
23 and answered that.

24 MR. OGINSKI: I asked
25 specifically in the area of where the

1

2 enterostomy was made.

3 MR. : That's fine.

4 A. Obvious?

5 MR. : Gross, obvious.

6 Right.

7 A. Not that I physically saw with
8 my eyes.9 Q. In the pathology reports, the
10 tissue samples you submitted to pathology,
11 was there any indication of recurrence of
12 cancer in those notes?13 A. Not in the samples I
14 submitted.15 Q. During the course of your
16 surgery on , 2007, did you form
17 an opinion with a reasonable degree of
18 medical probability as to why this patient
19 continued to have worsening abdominal
20 symptoms?21 MR. : Did you hear the
22 question?23 THE WITNESS: Can you repeat
24 that?

25 (Record read back.)

1

2 A. No.

3 Q. Postoperatively, before the
4 patient was transferred across the street
5 to , did you form an opinion with a
6 reasonable degree of medical probability as
7 to why the patient had continued worsening
8 of abdominal symptoms?

9 A. No.

10 Q. Now, tell me why you performed
11 a bowel resection during this ,
12 2007 surgery. I'm sorry. Let me stop you
13 for a second.

14 I should have asked this: Can
15 you describe the size of the enterostomy?

16 A. I can't specifically recall
17 the actual size of it.

18 Q. Is there anything in your
19 operative note that would tell you or
20 refresh your memory about the size of the
21 enterostomy?

22 A. It was not -- looking at my
23 note, it was not a very, very small
24 enterostomy.

25 Q. Which sentence or sentences

1
2 are you referring to that would give you
3 that suggestion?

4 A. Given the size of the defect.

5 Q. Were you able to oversee that
6 defect?

7 A. Given the size, I don't think
8 I was.

9 Q. Was that one of the
10 alternatives -- withdrawn.

11 When the enterostomy is
12 recognized, am I correct that you have two
13 options at that point; one is either
14 overseeing the defect, correct?

15 A. Yes.

16 Q. And the other is performing a
17 bowel resection?

18 A. Yes.

19 Q. Am I correct that the patient
20 was bowel prepped prior to surgery?

21 A. I don't recall.

22 Q. At the time that you observed
23 the enterostomy, was there a spillage or
24 leakage of fecal contents?

25 A. There was. I don't think it

1
2 was -- I don't recall how much.

3 Q. But you noted in your
4 operative note that there was, correct?

5 MR. : It says here.

6 A. Right, but I don't know how
7 much was profuse. I don't recall it being
8 profuse spillage.

9 Q. You also note in your
10 operative report, We decided to resect a
11 small portion of bowel.

12 Can you give me dimensions, if
13 you know, as to what you refer to when you
14 say small portion?

15 A. It was about 4, 5 centimeters.

16 Q. Who performed the bowel
17 resection?

18 A. Myself and Dr. .

19 Q. Did you encounter any
20 complications in performing the actual
21 bowel resection?

22 A. No.

23 Q. Tell me what your thought
24 process was as to why you chose to perform
25 a bowel resection as opposed to oversewing

1
2 the defect?

3 MR. : To the extent he
4 can recall what he was thinking.

5 MR. OGINSKI: Of course.

6 A. Just looking at my note, I
7 believe that the area around where the
8 enterostomy had occurred was not the
9 healthiest appearing tissue.

10 Q. Tell me what you mean by that.

11 A. Many times if you have
12 adhesions or a loop of bowel is adherent
13 to, say, the abdominal wall, the whole
14 surface of the bowel can look scarred or
15 not clean.

16 Q. Did the tissue have a dusky
17 appearance?

18 A. I don't recall.

19 Q. Was the tissue necrotic?

20 A. The tissue at the enterostomy
21 site, I don't recall.

22 Q. Is there anything in your note
23 to suggest that the tissue at the
24 enterostomy site was dusky in appearance?

25 A. No.

1

2 Q. Or that it was necrotic?

3 A. No.

4 Q. Now, when you perform an
5 anastomosis, Doctor, you are cutting out a
6 section of the bowel, now you have to
7 connect the two open pieces together,
8 correct, in a lay term?

9 A. Yes.

10 Q. The two areas that you were
11 connecting together, is that the ileum,
12 that particular loop?

13 A. I believe it was, yes.

14 Q. I just want to be clear. Does
15 your note indicate it was the ileum?

16 A. No, it does not.

17 Q. Was there anything suspicious
18 to you about the two ends of the
19 anastomosis that you were now joining
20 together?

21 A. Not that I recall.

22 Q. Is there anything in your note
23 to suggest that there was any problem or
24 concern you had with that part of the
25 anastomosis?

1

2 A. I didn't have any concern. I
3 inspected that area.

4 Q. Did you find any evidence of
5 friable tissue at the anastomosis ends, the
6 two ends that you were joining together?

7 A. Not that I recall.

8 Q. Am I correct that there was --
9 you found no evidence of any
10 radiation-related bowel damage to those two
11 ends that you were joining together?

12 A. No.

13 Q. Did you have any difficulty
14 performing the anastomosis?

15 A. No.

16 Q. Did the resident participate
17 in the anastomosis?

18 A. No.

19 Q. Did Dr. participate in
20 the anastomosis?

21 A. We both did it together, yes.

22 Q. How do you connect the two
23 open pieces together to form the closed
24 anastomosis?

25 MR. : In a generalized

1

2 way?

3 MR. OGINSKI: Yes.

4 A. We use staplers, surgical

5 staplers.

6 Q. How does that accomplish what

7 you need to do to close off the two open

8 ends?

9 A. Could you --

10 MR. : I'm not sure what

11 you mean by that.

12 Q. Tell me what a surgical

13 stapler is.

14 A. Surgical stapler is a devise

15 which staples similar to a paper stapler.

16 It staples rows of -- it inserts rows of

17 staples into the bowel wall.

18 Q. The length of the staple

19 itself, how long is that?

20 MR. : An individual

21 staple?

22 MR. OGINSKI: Yes.

23 MR. : Do you know the

24 length of an individual staple?

25 THE WITNESS: The actual

1

2 length, no.

3 Q. Do you know the part that goes

4 into the tissue to hold it together, not

5 the width, but the actual length, the

6 points that go in?

7 MR. : Are you talking

8 about the stapler gun or the actual

9 staple?

10 MR. OGINSKI: The actual

11 staple.

12 MR. : Do you know the

13 size of an actual staple?

14 A. There are different types of

15 staples. Some have thicker staples than

16 others.

17 Q. The ones used in this

18 anastomosis, what was used?

19 A. I would have to -- I mean -- I

20 don't know what exactly was used.

21 Typically I will use one that puts 3.5

22 millimeter and a 4.8 millimeter stapler.

23 Q. That measurement is what? Is

24 that the width or the depth of the staple?

25 A. It is the depth of the staple.

1

2 Q. Now, on a paper stapler you
3 have a bottom piece that folds over the
4 staple to hold whatever the paper it's
5 holding. Is that similar in a surgical
6 stapler?

7 A. Yes.

8 Q. Who applied the staples in
9 this anastomosis?

10 A. Who fired the stapler?

11 Q. Yes.

12 A. I believe it was Dr. .

13 Q. Were you directing Dr. as
14 to where those staples should be placed?

15 A. Yes.

16 Q. Are you able to tell how many
17 staples were placed?

18 A. How many actual little
19 staples?

20 Q. Correct.

21 A. No.

22 Q. Do you have a memory as you
23 sit here now as to how many were placed
24 during this anastomosis?

25 MR. : How many staples?

1

2 A. How many individual?

3 Q. Yes.

4 MR. : Don't guess.

5 A. I can't count the little --

6 they are very small each one.

7 Q. In order to achieve closure

8 for each side, can you approximate how many

9 staples are necessary?

10 A. Well, can you clarify that? I

11 mean, how many applications of the stapler

12 or how many individual little staples?

13 Because each application of the stapler

14 puts several rows of staples.

15 Q. Thank you.

16 MR. : I think that's

17 what you were trying --

18 MR. OGINSKI: Correct.

19 Q. When you fired the staple gun,

20 how many staples come out at one time?

21 A. Multiple.

22 Q. Can you give me an estimate?

23 A. It depends what staple gun you

24 use. Usually anywhere from two to four

25 rows of staples.

1

2 There are multiple staples in
3 each row.

4 Q. Did you use any type of suture
5 material to close either side of the
6 anastomosis in this case?

7 A. To close?

8 Q. I am specifically talking
9 about the bowel anastomosis.

10 MR. : Just explain what
11 sutures you used.

12 A. I used some silk as part of
13 the anastomosis to reinforce it.

14 Q. Why is that done?

15 A. One of the areas where there
16 might be tension is what we call the
17 crotch, or the area where the two loops
18 of -- two limbs of intestine might have
19 some tension, to potentially decrease that
20 on the staple line, I personally like to
21 put a stitch there.

22 Q. Who put the sutures or the
23 stitches that were used here?

24 A. I don't recall.

25 Q. You mentioned in your note

1
2 that 4-0 silk sutures were used for the
3 crotch sutures.

4 A. Right.

5 Q. Do you have a memory as to
6 whether you performed that or Dr.
7 performed it?

8 A. I don't recall who actually
9 placed that suture.

10 Q. Was there sufficient skin to
11 hold those sutures?

12 A. Yes.

13 MR. : Skin? You mean
14 tissue?

15 MR. OGINSKI: Thank you.
16 Bowel tissue.

17 Q. How long did the bowel
18 resection and anastomosis take?

19 A. I don't know the exact time.

20 Q. Can you approximate for me?

21 MR. : There is an
22 operative note.

23 MR. OGINSKI: I understand. I
24 am asking this particular part of the
25 surgery.

1

2 MR. : Does it give

3 you --

4 A. It doesn't give you an exact

5 time.

6 MR. : There is a start

7 and end there.

8 Q. I am asking specifically

9 within the operation how long it took to

10 perform the actual bowel resection and the

11 anastomosis.

12 MR. : That part of it?

13 MR. OGINSKI: Yes.

14 MR. : Do you know

15 without guessing?

16 A. I can't specifically say in

17 this case how long it actually took.

18 Q. Are you able to tell me if it

19 took longer than an hour?

20 A. I don't think so.

21 Q. Can you give me a range; half

22 hour to an hour? I don't want you to

23 guess.

24 A. I would say somewhere between

25 10 minutes and 30 minutes.

1

2 Q. Now, after you completed the
3 anastomosis and you placed the staples,
4 what do you do to check the integrity of
5 that anastomosis?

6 A. You look at the edges of the
7 bowel to make sure that it looks pink, you
8 look at the staple lines to make sure that
9 they are -- the rows of staples are there,
10 and you also -- I typically like to check
11 the lumen or palpate it to see if there's
12 adequate lumen.

13 Q. Did you do that?

14 A. Yes.

15 Q. What did you find when you did
16 that?

17 A. Everything seemed fine.

18 Q. As far as you were concerned,
19 the staples had been placed properly?

20 A. Yes.

21 Q. And the sutures that were
22 placed, the 4-0 silk sutures were also
23 placed properly?

24 A. Yes.

25 Q. They had been tied off

1

2 correctly?

3 A. Yes.

4 Q. The silk sutures used, in your

5 opinion, was appropriate for this

6 particular area of bowel?

7 A. Yes.

8 Q. Were these absorbable or

9 nonabsorbable sutures?

10 A. Nonabsorbable sutures.

11 Q. Other than touching and

12 looking to see the integrity of the bowel,

13 is there anything else that you did to

14 evaluate the integrity of the anastomosis?

15 MR. : He told you what

16 he did.

17 MR. OGINSKI: Other than that.

18 MR. : More than just

19 what you said?

20 THE WITNESS: No.

21 Q. Are there ever instances where

22 you will instill liquid into the bowel

23 after performing an anastomosis to evaluate

24 the integrity of the anastomosis?

25 A. Not the small bowel.

1

2 Q. Did you encounter any
3 complications during this anastomosis?

4 A. No.

5 Q. Did you observe any breakdown
6 of skin at the anastomotic site on either
7 side of where the resection occurred?

8 A. No.

9 Q. How did you clean out whatever
10 contents came from that enterostomy?

11 MR. : Any spillage, you
12 mean?

13 MR. OGINSKI: Yes.

14 A. We irrigate.

15 Q. Why do you do that?

16 A. To potentially decrease any
17 bacteria or contents that might be left
18 behind.

19 Q. Was it your policy
20 preoperatively that the patient receive
21 antibiotics?

22 A. Yes.

23 Q. Did you administer or order
24 antibiotics intraoperatively?

25 A. They usually give the

1
2 antibiotics just before surgery and it
3 lasts several hours.

4 Q. Once you observed the
5 enterostomy and spillage of the fecal
6 contents, did you then request or order
7 additional antibiotics at that point?

8 A. Not specifically.

9 Q. Did you have any suspicion at
10 the time that you completed this patient's
11 surgery on th that there was any
12 leakage of this anastomosis?

13 A. No.

14 Q. As far as you were concerned
15 the anastomosis was closed and secure,
16 correct?

17 A. Yes.

18 Q. Am I correct that after
19 completing the anastomosis you again
20 checked to make sure everything was okay?

21 A. Yes.

22 Q. Now, if I can, Doctor, point
23 you to your operative note. About six
24 lines from the bottom of the first page you
25 wrote -- actually seven:

1

2 The remaining intestines were
3 also inspected and there were two areas that
4 were de-serosalized which were oversewn with
5 4-0 silk.

6 Tell me what you meant by that.

7 A. The serosa is the top, outer
8 layer of the intestine. Sometimes, whether
9 that be from taking down adhesions or just
10 separating bowel from each other, that top
11 layer can get peeled off and you can
12 basically re-approximate the two edges of
13 that top layer in the suture.

14 Q. What is the purpose of doing
15 that?

16 A. Just to potentially reinforce
17 that area.

18 Q. What happens if you observe
19 the de-serosalized area and you leave it
20 alone, nothing happens?

21 A. Potentially nothing.

22 Q. Where was this area that you
23 observed the de-serosalized area?

24 MR. : You mean can he
25 be more specific than what's on the op

1

2 note?

3 MR. OGINSKI: Yes.

4 A. I don't specifically recall

5 the exact spot.

6 Q. Now, on rd, 2007 --

7 MR. : .

8 MR. OGINSKI: I said that,

9 rd.

10 Q. On rd, 2007, the

11 patient was reoperated on at ,

12 correct?

13 A. Yes.

14 Q. That was done by Dr. ?

15 A. Yes.

16 Q. Were you present in the

17 operating room at the time that he took the

18 patient back to the operating room?

19 A. Yes.

20 Q. And during the course of

21 Dr. 's -- withdrawn.

22 Did you participate, actively

23 participate in this patient's surgery?

24 A. No.

25 Q. Am I correct that the surgery

1
2 was done at across the street from
3 ?

4 A. Yes.

5 Q. Did you have privileges to see
6 and treat patients at ?

7 A. No.

8 Q. Did you have courtesy
9 privileges to be in the operating room
10 specially for a patient of yours now being
11 reoperated on?

12 MR. : What is courtesy
13 privileges?

14 MR. OGINSKI: I will rephrase
15 it.

16 Q. Tell me how it was that you
17 came to be in the operating room at
18 .

19 Did you have privileges to
20 perform surgery at in 2007?

21 A. No.

22 Q. How was it that you came to be
23 present in the operating room when
24 Dr. took this patient to the
25 operating room on rd?

1

2 A. I asked him if -- I don't
3 recall if he asked me if I would want to go
4 or if I asked him if I could watch.

5 Q. During the course of surgery,
6 did you observe leakage of fecal contents
7 into the patient's belly?

8 A. Yes.

9 Q. Am I correct that you also saw
10 the anastomotic perforation?

11 A. I saw the specimen, yes.

12 Q. Tell me what you mean by the
13 specimen.

14 A. He removed a segment. He
15 removed the anastomosis and there was -- it
16 had opened.

17 Q. Which loop of bowel was this?

18 A. It was the segment of small
19 bowel that had been anastomosed.

20 Q. Are you able to identify or
21 tell me from your memory what it was that
22 you observed on rd? In other,
23 words the location where the breakdown
24 occurred.

25 MR. : The location?

1

2 MR. OGINSKI: Yes.

3 A. The location of the breakdown?

4 Q. Let me rephrase it.

5 Which loop of bowel was this
6 where you observed the leakage of the fecal
7 contents?

8 MR. : You mean like the
9 ileum or --

10 MR. OGINSKI: Correct.

11 MR. : What portion of
12 the small intestine was it?

13 A. Looking at my note, I did not
14 specifically say which portion of small
15 bowel it was, but that was the area that
16 had opened.

17 Q. Doctor, what are the different
18 ways an anastomosis can perforate or break
19 down?

20 A. Well, you can have leakage
21 from a segment of the staple line or the
22 entire staple line.

23 Q. How does that occur?

24 MR. : Wait a second.

25 Are you asking now all the mechanical

1
2 ways that patients can end up with
3 leakage?

4 MR. OGINSKI: Yes.

5 MR. : That's a
6 different question.

7 THE WITNESS: I don't
8 understand.

9 MR. OGINSKI: I will rephrase
10 it.

11 Q. An anastomosis can break down
12 when the skin is friable at the area where
13 the anastomosis occurred, right?

14 A. The intestine, right.

15 Q. I'm sorry. In other words,
16 where the skin can no longer hold the
17 staples or sutures?

18 A. It is a possibility.

19 Q. But that didn't occur in this
20 case, correct?

21 A. I was not that close to the
22 specimen to tell you.

23 Q. Based upon your performing the
24 original surgery and performing the
25 original anastomosis, you told me a few

1
2 moment ago that the tissue at the
3 anastomosis site was not friable, correct?

4 A. At the time of my surgery,
5 yes.

6 Q. Did you review Dr. 's
7 operative report?

8 A. No.

9 Q. In the course of discussing
10 the patient with Dr. during the
11 course of surgery, did you have any
12 discussion with him or were you present to
13 overhear a discussion about whether the
14 anastomotic site, whether the tissue was
15 friable?

16 A. I don't specifically recall.

17 Q. Another way that the
18 anastomosis could break down or fail would
19 be if the staples were not placed
20 correctly, correct?

21 A. That is a possibility.

22 Q. Is it fair to say that based
23 upon what you told me earlier that is not
24 the case here?

25 A. That's correct.

1

2 Q. Another reason anastomosis
3 could break down is if the sutures used are
4 not placed properly?

5 MR. : What sutures?

6 The crotch suture?

7 MR. OGINSKI: Any sutures.

8 A. This was a stapled
9 anastomosis, so the sutures wouldn't have
10 been an issue.

11 Q. Are there any other ways that
12 an anastomosis can break down?

13 MR. : Now you are
14 talking about a different series of
15 issues.

16 Now you are talking about
17 patient-dependent issues, not the
18 surgery-dependent issues, right?

19 MR. OGINSKI: I just want to
20 know generally what ways an
21 anastomosis can break down.

22 MR. : What can lead to
23 the failure anatomically or in a given
24 patient other than these?

25 MR. OGINSKI: Correct.

1

2 MR. : Do you understand
3 what he's saying?

4 THE WITNESS: What can lead to
5 it breaking down, sure.

6 MR. : I am not the
7 questioner, but I think there are two
8 issues here; one is what happens when
9 you do the surgery itself, right?

10 MR. OGINSKI: Yes.

11 MR. : And the other is
12 the patient itself, what can go wrong
13 with the patient that can lead to
14 failure.

15 MR. OGINSKI: Correct. I will
16 rephrase it.

17 Q. From a technical standpoint,
18 what can cause anastomotic breakdown?

19 MR. : That's what he
20 was telling you before.

21 MR. OGINSKI: Yes.

22 A. Either there is a staple
23 failure or the staples don't actually hold
24 the tissue together.

25 It's possible that the area

1
2 where the staples were fails or is weakened
3 and it leaks. It's possible that -- is this
4 what you're asking?

5 Q. Yes.

6 A. It's possible that it's a
7 combination of both.

8 Q. When you say a combination of
9 both, what are you referring to?

10 A. The tissue or the actual
11 staples. So I think you can either have a
12 failure of the actual staple, you can have
13 a failure of the tissue around where the
14 staples are placed, potentially a
15 combination of both.

16 Q. Anything else?

17 MR. : This is from the
18 technical point?

19 MR. OGINSKI: Yes.

20 A. If there's too much pressure
21 behind -- on the staple line, it could
22 disrupt too.

23 Q. How would you know if there
24 was too much pressure on the staple line?

25 A. You might not.

1

2 Q. At the time that you performed
3 the anastomosis and you placed the staples,
4 if there is pressure there? Do you see
5 some type of tension?

6 A. I am actually not talking
7 about that type of pressure. I was meaning
8 if there was back-pressure pushing on your
9 anastomosis, it could blow it open.

10 Q. What would cause that
11 back-pressure?

12 A. Potentially distal
13 obstruction.

14 Q. Are you talking about --

15 A. Bowel obstruction.

16 Q. Thank you. This patient had
17 no evidence of any distal bowel
18 obstruction, correct?

19 MR. : When?

20 Q. From the time when you closed
21 her up.

22 A. No.

23 Q. And during the time you were
24 treating her before she was transferred to
25 , you did not believe she had any

1
2 type of evidence of bowel obstruction,
3 correct?

4 A. That's correct.

5 Q. Now, we know from
6 Dr. 's operative report that there
7 was a perforation along the staple line,
8 along the anastomotic staple line.

9 Do you have an explanation as
10 to how that occurred?

11 MR. : Now -- okay. So
12 now this is more than just technical
13 issues, it's whatever his thinking was
14 with this patient?

15 MR. OGINSKI: Correct.

16 A. I don't have a specific answer
17 as to why it occurred.

18 Q. Did Dr. give you an
19 opinion as to why this occurred?

20 MS. : Objection.

21 Q. You can answer, Doctor.

22 A. I don't recall.

23 Q. Is there anything in any note
24 that you have written for this patient that
25 would suggest what Dr. thought at

1
2 the time that he may have discussed with
3 you about the reason why this anastomosis
4 broke down?

5 MS. : Objection.

6 MR. : I'm losing myself
7 here. Are we now asking about
8 theoretical issues and
9 possibilities --

10 MR. OGINSKI: No.

11 MR. : -- as opposed to
12 specific, this is what it is?

13 MR. OGINSKI: I will go back.
14 I will rephrase.

15 Q. Did Dr. voice an
16 opinion during the course of this patient's
17 surgery as to why this anastomosis failed?

18 A. I don't recall.

19 Q. Is there anything in any note
20 that you wrote to suggest that he voiced
21 such an opinion about why this anastomosis
22 failed?

23 A. No, I don't recall.

24 Q. Was Dr. with you at the
25 time that this patient was operated on at

1

2 on rd?

3 A. No.

4 Q. Was Dr. present?

5 A. No.

6 Q. Did you have a conversation
7 with Dr. during this patient's
8 surgery about what you had done a few days
9 earlier as far as the anastomosis and the
10 resection?

11 A. I told him what happened in my
12 surgery.

13 Q. What, if anything, did he say?

14 A. During the operation?

15 Q. During the surgery.

16 A. I just know that he said that
17 the area where the leakage was from, the
18 anastomosis, the staple line.

19 Q. Did you form an opinion at
20 that time as to why this anastomosis
21 failed?

22 A. No.

23 MR. : Like, this could
24 be?

25 MR. OGINSKI: For any reason.

1

2 MR. : Now he is asking
3 you what possibilities there were.

4 MR. OGINSKI: Yes.

5 MR. : What were the
6 potential possibilities that you
7 considered? Is that what is going on
8 here?

9 MR. OGINSKI: No. I will
10 rephrase it.

11 Q. When you observed that there
12 was a leakage of bowel contents into the
13 patient's abdomen and that there was a
14 perforation along the anastomotic staple
15 line, did you form an opinion at that time
16 as to what caused this or how this could
17 have occurred?

18 A. No.

19 Q. Since that time up until
20 today, have you formed an opinion as to how
21 this occurred?

22 A. Now?

23 Q. From rd, 2007 up
24 until today, did you form any opinion as to
25 why this patient's anastomosis broke down?

1

2 A. I think I've mentioned the
3 reasons why it potentially could have.

4 Q. I am just asking specifically
5 in this patient's case, after the surgery
6 of rd, did you ever form an
7 opinion as to why this particular
8 anastomosis broke down?

9 A. No.

10 MR. OGINSKI: Let's take a
11 break.

12 (A lunch recess was taken.)

13 CONTINUED EXAMINATION BY

14 MR. OGINSKI:

15 Q. Doctor, you told me earlier
16 you had performed bowel resection and
17 anastomosis throughout your career.

18 Can you estimate for me how
19 many times you performed bowel resection
20 with anastomosis?

21 A. I had a question about your
22 last question that you asked me. I don't
23 think I specifically got the full gist of
24 what you were trying to ask me.

25 Q. If you hang on I will go back

1
2 to that, but if you can tell me the number
3 of bowel resections with anastomosis you
4 have done.

5 A. Hundreds. I can't give you an
6 exact number.

7 Q. What was it that you wanted to
8 add to the last question, Doctor?

9 A. I wasn't too clear on --
10 you're asking me exactly what I think
11 happened or what actually happened?

12 Q. I want to know why this bowel
13 anastomosis failed. Why was there a
14 perforation?

15 A. I gave you some reasons why it
16 potentially could.

17 Do I have an absolute answer?
18 I suspect one of the things that happened is
19 that she built up some pressure in her
20 intestine, possibly from a postop
21 obstruction, and it blew out the anastomotic
22 line, the staple line.

23 Q. And did you form that
24 suspicion at the time of the surgery?

25 A. At time of Dr. 's

1

2 surgery?

3 Q. Yes.

4 A. I don't know if it was right
5 at that time. It was something that I
6 might have thought about that day, thinking
7 about what could have happened, but it was
8 when looking at it, I took a look at the
9 specimen and it was a big opening, so I
10 suspected that there was a big blowout,
11 which for me, thought that there was some
12 back-pressure, like an explosion of a can
13 top.

14 Q. Did you record that suspicion
15 or make a note of that anywhere?

16 A. No. I mean, I can't be
17 absolutely sure.

18 Q. I am just asking if you did.
19 The possible bowel obstruction that you
20 mentioned that may have caused the built up
21 pressure in the intestine -- withdrawn.

22 If a patient has bowel
23 obstruction, they typically experience some
24 type of clinical symptoms, correct?

25 A. You can, yes.

1

2 Q. Some of the symptoms include
3 nausea, correct?

4 A. Yes.

5 Q. Other symptoms include
6 vomiting?

7 A. Yes.

8 Q. Symptoms include abdominal
9 pain?

10 A. It can, yes.

11 Q. And if there was a suspicion
12 of a bowel obstruction, there are various
13 tests you can perform to rule in or rule
14 out bowel obstruction, correct?

15 A. Yes.

16 Q. One of those tests is a CT
17 scan?

18 A. Yes.

19 Q. Another test is a GI series?

20 A. Yes.

21 Q. I mean, you also have the
22 ability, although I don't know you would
23 want to, to perform a colonoscopy?

24 A. Not for this type of
25 obstruction, no.

1

2 Q. At any time from ,
3 2007 up until the time that this patient
4 was reoperated on on rd, 2007,
5 did you form any suspicion at all that this
6 patient had any type of bowel obstruction?

7 A. Well, there was a question
8 when I -- I think either right after
9 surgery or going into surgery, apparently
10 she had had some emesis during the day
11 before surgery.

12 Q. She had one episode of
13 vomiting, correct?

14 A. I don't recall how many
15 because I wasn't at the bedside, but she
16 had vomited during that day.

17 Q. The emesis means vomiting,
18 correct?

19 A. Yes.

20 MR. : What are we
21 talking about? We are in New York
22 ?

23 MR. OGINSKI: He just
24 mentioned preop before th.

25 MR. : Preoperative?

1

2 MR. OGINSKI: Yes.

3 MR. : Are we talking
4 about preoperative before ?

5 THE WITNESS: I'm sorry.

6 Before the second surgery,

7 Dr. 's surgery.

8 Q. From the time that you
9 performed surgery on th up until
10 the time that she went back for the second
11 surgery, was there any evidence that this
12 patient had a bowel obstruction?

13 A. She had some vomiting.

14 Q. Vomiting can be for many
15 different reasons, correct?

16 A. That's true.

17 Q. Did you make any notation in
18 any note to suggest that the vomiting that
19 the patient experienced on the day that she
20 was going to have -- that she ultimately
21 had the second surgery was in any way
22 related to a possible bowel obstruction?

23 A. I don't recall I did.

24 Q. While the patient was at
25 , did she exhibit

1
2 any clinical signs or symptoms to suggest
3 to you that she had evidence of a bowel
4 obstruction?

5 A. No.

6 Q. When she was transferred to
7 , did you see her on a daily basis?

8 A. I had stopped in on that
9 Sunday right before -- the day she was
10 there.

11 Q. That's ?

12 A. Yes.

13 Q. Did you stop in as a social
14 visit or as her physician who examined her
15 and then talked to her?

16 A. I went as a social visit to
17 see how she was doing.

18 Q. During that visit, did you
19 physically examine her?

20 A. I recall that I did examine
21 her that evening when I went back to see
22 her. I don't recall --

23 Q. We will get to that. When you
24 went to visit her, you told me as a social
25 visit to see how she was doing, did you

1

2 examine her at that time?

3 A. I don't recall.

4 Q. If you had examined her at

5 , would it be customary for you to

6 make a note in the patient's chart to

7 reflect the fact that you were present and

8 did an examination?

9 MR. : In 's

10 chart?

11 MR. OGINSKI: Correct.

12 A. Not necessarily.

13 Q. If you had examined the

14 patient while she was at , would you

15 have expected to make a note in the

16 patient's chart from ?

17 A. It's unclear if that's the

18 custom.

19 Q. You tell me, Doctor, because

20 there are some notes that you have written

21 that appear in the patient's

22 chart that involve

23 conversations with physicians who are at

24 as well as your being present at

25 .

1

2 A. Yes.

3 Q. In your review of this
4 patient's chart and your office notes, is
5 there any notation to suggest that you
6 examined this patient on rd?

7 A. rd?

8 Q. I apologize. On .

9 A. Yes.

10 Q. And that examination was done
11 at ?

12 A. Yes.

13 Q. And that was more than just a
14 social visit, correct?

15 A. Yes. I did examine her.

16 Q. And you then dictated a note
17 or you put in the computer a note?

18 A. I wrote a note.

19 Q. And that note appears where in
20 your office chart; in the
21 chart or in the chart?

22 A. I wrote one earlier in the day
23 and the chart and then that
24 evening I actually wrote one in the
25 chart.

1

2 Q. In any of those notes do you
3 indicate the possibility that this patient
4 had a bowel obstruction?

5 A. There were some findings that
6 could suggest it.

7 MR. : I think he is
8 asking -- are you asking something
9 different?

10 Q. Other than observing the fact
11 that she had vomited on the day prior to
12 surgery, the day of surgery, what other
13 findings did you observe that might suggest
14 a bowel obstruction?

15 A. Her abdomen was a bit more
16 distended.

17 Q. Was or was not?

18 A. It was.

19 Q. Anything else?

20 A. No.

21 Q. What are the possible reasons
22 to account for the patient's distended
23 abdomen?

24 MR. : What are we
25 talking about now, that he considered

1

2 at the time?

3 MR. OGINSKI: Yes. At the
4 time.5 MR. : This is at what
6 point in time? When he examined her,
7 right?

8 MR. OGINSKI: Yes.

9 THE WITNESS: That was in the
10 evening.11 MR. : Okay. So now we
12 are in a place. Now let's do a
13 question.

14 THE WITNESS: In the evening.

15 MR. : What is the
16 question? What are the considerations
17 of what the distension could be?

18 MR. OGINSKI: Yes.

19 A. It could be from obstruction,
20 it could be from ileus.

21 Q. Anything else?

22 A. Could be from a potential
23 leak.

24 Q. What is an ileus?

25 A. Ileus is where the bowel

1
2 doesn't contract and move gas and contents
3 and is basically paralyzed.

4 Q. Are there instances where
5 postoperative patients will be allowed food
6 solids and maybe it be too early for them
7 and they will throw up some of that?

8 A. That's possible.

9 Q. That doesn't necessarily mean
10 they had a bowel obstruction, correct?

11 A. Correct.

12 Q. Did you have a discussion with
13 Dr. about the possibility that
14 this patient might have any type of bowel
15 obstruction prior to the rd
16 surgery?

17 A. I don't recall.

18 Q. Is there anything in your
19 note, regardless of wherever it's
20 contained, to suggest that the bowel
21 obstruction was something that you were
22 considering as a possibility to explain
23 this patient's condition?

24 A. I don't recall specifically
25 writing down that I thought that she had a

1
2 bowel obstruction.

3 Q. Separate from your memory, is
4 there any note that you have reviewed that
5 reflects that? Withdrawn.

6 Is there any note you have
7 authored to suggest that they were thinking
8 along the lines that there was a bowel
9 obstruction that would explain the following
10 symptoms or conditions?

11 MR. : I have to object
12 to the form.

13 Now you are asking him not
14 necessarily what the words say but the
15 words may signify?

16 MR. OGINSKI: Yes.

17 MR. : I thought he
18 answered that before when he said the
19 possible causes of distension.

20 MR. OGINSKI: I will go back.

21 Q. You mentioned to me that one
22 of the possibilities for the breakdown of
23 the anastomosis was the build-up of
24 pressure in the intestine from a bowel
25 obstruction.

1

2 A. That's one cause.

3 Q. At the time that

4 Dr. 's surgery was being performed,

5 did you have that opinion?

6 A. I thought that could be a

7 possibility.

8 Q. Did you tell Dr. that

9 you thought that might be a reason?

10 A. I don't recall saying that.

11 Q. Tell me about the conversation

12 you had with Dr. during surgery.

13 MR. : That you

14 remember.

15 A. That I remember?

16 Q. Of course.

17 A. Just really what he found.

18 Q. Other than telling you what he

19 found, did he say anything else about his

20 findings?

21 MR. : Not sure what

22 that means.

23 Q. Tell me what he said to you as

24 best you can remember.

25 A. The whole staple line was

1

2 open.

3 Q. Did you offer any suggestions
4 or recommendations as to how to repair this
5 condition?

6 A. No.

7 Q. Did Dr. tell you how
8 he was going to repair this problem?

9 A. No, he didn't discuss it
10 specifically.

11 Q. Did you observe what he was
12 doing?

13 A. I observed that he resected
14 the portion of the bowel where my
15 anastomosis was.

16 Q. Did he perform an anastomosis?

17 A. No.

18 Q. Did he tell you why he was not
19 performing an anastomosis?

20 A. I seem to recall the
21 anesthesiologist said there was some issues
22 with the patient's stability and asked him
23 to finish the surgery.

24 Q. Meaning her blood pressure was
25 dropping?

1

2 A. I don't specifically know what
3 they were having. I just recall him having
4 a conversation with Dr. saying to
5 expedite the surgery.

6 Q. Am I correct that if there is
7 no anastomosis done after a bowel resection
8 that there is no continuity of the bowel?

9 A. Right.

10 Q. What happens to the fecal
11 contents if there is no continuity?

12 MR. : You mean if he
13 just let two ends alone in the middle
14 of the bowel?

15 MR. OGINSKI: Yes.

16 A. You can either put a tube down
17 to try and minimize that, and I believe his
18 plan was to come take bring her back to the
19 OR at a later date.

20 Q. You mentioned to me -- going
21 back for a moment -- that the patient had
22 vomited the day before she ultimately had
23 her second surgery.

24 A. Yes.

25 Q. Had you cleared her for solid

1

2 foods?

3 MR. : What do you mean?

4 The day before?

5 MR. OGINSKI: Dr. told

6 me that there was an episode of

7 vomiting the day before she had gone

8 back to the OR.

9 MR. : She went to
10 surgery like midnight, right?11 THE WITNESS: The change from
12 at midnight on Sunday morning -- I'm
13 sorry -- so Monday morning, I guess,
14 whatever time the surgery was. I
15 think it was actually just past
16 midnight, so technically on the 3rd.17 Q. Had she been cleared to eat
18 solids at the time that she was still at
19 ?20 A. I don't think so. I don't
21 recall.22 Q. When Dr. showed you
23 and told you that there was a perforation
24 along the anastomotic staple line, what was
25 going through your mind at that time?

1

2 MR. : I object to the
3 form.

4 Do you remember specifically
5 other than what he just said?

6 MR. OGINSKI: Yes. Correct.

7 MR. : Is there anything
8 else you can recall thinking when he
9 said that?

10 THE WITNESS: No.

11 Q. When you performed the surgery
12 on this patient on th, was the
13 stapler that was being used to perform the
14 anastomosis, was it working properly?

15 A. Yes.

16 Q. Did you have any problem using
17 the stapler?

18 A. Not that I recall.

19 Q. Your operative note indicates
20 there were two staplers used.

21 A. Yes.

22 Q. Did both of them work
23 correctly?

24 A. Yes.

25 Q. If any one of them did not

1
2 work correctly did you have the ability to
3 then request or get another stapler?

4 A. Sure.

5 Q. Did you have to do that in
6 this patient's case?

7 A. No.

8 Q. Was there any instance where
9 any piece of equipment that you were using
10 during this patient's surgery on
11 th did not work as it was
12 supposed to?

13 A. Not that I recall.

14 Q. Do you have an opinion as to
15 whether any piece of equipment failed you
16 during the course of your surgery on
17 th?

18 MR. : You mean at the
19 time he did it?

20 MR. OGINSKI: Correct.

21 A. At the time I did it, not that
22 I -- everything seemed to work fine.

23 Q. On rd, during
24 Dr. 's surgery, did you form an
25 opinion as to whether there was any defect

1
2 in the staples that were used to hold the
3 anastomosis together?

4 A. That was one of the
5 possibilities that might have occurred.

6 Q. In your viewing what was
7 there, the perforation, did you observe
8 anything to suggest that the staples had
9 failed, that there was some defect in the
10 staples?

11 MR. : He might not have
12 had the ability to do that. Did you?

13 A. I didn't pick up the specimen
14 or touch it. I just looked.

15 Q. Did Dr. or anybody
16 assisting him comment on the staples?

17 MR. : That you
18 overheard.

19 A. Not that I overheard. All I
20 recall is he said the whole staple line was
21 wide open.

22 Q. Did you ever review the
23 pathology report of the specimen submitted
24 as a result of Dr. 's surgery?

25 A. No.

1

2 Q. What is peritonitis?

3 A. Peritonitis is an inflammation
4 in the peritoneal cavity.

5 Q. From your view in the
6 operating room at on rd,
7 were you able -- did this patient have
8 peritonitis?

9 A. I can't say from my view. I
10 was actually standing in the corner of the
11 OR.

12 Q. Before the patient was taken
13 to the operating room at , did you
14 have an opinion as to whether she had
15 peritonitis?

16 A. I don't specifically recall if
17 she had.

18 Q. During your examination of the
19 patient on , did you form an
20 opinion as to whether the patient had
21 peritonitis?

22 A. ?

23 MR. : In the evening.

24 A. No. I don't specifically
25 recall saying she definitely has

1

2 peritonitis.

3 Q. What are the symptoms that a
4 patient would experience if they have
5 peritonitis?

6 A. Usually intense abdominal
7 pain, you can have fever.

8 Q. Can you have hypotension?

9 A. It's possible.

10 Q. Can you have decreased renal
11 function?

12 A. These are all possibilities --

13 Q. Can you have --

14 A. -- if it's infectious.

15 Q. Isn't peritonitis by its very
16 nature infectious?

17 A. No. You can have a reactive
18 peritonitis.

19 Q. If there is bile in the
20 abdominal cavity, will that cause
21 peritonitis?

22 A. If it's -- it can.

23 Q. If there are fecal contents
24 within the abdominal cavity, will it cause
25 peritonitis?

1

2 MR. : Will it or can

3 it?

4 A. It can. It doesn't

5 necessarily have to.

6 Q. Other than clinical

7 examination, how do you diagnose

8 peritonitis?

9 A. You base a lot on clinical.

10 It's a clinical condition.

11 Q. Are there any tests that you

12 use to help you determine definitively

13 whether a patient has peritonitis?

14 A. No. Peritonitis is a clinical

15 finding.

16 Q. Postoperatively after your

17 surgery on th, did you have a

18 discussion with the patient's husband?

19 A. About --

20 Q. The original surgery?

21 A. Just a discussion?

22 Q. Yes. Did you come out after

23 and told him how the surgery went?

24 A. Yes, I spoke to the patient's

25 husband after my surgery.

1

2 Q. Tell me what you told

3 Mr. .

4 A. I told him that we had
5 encountered an incidental enterostomy and I
6 resected a portion of the bowel, I did not
7 see any obvious cancer but I did do
8 biopsies and we repaired the hernia.

9 Q. You specifically told him that
10 a hole was made in the bowel?

11 A. Yes.

12 Q. What, if anything, did he say
13 or question about that?

14 A. He wasn't -- he didn't have
15 much to say.

16 Q. Was anyone present with him at
17 the time you spoke to him after the
18 surgery?

19 A. I believe there was another
20 gentleman who was there. I can't -- I
21 specifically can't give you his name.

22 Q. Did that other gentleman ask
23 you any questions?

24 A. I don't recall.

25 Q. Did Mr. ask you any

1

2 questions?

3 A. Not -- I can't recall what
4 questions he asked me.

5 Q. Did --

6 MR. : If any.

7 A. If any.

8 Q. Did you tell Mr. what
9 an intentional enterostomy was?

10 A. Yes.

11 Q. What did you tell him?

12 A. I told him that there was a --
13 we had encountered a hole in the intestine.

14 Q. Did you explain to him how
15 that occurred, either how you recognized it
16 or why it occurred?

17 A. I don't know if I went into
18 the specific details of when or how it
19 occurred, but I did mention that we had
20 found it.

21 I do specifically go into these
22 things preop.

23 Q. I understand. I am not asking
24 generally. I am asking specifically what
25 you told him on this occasion.

1

2 Was the anastomotic perforation

3 diagnosed while the patient was still at

4 ?

5 A. No. She had no signs of it.

6 Q. The patient developed cardiac

7 symptoms postop, one day postoperatively,

8 correct?

9 A. Yes.

10 Q. To what, if anything, did you

11 attribute those cardiac conditions?

12 A. The patient had a history of

13 this in the past. I suspected it was

14 another instance of this.

15 Q. You are aware that her

16 palpitations were pretty much controlled by

17 the medication that she was taking,

18 correct?

19 MR. : I object to the

20 form of that.

21 Q. Did you learn from the patient

22 that the palpitations for which she was

23 taking the cardiac medication was pretty

24 much under control?

25 MR. : You're saying

1

2 what the patient told Dr. ?

3 MR. OGINSKI: Yes.

4 MR. : Did she say that

5 to you in sum and substance at any

6 time?

7 THE WITNESS: I don't recall

8 specifically.

9 Q. Was it your understanding that

10 the patient's palpitations were under

11 control with the use of her cardiac

12 medication?

13 A. Yes.

14 Q. What specifically was it that

15 might have aggravated or precipitated a

16 further episode of her palpitations if her

17 medications were controlling?

18 A. The stress of surgery, stress

19 of anesthesia.

20 Q. We know, Doctor, that this

21 patient's anastomotic perforation was

22 diagnosed during surgery on rd.

23 Do you have an opinion with a

24 reasonable degree of medical probability

25 whether this condition had been diagnosed 24

1
2 hours earlier whether this patient's outcome
3 would be any different?

4 MR. : I have to object
5 to the form.

6 That makes an assumption that
7 it was present 24 hours earlier.

8 MR. OGINSKI: Correct.

9 MR. : Do you know if
10 the outcome would have been different
11 assuming it was existent 24 hours
12 earlier?

13 MR. OGINSKI: Correct.

14 MR. : With all of those
15 provisos.

16 A. If it existed 24 hours
17 earlier, which I don't think it did,
18 obviously knowing about it earlier would
19 potentially change the prognosis.

20 Q. Why?

21 A. If she needed any intervention
22 to handle it, it potentially could have
23 been earlier.

24 Q. What makes you believe that
25 this anastomotic perforation was not

1

2 present more than 24 hours earlier?

3 A. She had no signs of it; no
4 abdominal distension, she did not have any
5 fever, she did not have a white count.

6 I physically examined her
7 myself. She was awake, alert, she was
8 not -- there was no mental status changes,
9 she was not complaining of abdominal pain.

10 She did not -- clinically, in
11 my judgment, she did not have any signs.
12 Her bowels were functioning.

13 Q. You knew that because of what?
14 How do you know that?

15 A. My exam.

16 Q. This is the exam on which day
17 or dates?

18 A. When I came in that evening.

19 Q. If you can be specific,
20 Doctor.

21 MR. : Postop day one?

22 A. Postop day one.

23 Q. You are talking about the
24 patient had bowel sounds?

25 A. 1st.

1

2 Q. What was it during your exam
3 that told you that the patient's bowels
4 were functioning?

5 A. She wasn't vomiting, her
6 abdomen was soft.

7 Q. Did you listen to her bowels?

8 A. I don't specifically recall
9 listening to her bowels.

10 Q. When there is no vomiting and
11 the abdomen is soft, that suggests to you
12 that there is good bowel function?

13 A. Yes.

14 Q. Did this patient have sepsis?

15 MR. : When?

16 MR. OGINSKI: At any time.

17 A. I think after the surgery she
18 was either -- septic.

19 I think that's what
20 ultimately -- I can't say for sure because I
21 wasn't specifically taking care of her, but
22 I think that's what ultimately took her
23 life.

24 MR. : What surgery?

25 MR. OGINSKI: I will rephrase

1

2 it.

3 Q. On , 2007, was the
4 patient septic?

5 A. There was a concern that there
6 may be a concern of sepsis.

7 Q. What was the concern?

8 In other words, what problems
9 did the patient have or exhibit to suggest
10 that she was septic?

11 A. I believe she needed to be
12 intubated, her blood pressure had dropped.

13 Q. She looked septic?

14 A. Clinical picture, yes.

15 Q. On 1st, did she have
16 that same type of clinical picture?

17 A. No.

18 Q. Do you have an opinion as to
19 whether this patient's sepsis was timely
20 and properly diagnosed?

21 MR. : Objection.

22 MS. : Objection.

23 MR. : I object to the
24 form.

25 I think what you are asking is

1
2 related to the co-defendant. It is
3 not appropriate.

4 MR. OGINSKI: They are working
5 in tandem as a team to treat the
6 patient, so there is some
7 communication between the two and I'm
8 asking whether he has an opinion.

9 MR. : I don't know that
10 he has the ability and the capacity
11 having not reviewed all the charts and
12 being aware of everything to know
13 those things.

14 MR. OGINSKI: I will rephrase
15 it.

16 Q. Doctor, during the course of
17 caring for this patient, at any time up
18 until rd did you form an opinion
19 in your own mind that there was a delay in
20 diagnosing this patient's sepsis?

21 A. A delay? Diagnosis?

22 Q. Yes.

23 A. No.

24 Q. Did you have an opinion in
25 or 2007 that this

1

2 patient's condition --

3 A. '07?

4 Q. Let me rephrase it.

5 The sepsis that you told me

6 about that was observable in different

7 fashions on -- withdrawn.

8 Would you agree that a

9 breakdown of the anastomotic site, that it's

10 unusual to have such a breakdown within 24

11 to 48 hours following surgery?

12 A. Yes.

13 Q. Are you aware of any medical

14 literature that discusses the timing of

15 anastomotic breakdown or the mechanism of

16 an anastomotic breakdown?

17 MR. : That is an

18 improper question. He doesn't have to

19 answer that. That's fishing.

20 He is not here to espouse on

21 any medical literature. You know

22 that.

23 MR. OGINSKI: I am asking if

24 he is aware of any.

25 MR. : It doesn't

1

2 matter. That is inappropriate. I
3 have been around the block a few times
4 on that question.

5 MR. OGINSKI: Mark it for a
6 ruling.

7 (Marked for a ruling.)

8 Q. At any time while this patient
9 was at did you suspect that
10 she had any type of infectious process?

11 A. She did not have any clinical
12 signs of infection.

13 Q. Did she have any laboratory
14 signs to suggest that she had an infection?

15 A. No.

16 Q. You are aware that
17 preoperatively she had -- withdrawn.

18 In a patient who has normal
19 preoperative white blood count and now
20 postoperatively their white blood count
21 drops significantly and their hemoglobin
22 increases what, if anything, does that
23 suggest to you in and of itself?

24 MR. : In this patient?

25 MR. OGINSKI: Yes.

1

2 A. It is a common finding after
3 someone has had chemotherapy, after they
4 undergo surgery for them to have a drop in
5 their white blood cell count.

6 Q. You are aware that this
7 patient's chemotherapy occurred many years
8 prior to the , 2007 surgery?

9 A. Yes.

10 Q. And that her prior lab work
11 was otherwise normal in terms of white
12 blood count and hemoglobin?

13 A. Yes.

14 Q. You are saying following
15 surgery you would expect to see a drop in
16 white blood count?

17 A. Chemotherapy affects bone
18 marrow. If they can't produce white blood
19 cells at a -- what happens after surgery is
20 your white blood cell count goes up from
21 the stress of surgery.

22 If you don't have good reserve
23 in your bone marrow, probably because of all
24 the chemotherapy she received, it can't
25 replace it, so you will drop below it.

1

2 Your white blood cell count
3 will be low, and it will take longer than
4 someone who hasn't had chemotherapy to
5 rebound.

6 Q. Did she have this type of
7 problem in her second surgery in 2005 with
8 Dr. ?

9 A. I believe so.

10 Q. Where her white blood cell
11 count dropped and her hemoglobin increased?

12 A. I would have to look through
13 the charts.

14 Q. Is there anything you recall
15 seeing in her prior surgical history as to
16 whether that condition occurred; in other
17 words, following her 2005 surgery her white
18 blood cell count was preoperatively normal
19 then dropped significantly together with a
20 rise in hemoglobin?

21 A. I can't recall specifically, I
22 would have to go through the chart, but I
23 do operate on patients who have had
24 multiple agents of chemotherapy.

25 Q. I am asking specifically, not

1

2 generally.

3 Is there any other reason that
4 would account for a drop in a patient's
5 white blood count postoperatively and a
6 similar rise in hemoglobin other than the
7 past chemotherapy?

8 A. That's probably the most
9 common thing.

10 Q. Would infection cause a
11 precipitous drop in white blood count?

12 A. It is a possibility.

13 Q. Now, do you recall seeing this
14 patient's preoperative white blood count to
15 be in the vicinity of about 8,000?

16 MR. : Do you want him
17 to look it up or do you want to know
18 if he recalls that?

19 Q. If you recall.

20 A. I don't recall specifically.
21 I can certainly look.

22 Q. Do you remember whether the
23 patient's blood work or white blood count
24 postoperatively was in the range of 2,000?

25 A. I don't know the specific

1

2 numbers. I would have to --

3 Q. If you can, let's take a look
4 at those post and preop labs. It's 6.8?

5 A. Yes.

6 Q. That's within normal limits,
7 right?

8 A. Yes.

9 Q. Just for the record, that's
10 dated 27, 2007, correct?

11 A. Yes.

12 MR. : Now let's find
13 the other one.

14 A. This is , then .

15 Q. What is the value of the white
16 blood count on ? This is preop, I
17 assume, correct?

18 A. is the day of surgery,
19 so immediately postop.

20 Q. That shows 11.3?

21 A. Right.

22 Q. And is that within normal
23 limits?

24 A. It's just above the normal
25 values.

1

2 Q. What does that signify to you,
3 if anything?

4 MR. : Is it
5 significant?

6 THE WITNESS: After surgery
7 it's not significant.

8 Q. The day after there are two
9 white blood cell counts, the first one
10 timed at 11:48?

11 A. Right.

12 Q. And that's reported as 2.6?

13 A. Yes.

14 Q. What does that mean to you, if
15 anything?

16 A. As I mentioned, it's common to
17 see this after surgery.

18 It dropped below normal because
19 she used all her white blood cell counts
20 immediately after surgery, then afterwards
21 her bone marrow just can't produce it as
22 fast as someone who hadn't had chemotherapy.

23 Q. Knowing this beforehand, is
24 there anything that you can do to help a
25 patient protect themselves against

1
2 infection knowing that this could possibly
3 occur?

4 A. You typically don't do
5 anything because it does rebound as it was
6 here, just a little bit slower.

7 Q. And the white blood count
8 later in the day timed at 20:09 is reported
9 at 3.6, correct?

10 A. Right.

11 Q. That's still abnormal?

12 A. It's starting to come back up.

13 Q. Did you suspect this patient
14 had any infection on this date on
15 1st?

16 A. No.

17 Q. Now, if you look at the
18 patient's hemoglobin from the preop.

19 MR. : Preop is 12.6 on
20 ?

21 THE WITNESS: It's 12.6, 12.9.

22 Q. Does that have any
23 significance in relation to the white blood
24 count?

25 A. No. As I mentioned, the white

1
2 blood cell count production will increase
3 slower.

4 Q. Can you have a raging
5 infection without signs of infection?

6 MR. : I object.

7 It's very hypothetical, don't
8 you think?

9 Q. What is a subacute infection?

10 A. Subacute?

11 Q. Yes.

12 MR. : Is that defined?

13 Is that an actual defined term?

14 Q. Are you familiar --

15 MR. : Is that a term

16 you use?

17 THE WITNESS: I typically

18 don't use that term.

19 Q. Or subclinical infection?

20 A. Subclinical is something where
21 you don't show signs, as like fever.

22 Q. Can a patient have a
23 subclinical infection yet their labs show
24 that there is evidence of infection?

25 A. It's possible.

1

2 MR. : Are you talking
3 in a theoretical range?

4 THE WITNESS: Theoretical,
5 anything is possible.

6 Q. I am asking in general,
7 Doctor, can you have a patient that has an
8 infection that doesn't show symptoms of
9 infection but if you look at their labs you
10 can see evidence of it?

11 A. Typically I would say you
12 would see some symptoms, other symptoms.

13 Q. Now, the cardiac issues that
14 this patient was presenting with on postop
15 day number one, was there any impression
16 that this was from a result of any type of
17 peritonitis?

18 A. She did not have any signs of
19 peritonitis.

20 Q. Was there any suggestion or
21 belief that her cardiac problems were
22 aggravated because of some underlying
23 infection?

24 A. She did not have any signs of
25 it.

1

2 Q. The medication that she was
3 given, the Metoprolol that we talked about
4 earlier, did that stop her palpitations?

5 A. On the day of postop --

6 Q. Postop day one.

7 A. I don't know the sequence of
8 medications that were given. I wasn't
9 present for that portion of care.

10 Q. Why was the patient
11 transferred to ?

12 A. It was felt that she needed --
13 potentially needed some services that we
14 don't have at .

15 Q. What specifically?

16 A. Specifically cardiac --
17 intensive cardiac services.

18 Q. Now, at you
19 have an ICU, correct?

20 A. Yes.

21 Q. If a patient needs intensive
22 monitoring, certainly immediately after
23 surgery, they will go into the ICU?

24 A. Yes.

25 Q. Was there any particular

1
2 reason why this patient was not brought to
3 the intensive care unit at as opposed
4 to transferring her to ?

5 MR. : I think he just
6 answered that.

7 A. There are some services that
8 we don't have at our ICU, specifically
9 cardiac services.

10 Q. If you need a cardiac consult
11 are you able to obtain one at
12 ?

13 A. Yes.

14 Q. Whose recommendation was it to
15 transfer the patient?

16 A. The cardiologist had felt she
17 would be better served at .

18 Q. Were there specific tests or
19 equipment that they had at that
20 were not available at ?

21 A. Well, they had the capability
22 of doing cardiac catheterization which we
23 don't have, so they have a higher level of
24 cardiac care at .

25 Q. Was there anything specific

1
2 that the cardiac consultation told you that
3 this patient needed that they can only get
4 across the street at ?

5 A. I don't recall.

6 Q. Is there anything in the notes
7 that you saw to suggest what specific
8 testing equipment this patient needed that
9 was not available at ?

10 A. I would have to look back.

11 Q. In addition to her cardiac
12 issues on postop day number one, did she
13 also have shortness of breath?

14 A. Not when I was -- not that I
15 witnessed.

16 Q. Did you learn from any
17 physician that she had shortness of breath
18 on postop day one from any physician?

19 MR. : At any time
20 during the day?

21 MR. OGINSKI: Yes.

22 A. I would have to look back. I
23 don't specifically recall that.

24 Q. Did you learn from either the
25 patient or the patient's husband that she

1
2 was having difficulty breathing?

3 A. Is it okay if I look at my
4 notes?

5 Q. We are going to go through the
6 notes in a little bit. I am asking from
7 your memory what you reviewed and what you
8 recall.

9 A. I specifically don't recall
10 shortness of breath that she complained to
11 me.

12 I recall when I came in to see
13 her late Saturday night she was feeling
14 well.

15 Q. Now, on postop day number
16 one -- I just want to be clear -- she had
17 some cardiac issues, correct? She had
18 evidence of palpitations?

19 A. That's correct.

20 Q. Did she also have SVTs?

21 A. Looking back at the notes, I
22 believe she did. I would have to look
23 back. Specifically, I did not diagnose.

24 Q. We saw postoperatively she had
25 a drop in her white blood count?

1

2 A. Yes.

3 Q. Do you have a memory as to
4 whether she was lethargic?5 A. No. She was conversing with
6 me as here I am conversing with you.7 Q. Did you examine her abdomen on
8 postop day one?

9 A. Yes.

10 Q. What were your findings on
11 your exam of her belly?12 MR. : I think he
13 answered that before. He did.14 You're starting to go around
15 and back again.16 MR. OGINSKI: I didn't ask
17 specifically what the abdomen findings
18 were.19 MR. : I'm pretty sure
20 you did, but let's roll through it.
21 If you remember. Do you remember?22 A. Her abdomen was soft,
23 non-tender.24 Q. Did the patient have chest
25 pain?

1

2 A. When I saw her she did not.

3 Q. Did you learn from anybody on
4 postop day number one that she had chest
5 pain?

6 A. I would have to specifically
7 look at the note.

8 Q. Did you form an opinion on
9 1st as to why she was --
10 withdrawn.

11 Other than the stress of the
12 surgery, did you form any other opinion or
13 form a differential diagnosis as to why she
14 experienced a recurrence of her cardiac
15 palpitations?

16 A. Well, I think that this is a
17 recurring thing that she had done in the
18 past with her prior surgeries.

19 It was also a question whether
20 she could have had some type of infarct, I
21 believe that was one of the concerns and one
22 of the reasons they wanted to transfer her
23 to the cardiac unit at .

24 Q. On rd when you were
25 in the operating room with Dr. and

1
2 you saw that she had this perforation,
3 looking back at that time, did you form any
4 opinion as to why she developed cardiac
5 problems and whether they were at all
6 related to this perforation of the
7 anastomotic lining?

8 A. I don't think they are
9 related.

10 Q. Tell me why.

11 A. Because she did not have any
12 signs of perforation when I examined her.

13 I specifically came in -- so I
14 wouldn't rely on anyone else's exam -- to
15 examine her the evening on postop day one.

16 Q. You told me earlier that -- we
17 went through the mechanics of how
18 perforation of anastomosis can break down.

19 A. Sure.

20 Q. In a situation where there is
21 not what you describe as a blowout, but
22 rather a leakage that then leads to a full
23 breakdown, when you have a leakage can that
24 account for an exacerbation of a cardiac
25 condition?

1

2 A. I think it would have to be a
3 major, major leakage, which by then you
4 would have some other clinical findings.

5 Q. Is there any way to prevent
6 the type of anastomotic breakdown that you
7 observed on rd?

8 MR. : You mean in the
9 surgery that he did on th?

10 MR. OGINSKI: No. I am going
11 to ask it a different way.

12 Q. We know she had the
13 anastomotic breakdown that you saw on
14 rd during Dr. 's surgery.

15 Is there any way to prevent
16 that from happening?

17 MR. : I object to form.
18 That's speculative.

19 I don't understand what you're
20 saying. He already testified to no
21 complications --

22 MR. OGINSKI: Okay, I will
23 rephrase it.

24 Q. Is there any way to prevent
25 anastomotic breakdown similar to one that

1
2 you observed on rd?
3 MR. : Other than what
4 he did in surgery?
5 MR. OGINSKI: Correct.
6 A. No.
7 Q. Did you ever discuss your
8 suspicions about the built up pressure
9 being a possible cause for this anastomotic
10 breakdown with Dr. ?
11 A. No.
12 Q. Did you ever have any
13 discussions with him after rd,
14 2007 at any time up until today about those
15 suspicions or thoughts as to why this
16 occurred?
17 A. I don't recall speaking to him
18 specifically about that.
19 Q. Did you have any conversations
20 with Dr. following the rd
21 surgery about what you observed?
22 MR. : After the surgery
23 on ?
24 A. That evening?
25 Q. At any time.

1
2 MR. : After the
3 surgery, at any time -- after
4 Dr. 's surgery, at any time
5 did you speak to Dr. about what
6 you observed in the surgery?
7 MR. OGINSKI: Correct.
8 MR. : Do you follow the
9 question?
10 THE WITNESS: Yes.
11 A. I don't recall specifically if
12 we talked about it. I did mention what had
13 happened to Dr. .
14 Q. Did he say anything in
15 response?
16 A. I don't recall.
17 Q. Did you have any conversations
18 with Dr. about what had occurred?
19 A. No.
20 Q. Did you have any conversation
21 with any other physician about what you
22 observed on rd?
23 MR. : At what point in
24 time?
25 MR. OGINSKI: After

1

2 rd.

3 A. After rd, yes.

4 Q. With who?

5 A. I let the surgical QA team
6 know.

7 Q. Why do you do that?

8 A. Because it was an unexpected
9 outcome after surgery. It's part of
10 quality assurance.

11 Q. What do you do in that
12 instance? Do you give a presentation as to
13 what occurred? What happens at that point?

14 A. They do their own internal
15 review.

16 Q. As part of their own review,
17 did they talk to you about what had
18 occurred?

19 A. Yes.

20 Q. Did you prepare any written
21 notes or reports about what had occurred?

22 A. No.

23 Q. Did you prepare any written
24 notes about that conversation with the
25 people on the QA team?

1

2 A. No.

3 Q. Did they provide you, after
4 doing their own internal investigation,
5 with any type of report?

6 A. No.

7 Q. Were you present for any
8 mortality or morbidity conference at which
9 this patient's care was discussed?

10 A. Yes.

11 Q. Tell me about that.

12 A. I went to the
13 conference.

14 Q. Tell me when that was.

15 A. I don't recall the specific
16 date it was.

17 Q. How soon after the patient had
18 died; a week, a month, a year, something
19 else?

20 A. I would say within a few
21 weeks.

22 Q. Who was present during that
23 meeting?

24 A. Dr. was present.

25 Q. Anybody else?

1

2 A. Their whole department of
3 surgery.

4 Q. Who presented the patient's
5 case?

6 A. It was one of the residents.

7 Q. Do you recall who?

8 A. No.

9 Q. Was it a resident or a
10 resident?

11 A. .

12 Q. Had that particular resident
13 participated in this patient's care while
14 she was at ?

15 A. I specifically don't know.

16 Q. What was discussed?

17 MS. : Objection.

18 MR. : That is an
19 objection by counsel for .
20 It's their M and M conference.

21 MR. OGINSKI: Let me explore a
22 little further.

23 Q. This particular conference,
24 were you asked to be present?

25 A. Not specifically.

1

2 Q. Tell me how you happened to be
3 at the conference.

4 A. Dr. said they were
5 going to present the case, and I went.

6 MS. : Objection to this
7 line of questioning.

8 I think the only proper
9 questions would be if he made any
10 statements.

11 MR. OGINSKI: I am still
12 exploring.

13 Q. Were you asked to give any
14 explanations during the course of this
15 conference or describe what occurred and
16 what treatment you rendered?

17 A. I was asked why I selected
18 Aloe Derm.

19 Q. Other than that question, were
20 you asked to talk about anything else from
21 your standpoint?

22 A. No.

23 Q. Did Dr. give any
24 information to the people at this
25 conference?

1

2 MS. : Objection.

3 A. I don't recall.

4 Q. Was there any discussion
5 amongst the people that were present about
6 the treatment rendered and the outcome?7 MS. : Objection. This
8 is privileged.9 MR. OGINSKI: I am not asking
10 yet what was actually said. I am just
11 asking did they talk about something
12 like this.13 MS. : Did they talk
14 about something like what?15 MR. OGINSKI: Did they discuss
16 the patient's care, treatment.17 MS. : This is all Q and
18 A. It's all privileged. You can ask
19 if he made a statement.20 MR. OGINSKI: I don't know
21 that yet.22 MS. : You're not allowed
23 to fish for it though.24 MR. OGINSKI: I am entitled to
25 know what was said.

1

2 MS. : You are only
3 entitled to know what was said by
4 Dr. .

5 MR. OGINSKI: That's not true
6 because he is an outsider who is
7 participating in a conference, so this
8 is a little bit different than if he
9 is in his own at a Q and A
10 conference.

11 We have a difference of
12 opinion.

13 MS. : I object to all of
14 this. If we can mark it for a ruling.

15 (Marked for a ruling.)

16 MS. : You can obviously
17 explore this with Dr. , but I
18 don't think it's appropriate to
19 explore it with Dr. .

20 MR. OGINSKI: I disagree.

21 MR. : The only thing we
22 will permit to be answered at this
23 point is whether or not there were
24 discussions, yes or no, and then
25 conclusions and actual statements.

1

2 He has already told you the
3 only thing he was asked about was Aloe
4 Derm, I believe, so that's the
5 parameters we are going to work by
6 hopefully.

7 Q. During this conference was
8 anybody there, like a stenographer, taking
9 down what was said?

10 A. I don't know.

11 Q. Was this done as part of grand
12 rounds or rounds of the residents?

13 A. I specifically don't know. I
14 attended it, they asked me one question, I
15 left.

16 Q. Do you know if this was
17 specifically part of their mortality and
18 morbidity evaluation of patients who have
19 bad outcomes?

20 A. I believe it was their M and M
21 conference.

22 Q. What makes you believe that?

23 A. That's why they presented the
24 case. They presented their cases.

25 Q. Was there any type of similar

1

2 presentation done at ?

3 A. We reviewed our case, yes.

4 Q. Tell me about that.

5 MR. : What do you mean,

6 Tell me about that?

7 Same objection applies.

8 Did you give a written

9 statement?

10 Q. When you say we reviewed what

11 occurred, can you be more specific?

12 MR. : I don't want him

13 to go into details.

14 MR. OGINSKI: I will rephrase

15 it.

16 Q. When you say "we," who do you

17 mean?

18 A. The GYN service.

19 Q. Can you be anymore specific?

20 MR. : I'm not sure what

21 you are asking. Could you be more

22 specific?

23 Q. The GYN service, what do you

24 mean? The chairman, the associate

25 chairman?

1

2 A. Chairman, all the attendings,
3 fellows, residents, nurses.

4 Q. What was the purpose of
5 reviewing this particular patient's case
6 with the GYN service?

7 A. Part of our M and M structure
8 at .

9 Q. Is that different than giving
10 rounds to the residents?

11 A. Yes.

12 Q. How is it different?

13 A. Giving rounds? I don't
14 understand what you are saying.

15 MR. : You mean like the
16 teaching element?

17 Q. Is it different than teaching
18 rounds?

19 A. Yes.

20 Q. As part of your discussion
21 with the GYN -- by the way, when did that
22 occur?

23 A. I can't put a finger on the
24 exact date.

25 Q. How soon after the patient

1

2 died did this occur?

3 A. It was probably a few months,
4 I would say.

5 Q. Were you asked to provide any
6 written statement to this group of
7 physicians?

8 A. No.

9 Q. Were you asked to present the
10 patient's treatment that you rendered?

11 A. Yes.

12 Q. Can you tell me how many
13 people were present when you presented this
14 information?

15 MR. : How many in
16 number?

17 MR. OGINSKI: Yes.

18 MR. : Don't guess.

19 A. I can't guess.

20 Q. Are residents present or just
21 attendings?

22 A. No. If residents are rotating
23 with us, they attend.

24 Q. Was Dr. present?

25 A. I don't recall him being

1

2 present.

3 Q. Was Dr. present?

4 A. No.

5 Q. Was there any other GYN

6 attending who participated in this

7 patient's care present?

8 A. I don't recall if

9 Dr. was there.

10 Q. As a result of that meeting

11 was any written report generated for which

12 you received a copy?

13 A. No.

14 Q. Did this group of physicians

15 render any opinions to you or to the group

16 of physicians about the course of treatment

17 that was rendered to this patient?

18 MR. : Objection to

19 form.

20 Are you just asking a yes or

21 no?

22 MR. OGINSKI: Yes.

23 MR. : In a verbal form?

24 MR. OGINSKI: Correct.

25 MR. : That is a yes or

1
2 no.
3 A. Opinion?
4 Q. Yes.
5 MR. : On any issue?
6 MR. OGINSKI: Yes.
7 A. No one had an issue.
8 Q. Did anyone criticize or
9 critique the care that was rendered to this
10 patient?
11 MR. : Objection.
12 That's improper.
13 MR. OGINSKI: Off the record.
14 (Discussion held off the record.)
15 (A short recess was taken.)
16 Q. Doctor, you told me on
17 1st you did not feel the patient
18 was septic; is that correct?
19 A. That's correct.
20 Q. On there is a
21 note in the chart that you wrote
22 that said septic picture.
23 A. That's correct.
24 Q. What clinical findings
25 suggested to you that she was septic on

1

2

?

3

MR. : That was the

4

evening when you wrote the note?

5

THE WITNESS: Yes.

6

MR. : Do you remember?

7

A. Can I look at my note?

8

Q. Sure. You are welcome to.

9

MR. : I don't have the

10

chart.

11

MR. OGINSKI: I have it.

12

MR. : Whatever you

13

recall as well.

14

A. Just thinking back, I seem to

15

recall that they had to give her some

16

ventilatory support and they were having

17

issues with her blood pressure being too

18

low.

19

Q. I'm showing you a copy of

20

what's in my chart,

21

record.

22

While we have that out, Doctor,

23

please just tell me the date and time, and

24

if you can read your note in its entirety.

25

If there are abbreviations, you

1

2 don't have to tell me the abbreviations,
3 just what it represents.

4 A. , 8:20 p.m. GYN
5 attending. Patient with decreased blood
6 pressure, septic picture, etiology unclear,
7 temperature today.

8 MR. : Does that say
9 positive?

10 A. Positive temperature today and
11 positive emesis.

12 Physical exam; pulse 80s to
13 90s, abdomen softly distended.

14 Assessment plan; septic
15 picture, will await CT scan. As for general
16 surgical consult in the event she needs
17 exploration.

18 Q. Exploration you said, right?

19 A. Yes.

20 MR. : Exploration.

21 Q. What was your differential
22 diagnosis as to why she was septic?

23 MR. : Did he tell you
24 that earlier?

25 MR. OGINSKI: No.

1

2 MR. : I think he did.

3 I wrote that down.

4 Do you see what's happening?

5 Because we are going back over what we

6 have done before. He already told

7 you.

8 I wrote possibilities,

9 obstructions, ileus leak. I think I

10 wrote that.

11 A. Leak.

12 MR. OGINSKI: That was a

13 general question.

14 MR. : That was before

15 he said I examined her at night, I

16 recall you asked what his differential

17 was at that point, and then you asked

18 him about what all those terms meant.

19 That's what he was saying,

20 within the differential, I believe.

21 Q. Did the patient have evidence

22 of abdominal pain -- withdrawn.

23 Did you perform a physical

24 exam?

25 A. I did press on her abdomen.

1

2 Q. You did or didn't?

3 A. Did.

4 Q. Do you note that in your note?

5 A. Yes.

6 Q. What do you say?

7 A. Abdominal; abdomen soft and
8 distended.

9 Q. Do you typically find that on
10 postop day one or two following this type
11 of surgery?

12 A. You can.

13 Q. That finding in and of itself,
14 was that an abnormal finding to you?

15 A. Not this finding in and of
16 itself.

17 Q. Was she febrile?

18 A. She had a temp that day. I
19 don't recall when.

20 Q. Was she intubated at the time
21 that you saw her?

22 A. I believe -- I can't recall
23 specifically if she was or not.

24 Q. Does your note reflect whether
25 she was intubated at that time?

1

2 A. It doesn't reflect that.

3 Q. You wrote, etiology unclear.

4 Are you referring to the etiology of her

5 septic picture?

6 A. Of this picture, yes.

7 Q. As part of your differential

8 for this picture, did you consider the

9 possibility of a leakage or perforation of

10 the anastomosis?

11 A. That was in the differential.

12 Q. Did you record or write the

13 differential down anywhere?

14 A. No.

15 Q. Did you discuss your thoughts

16 about your differential diagnosis with any

17 physician?

18 A. I think I spoke with the

19 people caring for her and suggested they

20 get a general surgery consult.

21 Q. Specifically did you tell any

22 of the doctors caring for her? And this

23 was in the cardiology department?

24 A. CCU, cardiac care unit.

25 Q. Did you suggest to them your

1
2 thought process about the differential as
3 to why she might be septic?

4 A. I don't recall specifically
5 talking about that.

6 Q. Did you have any discussion
7 with anyone at the CCU about the
8 possibility she might have a leak or
9 perforation?

10 A. Specifically, I don't recall
11 if I mentioned that to -- those actual
12 words.

13 I think I did mention that
14 there could be an intraabdominal process as
15 the etiology.

16 Q. Did you recommend getting a CT
17 or did another physician recommend that?

18 A. I don't recall specifically if
19 they had already ordered it.

20 Q. Other than getting a CT scan
21 was anything else done to address her
22 septic picture?

23 MR. : I don't know what
24 you are asking. By Dr. or by
25 the doctors there?

1

2 MR. OGINSKI: I will rephrase

3 it.

4 Q. Other than the cardiac issues

5 that were being dealt with in the cardiac

6 care unit and now your observations of her

7 septic picture, other than obtaining a CT

8 scan to evaluate further what was

9 happening, was she treated for the septic

10 picture prior to the CT results?

11 A. I can't say all the specific

12 treatments that were going on at in

13 the sense I don't know what was ordered or

14 the specific medications she was on.

15 Q. The note you just read to me

16 on , does that indicate that

17 you had ordered the CT?

18 A. No.

19 Q. Just said will await CT

20 results, correct?

21 A. Correct.

22 Q. Did you ever learn why a CT

23 was ordered?

24 MR. : Why was it

25 ordered? Did you ever learn that?

1

2 A. No.

3 Q. Was the patient hypotensive at
4 the time you examined her on ?

5 A. At this time?

6 Q. Yes.

7 A. I believe she was, because I
8 mentioned she had a decreased blood
9 pressure.

10 Q. Was she tachycardic?

11 A. It doesn't appear that way.

12 Q. I'm sorry. I should have
13 asked it a different way.

14 Does your note reflect that the
15 patient was tachycardic at the time of your
16 exam?

17 A. No.

18 Q. Did she have any symptoms
19 consistent with a pulmonary embolus as of
20 as of the time you examined
21 her?

22 A. She had a decreased blood
23 pressure.

24 Q. Was she receiving oxygen?

25 A. I don't know.

1

2 MR. : He said he didn't
3 recall if she was intubated or not.

4 Q. You told me previously there
5 was a thought that she might also have had
6 an infarct or a myocardial infarction,
7 correct?

8 A. At .

9 Q. Was that ever ruled in or
10 ruled out while she was at ?

11 A. I don't know.

12 Q. Did the patient's condition
13 deteriorate once she arrived at ?

14 A. From the time she arrived?

15 Q. Yes, to the time she underwent
16 surgery.

17 A. Yes.

18 Q. Did you speak to any surgeon
19 who consulted on the patient before she was
20 taken back to the operating room?

21 A. Dr. and the resident
22 who -- the surgical resident.

23 Q. Was that done by telephone or
24 in person?

25 A. In person.

1

2 Q. Was that done at or at

3 ?

4 A. At .

5 Q. Tell me about that

6 conversation or conversations.

7 A. I just told them what I had

8 done at my surgery.

9 Q. Tell me as best you can,

10 Doctor, specifically what you would have

11 told Dr. .

12 MR. : Now you are

13 asking him specifics that he may not

14 recall.

15 Q. Whatever you recall is what

16 I'm asking.

17 A. Specifically that she had a

18 bowel enterostomy with anastomosis --

19 resection and anastomosis and a ventral

20 hernia repair.

21 Q. Did you tell him or suggest to

22 him why you were asking for a consultation?

23 A. I did. I mean, he came and I

24 said I want a surgeon to evaluate her in

25 the event that she needs to go to the

1

2 operating room.

3 Q. Why might she need to go to
4 the operating room?

5 A. If the etiology for her
6 condition was potentially a leak, she might
7 have to go back to the operating room.

8 Q. What, if anything, did
9 Dr. say to you in response?

10 A. I don't recall. He came and
11 he evaluated her.

12 Q. Were you present at the time
13 that he examined the patient?

14 A. I was present with him. I
15 don't recall if I was there with every exam
16 he did.

17 Q. No. At the time that you did
18 speak to him, did he examine the patient in
19 your presence?

20 A. Yes.

21 Q. Tell me what examination he
22 performed.

23 A. I recall he pushed -- examined
24 her abdomen.

25 Q. Anything else?

1

2 A. I believe prior to going back
3 to the -- taking her to the operating room,
4 he took out some of her surgical staples in
5 the skin.

6 Q. You were present for that?

7 A. I don't know if I was actually
8 present or looked afterwards, but I think
9 he had explored her incision.

10 Q. After he had examined her in
11 your presence, did he have another
12 conversation with you about what he
13 intended to do or what he was going to do?

14 A. Prior to going back to the
15 operating room, he said yeah, I think she
16 has to go back to the operating room.

17 His intention was to take her
18 back to the operating room.

19 Q. Did he say why?

20 A. I think that there was
21 suspicion that there was a leak.

22 Q. Did he tell you why he
23 suspected it?

24 A. No.

25 Q. Did he tell you what clinical

1

2 findings he observed to suggest that?

3 A. Before?

4 MR. : Before he goes

5 back to the operating room.

6 A. I think there was some

7 drainage out of the incision that was

8 concerning that there might be a leak.

9 Q. The drainage was discolored,

10 correct?

11 A. I believe so.

12 Q. Yellow-ish or green-ish fluid?

13 A. I don't recall the specific

14 color.

15 Q. What does the drainage

16 suggest?

17 A. That it could be bowel

18 contents.

19 Q. Does that also suggest some

20 type of infectious process?

21 A. Separate from a leak?

22 Q. Yes, or together with a leak.

23 A. Well, it could potentially be

24 an infection. An infection can result from

25 a leak, if that's your question.

1

2 Q. Did the patient ever have the
3 CT scan before going back to the operating
4 room?

5 A. No.

6 Q. Are you able to tell me why
7 she did not have the CT scan before going
8 back to the operating room?

9 A. I don't know the specific
10 reason. I believe that she was intubated.

11 Q. Did you ever review the preop
12 consult by Dr. ?

13 A. No.

14 Q. Did you ever speak to
15 Dr. after the patient died?

16 A. I spoke to him after the
17 death.

18 MR. : The M and M, he
19 said.

20 MR. OGINSKI: Thank you.

21 Q. Separate and apart from any
22 conference you told me about, did you ever
23 have any further conversation with him
24 about this patient after she died?

25 A. Not that I recall.

1

2 Q. Did you have any further
3 discussion with Dr. about this patient
4 other than what you've already told me?

5 A. No.

6 Q. Who is Dr. ?

7 A. is one of the GYN
8 oncology fellows.

9 Q. Did Dr. participate in
10 this patient's care in any regard?

11 A. He was the fellow on call on
12 postop day one.

13 Q. Do you have a memory of
14 talking to Dr. about this patient?

15 A. I recall him calling me the
16 evening on postop day one to let me know
17 the events that had occurred that day,
18 including the issue with the palpitations
19 and the transfer.

20 Q. Did you consult with any
21 general surgeons while the patient was
22 still at on postop day
23 number one?

24 A. No.

25 Q. Do you know Dr.

1

2 ?

3 A. She's one of the
4 cardiologists.

5 Q. At ?

6 A. At .

7 Q. Did you speak to her about
8 this patient?

9 MR. : I think you asked
10 that before. He said he never spoke
11 to her.

12 Q. Did Dr. care for this
13 patient in the CCU at ?

14 A. No.

15 Q. Do you know a Dr. ,
16 ?

17 A. No.

18 Q. Did you speak with any
19 resident or fellow at about
20 Mrs. before her second surgery?

21 MR. : I'm sorry, what
22 did you say?

23 MR. OGINSKI: Did he speak to
24 any resident or fellow at
25 before she had her second surgery.

1

2 MR. : He said he spoke
3 to the surgical resident.

4 Q. Are you aware, Doctor, that
5 this patient died from overwhelming sepsis?

6 MR. : Objection to
7 form.

8 A. Am I aware?

9 Q. Yes.

10 A. I don't know what the final
11 cause of death was declared, but that was
12 something I assumed.

13 Q. Did you ever review the
14 autopsy report?

15 A. No.

16 Q. Did you ever see the death
17 certificate?

18 A. No.

19 Q. Do you have an opinion with a
20 reasonable degree of medical possibility
21 whether this patient had been reexplored on
22 , whether she would be alive
23 today?

24 MR. : Objection to
25 form.

1

2 A. ?

3 MR. : When the patient
4 was at New York ?

5 MR. OGINSKI: Yes.

6 MS. : Objection.

7 MR. : I have to object
8 to that.9 MR. OGINSKI: I am asking if
10 you have an opinion.11 MR. : Do you know with
12 a reasonable medical certainty whether
13 she would be alive today?

14 THE WITNESS: No.

15 MR. OGINSKI: My question is a
16 little bit different, but I will
17 accept that for now.

18 A. I can't say.

19 Q. Why can't you say?

20 A. Because she was explored, I
21 think as the clock changed from
22 to rd.23 I think she was explored at a
24 timely point and the clinical findings
25 suggested that there was something she

1
2 needed to be explored for.

3 Q. My question -- I am going to
4 rephrase it -- was if she had been explored
5 a day earlier, do you have an opinion with
6 a reasonable degree of medical possibility
7 as to whether her outcome -- whether she
8 would still be alive today?

9 MR. : Again, I have to
10 object on multiple grounds.

11 There's care of a whole other
12 provider here. He is not accessing
13 all the treatment related to that
14 point in time. He only has limited
15 knowledge and access to it.

16 I don't think it's a fair
17 question because he only has limited
18 ability to answer that.

19 Q. Based upon what you observed
20 on rd, do you have an opinion as
21 to whether if this patient had been
22 operated on a day earlier whether her
23 outcome would be any different?

24 MR. : I will object as
25 improper cross-examination.

1

2 MR. OGINSKI: Mark it for a
3 ruling. I disagree.

4 (Marked for a ruling.)

5 Q. Did you have any conversation
6 with Dr. about whether or not this
7 patient's outcome would be any different if
8 she was operated on earlier?

9 A. No.

10 Q. Let's talk, Doctor, about
11 your -- going back to your preop
12 consultation with the patient and her
13 husband, talking about the surgery you were
14 proposing to do.

15 A. Sure.

16 Q. You discussed various risks
17 with them, correct?

18 A. Yes.

19 Q. And you document in your note
20 that you discussed certain risks with them,
21 correct?

22 A. Yes.

23 Q. You discussed the possibility
24 of a bowel perforation?

25 MR. : Do you want to

1

2 have the note in front of him?

3

4 MR. OGINSKI: General. Just
5 in general from your memory.

6

7 A. I discussed these things.

8

9 Q. One of the things was possible
10 bowel injury, correct?

11

12 A. Bowel injury.

13

14 Q. You discussed the possibility
15 of infection?

16

17 A. Yes.

18

19 Q. Did you discuss the
20 possibility of death?

21

22 A. Yes.

23

24 Q. Did you discuss the
25 possibility of an enterostomy made during
26 surgery?

27

28 A. Yes.

29

30 Q. Did you discuss the
31 possibility that they might -- the patient
32 might need anastomosis?

33

34 A. Yes.

35

36 Q. Or bowel resection?

37

38 A. Yes.

39

40 Q. Does a patient who has had

1
2 chemotherapy in the past, does that
3 increase their risk for poor outcome for a
4 hernia repair?

5 A. It can.

6 Q. How?

7 A. I think chemotherapy has an
8 effect on dividing cells. That's why we
9 give it. It potentially has an effect on
10 wound healing.

11 Q. How could that affect a
12 patient who undergoes a hernia repair in
13 terms of outcome or expected outcome?

14 MR. : He just answered
15 that.

16 Q. Other than telling me about
17 the outcome it has on healing, how else
18 might it affect them?

19 MR. : If at all.

20 A. If at all, maybe potentially
21 have poor wound healing.

22 Q. Meaning what that there would
23 be delay in wound healing?

24 A. Longer delay.

25 Q. What about the possibility of

1

2 infection?

3 A. It's a possibility.

4 Q. What else would there be, if

5 anything?

6 A. More difficult surgery.

7 Q. How?

8 A. She had intraperitoneal

9 chemotherapy.

10 Q. Why would that affect her

11 surgery?

12 A. In the sense that instilling
13 chemotherapy into the abdomen could lead to
14 more adhesions, sometimes quite extensive.

15 Q. So other than the adhesions
16 and the effect on wound healing, is there
17 any other effect that history of
18 chemotherapy would have?

19 MR. : I think he has
20 been through more than that,
21 potentially increased risk of
22 infection --

23 MR. OGINSKI: Yes.

24 Q. Anything else?

25 A. I think if they have

1
2 toxicities from chemotherapy it could
3 contribute to their postop recovery.

4 Q. There was no evidence of that
5 in this patient's case, correct?

6 A. Not that I can recall.

7 Q. Did the patient's history of
8 chemotherapy affect how her postoperative
9 course presented itself?

10 MR. : Can he know for
11 certain?

12 MR. OGINSKI: Yes.

13 MR. : I have to object.
14 It's a very broad question.

15 MR. OGINSKI: I will rephrase
16 it.

17 Q. Did any of the patients
18 findings -- clinical, diagnostic
19 findings -- have anything to do with her
20 prior -- I'm sorry.

21 We talked about the lab work.

22 A. Yes.

23 Q. And your thoughts about --

24 MR. : You don't have to
25 rephrase it. We know we talked about

1

2 that.

3 MR. OGINSKI: Alright.

4 Q. Is there anything else that
5 this patient experienced as a result of her
6 prior chemotherapy?7 MR. : In terms of how
8 she presented clinically?

9 MR. OGINSKI: Yes.

10 A. Can you rephrase it?

11 Q. Sure. From the time that her
12 surgery -- this patient's surgery was
13 finished on th -- until she's
14 transferred to the next day, did
15 this patient's history of having
16 chemotherapy affect anything regarding her
17 condition?18 MR. : Other than what
19 he talked about earlier?

20 MR. OGINSKI: Correct.

21 A. I don't believe so.

22 Q. From the time she arrived at
23 until the time she passed away did
24 her history of chemotherapy usage have
25 anything to do with her condition at

1

2

?

3

MR. : I have to object

4

to that. How can he know everything?

5

Q. I am asking if you know.

6

A. I can't say with certainty.

7

Q. I am not asking with absolute

8

certainly. I am asking -- I should have

9

said with a reasonable degree of medical

10

possibility, do you have an opinion as to

11

whether the patient's prior chemotherapy

12

usage affected this patient's condition

13

from the time she arrived at until

14

the time that she passed?

15

MR. : If you know.

16

A. With certainty or --

17

MR. : Don't speculate.

18

A. I can't speculate.

19

Q. Now, Doctor, let's talk about

20

the hernia.

21

Did you have any difficulty

22

performing the hernia repair?

23

A. No.

24

Q. Any technical difficulty?

25

MR. : Other than the

1

2 enterostomy?

3 MR. OGINSKI: Yes.

4 Q. The actual reduction of the
5 hernia, was there any difficulty with that?6 A. It was difficult in that there
7 was the adhesions, in that sense, but
8 that's it.9 Q. As a result of this patient's
10 hernia, was there any bowel obstruction?

11 A. From the hernia?

12 Q. Yes.

13 A. Not clinically that I could
14 see.15 Q. Was there any strangulation of
16 bowel that you observed?

17 A. No.

18 Q. Was there any gangrenous bowel
19 you observed?

20 A. No.

21 Q. Do you have a memory as you
22 sit here now about your preop consultation
23 with the patient and her husband?

24 A. Yes.

25 Q. What are the risks did you

1
2 tell them were associated with the
3 procedure that you were contemplating?

4 MR. : You don't have to
5 guess.

6 Q. Is there anything you
7 remember?

8 MR. : I don't follow
9 what you're saying.

10 Q. We went through some of the
11 risks --

12 MR. : I understand what
13 you did, but I am saying he could have
14 the form in front of him when he goes
15 through it with the patient.

16 Are you asking him to memorize
17 what's on the form?

18 MR. OGINSKI: No.

19 Q. Are there any other risks you
20 discussed with the patient that you haven't
21 told me about?

22 MR. : Do you want to go
23 through the form? Would that assist
24 you?

25 THE WITNESS: Yes.

1
2 A. OBGYN.
3 Q. One-year position?
4 A. Yes.
5 Q. Then what did you do?
6 A. I did a year of research.
7 Q. Where?
8 A. University of
9 .
10 Q. In what field?
11 A. GYN oncology.
12 Q. Was that at ?
13 A. No. Medical school.
14 Q. Which one?
15 A. University of
16 .
17 Q. What did you do after that?
18 A. I did my residency at
19 University of .
20 Q. In OBGYN?
21 A. Yes.
22 Q. That was four years?
23 A. Yes.
24 Q. After that?
25 A. I did my fellowship OBGYN at

1
2 .

3 Q. How many years was that?

4 A. Three years.

5 Q. Was there any additional --

6 you went to ?

7 A. .

8 Q. How long did you spend there?

9 A. One year.

10 Q. What was the name of it? What

11 was the name of the place in ?

12 A. .

13 Q. What was that --

14 A. That was the main place I was

15 at.

16 Q. That was a year doing what?

17 Was that a fellowship?

18 A. Radical vaginal surgery,

19 laparoscopic surgery, general surgery, GYN

20 oncology or surgical oncology.

21 Q. After that you returned back

22 to ?

23 A. Yes.

24 Q. As a full-time attending?

25 A. Yes.

1

2 Q. Had you been a full-time

3 attending ever since?

4 A. Yes.

5 Q. What year was that?

6 A. I returned in , summer.

7 Q. Have your attending privileges

8 ever been suspended from ?

9 A. No.

10 Q. Have they ever been revoked?

11 A. No.

12 Q. Are you licensed to practice

13 medicine in the State of New York?

14 A. Yes.

15 Q. When were you licensed?

16 A. I would have to look.

17 Q. Approximately.

18 MR. : Approximately.

19 A. .

20 Q. Are you licensed in any other

21 state?

22 A. .

23 Q. Anywhere else?

24 A. No.

25 Q. Is the license

1

2 active?

3 A. Yes.

4 Q. Has that ever been suspended

5 or revoked?

6 A. No.

7 Q. Have you ever testified

8 before?

9 A. Yes.

10 Q. How many times?

11 A. Once.

12 Q. As an expert or as a

13 participant, as a party being sued in a

14 lawsuit or something else?

15 A. Party in a lawsuit.

16 Q. Where you were being sued?

17 A. One of the people.

18 Q. How long ago was that?

19 A. It was a case from residency.

20 Q. More than ten years ago?

21 A. Probably.

22 Q. The case that you were

23 involved in, do you know where that was

24 located; Manhattan, Brooklyn?

25 A. .

1

2 Q. Did you also testify at trial?

3 A. Yes.

4 Q. That was the same case?

5 A. Same case.

6 Q. Have you testified any other

7 time other than today?

8 A. No.

9 Q. Have you ever testified as an

10 expert?

11 A. No.

12 Q. Ever reviewed cases as an

13 expert?

14 A. I was asked to review a case

15 once I think, once or twice.

16 Q. When was the last time?

17 A. Probably a year ago. This was

18 just where the lawyers asked me to review

19 charts. Is that basically what you're

20 asking?

21 Q. Yes. Do you know a Dr. ,

22 ?

23 A. No.

24 Q. Is Dr. still affiliated

25 with ?

1

2 A. No.

3 Q. Do you have any knowledge as
4 to where Dr. practices?

5 A. University of

6 .

7 Q. Do you know where Dr.
8 practices?

9 A. I think she's still in

10 .

11 Q. Do you know where Dr.
12 practices?

13 A. is still affiliated with

14 .

15 Q. Do you socialize with
16 Dr. ?

17 A. No.

18 Q. I should have asked this
19 earlier: Do you have a copy of your CV?

20 MR. : I have it.

21 (Plaintiff's Exhibit 4 marked for
22 identification.)

23 Q. Doctor, your attorney has
24 provided me with a three-page copy of your
25 CV.

1

2 When was the last time you
3 updated this?

4 A. A week ago.

5 Q. And is it correct and
6 accurate, to the best of your knowledge?

7 A. Yes.

8 Q. You were board certified in
9 OBGYN in ?

10 A. Yes.

11 Q. And GYN oncology in ,
12 correct?

13 A. Yes.

14 Q. Have you needed to become
15 recertified yet?

16 A. Not yet.

17 Q. Do you have an opinion,
18 Doctor, with a reasonable degree of medical
19 probability as to whether there was a delay
20 in diagnosis of this patient's sepsis?

21 MS. : Objection. We
22 went over this.

23 MR. : We have been
24 there and back. It's too broad.

25 MS. : And it's not what

1

2 he --

3 MR. : I am objecting

4 for the record.

5 Q. Do you have an opinion with a
6 reasonable degree of medical probability as
7 to whether, if anything, you had done
8 differently during your surgery on

9 th, would have changed or
10 altered this patient's outcome?

11 MR. : I object to the

12 degree it calls for speculation, but
13 you may answer.

14 A. I don't think anything that I
15 did would have been different.

16 Q. Do you have an opinion as to
17 whether there was a delay in diagnosis of
18 this patient's bowel perforation while she
19 was still at ?

20 A. In my judgment, she didn't
21 have a bowel perforation at .

22 Q. Have you authored any medical
23 articles that have appeared in any peer
24 review journals?

25 MR. : Yes or no.

1

2 A. Yes.

3 Q. Do you list them in your CV?

4 MR. : It's not in this
5 document.

6 A. I keep it on a separate
7 document.

8 MR. OGINSKI: Provide a copy
9 of that to your attorney, please, and
10 we call for that.

11 (Request.)

12 MR. : I will take that
13 under advisement, because publications
14 are a matter of public record.

15 MR. OGINSKI: It's part of his
16 record.

17 MR. : It's a matter of
18 public record.

19 MR. OGINSKI: I don't know
20 that.

21 MR. : You can research
22 his name and find it.

23 Q. Can you tell me approximately
24 how many publications you have authored?

25 A. Just peer reviewed?

1

2 Q. Yes.

3 A. Somewhere between and .

4 MR. OGINSKI: So, again, I
5 would ask that you provide a copy of
6 whatever you have to your attorney and
7 we would ask for a copy of that.

8 MR. : I understand, but
9 our position is it's a matter of
10 public domain.

11 MR. OGINSKI: I am still
12 entitled to it.

13 Q. Now, Doctor, what is your
14 current title -- administrative title -- at
15 ?

16 MR. : Don't use the
17 word "administrative." I don't know
18 what that means.

19 Q. What is your title?

20 MR. : As an attending?

21 MR. OGINSKI: Yes.

22 A. Associate attending surgeon.

23 Q. Do you hold any academic
24 position?

25 A. At .

1

2 Q. What is that position?

3 A. Associate professor.

4 Q. Are you an officer of any of
5 the medical societies that you list on your
6 CV on page three?

7 A. No.

8 Q. Let's turn, please, to your
9 first note that you have for this patient,
10 your office note.

11 MR. : That would be in
12 this section. I tabbed them for you.

13 These are typed notes so do
14 you need him to read these notes into
15 the record?

16 MR. OGINSKI: No.

17 A. The first one I wrote?

18 Q. Yes.

19 MR. : Can you give him
20 the date?

21 Q. What is the date you have?

22 A. .

23 Q. Tell me what was the purpose
24 for the patient appearing in your office at
25 that time.

1

2 A. The patient had a follow-up
3 scheduled with me.

4 As I mentioned previously, she
5 was a former patient of Dr. 's and
6 her patients were allocated to the different
7 attendings when she decided to stop her GYN
8 oncology practice.

9 Q. Did the patient have any
10 specific complaints on that visit?

11 A. I suspect --

12 Q. I'm sorry, Doctor. I should
13 have said this earlier: I don't want you
14 to guess.

15 Is there anything contained in
16 your note for that date that tells you what
17 specific complaints the patient had?

18 A. No.

19 MR. : What do you mean;
20 no, there's nothing that tells you or
21 no, there's no specific complaints
22 or -- I'm not sure what the question
23 and answer reads as, although that is
24 your job, not mine.

25 MR. OGINSKI: Thank you. I

1

2 will rephrase it.

3 Q. Is there anything in your note

4 to suggest what the patient's complaints

5 were, if any?

6 A. It was suggested she had some

7 abdominal symptoms, but it doesn't appear

8 that she had complained of them to me on

9 that day.

10 Q. Specifically what in your note

11 are you referring to?

12 A. She had a GI work-up done.

13 Q. But at the time that she saw

14 you on did you record any

15 patient complaints?

16 A. I didn't record any specific

17 complaints.

18 Q. What treatment, if any, did

19 you render to the patient?

20 A. I drew some labs or ordered

21 some labs and examined her.

22 Q. What conclusions did you reach

23 after your exam?

24 A. Based on my exam, she did not

25 have any evidence of cancer.

1

2 Q. When did you advise her to
3 return for follow-up?

4 A. .

5 Q. When is the next note you have
6 for this patient?

7 A. From me or just --

8 Q. Just you.

9 By the way, did she see any
10 other GYN physicians in your group between
11 and the next visit?

12 A. Not that I recall.

13 Q. Okay. Go ahead.

14 A. I mean, my nurse spoke to her
15 but you don't want that.

16 Q. No.

17 A. You are not talking about GYN
18 chemotherapists?

19 Q. No.

20 A. .

21 Q. Do you have a date, please?

22 A. .

23 Q. Did you record any complaints
24 the patient -- withdrawn.

25 Did the patient make any

1

2 complaint on that day as reflected in your
3 note?

4 A. No.

5 Q. What treatment did you render
6 to the patient?

7 A. I examined her, I ordered a
8 C-125, looks like I ordered a CT scan.

9 Q. For what reason?

10 A. As a follow-up, evaluate for
11 disease.

12 Q. The results of those two
13 tests, I believe you told me earlier they
14 were both negative, correct?

15 MR. : I think he said
16 the C-125 was negative.

17 A. C-125 was 6.

18 Q. Is that normal or abnormal?

19 A. That's normal.

20 Q. And the CT results?

21 A. CT scan from , she
22 had some borderline-sized chest nodes.

23 Q. Those are the nonspecific
24 adenopathy you told me about earlier?

25 A. Increased nonspecific

1

2 adenopathy, yes.

3 Q. What did you tell the patient
4 about the results of that test?

5 A. Let me just read the rest of
6 it here.

7 She had a non-obstructing
8 ventral hernia, she had some
9 mildly-distended small bowel.

10 Q. Based upon the results of both
11 the CA 125 and the CT scan did you
12 recommend any additional treatment?

13 A. I think she needed to be
14 further followed up with a repeat CT scan.

15 Q. When did she next return to
16 your office?

17 MR. : It should be
18 clipped.

19 A. .

20 Q. What complaints, if any, did
21 you record in your note on ?

22 A. She had intermittent abdominal
23 pain.

24 Q. Anything else?

25 A. At this exam I noted her

1

2 hernia.

3

MR. : I think he is
4 asking if there's any other complaints
5 at that point.

6

MR. OGINSKI: Correct.

7

MR. : Just take a look.

8

A. I think the abdominal pain was
9 the main issue.

10

Q. What treatment did you render?

11

A. I examined her, I discussed
12 the hernia and I mentioned that it may or
13 may not be the cause of her abdominal pain.
14 It didn't appear that it obviously was a
15 cause.

16

I did give her precautions
17 about emergency settings, such as
18 obstruction. I ordered a CA 125.

19

Q. Did you order a repeat CT
20 scan?

21

A. I don't think I ordered one at
22 that time. It doesn't seem that way. Let
23 me just check.

24

MR. : You have the
25 small bowel after that, right? I

1

2 think that was the last one.

3 A. I didn't order any other tests
4 aside from the CA 125.

5 Q. What were the results of the
6 CA 125?

7 A. 6, normal. That was on
8 .

9 Q. When did she next reappear in
10 your office for follow-up?

11 A. Next in the office?

12 Q. Yes. Is that in the
13 visit?

14 A. Yes.

15 Q. Let me just ask you, Doctor,
16 in a patient who has a history of ovarian
17 cancer, specifically the type she had, and
18 I think it was either stage 3B or 3C --

19 A. 3C.

20 Q. What is the statistical
21 recurrence rate for patients who have now
22 been successfully treated?

23 MR. : I object to the
24 form, "successfully treated," but what
25 is the recurrence rate?

1

2 MR. OGINSKI: Correct.

3 A. 70 to 80 percent of these
4 patients recur.5 Q. Is that over a period of five
6 years?

7 A. Yes.

8 Q. Without going back and
9 revisiting your thoughts as to why this
10 patient's anastomotic breakdown occurred --
11 you told me your thought process -- do you
12 have any medical literature to support that
13 mechanism that you described?14 MR. : You don't have to
15 answer that question. That is an
16 improper question.17 Q. Is there any medical
18 literature that you have seen or reviewed
19 that supports your theory as to why this
20 patient's anastomotic breakdown occurred?21 MR. : Again, counsel,
22 that is an improper question.

23 Objection.

24 MR. OGINSKI: I disagree.

25 MR. : You can't come to

1
2 a deposition and fish around for any
3 literature.

4 MR. OGINSKI: I am entitled to
5 ask him if he reviewed any medical
6 literature that supports his claim or
7 his defense that he knows about.

8 MR. : No. You asked
9 him if he reviewed any literature in
10 preparation for today, and I think he
11 answered that. The answer was no.

12 Q. Are you aware of any medical
13 literature, Doctor, that supports what you
14 told me is the reason why this patient
15 might have experienced the breakdown of her
16 anastomosis?

17 MR. : I object to that.

18 MR. OGINSKI: Are you
19 directing him not to answer?

20 MR. : Yes. It is an
21 improper question.

22 MR. OGINSKI: I disagree.
23 Mark it for a ruling.

24 (Marked for a ruling.)

25 MR. : It is not

1

2 admissible even at trial to say that.

3

4 MR. OGINSKI: Mark it for a
5 ruling.

6

7 Q. Let's turn to the
8 visit, please.

9

10 The patient had some changes in
11 her complaints, correct?

12

13 A. Yes.

14

15 Q. Her condition -- her abdominal
16 condition was getting worse?

17

18 A. That's correct.

19

20 Q. And she also said it was more
21 frequent?

22

23 A. That's correct.

24

25 Q. Had you had a discussion with
26 the patient before about the
27 possibility of her needing surgery?

28

29 A. I had mentioned it to her.

30

31 That's why they came in on the th.

32

33 Q. Did you explain to them what
34 would occur, if anything, if she did
35 nothing as an alternative?

36

37 A. Specifically, you know, I
38 can't specifically recall the actual

1

2 conversation.

3 Q. Was this the time that you had
4 the discussion with the patient about what
5 the risks were?

6 A. Yes.

7 Q. And you also discussed with
8 them what procedure you were going to
9 perform?

10 A. Yes.

11 Q. Is it fair to say that if this
12 patient had not agreed to have the surgery
13 of th she would still be alive
14 today?

15 MR. : Objection.

16 I don't think that's a fair
17 statement and it's speculative, so I
18 am not going to allow the answer
19 today.

20 Q. During the first surgery on
21 th, when you observed the
22 enterostomy, was there any other part of
23 the patient's bowel that you felt was of
24 significance, anything else that you
25 observed?

1

2 MR. : I am going to
3 object, because he reviewed some
4 things with you before and he talked
5 about the de-serosalized tissue.

6 Q. Is it fair to say those
7 observations that you made that you felt
8 were significant, that you addressed them
9 and recorded them in your operative note?

10 A. Yes.

11 Q. Other than the patient's
12 abdominal complaints, did she have any
13 other symptoms that she complained of?

14 MR. : On ?

15 MR. OGINSKI: Yes.

16 A. I think the main complaint was
17 her abdomen, if I can recall. That's it.

18 Q. You mentioned on the second
19 page of your office note toward the bottom
20 under plan, you say:

21 The risks and benefits of
22 ventral hernia repair as well as the failure
23 rates and recurrence of hernia were
24 discussed with the patient and her husband
25 in detail.

1

2 What were the failure rates?

3 A. Typically I'll use 10 to
4 20 percent. I don't specifically recall if
5 that was the number that I gave to the
6 patient.

7 Q. Is that a failure rate of the
8 hernia, being able to reduce the hernia, or
9 does that refer to something else?

10 A. The hernia.

11 Q. In that statistical number
12 that you gave me, does that mean that in
13 those instances you were unable to reduce
14 it to relieve the patient's symptoms?

15 MR. : What does that
16 number represent is all he is asking.

17 MR. OGINSKI: Thank you.

18 A. The recurrence that the repair
19 did not work.

20 Q. Why in your opinion did this
21 patient require a mesh?

22 A. It's something that I talk to
23 patients about and I consented for in the
24 event that I use it.

25 Q. That's a decision that you

1
2 make during surgery as to whether or not
3 they need it?

4 A. Typically.

5 Q. In this case you used a mesh,
6 correct?

7 A. In this case I did.

8 Q. Let's turn, please, to the
9 nursing addendum dated . It
10 looks like this.

11 MR. OGINSKI: Off the record.

12 (Discussion held off the record.)

13 (A short recess was taken.)

14 MR. : Okay. We're on
15 that note.

16 Q. Doctor, in this nurse's note,
17 do you see there is a notation saying:

18 Patient's husband upset because
19 wife was promised pill earlier and
20 immediately release rather than extended
21 release, in the middle of the page?

22 A. Yes.

23 Q. Do you have an opinion as to
24 whether there was a delay in getting this
25 patient the proper form of her cardiac

1

2 medication?

3 A. I can't say.

4 Q. Did you ever speak with

5 Dr. about the timing of her getting

6 her cardiac medications?

7 A. No.

8 Q. Did Dr. ever offer you

9 any opinion or thoughts as to what was

10 causing her cardiac condition?

11 MR. : I think

12 said he never spoke to her directly.

13 Q. Did anybody, any doctor, relay

14 any information to you about the cause for

15 this patient's cardiac condition?

16 A. No.

17 MR. : Other than what

18 he said?

19 MR. OGINSKI: Yes.

20 Q. Did this patient have a fever

21 postoperatively at ?

22 A. No. Not that I recall.

23 Q. When the patient was at

24 , did you review any of the notes

25 written by the physicians at ?

1

2 Did you review the patient's
3 chart?

4 A. No.

5 Q. On the patient is
6 noted to have chest pain, palpitations and
7 decrease in blood pressure.

8 I'm looking at the procedure
9 critical event note dated
10 You can take mine. I just had a quick
11 question.

12 Based upon the observation of
13 decreased blood pressure, do you have any
14 reason to know why the patient was
15 experiencing a decreased blood pressure?

16 A. I suspect it was due to her
17 arrhythmia.

18 Q. Why would arrhythmia cause
19 decreased blood pressure?

20 A. If the heart is not pumping
21 well. It was also a question of whether
22 she had a heart attack. Those are things
23 that might cause it.

24 Q. That information, how did you
25 learn that information about arrhythmia and

1

2 possible heart attack?

3 A. Discussed with my fellow.

4 Q. Was that discussion -- did

5 that take place before or after Dr.

6 consulted on the patient?

7 A. I believe it was after.

8 Q. Now, this diagram, is that a

9 diagram that you generated?

10 A. Yes.

11 Q. Was that during the preop

12 consultation?

13 A. Yes.

14 Q. Just tell me, Doctor, what the

15 diagram is and what it represents.

16 A. So this is the patient, this

17 is her prior incision.

18 Q. That is a vertical incision?

19 A. Yes. This is the area of the

20 hernia, this is some intestine, this is a

21 mesh.

22 Q. What are the notations, the

23 writings that you have on left and right

24 side of that diagram?

25 A. This is looking at hernia

1
2 repair where sometimes I place the mesh.

3 Q. What are the words you have
4 written there?

5 A. Peritoneum in fascia.

6 Q. And on the other side?

7 A. Infection.

8 Q. Now, there's a note also in
9 the chart, I will show it to you. I
10 believe it is a resident's note. It says:

11 Patient was accepted for
12 transfer to ICU. However, patient primary
13 service in cardiology are transferring
14 patient to .

15 MR. : Do you see that
16 note?

17 THE WITNESS: Yes.

18 Q. Did you have any conversations
19 with anybody about transferring the patient
20 to ICU?

21 A. I spoke to my fellow,
22 Dr. , and after his discussion with the
23 ICU staff and the cardiology staff it was
24 felt that her issue would have been better
25 addressed at .

1

2 Q. Did any doctor suggest that
3 the patient's cardiac problems were
4 secondary to something else that was going
5 on with her?

6 A. I did come in to examine her
7 and I did not feel that was the case.

8 Q. Other than yourself, did any
9 other physician make any comment either to
10 you or indirectly that her cardiac
11 condition or her symptoms were secondary to
12 some other process?

13 MR. : We are talking
14 about the patient at ?

15 MR. OGINSKI: Yes.

16 A. When I spoke to Dr. ,
17 initially he told me he didn't think it was
18 anything except for cardiac as well.

19 Q. Can you turn, please, to your
20 note dated 1st timed at 11:15 p.m.
21 This one, Doctor.

22 A. Okay.

23 Q. Can you read that note,
24 please, in its entirety.

25 A. , GYN attending,

1

2 11:15 p.m. Events from today noted;

3 patient feeling fine --

4 Q. I'm sorry, it says, Patient

5 was feeling fine?

6 A. Patient was feeling fine, but

7 this evening complained of chest pain.

8 Noted to have heart rate

9 approximately 120s. EKG with new changes.

10 Rule out MI work-up initiated. Patient

11 awaiting transfer to New York for

12 cardiac management.

13 Physical exam is noted by the

14 house staff. Assessment plan; afib.

15 Q. Does that say afebrile or

16 afib?

17 A. Afib.

18 Q. Go ahead.

19 A. Plan for transfer to New York

20 , discussed with patient's husband

21 and patient will follow at

22 .

23 Q. Did you read Dr. 's

24 note, the consult note?

25 MR. : Dr. ? I

1

2 don't think she wrote a note.

3

MR. OGINSKI: I'm sorry.

4

Cardiology consult note.

5

Q. Did you read it before the

6

patient was transferred?

7

A. Yes.

8

Q. Did you have a conversation

9

with Dr. ?

10

A. No.

11

Q. , the doctor who

12

apparently performed the consult?

13

A. No.

14

Q. Can you turn, please, to a

15

note timed at 7:50 p.m. on 1st.

16

It looks like this.

17

Can you tell me who or what

18

specialty wrote that note?

19

A. This is what's called a rapid

20

response team. I can't read the signature.

21

Q. That's the team that appeared

22

following the patient's presentation of her

23

cardiac symptoms?

24

A. Yes.

25

Q. Based upon the Troponin levels

1
2 that were done on 1st and also
3 and the labs, there was no
4 evidence of a myocardial infarction,
5 correct?

6 A. That is correct.

7 Q. Did you ever speak to the
8 medical examiner who performed the autopsy
9 on this patient?

10 A. No.

11 Q. Are you aware whether
12 Dr. ever spoke to the medical
13 examiner?

14 A. No.

15 Q. You have a written note which
16 says attending summary dated ?

17 MR. : You mean like a
18 discharge summary note?

19 MR. OGINSKI: No. This is in
20 the record. It says
21 attending summary. It says:

22 I saw the patient at New York
23 , CCU today.

24 MR. : Okay. That's
25 here.

1

2 Q. Now, this is timed at

3 3:02 p.m.

4 A. Right.

5 Q. Does that reflect the time
6 approximately that you saw the patient or
7 is that done at sometime later in the day?

8 A. It was probably in the -- in
9 that area. Probably late morning or early
10 afternoon. I usually go to church in the
11 morning, so...

12 Q. This was a Sunday, you said?

13 A. Yes.

14 Q. By the way, did Mrs.
15 have any difficulty conversing with you in
16 English?

17 A. No.

18 Q. You were able to understand
19 her?

20 A. Yes.

21 Q. She spoke with a
22 accent, correct?

23 A. Yes.

24 Q. You mentioned in your note of
25 that her heart rate is

1

2 controlled but she did have a fever.

3 Did you form any opinion as to
4 why she had a fever at that time?

5 A. A fever is a common finding
6 postop. Sometimes if patients are in bed
7 you can have fevers. It's a very common
8 thing to see in the first two days after
9 surgery.

10 Q. Would you read that fever is
11 also sign of infection?

12 A. It can be.

13 Q. When she was at postop
14 day one, was she on antibiotics?

15 A. No.

16 Q. Tell me why you wanted her
17 started on IV antibiotics on .

18 MR. : It didn't say he
19 wanted her --

20 Q. You wrote:

21 I have spoken to the CCU team
22 regarding the patient. She will be started
23 on IV antibiotics and kept NPO for an ileus.

24 Tell me why a decision was
25 made, if you know, to put the patient on IV

1
2 antibiotics.

3 A. I can't speculate. I mean,
4 why they decided to --

5 Q. I don't want you to guess,
6 Doctor.

7 A. I can't speculate.

8 Q. Did you learn from any
9 physician at why they were giving
10 her -- they wanted to give her IV
11 antibiotics?

12 MR. : You mean a
13 specific reason rather than
14 prophylactic?

15 MR. OGINSKI: Correct.

16 A. I can't say.

17 Q. Did you discuss with anybody
18 at the possibility that she might
19 have an ileus?

20 MR. : Wait. At what
21 point now? At the time of this note?

22 MR. OGINSKI: Yes.

23 A. I can't recall.

24 Q. Who was it who first had the
25 idea or suspicion that the patient had an

1

2 ileus?

3 A. I can't recall if when I saw
4 her or if they suspected it. I really
5 can't recall where that diagnosis came up.

6 Q. You told me that you saw the
7 patient or you had two different notes for
8 this date, .

9 A. Yes.

10 Q. I think once in the morning,
11 one was later in the evening?

12 MR. : Yes. You saw the
13 other one.

14 MR. OGINSKI: Right.

15 Q. In the other note that you
16 told me about, the one in the evening, did
17 you make any notation about your thoughts
18 that the patient might have an ileus?

19 MR. : No. We wouldn't
20 do that.

21 A. I think I had noted that she
22 vomited, but I didn't specifically say an
23 ileus.

24 Q. There's also a note on
25 4th that you wrote about a

1
2 telephone conversation with Dr.
3 that also appears in the
4 record.

5 A. Yes.

6 MR. : We have it.

7 Q. Tell me about that
8 conversation.

9 A. Dr. had paged me
10 because her condition had rapidly
11 deteriorated, and I think his feeling was
12 she wasn't going to survive. He was
13 notifying me.

14 Q. At the time of your
15 conversation had she already coded a number
16 of times?

17 A. Yes.

18 Q. When you say you spoke with
19 the husband, was that in person or was that
20 by phone?

21 A. By phone.

22 Q. The conversation with the
23 husband I assume took place before she
24 died?

25 A. I think it was right before

1
2 she passed away.

3 Q. Did Mr. ask you why
4 she was in such a condition, why she had
5 deteriorated so significantly?

6 A. No.

7 Q. Did you offer any opinion as
8 to why she was in such a poor condition?

9 A. No.

10 Q. Did you ever have any
11 conversation with Mr. after his
12 wife died?

13 A. I had a brief --

14 MR. : Did we mark that?

15 MR. OGINSKI: No. Let's mark
16 that.

17 (Plaintiff's Exhibit 5 marked for
18 identification.)

19 Q. Doctor, your attorney gave me
20 earlier a note that you had written that
21 appears in the chart,
22 chart, two dates; 5 and
23 10, handwritten notes. You wrote
24 these?

25 A. Yes.

1

2 Q. Do you know where the original
3 is?

4 A. I think it's in my -- a folder
5 I keep in my office for thank you cards and
6 stuff like that.

7 Q. Why did you write a note that
8 appears in the patient's chart telling them
9 that you sent a condolence card to the
10 patient's husband?

11 MR. : I don't know if
12 that appears in the patient's chart.

13 I think that is from his own
14 folder. He brought it, so we produced
15 it.

16 Q. During your conversation of
17 , did you offer the
18 patient any thoughts as to why his wife had
19 passed away?

20 A. No.

21 MR. : What is the date
22 of that conversation?

23 MR. OGINSKI: 10.

24 A. No.

25 Q. After that date, did you have

1
2 any further conversation with the patient's
3 husband, Mr. ?

4 A. No.

5 Q. When you called Mr. ,
6 you actually spoke with him?

7 A. Yes.

8 MR. : On the th?

9 MR. OGINSKI: Yes, on the
10 th.

11 A. Yes.

12 Q. Did he say anything in
13 response to your words, to what you were
14 telling him?

15 A. I don't recall the specifics
16 of what he said to me.

17 Q. When was the first time you
18 felt that the patient might have an
19 intraabdominal process going on?

20 MR. : I think he
21 answered that.

22 MR. OGINSKI: He told me at
23 one point he thought she might have an
24 intraabdominal process.

25 MR. : Then he came back

1

2 at night. Didn't he say that? Answer
3 the question.

4 A. At the time of my second note
5 on .

6 Q. When it was learned that the
7 patient was septic, did that account for
8 why the patient was hypotensive?

9 A. That was one of the concerns,
10 that possibility.

11 Q. Did anyone connect the
12 patient's septic picture with her cardiac
13 manifestations?

14 MR. : I have to object
15 to form, because you asked him his
16 opinion on that.

17 MR. OGINSKI: I will rephrase
18 it.

19 Q. Did anyone discuss with you
20 the possibility that the septic picture she
21 was experiencing was a direct cause or
22 contributing factor to her cardiac issues?

23 A. At ?

24 Q. Yes.

25 A. The cardiac as far as her

1

2 blood pressure issues?

3 Q. Blood pressure, hypotension,
4 palpitations.

5 MR. : Now you are
6 expanding it. You mean the cardiac
7 picture that presented at or
8 the cardiac picture she was presenting
9 at that time?

10 MR. OGINSKI: At that time at

11 .

12 A. If there was concern about the
13 abdomen?

14 Q. No. I will rephrase it.

15 Once there was a suspicion or
16 belief that she was septic, did anyone
17 connect her sepsis with her cardiac issues
18 that she was having?

19 A. There was a concern, yes.
20 That's why they called the general surgery
21 team.

22 Q. When you say there was a
23 concern, tell me what you mean by that.

24 A. That's one thing that's on the
25 differential; could this have an

1
2 intraabdominal process causing the
3 symptoms.

4 Q. During Dr. 's surgery,
5 was there any evidence of ischemic bowel
6 observed?

7 A. I can't say.

8 Q. Was there any ischemic bowel
9 that you observed during that surgery?

10 A. At Dr. surgery?

11 Q. Yes.

12 A. I wasn't close enough to
13 really look into the surgery.

14 Q. Was there anything that any of
15 the doctors participating in that second
16 surgery told you about that they had
17 observed any ischemic bowel?

18 A. Not that I recall.

19 Q. Did you observe bile within
20 the patient's abdominal cavity?

21 A. As I mentioned, I was in the
22 corner of the room so I didn't have a real
23 direct into the abdomen view.

24 Q. Were you scrubbed?

25 A. No.

1

2 Q. Are you familiar with the term
3 known as fat necrosis?

4 A. Yes.

5 Q. What is that?

6 A. It's when fat dies; necrosis.

7 Q. Did you see any fat necrosis
8 during the surgery?

9 A. As I mentioned, I was in the
10 corner of the room. So the details of the
11 surgery, I can't answer them.

12 Q. Did you overhear anybody
13 participating in the surgery say that they
14 had observed and seen fat necrosis?

15 A. I don't recall hearing anybody
16 say that.

17 Q. Am I correct that Dr.
18 left the patient's wound open -- surgical
19 wound?

20 A. Yes.

21 Q. Why was that done?

22 A. She was becoming unstable, and
23 I don't know the exact reason why he did
24 it, but I know she was becoming unstable at
25 that time.

1

2 Q. Do you have an opinion,
3 Doctor, with a reasonable degree of medical
4 probability whether anything you did for
5 the care of this patient caused or
6 contributed to her death?

7 MR. : You can answer
8 that over objection.

9 That is a yes or no.

10 Do you have an opinion whether
11 anything you did caused or
12 contributed?

13 THE WITNESS: No.

14 Q. Do you have an opinion whether
15 anything that anyone at did or did
16 not do caused or contributed to this
17 patient's death?

18 MR. : I object to that.

19 MR. OGINSKI: Are you
20 directing him not to answer?

21 MR. : I don't think it
22 is a proper question. Yes.

23 Q. Following Dr. 's
24 surgery, did the patient remain intubated?

25 MR. : I don't think he

1

2 saw -- what you know.

3 A. The patient was transferred to
4 their ICU and that's -- then I -- that's
5 all I know.

6 Q. Did you ever see the patient
7 after she left the operating room?

8 A. After she left the operating
9 room, I stopped by later that day
10 and didn't examine the patient but just
11 spoke with Dr. .

12 Q. That's on rd?

13 A. rd.

14 Q. Tell me about that
15 conversation.

16 A. They were addressing some
17 wound problems, wound issues.

18 Q. What information did
19 Dr. tell you about the patient?

20 A. I don't recall the specifics.

21 Q. Did you have any additional
22 conversation with Dr. on that day?

23 A. Not that I recall.

24 Q. When the patient was in ICU,
25 was she conscious?

1

2 A. I don't know.

3 Q. Did you see Mrs.

4 before -- withdrawn.

5 From the time that you examined

6 the patient on , the evening, up

7 until the time she was taken to the

8 operating room, did you see her before she

9 went into the OR?

10 A. Before she went into the OR?

11 Q. Yes.

12 A. From the time I saw her to the

13 time Dr. decided to take her to

14 the OR, I did see her either with him or

15 right after him.

16 Q. Where was she at the time that

17 you saw her?

18 A. In the CCU.

19 Q. Was she awake at that time?

20 A. She was --

21 MR. : Don't guess. Do

22 you know?

23 A. I can't say.

24 Q. Was she conscious?

25 A. I can't say.

1

2 Q. Was she talking?

3 A. I don't think she was talking.

4 Q. When was it that somebody
5 alerted you or told you about this wound
6 fluid coming from the wound?

7 A. I recall that that was the
8 factor where Dr. -- that was the
9 deciding factor to take her back to the
10 operating room.

11 Q. From the time that the
12 decision was made to take the patient to
13 the operating room, how long did it take to
14 actually get the patient into the OR?

15 A. I don't know. I can't say,
16 but it wasn't a long, long time.

17 Q. How soon after you saw the
18 patient in the evening of was
19 it before the decision was made to take the
20 patient back to the OR?

21 A. I don't recall the specific
22 time when the decision was made where she
23 went -- where they decided to take her back
24 to the operating room.

25 Q. How were you alerted to the

1
2 fact that the patient was going back to the
3 operating room?

4 A. I was still in that area
5 around the CCU or in the waiting room.

6 Q. Did you have any other
7 patients that you were caring for or any of
8 your patients who were also at
9 other than Mrs. ?

10 A. No.

11 Q. Now, did you know Dr.
12 before he started to care for Mrs. ?

13 A. No.

14 Q. Is it your understanding that
15 he is an attending surgeon?

16 A. Yes.

17 Q. When you spoke to Mr.
18 on th, tell me what you said to
19 him and what he said to you.

20 MR. : As best you can
21 recall.

22 A. I just asked him how he was
23 doing and he told me he went to
24 to visit his daughter because he needed to
25 get away, and I just offered my condolences

1

2 and offered if there was anything I could
3 do to call me.

4 Q. Now, I want you to assume that
5 Mr. has testified in this case; he
6 was asked questions by different attorneys.

7 A. Okay.

8 Q. And specifically testified --
9 withdrawn.

10 While the patient was at
11 postop day one, was there
12 any indication that would warrant a CT scan?

13 A. No.

14 Q. Mr. , I want you to
15 assume he has testified that following
16 surgery when you spoke to him he said that
17 he was not told that there was a hole or an
18 enterostomy made.

19 Assuming that fact to be true,
20 do you have any reason to disagree with
21 Mr. 's recollection of that
22 conversation?

23 MR. : I object to that.

24 That's argumentative.

25 Objection. You don't have to

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

answer that. You have given your
recollection.

The jury will decide who they
believe and don't believe.

MR. OGINSKI: Thank you,
Doctor.

MR. : Thank you very
much, counsel, for accommodating me
today.

(Time noted: 4:36 p.m.)

DR.

Subscribed and sworn to
before me on this _____ day
of _____, 2010.

NOTARY PUBLIC

1

2

3

I N D E X

4

5 WITNESS

6 DR.

7

8 EXAMINATION BY

PAGE

9 MR. OGINSKI

4

10

11 COUNSEL REQUESTS

PAGE

LINE

12

205

11

13

14 MARKED FOR A RULING

PAGE

LINE

15

137

7

16

161

15

17

187

4

18

216

14

19

20

21

22

23

24

25

1

2

3

E X H I B I T S

4

5 PLAINTIFF'S PAGE LINE

6 Exhibit 1 - inpatient (premarked)

7 chart

8 Exhibit 2 - outpatient (premarked)

9 chart

10 Exhibit 3 - photograph 52 13

11 Exhibit 4 - CV 202 21

12 Exhibit 5 - document 235 17

13

14

15

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T I O N

I, Kim Auslander, a Court Reporter
and a Notary Public within and for the State
of New York, do hereby certify:

That the foregoing witness, DR. ,
was duly sworn by me on the date indicated, and that the
foregoing is a true record of the testimony given by
said witness.

I further certify that I am not
related to any of the parties to this action
by blood or marriage, and that I am in no way
interested in the outcome of this matter.

IN WITNESS WHEREOF, I have hereunto
set my hand this 19th day of January, 2010.

KIM AUSLANDER

