

DE-IDENTIFIED DEPOSITION OF A SURGEON

1

1

2 SUPREME COURT OF THE STATE OF
3 COUNTY OF WESTCHESTER

3 -----x

4 and ,

4

Plaintiffs,

5

-against-

6

, M.D., GROUP,

7

HOSPITAL

, M.D.,

8

, D.O., , R.N.

and

9

10 Defendants.

-----x

11

12 White Plains,

13 September 17, 2002

10:30 a.m.

14

15 EXAMINATION BEFORE TRIAL of the

16 Defendant, , M.D., s/h/a

17 M.D.

18

19

20

21

22

23 TOMMER REPORTING, IN
192 Lexington Avenue
24 Suite 802
, 10016
25 (212) 684-2448

TOMMER REPORTING, IN (212) 684-2448

2

1

2 APPEARANCES:

3

4 THE LAW OFFICE OF GERALD M. OGINSKI
Attorneys for Plaintiffs
5 150 Great Neck Road, Suite 304
Great Neck, 11021

6

BY: GERALD M. OGINSKI, ESQ.

7

8

9 Attorneys for Defendants , M.D.,
s/h/a , M.D. and

10

11 , 10601

12 BY: , ESQ.

13

14

Attorneys for Defendants

15 Hospital ,
M.D. and , R.N.

16

10016

17

BY: , ESQ.

18

19

20 Attorneys for Defendant

21

10604

22

BY: , ESQ.

23

24

25

TOMMER REPORTING, IN (212) 684-2448

3

1

2 S T I P U L A T I O N S

3 It is hereby stipulated and agreed by

4 and between counsel for the respective parties

5 hereto that all rights provided by the
6 P.L.R., including the right to object to any
7 question, except as to form, or to move to
8 strike any testimony at this examination, are
9 reserved, and, in addition, the failure to
10 object to any question or to move to strike any
11 testimony at this examination shall not be a
12 bar or waiver to doing so at, and is reserved
13 for, the trial of this action;

14 It is further stipulated and agreed
15 by and between counsel for the respective
16 parties hereto that this examination may be
17 sworn to by the witness being examined before a
18 Notary Public other than the Notary Public
19 before whom this examination was begun, but the
20 failure to do so, or to return the original
21 of this examination to counsel, shall not be
22 deemed a waiver of the rights provided by Rules
23 3116 and 3117 of the P.L.R., and shall be
24 controlled thereby;

25 It is further stipulated and agreed

TOMMER REPORTING, IN

(212) 684-2448

4

1

2 by and between counsel for the respective
3 parties hereto that this examination may be
4 utilized for all purposes as provided by the

5 P.L.R.;

6 It is further stipulated and agreed
7 by and between counsel for the respective
8 parties hereto that the filing and
9 certification of the original of this
10 examination shall be and the same hereby are
11 waived;

12 It is further stipulated and agreed
13 by and between counsel for the respective
14 parties hereto that a copy of the within
15 examination shall be furnished to counsel
16 representing the witness testifying without
17 charge.

18

19

20

21

22

23

24

25

TOMMER REPORTING, IN (212) 684-2448

5

1

2

M.D., having been first

3

duly sworn by a Notary Public within

4

and for the State of , stated

5

his business address as

6

7

and his home address as

8

, was

9

examined and testified under oath as

10

follows:

11 EXAMINATION BY MR. OGINSKI:

12 Q Good morning, Dr. . Did you
13 perform surgery on on August 10,
14 2001 at Hospital?

15 A Can I look? I don't remember the
16 date all of a sudden. August 10, 2001?

17 Q Yes.

18 A Yes.

19 Q For the record, you are looking
20 now at a photocopy of the Hospital
21 record?

22 A Yes.

23 Q Did you have any assistants during
24 the course of that surgical procedure?

25 A No.

TOMMER REPORTING, IN (212) 684-2448

1 6
, M.D.

2 Q What were the indications for
3 performing surgery on that date on

4

5 A Large bowel obstructions. Rule

6 out sigmoid tumor.

7 Q Did you conduct an examination of

8 Mrs. before performing surgery?

9 A Yes.

10 Q Do you have your note regarding

11 your examination findings preoperatively?

12 A Yes.

13 Q What date are you looking at in

14 the hospital record, Doctor?

15 A August 10, 2001.

16 Q Did you see Mrs. the day

17 before, on August 9th?

18 A Yes.

19 Q How was it that you came to see

20 Mrs. on August 9th?

21 A I don't remember, but I was called

22 in to see her while she was in the hospital

23 either in the emergency room or the floor.

24 Q Does your note of August 9th

25 indicate where she was when you saw her;

18 Q Does that mean that the patient

19 has not been able to void at all?

20 A No.

21 Q When you say, "Bad case of

22 constipation," can you be any more specific?

23 A She can move the bowel, but it

24 could be every few days or more.

25 Q Were you aware that Mrs.

TOMMER REPORTING, IN (212) 684-2448

8

1 , M.D.

2 had been taking various medications; such as,

3 stool softeners and laxatives, before August 9,

4 2001?

5 A I don't remember.

6 Q Is there anything in your note of

7 August 9th which would suggest that she had

8 been taking any types of laxatives or stool

9 softeners?

10 A From my note?

11 Q Yes.

12 A No.

13 Q In 2001, did Hospital have
14 residents that rotated through the department
15 of surgery?

16 MS. : Just note my
17 objection.

18 A I don't remember.

19 Q Are you still affiliated with
20 Hospital?

21 A Yes.

22 Q What is your affiliation there?
23 Are you an attending there?

24 A I'm the attending surgeon.

25 Q Are there other attending surgeons

TOMMER REPORTING, IN (212) 684-2448

9

1 , M.D.

2 on staff at Hospital to your knowledge?

3 A Yes.

4 Q Is there a residency program for
5 the department of surgery?

6 MR. : Presently?

7 MR. OGINSKI: Presently.

8 MS. : Just note my
9 objection.

10 A We don't have any residents who
11 belong to the department of surgery, but we do
12 have so-called rotation interns to the
13 department of surgery and medical students.

14 We did have that. I don't
15 remember exactly whether this day, but we had
16 residents from Hospital. We did have
17 residents rotating from the
18 Hospital, but at this time I don't remember
19 whether they were physically there.

20 Q On August 9th, had you scheduled
21 Mrs. for surgery at that time?

22 A I didn't hear you.

23 Q At the time that you examined Mrs.
24 on August 9th, had you determined

25 that she needed surgery as of the time of your

TOMMER REPORTING, IN (212) 684-2448

10

1 , M.D.

2 examination?

3 A No.

4 Q What was it that changed, if
5 anything, on August 10th that suggested to you
6 that she required surgery?

7 MR. : I don't know if
8 anything did. I'm going to object to
9 the form of the question.

10 Q On August 9th after you examined
11 Mrs. , did you make a determination
12 that she needed surgery?

13 A Yes.

14 Q Why?

15 A She underwent abdominal x-ray,
16 according to this note.

17 Q Which note are you referring to,

18 Doctor?

19 A August 10th.

20 Q Would that be your note?

21 A Yes.

22 Q Go ahead, please.

23 A "Surgical. Follow up abdominal

24 x-ray. Increased distention of colon to

25 compare with previous one. Emergency barium

TOMMER REPORTING, IN (212) 684-2448

11

1 , M.D.

2 enema was requested and performed, which

3 revealed obstruction in mid to proximal sigmoid

4 colon with dilated cecum."

5 Q What was your plan at that time?

6 A "Plan. Emergency transverse loop

7 colostomy."

8 Q Were you the one who had ordered

9 an emergency barium enema?

10 A Yes.

11 Q What indications were there for
12 the need for an emergency barium enema?

13 A As I indicated, the mid abdominal
14 x-ray revealed increased distention of the
15 colon to compare with previous one on August
16 9th.

17 Q Had you personally compared the
18 two x-rays from August 9th and August 10th?

19 A Yes.

20 Q What was different, if anything,
21 about the two x-rays?

22 A The diameter of the colon was
23 larger on August 10th than August 9th.

24 Q What was the medical significance
25 of that finding to you, if any?

TOMMER REPORTING, IN (212) 684-2448

1 12
, M.D.

2 A That indicated she may have

3 mechanical obstructions, an ileus.

4 Q Can you describe for me what an

5 "ileus" is?

6 A Ileus is paralysed intestine

7 without any mechanical obstructions.

8 Q What kinds of mechanical

9 obstructions were you thinking about at that

10 time?

11 A Tumor of colon.

12 Q Was there any other obstruction

13 that you were aware of that would cause a

14 mechanical obstruction?

15 A She could have a bad case of

16 diverticulitis.

17 Q Was there any suggestion based

18 upon your history and physical of Mrs.

19 that she had in the past any type of

20 diverticulitis?

21 A I don't have a recollection.

22 Q Is there anything in your notes

23 that you reviewed in preparation for today's

24 deposition that would suggest to you that there
25 was any hint or suggestion that she had

TOMMER REPORTING, IN (212) 684-2448

13
1 , M.D.

2 diverticulitis before August 9, 2001?

3 A I don't have recollection.

4 Q I'm not asking --

5 A No. No record. No record.

6 Q Going back to your plan on August
7 10th, Doctor, underneath the word, "Plan," can
8 you read what you wrote?

9 A "Plan. Emergency transverse loop
10 colostomy. Discussed with patient, including
11 risks involved. Discussed with Dr. Shirley."

12 Q Who is Dr. Shirley?

13 A Her primary physician on other
14 admissions.

15 Q Did you discuss the need for

16 surgery with the patient's husband?

17 A I don't have a recollection. I

18 don't have a record.

19 Q When you talked to Mrs.

20 about the need for surgery, what was her level

21 of consciousness, if you could tell me?

22 A She was conscious. She was alert

23 and oriented.

24 Q Are you referring now to the

25 nurse's note or some other note?

TOMMER REPORTING, IN (212) 684-2448

14

1 , M.D.

2 A I'm talking about the nurse's

3 note.

4 Q By the way, Doctor, do you have an

5 independent memory of Mrs.

6 A No.

7 Q Did Mrs. explain to you

8 or tell you that she was in any type of pain

9 prior to undergoing surgery on August 10th?

10 A No recollection.

11 Q Was Mrs. to your

12 recollection sedated?

13 A No.

14 Q Was she receiving any pain

15 medication as of the time that you examined her

16 on August 10th?

17 A Do you want me to look at the

18 chart?

19 Q Just from your recollection.

20 A No.

21 Q By the way, Doctor, you have

22 reviewed this patient's hospital chart in

23 preparation for today; correct?

24 A Yes.

25 Q Am I correct that you also

TOMMER REPORTING, IN

(212) 684-2448

23 Q When were you licensed in

24

25 A About five, six years ago. Maybe

TOMMER REPORTING, IN (212) 684-2448

16

1 , M.D.

2 five years ago.

3 Q Would that have been in about

4 1996?

5 A 1996. We formed a medical group

6 and we decided --

7 MR. : That's all right. Just

8 the year.

9 A It was six years for myself.

10 Q Has your license to practice

11 medicine in ever been revoked or

12 suspended?

13 A No.

14 Q In has your license

15 ever been revoked or suspended?

16 A No.

17 Q Are you board certified in any

18 field of medicine?

19 A Yes.

20 Q What field?

21 A Surgery.

22 Q When were you certified?

23 A Since 1980, '81.

24 Q Are you board certified in any

25 other field of medicine?

TOMMER REPORTING, IN (212) 684-2448

17

1 , M.D.

2 A No.

3 Q In addition to the x-rays that you

4 had requested and observed for August 9th and

5 August 10th, did you also request that a CAT

6 scan be performed?

7 A I didn't request a CAT scan. It

8 was done.

9 Q At the time that you examined
10 Mrs. on August 10th, you had the
11 benefit of the abdominal CAT scan results;
12 correct?

13 A Yes.

14 Q In addition, you also had the
15 benefit of having the barium enema results as
16 well; correct?

17 A Yes. When you say, "results," do
18 you mean the report or the x-ray itself?

19 Q Either one.

20 A X-ray was available, but I don't
21 remember whether there was any typewritten
22 report done.

23 Q Before taking the patient to
24 surgery on August 10th, did you review the CAT
25 scan film that had been taken the day earlier,

22 Q It was dictated the date of the
23 surgery; correct?

24 A Dictated August 10th.

25 Q You mentioned before that that was

TOMMER REPORTING, IN (212) 684-2448

1 19
2 , M.D.

3 the date of surgery; correct?

4 A Yes.

5 Q Now, under the Procedure paragraph
6 in the third line you wrote, "An upper midline
7 incision was made, which was carried down to
8 the fascia and the peritoneum was entered."

9 Did I read that correctly?

10 A Yes.

11 Q Can you tell me why you chose to
12 use an upper midline incision?

13 A That's the site in putting that
transverse loop colostomy. It's easier than

14 any other place.

15 Q Before August 2001, had you

16 performed colostomies?

17 A Yes.

18 Q Approximately, in the last year

19 before that time, how many colostomies had you

20 performed?

21 A Many times.

22 Q Can you give me an estimate?

23 A I can't remember the number.

24 Q More than ten?

25 A Per year?

TOMMER REPORTING, IN

(212) 684-2448

1 20
2 , M.D.

3 Q Within the year. From the year

4 August 2000 until August 2001.

5 A Probably.

6 Q Was it more than 20?

7 A No.

7 Q Continuing under the Procedure
8 paragraph, you write, "After identifying the
9 dilated transverse loop colon limited
10 exploration proceeded through the incision."

11 Did I read that correctly?

12 A Yes.

13 Q Can you tell me why you conducted
14 a limited exploration?

15 A Because the incision is small.
16 It's not a large incision. So you cannot
17 really look at all the organs, but you could
18 feel that with your hand.

19 Q Was there any particular reason as
20 to why you made the size incision that you did
21 instead of opening it up further to allow you
22 to visualize the other organs?

23 A Because there's no need to make
24 such a big incision when the purpose of this
25 procedure was to decompress and relieve the

21 tumor?

22 A No.

23 Q Where was the tumor situated in

24 relation to the incision that you had made?

25 A The incision is upper-mid abdomen.

TOMMER REPORTING, IN (212) 684-2448

22

1 , M.D.

2 The tumor is in the left-lower quadrant.

3 Q Can you approximate the distance

4 from the incision area to where the tumor was

5 felt to be?

6 A Probably, about two feet. One

7 foot. I'm sorry. One foot.

8 Q Am I correct that during the

9 August 10th surgery, you did not remove any

10 part of that tumor?

11 A No.

12 Q Did you remove any part of the

13 tumor during the August 10th surgery?

14 A No.

15 Q In your postoperative diagnosis on
16 the typed operative report, you write, "Rule
17 out cancer;" correct?

18 A Yes.

19 Q How did you intend to do that?

20 A She was going to have the
21 colonoscopy for the biopsy. That's the way to
22 do the biopsy of colonic tumor.

23 Q Is that something that you
24 intended to do in your office or would you have
25 sent her out to someone else to have that done?

TOMMER REPORTING, IN (212) 684-2448

23

1 , M.D.

2 A Either way.

3 Q Why did the patient need a
4 colonoscopy?

5 A To make diagnosis of carcinoma.

6 Q Was there any reason as to why the
7 tumor that you had felt could not have been
8 evaluated during the course of surgery on
9 August 10th?

10 A That's not the way it's supposed
11 to be done.

12 Q Why?

13 A Because the tumor is contained
14 inside of an intestine. You have to make an
15 incision to that area to remove the piece.
16 That's not the way it's supposed to be done.

17 Q Is there any downside to going in
18 and removing the tumor in the form of a
19 colectomy during the course of the procedure
20 that you were doing on August 10th?

21 A Yes.

22 Q What is the downside?

23 A When you have markedly dilated
24 intestine, the more you handle it, you could
25 get into more complications such as tearing of

20 problem, including discharge in few days and
21 follow up in the office for surgical
22 intervention of sigmoid colon tumor in few
23 weeks."

24 Q What did you mean by those last
25 two lines?

TOMMER REPORTING, IN (212) 684-2448

1 25
2 , M.D.

3 A She will require operation to
4 remove the tumor and after to properly
5 investigate it.

6 Q Did you mention anything that
7 discussed the need for a colonoscopy?

8 A Yes. This explained that. It
9 says, "surgical intervention." It means that
10 that includes colonoscopy intervention.

11 Q In your opinion, is a colonoscopy
12 surgical intervention?

12 A No. Investigation.

13 Q Why would the patient have to be
14 reopened to have the tumor removed a few weeks
15 from that date?

16 A Because you need time for this
17 dilated intestine to be decompressed so that
18 swelling and edema of the bowel will be
19 subsided enough to remove it and do this
20 anastomosis.

21 Q On August 10th during the surgery,
22 were you able to determine whether there was
23 any lymph node involvement at that time?

24 A No.

25 Q Did you do any lymph node sampling

TOMMER REPORTING, IN (212) 684-2448

26

1 , M.D.

2 on August 10th?

3 A No.

4 Q In your operative note of August

5 10th, is there anything in that typewritten
6 note to indicate what your plan of treatment
7 was with regard to ruling out the cancerous
8 tumor?

9 A Not on this note.

10 Q Can you turn please to your
11 Discharge Summary? Is there anything within
12 your Discharge Summary, which is dated August
13 14, 2001, that indicates what your plan of
14 treatment was to rule out the possibility of
15 cancerous tumor?

16 A The last line, "For office follow
17 up, as described above." In the office follow
18 up, my intention was to --

19 MR. : There is no question.

20 Q As to the office follow up that
21 you described on the second page of your
22 Discharge Summary, is there anything within
23 these two typewritten pages to indicate what
24 your plan was to rule out any cancerous tumor?

25 A I don't understand the question.

19 Q Now, within that Discharge
20 Summary, did you indicate how you intended to
21 rule out the carcinoma?

22 A I didn't describe it, but by the
23 office follow up the intention was to have
24 colonoscopy.

25 Q I understand that. I am asking

TOMMER REPORTING, IN (212) 684-2448

28

1 , M.D.
2 you what you intended to do based on your
3 handwritten note. I am only asking you based
4 on your handwritten note.

5 A No.

6 Q Can you turn please to your
7 handwritten operative note of August 10th that
8 is contained within the hospital record? Can
9 you read the plan?

10 A "Internal sigmoid colon in two,

11 three weeks following the discharge after
12 recovery of surgery."

13 Q If the colonoscopy revealed that
14 the tumor was benign, would there still be a
15 need to perform surgery?

16 A Yes.

17 Q Why?

18 A Because it was obstructed.

19 Q Was there anyone present during
20 the course of this August 10th surgery to
21 assist you with retractors or with any
22 instruments, other than the nurse or the nurses
23 that were in the operating room?

24 A No, not for this type of
25 procedure. You do not need any assistance for

TOMMER REPORTING, IN (212) 684-2448

29

1 , M.D.
2 this surgery because the incision is very small
3 and there is no room for another person to even

4 put his hand.

5 Q When you were doing the surgery on
6 August 10th, did you observe or visualize the
7 ascending colon?

8 A Not visualize. I palpated that.

9 Q What did it feel like?

10 A Feel normal.

11 Q What is an "adenoid carcinoma,"
12 Doctor?

13 A An adenoid carcinoma is carcinoma
14 arising from a normal cell, which is usually
15 adenomalacia consistent with adenoma tissues.

16 Q What is "peritonitis"?

17 A Inflammation of the peritoneal
18 cavity.

19 Q During your August 10th surgery,
20 was there any evidence that this patient had
21 peritonitis?

22 A No.

23 Q Were you aware as of August 9th
24 that Mrs. had come to the emergency

25 room of Hospital the day before?

TOMMER REPORTING, IN (212) 684-2448

30

1 , M.D.

2 MR. : Which day are you

3 talking about?

4 MR. OGINSKI: Let me rephrase the

5 question.

6 MR. : I am going to object to

7 the form of that because I don't know if

8 she did or not.

9 Q On August 9th when you first saw

10 Mrs. at Hospital, did you

11 learn that she had been in the emergency room

12 at Hospital one day earlier?

13 A When I reviewed the chart, yes,

14 she did.

15 Q At the time that you examined Mrs.

16 on August 9th, did you have the

17 benefit of her emergency room chart from the

18 day before?

19 A I don't remember.

20 Q Would it be customary for you to

21 review the patient's prior record at the time

22 that you perform an examination?

23 A If the chart is available.

24 Q Is there anything in your August

25 9th note to indicate whether you did or did not

TOMMER REPORTING, IN (212) 684-2448

31

1 , M.D.

2 examine the patient's emergency room record

3 from August 8th?

4 A No, I don't have any record.

5 Q Did you speak to any physicians

6 before examining Mrs. on August 9th

7 about her?

8 A I don't remember.

9 Q Doctor, I would like you to read

10 please your note from August 9th. If there are
11 abbreviations in the note, please tell me what
12 they represent.

13 A "August 9, '01. Surgery. CC,"
14 which is chief complaint. Then an arrow
15 pointing up meaning increased "abdominal
16 discomfort and obstipation for four weeks (had
17 three to four small bowel movements for last
18 one month.)

19 PE," physical examination, "Alert,
20 oriented, anxious. Head, eyes, ears, nose,
21 throat, neck unremarkable. Chest symmetri
22 Breasts, no masses palpable. Abdomen, soft,
23 globular. Distended loops of colon. No
24 localized tenderness."

25 Q I'm sorry, Doctor. What is after

TOMMER REPORTING, IN (212) 684-2448

32

1 , M.D.

2 "colon"? Is that a positive?

3 A Yes. Positive means distended
4 loops of colon present. "No localized
5 tenderness. Bowel sounds present. Rectal,
6 large amount of soft stool, guaiac negative,
7 not tender. Extremities unremarkable.

8 White blood cell count, 8,200.
9 Hemoglobin to hematocrit 14.2/41.8. Platelets,
10 297,000. CMP," means complete metabolic
11 profile, "within normal limits."

12 Next page. "Abdomen x-ray, CT
13 scan of abdomen revealed," I have arrow to the
14 left, "No. 1, markedly dilated colon with large
15 amount of retained stools. No. 2, enlarged
16 uterus. No. 3, no evidence of small bowel
17 obstructions.

18 Impression. Colonic ileus
19 secondary to obstipation. Rule out mechanical.
20 Recommend, No. 1, Nulytely plus tap water.
21 Discussed with patient and husband regarding
22 laxative (Nulytely) and enema. She refused to
23 take by mouth since she had the bad experience

24 in the past."

25 Q Did you recommend any alternative

TOMMER REPORTING, IN (212) 684-2448

33

1 , M.D.

2 medication similar to Nulytely that would allow

3 her to experience the benefits of the Nulytely?

4 A I don't have a recollection. I

5 don't have any notes here.

6 MR. OGINSKI: Do you have a copy

7 of the August 8th hospital record, Mr.

8 ? Is it within that record?

9 MR. : It might be.

10 A Yes, we have it.

11 Q Doctor, looking at the August 8th

12 emergency room department record, do you see

13 that the patient came in complaining of

14 constipation and b ding?

15 A Okay. Complaint of constipation.

16 Q And b ding?

17 A B ding, yes.

18 Q It says, "Sent to emergency
19 department by private medical doctor to rule
20 out obstruction;" correct?

21 A Correct.

22 Q On one of the pages in that August
23 8th record, you do see that their diagnosis was
24 constipation. Do you see that?

25 A Yes.

TOMMER REPORTING, IN (212) 684-2448

34
1 , M.D.

2 Q Did you speak to any of the
3 doctors who cared for Mrs. on August
4 8th, at or about the time that you examined her
5 on August 9th?

6 A No, I don't have any recollection.

7 Q On August 9th, did you form any
8 opinion as to whether Mrs. needed to

9 have a CAT scan as of August 8th?

10 MR. : Objection.

11 Q On August 9th, when you saw Mrs.

12 , did you ask any of the doctors in

13 the hospital why she did not have certain

14 testing done to evaluate the constipation

15 before being discharged?

16 MS. : Note my objection.

17 MR. : On what date?

18 MR. OGINSKI: I will ask it

19 another way.

20 Q On August 9th at the time that you

21 examined Mrs. , did you speak to any

22 of the doctors who had cared for her the day

23 before?

24 A I don't have any recollection.

25 Q Did you ever ask anyone on August

TOMMER REPORTING, IN (212) 684-2448

2 9th why the patient was discharged with the
3 diagnosis of constipation?

4 A No.

5 Q Did you ever ask anyone why the
6 patient, Mrs. , did not receive any
7 diagnostic tests to evaluate her complaint of
8 constipation?

9 A No.

10 Q As of August 9th, did you form any
11 opinion as to whether the patient had needed an
12 abdominal x-ray the day before, on August 8?

13 MS. : Objection.

14 MR. : He didn't see the
15 patient. So just going by this one
16 particular sheet, I am going to object
17 to that.

18 Q Was any type of x-ray taken on
19 August 8th, while the patient was in the
20 emergency room?

21 A Yeah. According to this record,
22 she did.

23 Q May I see that?

24 A (Witness complies.)

25 Q You're referring now to the

TOMMER REPORTING, IN (212) 684-2448

36

1 , M.D.

2 Emergency Department Patient Record of August

3 8th; correct?

4 A Yes.

5 Q In that note it indicates that the

6 patient had had a chest x-ray; is that correct?

7 A Besides chest x-ray, patient had

8 abdominal series x-rays.

9 Q According to that, it showed a

10 large amount of stool throughout the bowels;

11 correct?

12 A Yes.

13 Q What is Mag Citrate?

14 A It's one of the laxatives.

15 Q Did you ask Mrs. if she

16 had been taking any type of laxative before
17 coming to the hospital on August 9th?

18 A I don't have a recollection.

19 Q Is there anything recorded in your
20 August 9th note to indicate whether she was
21 taking any laxatives?

22 A No.

23 Q Would it be important for you to
24 know whether the patient had been taking any
25 type of laxative before her arrival that day?

TOMMER REPORTING, IN (212) 684-2448

37

1 , M.D.

2 A No.

3 Q Why is that not an important
4 point?

5 A Because everybody has a different
6 type of medication which works for them. So I
7 really don't have any preference laxative of

8 which one works for this patient or another
9 patient.

10 Q Do you have any opinion as you sit
11 here now as to whether the diagnosis that was
12 made on August 8th by the hospital was an
13 accurate one?

14 MS. : Objection.

15 MR. : I am going to object to
16 that.

17 MR. OGINSKI: What is the
18 objection?

19 MR. : He didn't see the
20 patient. Also, Carvalho comes into play
21 here.

22 MR. OGINSKI: Carvalho is not an
23 appropriate objection in this situation
24 where you have a team who is treating
25 the patient.

22 Q Is an observation of an air fluid
23 level of medical significance to you when
24 evaluating a patient such as Mrs.

25 A It could be from the ilia that you

TOMMER REPORTING, IN (212) 684-2448

39

1 , M.D.

2 could see that air fluid level. It could be
3 from mechanical obstructions. That alone does
4 not indicate any real significant findings.

5 Q In addition, the CAT scan reported
6 that there was a small amount of air in the
7 cecum; correct?

8 A According to this report?

9 Q Yes.

10 A Yes. It's questioning of
11 intramural gas in the region of the cecum.

12 Q In the last line, there is also a
13 small amount of fluid noted in the pelvis;
14 correct?

15 MR. : Where?

16 Q I'm sorry. Is there anything
17 within this CAT scan report which suggests that
18 there is some air fluid level in the pelvis?

19 A No.

20 Q Are you familiar with the term
21 known as "pneumatosis intestinalis"?

22 A Yes.

23 Q Tell me what "pneumatosis
24 intestinalis" is.

25 A That's massive air along the wall

TOMMER REPORTING, IN (212) 684-2448

40

1 , M.D.

2 of intestines.

3 Q Based upon this CAT scan report,
4 is there anything within the report to suggest
5 that this patient had the condition that you
6 just described known as pneumatosis

7 intestinalis?

8 A No.

9 Q What was the significance of the
10 CAT scan findings in the face of the large
11 bowel obstruction?

12 A Could not draw any conclusions
13 from this CAT scan finding.

14 Q Now, am I correct that the CAT
15 scan shows air in the bowel wall?

16 MR. : In the bowel wall?

17 MR. OGINSKI: Or air in the bowel.

18 Q Is that correct?

19 A Yeah. That's normal.

20 Q What is "intramural gas," Doctor?

21 A Along the wall of intestine.

22 Q Is that a normal finding?

23 A It could be sometimes. This is,
24 as you see, not definite. It's questioning.

25 Q If you can, please turn to the

21 of the question.

22 Q On August 9th, did you form an
23 opinion within a reasonable degree of medical
24 probability as to whether this patient had
25 evidence of a perforated intestine?

TOMMER REPORTING, IN (212) 684-2448

1 42
, M.D.

2 A No.

3 MR. : There was no evidence
4 or you didn't have an opinion?

5 THE WITNESS: I didn't have any
6 evidence at all.

7 Q On August 10th, did you have an
8 opinion as to whether there was objective
9 evidence to indicate that this patient had a
10 perforation?

11 MR. : Objection to the form
12 of the question.

13 Q Did Mrs. have a

14 perforation in her intestine on August 10th
15 before surgery?

16 A No.

17 Q The air fluid level that we talked
18 about a few moments ago, what is that from?

19 MR. : Which air fluid level,
20 where?

21 MR. OGINSKI: Air fluid within the
22 intestine.

23 A Normal intestine has air and
24 fluid.

25 Q The amount or the type of air that

TOMMER REPORTING, IN (212) 684-2448

43

1 , M.D.

2 is described within the CAT scan report, did
3 you form an opinion as to whether that was
4 within normal limits as of August 10th?

5 A I told you that you cannot draw

6 any conclusion from that finding, but the air
7 fluid level in the CAT scan suggested that
8 there is dilated intestine. It could be from
9 the ilia It could be from mechanical
10 obstruction.

11 Q What would air in the cecum be due
12 to?

13 A Same thing. There is air from the
14 stomach, small intestine, cecum, transverse
15 colon and all the way down to the rectum.
16 That's normal.

17 Q Now, this barium enema that we
18 discussed earlier was done on August 10th;
19 correct?

20 A Yes.

21 Q That was the emergency barium
22 enema that you described earlier; correct?

23 A Yes.

24 Q The barium enema results in the
25 last full paragraph state, "There is however a

20 A You could go in and try to remove
21 that area of obstruction. As I described
22 before, that increased morbidity on this
23 patient considering how much the bowel was
24 distended.

25 Q That would be known as a subtotal

TOMMER REPORTING, IN (212) 684-2448

 45
1 , M.D.

2 colectomy?

3 A No.

4 Q What would it be known as?

5 A You could explore and do the
6 sigmoid colon section, if that's the only
7 pathology on this patient.

8 Q Was a colectomy an option on
9 August 10th?

10 A On this case?

11 Q Yes.

12 A No.

13 Q Can you tell me why?

14 A Because the intestine was so
15 distended that I felt it dangerous to perform
16 this colectomy on August 10th, rather than
17 decompress the dilated intestine.

18 Q Was the surgery that you performed
19 on August 10th an emergency surgery?

20 A Yes.

21 Q Can you tell me what would have
22 happened if the surgery had not been performed?

23 A The bowel would have been
24 perforated.

25 Q Would you agree, Doctor, that in a

TOMMER REPORTING, IN (212) 684-2448

46

1 , M.D.

2 patient who is markedly dilated it would be
3 good medical practice to visualize the cecum
4 during the course of the surgery?

5 MR. : Objection to the form.

6 Q Do you have an opinion within a
7 reasonable degree of medical probability as to
8 whether it is good medical practice to
9 visualize the cecum during the course of this
10 surgery?

11 MR. : Objection.

12 MR. OGINSKI: What is the
13 objection?

14 MR. : The form of the
15 question.

16 Q The fact that the patient was
17 markedly dilated and given the fact that the
18 patient had findings on CAT scan and also on
19 barium enema, would it also be important for
20 you to evaluate the patient's cecum during the
21 course of this procedure?

22 A No, because I am not completely
23 convinced of that CAT scan finding because you
24 see another x-ray doctor's report about that
25 CAT scan. On this x-ray report on August 10th,

19 abdominal x-ray taken on August 10th was
20 transcribed at the bottom on August 13th. Do
21 you see that?

22 A Yes.

23 Q Do you recall as you sit here now
24 having any conversation with this individual
25 about his interpretation of the

TOMMER REPORTING, IN (212) 684-2448

48

1 , M.D.

2 CAT scan before you took the patient into
3 surgery?

4 A No. Many times what I would do at
5 Hospital, when the radiologist dictates
6 this, it goes into the system. So you could
7 hear all the reports this were dictated on
8 August 10th.

9 I don't have any recollections,
10 but I have a custom to get into the system,
11 listen to all the reports from past x-ray

12 doctors before I make a final conclusion from
13 that x-ray.

14 Q Are you saying that you can listen
15 to their actual dictation?

16 A Yes.

17 Q Without the benefit of having a
18 transcribed typed report?

19 A Yes.

20 Q Did you do that in this case?

21 A Most probably did.

22 Q Is there anything in your record
23 to suggest that is what you did, other than
24 your custom?

25 A No.

TOMMER REPORTING, IN (212) 684-2448

49

1 , M.D.

2 Q What is it about that abdominal

3 report by Dr. to suggest to you that

4 there was nothing concerning the cecum?

5 MR. : Objection to form.

6 Q Dr. interpreted the CAT scan
7 that had been taken the day before; correct?

8 A Yes.

9 Q It was his opinion that the CAT
10 scan did not support a diagnosis of an
11 intraabdominal inflammatory process?

12 A Yes.

13 Q How is that different from the
14 individual who interpreted the CAT scan report?

15 MR. : The day before?

16 MR. OGINSKI: Yes.

17 A The radiologists have many
18 different opinions, even when they look at the
19 same structures all the time.

20 Q I understand. In your opinion and
21 based upon your clinical findings, how is the
22 CAT scan interpretation different?

23 A Clinically, she did not have any
24 evidence of peritonitis.

25 Q I'm sorry, Doctor. Maybe I wasn't

18 finding?

19 A Right.

20 Q Under what circumstances would you

21 want to see the cecum during the course of the

22 surgery that you performed?

23 A If the patient had clinical

24 evidence of peritonitis.

25 Q What symptoms or signs would you

TOMMER REPORTING, IN (212) 684-2448

51

1 , M.D.

2 see in a patient who had clinical evidence of

3 peritonitis?

4 A She may have a fever,

5 leukocytosis, rebound tenderness.

6 Q The leukocytosis that you're

7 talking about, is that similar to an increased

8 white blood cell count?

9 A Yes.

10 Q Did the patient have an increased

11 white blood cell count prior to surgery?

12 A I have to look. According to the
13 hospital lab, her white blood cell count on
14 August 9th was 8,200, which is normal.

15 Q Was there also another result on
16 the following day prior to surgery?

17 A Yes.

18 Q What was the result on August
19 10th?

20 A It was 8,200.

21 Q Is that also within normal limits?

22 A Yes.

23 Q Did the patient have any fever
24 either on the 9th or the 10th?

25 A I will look. August 9th, August

TOMMER REPORTING, IN

(212) 684-2448

52

1 , M.D.

2 10th, she did not have any fever.

3 Q What number do you consider to be
4 febrile or having a fever?

5 A About 101.

6 Q Did you learn at some point after
7 the patient was discharged from Hospital
8 that she had been admitted to
9 Hospital?

10 A Yes.

11 Q From whom did you learn that
12 information?

13 A According to my office chart, my
14 office staff had called the patient because she
15 had an appointment to come and see me on August
16 17th. Since she failed to show up in the
17 office, we have a custom to call the patient.

18 So my staff entered a note here on
19 August 20, 2001, "Spoke with patient's husband
20 about rescheduling a postop visit. Husband
21 states patient was admitted to
22 Hospital in and she had poison
23 in her body and almost died."

24 Q Did you have any contact with any

25 physician at Hospital upon

TOMMER REPORTING, IN (212) 684-2448

53

1 , M.D.

2 learning that information?

3 A No.

4 Q Did you ever speak to any

5 healthcare providers of Mrs.

6 concerning the reason why she was hospitalized

7 at Hospital?

8 A No.

9 Q Did you ever review the

10 records?

11 A No.

12 Q Did you ever learn that she had

13 suffered from a perforation in her intestine

14 and that she needed emergency surgery at

15

16 A Yeah. I learned after she had

17 sued me.

18 Q Before that, did you learn from
19 any healthcare providers; doctors, nurses or
20 anyone else, that she had had emergency surgery
21 as a result of a perforated intestine?

22 A No.

23 Q Was the purpose of your August
24 10th surgery to relieve the obstruction?

25 A Yes.

TOMMER REPORTING, IN (212) 684-2448

54

1 , M.D.

2 Q By performing the colostomy, did
3 you, in fact, relieve the obstruction?

4 A Yes.

5 Q Am I correct that you mechanically
6 removed the obstruction?

7 A Yes.

8 Q You created an alternative pathway
9 for the stool to come out; correct?

10 A Right.

11 Q Once the mechanical obstruction
12 has been removed, is there any way for the
13 intestine where the obstruction is located to
14 perforate?

15 A Possible.

16 Q How?

17 A If the tumor continues to grow, it
18 could.

19 Q Is there any other reason, besides
20 the tumor continuing to grow, that might cause
21 the intestine to perforate in that area?

22 A "That area;" meaning, sigmoid
23 colon, you're talking about?

24 Q Yes.

25 A Yes. If the tumor continues to

TOMMER REPORTING, IN (212) 684-2448

55
, M.D.

1

2 grow, it also comprises blood circulation to
3 that area, which takes a long time.

4 Q The fact that the patient had a
5 large amount of retained stool prior to
6 surgery, did that effect your decision as to
7 what type of surgery to perform?

8 A Yes.

9 Q Why?

10 A When you have a large amount of
11 fecal matter in the large intestine, the less
12 you handle it, the better. So given this
13 colostomy, it is a better way to handle when
14 there's a large amount of feces in the
15 intestine because feces contains a large amount
16 of bacteria.

17 So if you handle that portion of
18 the intestine and try to do something more
19 there is a greater chance of infections,
20 spillage of feces, breakdown of anastomosis and
21 eventually developing peritonitis. So whenever
22 you have a large amount of feces; we call it
23 unprepared intestine, you do not handle it too

24 much. That's the principal.

25 Q On discharge, did you tell the

TOMMER REPORTING, IN (212) 684-2448

56

1 , M.D.

2 patient that you removed the tumor?

3 A No.

4 Q Did you tell the patient that the

5 tumor had been left in?

6 A Yes.

7 Q Did you tell her that she would

8 need a second surgery to have it removed?

9 A Yes.

10 Q What did they say in response to

11 that, if anything?

12 A They will do it.

13 Q Was it your impression that Mrs.

14 understood what you were telling her?

15 A Yes. In fact, they made an

16 appointment to come back to see me on August

17 17th.

18 Q Was it also your impression that

19 Mrs. 's husband had also understood

20 what you were telling them?

21 A Yes.

22 Q Did you tell Mrs. on

23 discharge that you still needed to rule out

24 whether or not this mass was a cancer?

25 A Yes.

TOMMER REPORTING, IN (212) 684-2448

57

1 , M.D.

2 Q If this was a metastatic tumor,

3 were there any risks to leaving the tumor in

4 the patient for another few weeks before you

5 went ahead and did the other surgery?

6 MS. : Objection.

7 Q Did you have an opinion as of the

8 time of discharge on August 14th, as to whether

9 the obstructing tumor that you wrote about was
10 metastatic?

11 A No.

12 Q Was there any risk to the patient
13 for leaving in the tumor if it turned out to
14 have been metastatic?

15 MR. : Objection.

16 Q Did you form any opinion as to the
17 type of obstructing tumor that you observed or
18 commented upon on August 10th, as to whether it
19 was slow growing, fast growing or some other
20 type of growing tumor?

21 A No.

22 Q Were you able to determine whether
23 this obstructing tumor was benign on August
24 10th?

25 A No.

TOMMER REPORTING, IN (212) 684-2448

23 me, by performing the colostomy, that the cecum

24 can then perforate afterwards?

25 A No.

TOMMER REPORTING, IN (212) 684-2448

59

1 , M.D.

2 Q Would you agree that --

3 A I don't understand your question.

4 Q I will rephrase it. Would you

5 agree that the only way that the cecum can

6 perforate in an instance where you have

7 relieved the obstruction would be if a

8 perforation was present as of August 10th?

9 A I still don't understand the

10 question.

11 Q Under what circumstances would the

12 cecum perforate in this patient?

13 A A cecum can perforate at any time.

14 If the large intestine has been dilated that

15 will compromise blood circulation to any wall
16 of intestine, which I mentioned before. If the
17 thin wall bowel was compromised with the blood
18 circulation to that area, it could be
19 perforated any time. It's possible;
20 especially, once you start regular diet, which
21 she did before she left the hospital.

22 Q How do you prevent that from
23 occurring, if you can?

24 A No way.

25 Q How do you recognize something

TOMMER REPORTING, IN (212) 684-2448

60

1 , M.D.

2 like that, if it is to occur?

3 A Patient will have fevers, chills,
4 more bad pains, there would be localized
5 tenderness, rebound tenderness to suggest there
6 is peritonitis, which she didn't have when she
7 left the hospital.

8 Q Do you have an opinion within a
9 reasonable degree of medical probability as you
10 sit here today as to whether the failure to
11 visualize the cecum in light of the CAT scan
12 findings of August 9th and the barium findings
13 of August 10th was a departure from the
14 standard of care as it existed in the year
15 2001?

16 A No.

17 MR. : "No," meaning?

18 Q Is your opinion, no, it did not
19 depart from the standard of care?

20 A Yeah.

21 Q In August of 2001, did you
22 practice under a group name or a professional
23 corporation?

24 A Yes.

25 Q What was the name of that entity?

22 member, president, employee or something else,
23 if you know?

24 A They call it, I'm employed with a
25 medical group.

TOMMER REPORTING, IN (212) 684-2448

1 62
2 , M.D.

3 MR. OGINSKI: Off the record.

4 (Discussion was held off the
5 record.)

6 Q Doctor, have you published any
7 journal articles in the course of your career?

8 A Yes.

9 Q Approximately, how many have you
10 published?

11 A One.

12 Q What was the topic of that
13 publication?

14 A If I remember, it was

14

15 Q When did you publish that?

16 A A couple of years ago.

17 Q Do you recall what journal it was

18 in?

19 A American Journal of Surgery.

20 Q Have you published any other

21 articles, other than that?

22 A No.

23 Q Have you published any abstracts?

24 A No.

25 Q Have you published any portions of

TOMMER REPORTING, IN (212) 684-2448

63

1 , M.D.

2 any textbooks?

3 A No.

4 Q Have you presented any lectures to

5 any national organizations in your field of

6 medicine?

7 A No.

8 Q Tell me where you went to medical
9 school, please.

10 A

11 Q When did you graduate?

12 A

13 Q After medical school, what did you
14 do as far as continuing your education?

15 A I did an internship in the
16 hospital.

17 Q Which one?

18 A

19 Then I went into the navy as naval
20 surgeon for three years.

21 Q Where was that?

22 A In

23 Q What did you do after that?

24 A I came to in

25 and did an internship and residentship at

21 Q Was that solo or with another
22 group?

23 A Solo.

24 Q Where was your office?

25 A It was in

TOMMER REPORTING, IN (212) 684-2448

1 65
, M.D.

2 Q How long did you practice there?

3 A Until about 1984.

4 Q Then what did you do?

5 A Then I moved to

6 in 1984.

7 Q In terms of where you were
8 working, where did you work in '84?

9 A In .

10 Q Was that also as a solo
11 practitioner?

12 A Yes.

13 Q How long did you do that?

14 A I did that until about 1996 or

15 '97, until we formed this medical group.

16 Q What hospitals are you currently

17 affiliated with?

18 A Hospital and

19 Hospital in

20 Q That would be in

21 A Yes.

22 Q What is your affiliation with the

23 hospital?

24 A I'm attending surgeon, same as

25 Hospital.

TOMMER REPORTING, IN (212) 684-2448

66

1 , M.D.

2 Q Have your privileges to practice

3 at Hospital or hospital ever

4 been suspended or revoked?

5 A No.

6 Q Did Hospital to your
7 knowledge in August of 2001, have access to
8 surgeons who specialized in colorectal surgery?

9 A No.

10 Q Did you have any subspecialty
11 within the field of surgery for which you had
12 gone on to do additional training?

13 A No.

14 Q Did you perform any types of
15 fellowships?

16 A No.

17 Q Did you ever discuss Mrs.
18 's care and treatment during her
19 August admission at Hospital with any of
20 the physicians in your medical group?

21 A I don't have a recollection.

22 Q I would like you to go back please
23 to the hospital record and to your August 11th
24 note. I would like you to read that part of
25 the page, Doctor. Then we are going to skip

12 note that you have? Can you read that, please?

13 A "August 12th. Surgical follow up.

14 Postop No. 2. Complaint of hallucinations with

15 Demerol and intermittent abdominal pain. The

16 abdomen was soft, not distended, no localized

17 tenderness. Colostomy is functioning well.

18 Urine output is okay.

19 Plans. Discontinue Demerol.

20 Trisoralen, 30 milligrams, Q six hours.

21 Discontinue Foley catheter."

22 Q Let's go back for a moment to the

23 August 9th note that you have, which is your

24 first note for the patient. Her chief

25 complaint was increased abdominal discomfort

TOMMER REPORTING, IN

(212) 684-2448

69

1 , M.D.

2 and obstipation for four weeks; correct?

3 A Yes.

4 Q After the surgery, did you come to

5 any conclusions as to what had caused her to
6 have the constipation and the obstipation?

7 A Because she had an obstructing
8 tumor, but that may not --

9 MR. : There is no question.

10 Q Can you read your next note,
11 please?

12 A "August 13th. Surgical follow up.
13 Postop No. 3. As above, feels much better.
14 Tolerating liquid diet. Colostomy is
15 functioning well. Abdomen soft, not distended,
16 nontender.

17 Plans. Discontinue I.V. fluids.
18 Regular diet. Refer to home care for colostomy
19 care. If remains stable, will discharge
20 tomorrow. Discussed with patient's husband and
21 home-care referral."

22 Q Was it customary that patients who
23 would be discharged from the hospital with a
24 colostomy would be recommended for home care?

25 A Yes.

19 functioning well. (discharge planner)
20 arranged home-care nurse to follow her starting
21 tomorrow. Discussed with patient and husband
22 and they prefer to go home today for office
23 follow up. Will discharge today."

24 Q Was that your last note for the
25 patient?

TOMMER REPORTING, IN (212) 684-2448

71

1 , M.D.

2 A Yes.

3 Q After the patient was discharged
4 on August 14th, did you have a conversation
5 with anyone from the ?

6 A No, I don't have any recollection.

7 Q Would it be customary that if a
8 patient was sent home with home care or
9 follow-up care with a visiting nurse that if
10 there was a problem the visiting nurse would

11 make attempts to contact you either personally
12 or at the office?

13 MS. : Objection.

14 A Yes.

15 Q On the occasion or occasions when
16 a visiting nurse or home-care assistant would
17 get in touch with you --

18 MS. : Objection.

19 MR. OGINSKI: I will withdraw the
20 question.

21 Q Did you have a conversation with a
22 visiting nurse who had seen on
23 August 15th?

24 A I don't have a recollection.

25 Q If you are in the office when a

TOMMER REPORTING, IN (212) 684-2448

72

1 , M.D.

2 call comes in about a patient's visiting nurse,

3 do you customarily make notes about your phone

4 conversations?

5 MS. : Objection to form.

6 MR. : Go ahead. You can

7 answer it.

8 A If there is any problem, yes.

9 Q If there is no problem, you do not

10 make any notes; am I correct?

11 MR. : Start the whole

12 question again.

13 Q Under what circumstances would you

14 make an entry in the patient's chart about a

15 telephone conversation?

16 A The custom is, most of the time

17 when I have a chart in front of me, that's what

18 my staff usually do, I enter that conversation.

19 If I'm in a patient's treatment room or the

20 hallway, when I don't have any chart and if

21 there is no problem, I may not enter that

22 conversation. If there is any problem, I

23 insist that I need the chart. So the staff

24 will bring the chart in front of me.

25 Q On the occasion when you were not

TOMMER REPORTING, IN (212) 684-2448

73

1 , M.D.

2 in your office and you received a call from a
3 visiting nurse or from a home-health aide, what
4 did you do in those circumstances in terms of
5 recording information in a patient's chart
6 about a conversation?

7 A If there is no chart available, I
8 make the note and enter that later on.

9 MR. OGINSKI: Excuse me for one
10 minute.

11 (Brief recess was taken.)

12 Q Did you ever tell a visiting nurse
13 that the patient had a palliative colostomy?

14 MR. : In this case?

15 MR. OGINSKI: In this case.

16 MS. : Just note my

17 objection, please.

18 A There is no such terminology.

19 This is the first time I heard that.

20 Q To relieve the obstruction, would
21 that be a palliative treatment?

22 A No.

23 Q Is that a term that you would not
24 use?

25 A No. Palliative means that you do

TOMMER REPORTING, IN (212) 684-2448

74

1 , M.D.

2 the procedure and that procedure will be the
3 end of the procedure.

4 Q Did you ever tell a visiting nurse
5 who would have been caring for Mrs.
6 that the reason that you did not remove the
7 tumor was because of the amount of stool that
8 you observed during the procedure?

9 MS. : Note my objection,

10 please.

11 MR. : You can answer, Doctor.

12 A I don't have recollection.

13 Q Did you ever tell a visiting nurse
14 that a follow-up surgery would be performed
15 when all of the stool would be out of her
16 system?

17 MS. : Objection.

18 A No.

19 Q Did you form any opinion on August
20 14th, when the patient was going to be
21 discharged, as to whether she was physically
22 capable of doing her daily activities?

23 A I believe that she was capable.
24 That's why she was discharged from the
25 hospital.

TOMMER REPORTING, IN (212) 684-2448

75

1 , M.D.

2 Q Did you ever learn from any

3 visiting nurse that Mrs. was too weak
4 to do her daily activities?

5 MS. : Objection.

6 MR. : Objection.

7 Q Did any nurse that was caring for
8 Mrs. ever tell you that the patient
9 was too weak to bathe?

10 MS. : Objection.

11 MR. : Objection.

12 MR. OGINSKI: What is the
13 objection?

14 MR. : You're trying to
15 establish a fact by your question.

16 Q I just want to know if anybody
17 told you that.

18 A No recollection.

19 Q Did you ever receive a telephone
20 call from the visiting nurse advising you that
21 Mrs. had a fever?

22 MS. : Objection.

23 A No recollection.

24 Q Would a fever postoperatively be
25 something that you would want to know about?

TOMMER REPORTING, IN (212) 684-2448

76

1 , M.D.

2 A Yes, but many times there is
3 so-called postop fever without any alarming
4 situation. It's the body's reaction to
5 anesthetic and general anaesthesia and they
6 could get microaerophilous of the lung. They
7 could get irritation of the I.V. tubing. They
8 could get irritation from Foley catheters. The
9 general body reacts to that stress. Operations
10 and anesthesia is known for postop fever.

11 Q Would a fever of 101 be of any
12 significance to you five days postoperatively?

13 A No, not really.

14 Q Were you ever told by any visiting
15 nurse whether Mrs. was dehydrated?

16 MS. : Objection to the

17 form.

18 A No recollection.

19 Q Do you recall telling a visiting

20 nurse that the patient did not have a bowel

21 movement for one month before her surgery?

22 MS. : Objection.

23 MR. : Objection.

24 Q Did you tell any nurse that the

25 patient had not had a bowel movement one month

TOMMER REPORTING, IN (212) 684-2448

77

1 , M.D.

2 prior to surgery?

3 MS. : Objection.

4 MR. : Objection.

5 A No recollection.

6 MR. : Would you have told a

7 visiting nurse that she didn't have a

8 bowel movement for one month?

9 THE WITNESS: Usually not.

10 Q Doctor, I am going to show you a

11 note from the , which is

12 dated August 15th. This note apparently

13 relates to a conversation that a visiting nurse

14 had with you. I want you to read it, please.

15 Then I will ask you some questions about that.

16 Is there anything about that note

17 that refreshes your recollection as to a

18 conversation that you had with a visiting nurse

19 on August 15th?

20 MR. : Objection to the form

21 of the question.

22 Q Does that note refresh your memory

23 about a conversation that you had with someone

24 on August 15th about Mrs.

25 MR. : Same objection to the

TOMMER REPORTING, IN (212) 684-2448

78

1 , M.D.

2 form of the question.

3 Q At any time after

4 was discharged from Hospital on August

5 14th, did you speak to any visiting nurse at

6 any time about Mrs.

7 MS. : Objection.

8 A I don't have any recollection.

9 Q The note that I gave to you and

10 your counsel, the note that you're looking at

11 now, which is dated August 15th, that comes

12 from the records, does

13 that refresh your recollection as to whether or

14 not you had a conversation with any visiting

15 nurse about Mrs.

16 MS. : Objection.

17 MR. : Let me state that the

18 note which is typewritten has a line for

19 a signature and there is no signature.

20 It also has a line for the date and

21 there is no date. So I don't know what

22 this is. I don't know where it came

23 from. I am going to object to its use.

24 MR. OGINSKI: I am only asking him

25 if it refreshes his recollection about a

TOMMER REPORTING, IN (212) 684-2448

79

1 , M.D.

2 conversation that he had.

3 MR. : I think he told you

4 that he does not have a recollection of

5 having any conversations with a visiting

6 nurse.

7 Q Did you ever speak to Mrs.

8 on the telephone after August 14th?

9 A No, no record.

10 Q Was there anything to suggest to

11 you on August 10th during the course of the

12 surgery that Mrs. was septic?

13 A Not at all.

14 Q Was there any way for you to tell

15 on August 10th during the surgery that you

16 performed whether her cecum was in any way
17 perforated?

18 A No way.

19 Q Did you ever receive a telephone
20 call from a Dr. Bashadi regarding Mrs.

21

22 A No.

23 Q What was the procedure back in
24 August of 2001, whereby if a patient or other
25 doctor needed to get in touch with you and you

TOMMER REPORTING, IN (212) 684-2448

80

1 , M.D.

2 were not in the office, how would they get in
3 touch with you?

4 A My staff would page me and I would
5 call them back as soon as I could.

6 Q If it was someone who needed to
7 reach you after business hours, what was the

8 procedure about getting in touch with you?

9 A My answering service will page me
10 through my beeper. Then I will call them.

11 Q As far as you know, in August of
12 2001, did you have or did you learn about any
13 problems with communications between patients
14 and yourself and your service?

15 A Not at all.

16 Q Do you know a Dr.

17 A Who is that?

18 Q I am just asking you if you know
19 the name of that doctor.

20 A No.

21 Q Did you bring with you any billing
22 records as to the treatment that you rendered
23 to this patient?

24 A No.

25 Q Where would those billing records

TOMMER REPORTING, IN

(212) 684-2448

22

23 A I don't know the name.

24 Q Do you know a nurse named

25

TOMMER REPORTING, IN (212) 684-2448

82

1 , M.D.

2 A Yes.

3 Q Who is ?

4 A is a nurse working at the

5 emergency room at Hospital.

6 Q Did you ever speak to Nurse

7 on August 9th or 10th about Mrs.

8 A I don't have recollection.

9 Q Do you know a Dr.

10 or

11 A Yes.

12 Q Who is that doctor?

13 A He is another E.R. physician at

14 Hospital.

15 Q Did you speak to him on August 9th
16 about Mrs.

17 A I don't have recollection.

18 Q Who is Dr. ?

19 A He was a hospitalist for the
20 medical group.

21 Q For your medical group?

22 A Yes.

23 Q What is a "hospitalist"?

24 A Hospitalist is a doctor assigned
25 to a hospital to see mostly inpatients and to

TOMMER REPORTING, IN (212) 684-2448

83

1 , M.D.

2 care for them.

3 Q Based upon the August 9th note,
4 did you see that Dr. had seen the patient
5 prior to you seeing the patient?

6 A Yes, I see the note.

7 Q Does this note tell you who called
8 you in; whether it was Dr. Dr.
9 or someone else?

10 A No, it doesn't tell you. It could
11 be Dr. or it could be Dr.

12 Q Did you have any conversation with
13 Dr. about his evaluation of the patient?

14 A I don't have recollection.

15 Q Did you speak to Dr.
16 about his evaluation of the patient?

17 A No recollection.

18 Q When someone on your staff called
19 Mrs. to learn why she had not shown
20 up for the office visit, did you learn that she
21 had been admitted to Westchester Square
22 Hospital with a diagnosis of sepsis and
23 perforation?

24 A No.

25 Q Did you visualize Mrs. 's

14 my plans that she will recover and then have
15 surgical interventions. On August 11th, my
16 note said that I discussed again with patient
17 and husband. On the Discharge Summary, the
18 diagnosis is to rule out carcinoma on office
19 follow up.

20 So I explained to the patient and
21 family what will be the next step. That's the
22 reason why I asked them to come to see me at
23 the office to plan for more investigations and
24 interventions and progress.

25 Q When you say, "the patient and

TOMMER REPORTING, IN (212) 684-2448

86

1 , M.D.
2 family," did you mean anyone else, other than
3 her husband?

4 A I think her husband.

5 Q Anybody else?

6 A I don't have recollection.

7 Q Had you ever seen or treated Mrs.

8 before August 9th?

9 A No.

10 Q Thank you, Doctor.

11 MS. : No questions.

12 MS. : No questions.

13 (Time noted: 12:32 p.m.)

14

15

16

17

18

19

20

21

22

23

24

25

20 before me, this ____ day

21 of _____, 2002

22

23

24 _____

25 Notary Public, State of

TOMMER REPORTING, IN (212) 684-2448

88

1

2 I N D E X

3 WITNESS PAGE

4 , M.D.

5 Examination by:

6 MR. OGINSKI 5

7

8 DOCUMENTS AND/OR INFORMATION REQUESTED

9 DESCRIPTION PAGE

10 Production of copies of 's 81
11 billing records

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, IN

(212) 684-2448

98

1

2 STATE OF)

) Ss:

3 COUNTY OF)

4

5 I, LAURA K. PETRASEK, a Shorthand
6 Reporter and Notary Public within and
7 for the State of , do hereby
8 certify:

9 That , M.D., the
10 witness whose examination is hereinbefore
11 set forth, was duly sworn by me and that
12 this transcript of such examination a
13 is a true record of the testimony given
14 by such witness.

15 I further certify that I am not
16 related to any of the parties to this
17 action by blood or marriage and that I
18 am in no way interested in the outcome
19 of this matter.

20 IN WITNESS WHEREOF, I have
21 hereunto set my hand this 24th day of
22 September, 2002.

23

24

25

LAURA K. PETRASEK

TOMMER REPORTING, IN

(212) 684-2448