

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20

SUPREME COURT OF THE STATE OF  
COUNTY OF

----- X  
, as Administratrix of the  
Estate of ,

Plaintiff,

-against-

,  
, M.D., and  
, M.D.,

Defendants.

----- X

,  
March 24,  
10:23 a.m.

EXAMINATION BEFORE TRIAL of the

Defendant,

CENTER, by , M.D.

21

22

23

TOMMER REPORTING, INC.

192 Lexington Avenue

24

Suite 802

, 10016

25

(212) 684-2448

TOMMER REPORTING, INC. (212) 684-2448

2

1

2

A P P E A R A N C E S:

3

4

LAW OFFICE OF GERALD M. OGINSKI, LLC

Attorney for the Plaintiff

5

150 Great Neck Road, Suite 304

Great Neck, 11021

6

BY: GERALD M. OGINSKI, ESQ.

7

8

, LLP

9

Attorneys for the Defendants

10

,

11

BY: , ESQ.

12

13

14

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

3

1

2

## STIPULATIONS

3

4

It is hereby stipulated and agreed by and

5

between counsel for the respective parties

6

hereto that all rights provided by the

7 C.P.L.R., including the right to object to  
8 all questions except as to form, or to move to  
9 strike any testimony at this examination, are  
10 reserved, and, in addition, the failure to  
11 object to any question or to move to strike any  
12 testimony at this examination shall not  
13 be a bar or a waiver to doing so at, and is  
14 reserved for, the trial of this action;

15 It is further stipulated and agreed by  
16 and between counsel for the respective parties  
17 hereto that this examination may be sworn to by  
18 the witness being examined before a Notary  
19 Public other than the Notary Public before whom  
20 this examination was begun, but the failure to  
21 do so, or to return the original of this  
22 examination to counsel, shall not be deemed a  
23 waiver of the rights provided by Rules 3116 and  
24 3117 of the C.P.L.R., and shall be controlled  
25 thereby;

TOMMER REPORTING, INC. (212) 684-2448

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20

It is further stipulated and agreed by  
and between counsel for the respective parties  
hereto that this examination may be utilized  
for all purposes as provided by the C.P.L.R.;

It is further stipulated and agreed by  
and between counsel for the respective parties  
hereto that the filing and certification of the  
original of this examination shall be and the  
same hereby are waived;

It is further stipulated and agreed by  
and between counsel for the respective parties  
hereto that a copy of the within examination  
shall be furnished to counsel representing the  
witness testifying without charge.

\*\*        \*\*        \*\*

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

5

1

2

, M. D.,

3

called as a witness, having been first

4

duly sworn, was examined and testified

5

as follows:

6

EXAMINATION BY

7

MR. OGINSKI:

8

Q State your name for the record,

9

please.

10

A , M.D.

11

Q State your address for the record,

12

please.

13

A

14 , ,

15 .

16 Q Good morning, Doctor.

17 Did you examine Mr. on

18 January 22, ?

19 A Is that the Tuesday?

20 Q That would be Tuesday.

21 A Yes.

22 MR. : Feel free at any time

23 to look at the chart before you answer a

24 question.

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

6

1 , M.D.

2 Q What time did you examine

3 Mr. ?

4 A I can't tell you exactly. It's

5 somewhere between 6 and 6:30.

6 Q What information are you looking  
7 at in the chart that tells you that your exam  
8 was done before that time?

9 MR. : Objection to form.

10 You may answer.

11 That may not be the only  
12 information.

13 Q What is it that you are looking at  
14 in the chart that's assisting you in coming to  
15 that answer?

16 A The note from my resident or the  
17 resident at the time which is written at 6:40  
18 a.m.

19 Q What date is that?

20 A On the 22nd.

21 Q Is that Dr. 's note?

22 A Yes.

23 Q What is it about that note that  
24 reflects that you saw the patient, if there is  
25 something to that effect, around 6 or 6:30

1                   , M.D.

2    p.m.?

3                   MR. : Object to form of the  
4    question you can answer.

5                   If you're basing your answer just  
6    on the chart, that's fine. But if it's  
7    based on other information as well, let  
8    him know.

9            A    From the notes on the 22nd I have  
10   written an order in the afternoon that shows  
11   that I saw the patient at the time and I also  
12   have a recollection of seeing the patient.

13           Q    Take a look at your order, please.

14                   What's the date of that order,  
15   Doctor?

16           A    January 22nd.

17           Q    What time did you write that  
18   order?

19           A    I can't tell you exactly but I

20 assume it was after I saw.

21 Q That order was picked up when?

22 A It says 10:30 p.m.

23 Q How was it that you came to come

24 in to examine Mr. on January 22nd

25 between 6 and 6:30 p.m.?

TOMMER REPORTING, INC. (212) 684-2448

8

1 , M.D.

2 A Can you rephrase the question. I

3 don't understand what you're asking.

4 Q Why did you examine him at that

5 time and on that day?

6 A It is my usual practice in the

7 afternoon or the evening to do a round and see

8 all the patients on that particular day.

9 When I came back from the clinic,

10 I was informed that Mr. had an

11 episode and I went in to see him.

12 Q Who were you with, if anyone, when

13 you saw him?

14 A I don't recall being with anyone.

15 Q Was anyone in the room at the time

16 that you saw him?

17 A Apart from Mr. I have no

18 recollection of anyone else.

19 Q Do you have an independent memory

20 as you sit here now of what he looked like?

21 A Yes.

22 Q Can you describe for me what he

23 looked like?

24 A Mr. was sitting up in

25 bed.

TOMMER REPORTING, INC. (212) 684-2448

9

1 , M.D.

2 Q I'm sorry, let me rephrase the

3 question.

4 Can you describe what his physical

5 characteristics were?

6 A I'm not sure I understand.

7 Q Hair color, weight, size, build?

8 A Mr. was a tall man, he

9 was quite thin, pale to some extent but apart

10 from that I'm not sure I can add anything more.

11 Q Color hair?

12 A I can't tell you exactly.

13 Q Skin color?

14 MR. : Other than pale.

15 A Pale.

16 Q Had you ever spoken to

17 Mrs. his wife at any time before

18 January 22nd?

19 A I have no recollection.

20 Q The episode you had mentioned a

21 moment ago, who had informed you of an episode

22 that had occurred to him?

23 A , the PA.

24 Q Do you recall what she said to

25 you?

TOMMER REPORTING, INC. (212) 684-2448

10

1                   , M.D.

2           A   Not exactly, no.

3           Q   Do you recall in substance what it

4   was that she had mentioned to you that caused

5   you to go to his room?

6           A   At approximately 5:00 he had had

7   an episode which he described as fainting for a

8   few seconds, being cold and clammy but

9   recovering very quickly after that.

10          Q   Did you, in fact, examine

11   Mr. ?

12          A   Yes.

13          Q   What examination did you perform?

14          A   I think I examined his legs, his

15   abdomen, his vital signs and I also talked to

16   him.

17          Q   What were your findings?

18          A   In the legs I found that there's

19 no finding to suggest DVT. His abdomen, no  
20 significant findings different from the morning  
21 and I think I examined his chest as well but  
22 again I didn't find anything abnormal.

23 Q Did you write a note about your  
24 examination and findings?

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

11

1 , M.D.

2 Q Why?

3 A I can't tell you exactly.

4 Q Was there any nurse in the room  
5 during the course of your examination?

6 A I don't recall a nurse being  
7 there, no.

8 Q Was it your custom at the end of  
9 each day you would make rounds before leaving  
10 house on days that you would not be on call?

11 A Can you define custom for me?

12 Q Did you go on a regular basis?

13 A Yes.

14 Q Had you seen Mr. before

15 you had made rounds or was it done at the same

16 time you had made rounds that evening?

17 MR. : Object to the form.

18 I don't understand what you're

19 asking.

20 Q When you saw Mr. , was it

21 specifically because of the comments made to

22 you by or was it in the usual

23 course of seeing the patients on your service?

24 A I saw Mr. first because

25 of the comments made by .

TOMMER REPORTING, INC. (212) 684-2448

12

1 , M.D.

2 Q First off, as a general matter

3 when you examine a patient and have various

4 findings, is it customary for you to make a  
5 note in the patient's chart that you were  
6 present, made an exam, had certain findings?

7 A No.

8 Q Are you aware of any rule or  
9 regulation by the hospital that requires you as  
10 a physician to make an entry in the patient's  
11 chart about any examination you make?

12 A No.

13 Q Is there any reason as you sit  
14 here today as to why you recall not making an  
15 entry in the patient's chart after you had  
16 conducted your examination?

17 MR. : Note my objection as  
18 asked and answered. He didn't know he  
19 said that.

20 Q When you said you didn't know, you  
21 mean as you sit here now you don't know?

22 A Correct, I don't know why I didn't  
23 make the note in the chart.

24 Q How long did you spend during your  
25 examination of Mr. that evening on

TOMMER REPORTING, INC. (212) 684-2448

13

1                   , M.D.

2    January 22nd?

3           A    I don't know how long I spent.

4           Q    Was Mr. able to speak

5    and converse with you?

6           A    Absolutely.

7           Q    Did he have any complaints at the

8    time that he was conversing with you?

9           A    No, to the contrary he said he

10   felt quite well compared to what he felt like

11   an hour ago. He was conversing quite well. He

12   was not short of breath and had no complaints.

13          Q    Did he experience or relate to you

14   that he had any problems being cold or clammy?

15          A    Not that I recall.

16          Q    When you saw him, did he have any

17   oxygen being administered to him?

18          A    I have a vague memory that he was,

19 yes.

20 Q What method was he receiving  
21 oxygen, face mask, nasal cannula or some other  
22 means?

23 A I cannot remember.

24 Q Was he on a regular floor?

25 A He was on a normal floor, yes.

TOMMER REPORTING, INC. (212) 684-2448

14

1 , M.D.

2 Q Is that different than an ICU  
3 setting?

4 A Yes.

5 Q How is it different?

6 A Patients in the ICU are normally  
7 very sick, very ill, often intubated, requiring  
8 active support.

9 Patients on the , patients  
10 that basically don't require that intensive

11 care involvement.

12 Q Did Mr. have any pulse

13 oximeter monitor attached to him at the time

14 that you saw him?

15 A I assume he did, yes.

16 Q I don't want you to guess, Doctor,

17 and I don't want you to assume.

18 Do you have any recollection as

19 you sit here now as to whether he had a pulse

20 oximeter monitor attached to him in some

21 fashion?

22 A At that specific time that I saw

23 him?

24 Q Yes.

25 A I don't have a recollection of it.

TOMMER REPORTING, INC. (212) 684-2448

15

1 , M.D.

2 Q Had told you what, if

3 anything, she had done at the time she had

4 learned of what had gone on with Mr.  
5 with his shortness of his breath, his fainting  
6 and his being cold and clammy?

7 A Specifically, I can't remember.

8 Q Did you review Mr. 's  
9 chart to see if any health care provider had  
10 made any entries in his chart from the time of  
11 his episode where he had his shortness of  
12 breath and the other items you mentioned up  
13 until the time that you saw him?

14 A I don't recall.

15 Q Is it customary that prior to you  
16 seeing and evaluating a patient that you review  
17 the patient's chart for anything that had  
18 occurred that day?

19 MR. : Before or necessarily  
20 after?

21 Q Before seeing the patient.

22 A No.

23 Q In January of , what was your  
24 title or status at ?

25 A I was the urological oncology

TOMMER REPORTING, INC. (212) 684-2448

16

1 , M.D.

2 fellow.

3 Q How many years is the fellowship?

4 A It can be either two or three

5 years.

6 Q What year were you in at that

7 time?

8 A I was in my first year.

9 Q What is an arterial blood gas,

10 Doctor?

11 A It is a measurement of various

12 parameters taken from an arterial blood sample.

13 Q Is an arterial blood gas useful to

14 you as a physician to evaluate a patient's

15 oxygen saturation level?

16 A In some cases.

17 Q Which cases?

18           A    In cases where a saturation is  
19           abnormal and you want to know more specifically  
20           just how abnormal it is.

21           Q    Is an arterial blood gas a better  
22           test to evaluate oxygen saturation than a pulse  
23           oximeter?

24           A    Not necessarily.

25           Q    When you went in to see

TOMMER REPORTING, INC. (212) 684-2448

17

1                   , M.D.

2           Mr. , as you said, 6 p.m. and 6:30  
3           p.m. on January 22nd, did you know whether an  
4           arterial blood gas had been performed prior to  
5           your arrival?

6           A    I don't recall.

7           Q    At some point after you had  
8           examined Mr. before he had left the  
9           hospital, did you ever learn that an arterial  
10          blood gas had been performed in and around the

11 time he had made the complaints of the  
12 shortness of breath?

13 A I don't recall.

14 Q In preparation for today's  
15 deposition, did you review this patient's  
16 chart?

17 A Yes.

18 Q In reviewing this chart, did you  
19 come across the patient's blood work for  
20 January 22nd? Do you recall seeing it, Doctor?

21 A I don't recall seeing it.

22 Q Let me show you this quick  
23 reference, what appears to be -- tell me what  
24 that is, Doctor?

25 A These are the results of an

TOMMER REPORTING, INC. (212) 684-2448

18

1 , M.D.

2 arterial blood gas.

3 Q Does that tell you that an

4 arterial blood gas was obtained at 5 p.m. on

5 January 22nd?

6 A Yes.

7 Q I think it's recorded as 1700

8 military time?

9 A Correct.

10 Q Tell me what those values

11 represent beginning with pH?

12 A The pH is the level of acidity.

13 Q In this case was it within normal

14 limits?

15 A Yes.

16 Q Go ahead, please, continue with

17 the rest of the values.

18 A PCO<sub>2</sub> is 30.

19 Q What does that represent?

20 A It's slightly below normal.

21 Q Does that have any medical

22 significance to you?

23 A In a setting by itself, no.

24 Q Continue, please.

25 A The PO<sub>2</sub> is 67.

TOMMER REPORTING, INC. (212) 684-2448

19

1                   , M.D.

2           Q    Is that within normal limits?

3           A    It's slightly below normal.

4           Q    Continue.

5           A    The bicarbonate is 21.

6           Q    How about that, is that normal?

7           A    Very slightly below normal.

8           Q    Continue.

9           A    The O2 saturation is 94.

10          Q    What does that represent to you?

11          A    Slightly below normal.

12          Q    Is the 94 percent is that measured

13    leioderminally (phonetic) or in some other

14    fashion?

15          A    I don't understand the question.

16          Q    What is the difference between an

17    oxygen saturation of 94 percent and 95 percent

18    if you can tell me?

19 A I can't tell you exactly.

20 Q Continue with the next value,

21 please.

22 A FIO2 is 3 percent.

23 Q What does that represent?

24 A That number doesn't make any sense

25 to me.

TOMMER REPORTING, INC. (212) 684-2448

20

1 , M.D.

2 Q Continue, please.

3 A The temperature is 36.

4 Q Continue.

5 A The BP in millimeter of mercury is

6 784.

7 Q Is that within normal limits?

8 A I don't know. I've never looked

9 at that figure. I don't believe it's useful.

10 Q As an overall question, Doctor,

11 for that arterial blood gas result, what is the  
12 significance of those results to you?

13 A The patient has slightly low PO<sub>2</sub>  
14 level in saturation and a low PCO<sub>2</sub>.

15 Q What does that mean in terms of  
16 how well he's perfusing oxygen?

17 A It means that at that time he's --  
18 can you rephrase what you mean by perfusing  
19 oxygen.

20 Q The results, when you say that  
21 they are slightly low, are they abnormal?

22 A Mildly, yes.

23 Q Based upon those laboratory  
24 results, what action would you take to address  
25 those laboratory results, if any?

TOMMER REPORTING, INC. (212) 684-2448

21

1 , M.D.

2 A I would, number one, try and  
3 figure out why these are mildly abnormal.

4 Q Are you familiar with something  
5 known as an AA gradient?

6 A Yes.

7 Q What is that?

8 A It's the difference between the  
9 oxygen tension in the alveoli what -- compared  
10 to the arterial oxygen tension.

11 Q Is there any way for you to  
12 determine the AA gradient based upon these  
13 arterial blood gas results?

14 A No.

15 Q How do you evaluate or determine a  
16 patient's AA gradient?

17 A There's a formula where you can  
18 put these figures into.

19 However, with an FIO<sub>2</sub> of three  
20 percent you need to know the oxygen  
21 concentrations, if he's having or haven't  
22 having oxygen at the time that the arterial  
23 blood gas is taken. Without that you cannot do  
24 the calculation.

25 Q Based upon those results and let's

TOMMER REPORTING, INC. (212) 684-2448

22

1                   , M.D.

2       assume that you wanted to determine the  
3       patient's AA gradient, would you have requested  
4       that another arterial blood gas be drawn?

5           A    At 5:00?

6           Q    Yes.

7           MR. : Read it back.

8           (Record read)

9           MR. : He wasn't there.

10          MR. OGINSKI: I understand.

11          Q    If you had been present and  
12       learned of the arterial blood gas results at or  
13       about the time that the results came back  
14       shortly after 5:00, would you have requested  
15       another arterial blood gas if you wanted to  
16       determine the patient's AA gradient?

17          A    No.

18          Q    Why would you want to determine

19 the patient's AA gradient?

20 MR. : Object to form.

21 You can answer.

22 MR. OGINSKI: I'll ask it this

23 way.

24 Q Under what circumstances would you

25 evaluate or determine a patient's AA gradient?

TOMMER REPORTING, INC. (212) 684-2448

23

1 , M.D.

2 A I would evaluate a patient's AA

3 gradient if I was unable to interpret the

4 values that I had in front of me and make a

5 deduction from that.

6 Q Based upon those values in front

7 of you would they be sufficient enough for you

8 to formulate a treatment plan?

9 A No, because I don't know what

10 oxygen concentration that blood gas is taken.

11 Q So what would you do in terms of

12 getting other blood results or blood work done  
13 to get that information?

14 A You mean if I was there at 5:00?

15 Q Yes.

16 MR. : Object to form.

17 You can answer.

18 This is getting speculative

19 because he wasn't there.

20 MR. OGINSKI: I understand that.

21 A I would confirm it with an oxygen  
22 saturation monitor.

23 Q On the floor where Mr.  
24 was a patient, did each room have an oxygen  
25 saturation monitor?

TOMMER REPORTING, INC. (212) 684-2448

24

1 , M.D.

2 A No.

3 Q If you wanted a patient to be  
4 monitored with such a monitor, would you have

5 to make a special request or write an order for  
6 that?

7 A Yes, I'd talk to the nurse and  
8 tell her I wanted him to be monitored.

9 Q Did Mr. have any  
10 roommates?

11 A No.

12 Q Do those arterial blood gas  
13 results reflect that any other arterial blood  
14 gas was obtained on January 22nd?

15 A According to this specific form,  
16 no.

17 Q Am I correct that the only other  
18 laboratory results that appears on that  
19 particular page is an arterial blood gas for  
20 January 23rd the following day?

21 A Correct.

22 Q That is timed at what?

23 A 12:35.

24 Q That's at or about the time that  
25 the code is in process?

TOMMER REPORTING, INC. (212) 684-2448

25

1                   , M.D.

2           A    Correct.

3           Q    Doctor, is it your recollection

4   that you were present to examine Mr.

5   between 6 and 6:30?

6           A    On what day?

7           Q    On the 22nd of January, that's the

8   Tuesday.

9           A    Around that time, yes.

10          Q    Is there anything that you have

11   seen within this entire chart which would

12   assist you in confirming that you were present

13   in or around that time in Mr. 's room?

14          A    My order would confirm that I was

15   there that afternoon or evening. It does not

16   help confirm the exact time.

17          Q    Are you familiar with a medication

18   known as Fragmin?

19 A Yes.

20 Q Did you order Fragmin for this  
21 patient?

22 A What time are you talking?

23 Q At any time.

24 A Yes.

25 Q Turn, please, to the order sheet

TOMMER REPORTING, INC. (212) 684-2448

26

1 , M.D.

2 for January 21st.

3 Before we get to that, Doctor, in

4 the event one of the health care providers

5 wanted to know what information you found

6 during your examination on the 22nd of January

7 and there was no note in the chart about any

8 examination, how could they determine, other

9 than asking you in person or on the telephone,

10 how would they know that you were present and

11 had the findings that you described?

12           A    There are two ways. The most  
13    common way is I get paged and I answer and I  
14    tell them which is the way it's normally done  
15    in hospital or sometimes a nurse will write a  
16    note saying that I saw the patient.

17           Q    In your review of the patient's  
18    chart, was there any Nurse's Note to indicate  
19    that you were present for an exam of the  
20    patient on January 22nd?

21           A    None that I can see.

22           Q    Let's go back to the January 21st  
23    Order Sheet.

24                   MR. : January 22nd Order  
25    Sheet?

TOMMER REPORTING, INC. (212) 684-2448

27

1                   , M.D.

2                   MR. OGINSKI: No, 21st.

3           Q    At that time you called in an  
4    order and you spoke to Nurse ; is that

5 right?

6 A I called in an order. I'm not  
7 sure who I talked to.

8 Q According to the note it says, "  
9 ;" is that right?

10 A It looks like it, yes.

11 Q Why did you order Fragmin at that  
12 time?

13 A Because I was called by a  
14 gastroenterology fellow and told that the  
15 endoscopy for that morning had been canceled so  
16 as soon as I found that out I called the  
17 to restart the Fragmin.

18 Q Did you learn why the endoscopy  
19 was cancelled?

20 A I understand there were too many  
21 emergency procedures happening on that day.

22 Q Had you formulated a plan either  
23 on your own or with other physicians as to  
24 taking the patient off his Coumadin therapy in  
25 preparation for having an endoscopy?

TOMMER REPORTING, INC. (212) 684-2448

28

1                   , M.D.

2           A    I wasn't involved in the patient's  
3    care when he was taken off the Coumadin  
4    therapy.

5           Q    At what time did you first begin  
6    to participate in the patient's care?

7           A    For this admission approximately 6  
8    a.m. on the Monday morning.

9           Q    That would be January 21st?

10          A    21st.

11          Q    Had you learned that his Coumadin  
12    had been withheld and stopped over the weekend  
13    on Saturday and Sunday, that would be the 19th  
14    and the 20th?

15          A    Yes.

16          Q    Did you learn for what reason it  
17    had been stopped?

18          A    Yes.

19 Q Were you also aware that it had  
20 been withheld on the 18th as well as on Friday?

21 A Yes.

22 Q Tell me why it was withheld for  
23 those three days?

24 A When the patient came in to the  
25 Emergency Room I believe his INR's were very

TOMMER REPORTING, INC. (212) 684-2448

29

1 , M.D.

2 high and the Coumadin was withheld because of  
3 that and then he was due to have an endoscopy  
4 on the Monday morning and so it was continued  
5 to be withheld.

6 Q Are you saying that from the time  
7 he arrived at the hospital on the 16th until  
8 the 21st he did not receive any Coumadin?

9 A Correct.

10 Q To your knowledge, were his INR  
11 levels being checked on a daily or regular

12 basis?

13 A Yes.

14 Q What is the difference between

15 Fragmin and Coumadin?

16 A They are completely different

17 drugs, both anticoagulated by separate

18 pathways.

19 Q What is the dosing for Fragmin?

20 A Can you be more specific?

21 Q Is it administered in 12-hour

22 doses, one dose every 12 hours?

23 MR. : Object to form. You

24 mean --

25 MR. OGINSKI: Generally.

TOMMER REPORTING, INC. (212) 684-2448

30

1 , M.D.

2 Q Generally, when you administer

3 Fragmin, is it given more than once a day,

4 twice a day?

5                   What is the time frame in which  
6    you administer Fragmin?

7           A    That depends on what you're using  
8    it for.

9           Q    In this case what was it used for?

10          A    In this case for therapy.

11          Q    You're aware the patient had a  
12    histories of a DVT?

13          A    Yes.

14          Q    Did that place him at risk for  
15    developing a further clot?

16          A    Can you be more specific.

17          Q    Was Fragmin a form of  
18    anticoagulation therapy?

19          A    Yes.

20          Q    If the patient did not have  
21    Fragmin and the patient did not receive any  
22    Coumadin, would he then be at significant risk  
23    for developing a clot?

24          A    It depends on his level of  
25    anticoagulation at the time.

TOMMER REPORTING, INC. (212) 684-2448

31

1                   , M.D.

2           Q    Were you aware that he had been on  
3    long term Coumadin therapy from the end of  
4    October up until his arrival at  
5    in January?

6           A    Yes.

7           Q    The telephone order that you  
8    called in on January 21st at 9 a.m., this was  
9    one dose?

10          A    It appears that, yes.

11          Q    There's another note later on that  
12    day, is that a note written by you?

13          A    Which one?

14          Q    The middle note, Doctor.

15          A    Yes.

16          Q    You write "Withhold Fragmin  
17    tonight and in a.m.," correct?

18          A    Correct.

19 Q Why was that?

20 A Because the patient was  
21 rescheduled for Tuesday morning to have his  
22 endoscopy in consultation with the GI fellow.  
23 They wanted the same protocol for Monday  
24 evening, Tuesday morning.

25 Q Dr. was the attending for

TOMMER REPORTING, INC. (212) 684-2448

32

1 , M.D.

2 this patient?

3 A Correct.

4 Q After you examined Mr.  
5 somewhere between 6 and 6:30 on January 22nd,  
6 did you contact Dr. to let him know about  
7 the events that had occurred to Mr. ?

8 A Between -- after the examination,  
9 6 and 6:30, no.

10 Q At any time that evening or that  
11 night into the early morning hours had you

12 contacted Dr. to let him know about the  
13 events that had occurred to Mr. ?

14 A I do not have a clear recollection  
15 about when I told him.

16 Q Under what circumstances do you as  
17 the fellow call the attending to let them know  
18 about what's happening with the patient when  
19 the attending is no longer present in the  
20 hospital?

21 MR. : Object to form.

22 You can answer.

23 You're assuming there's a variable  
24 rule for every case, every patient he  
25 follows. I don't know if that's true or

TOMMER REPORTING, INC. (212) 684-2448

33

1 , M.D.

2 could even be described.

3 MR. OGINSKI: I don't know.

4 That's why I'm asking.

5 MR. : I'll allow him to

6 answer if he can.

7 A Can you repeat the question.

8 Q Sure.

9 Under what circumstances would you

10 call the attending to let them know about

11 what's happening with a particular patient?

12 MR. : Objection.

13 Extremely broad but you can answer

14 it if you can.

15 A I find it difficult to answer that

16 question.

17 Q Did you become aware in the

18 evening of January 22nd that Mr.

19 suffered a bilateral pulmonary embolism?

20 A Yes.

21 Q Was this a significant event for

22 Mr. ?

23 A Can you define significant.

24 Q Was this an event that required

25 treatment?

TOMMER REPORTING, INC. (212) 684-2448

34

1                   , M.D.

2           A   He was being treated.

3           Q   Did you contact Dr.     to let

4   him know that Mr.   had suffered a

5   bilateral pulmonary embolism?

6           MR. : Note my objection.

7           He already said he wasn't certain

8   when he told him.

9           MR. OGINSKI: No, I'm asking

10           specifically about calling him about the

11           events about the PE.

12           A   I did talk to Dr.     about the

13   PE. I do not have recollection about when I

14   talked to him about it.

15           Q   Do you have any notes to indicate

16   when you spoke to him?

17           A   No.

18 Q Is it more likely that you spoke  
19 to Dr. the following morning when he came  
20 back into the hospital?

21 A I can't answer that. I don't  
22 know.

23 Q Did you review Dr. 's  
24 deposition testimony?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

35

1 , M.D.

2 Q In it did you see the section in  
3 which I asked him precisely the question that I  
4 asked you as to whether you had contacted him  
5 or he had spoken to you on the evening of  
6 January 22nd?

7 A Yes.

8 Q And it was his recollection that  
9 he did not speak to you in the evening of  
10 January 22nd but rather the following morning  
11 at the hospital. Do you recall that?

12 A In his deposition?

13 Q Yes.

14 MR. : Object to this line of  
15 questioning whether he agrees or  
16 disagrees.

17 You can ask him what he recalls.

18 Not what somebody else recalls.

19 MR. OGINSKI: I know.

20 A I do not recall him saying that he  
21 didn't talk to me on that night.

22 I do recall he said we talked  
23 about it in the morning.

24 Q On January 23rd did you speak to  
25 Dr. about Mr. 's PE?

TOMMER REPORTING, INC. (212) 684-2448

36

1 , M.D.

2 A Yes.

3 Q Did you tell Dr. about your

4 examination that you had performed the evening

5 before on January 22nd?

6 A I don't recall the specifics of  
7 the conversation.

8 Q Did you tell Dr. what time  
9 you had seen Mr. ?

10 A Again, I can't remember the exact  
11 specifics of the conversation.

12 Q Did you tell Dr. what your  
13 findings were regarding your examination of Mr.  
14 from the evening before?

15 A I can't remember the specifics of  
16 the conversation.

17 Q Do you recall reading in  
18 Dr. 's deposition he had spoken to you the  
19 following morning?

20 A Yes.

21 Q But that there was no information  
22 he had about the conversation with you on the  
23 evening of January 22nd?

24 MR. : Note my objection to  
25 this line of questioning.

TOMMER REPORTING, INC. (212) 684-2448

37

1                   , M.D.

2                   Are you cross examining him on  
3                   somebody else's testimony?

4                   MR. OGINSKI: The witness said he  
5                   read it.

6                   MR. : But he's here to  
7                   testify about what he recalls.

8                   MR. OGINSKI: Right.

9                   MR. : Not about what he  
10                  recalls about somebody else's  
11                  deposition, but what he recalls about  
12                  treatment, the actual treatment in  
13                  question.

14                  So let's stick to that.

15                  Q    Was Mr. 's pulmonary  
16                  embolism something that you felt should have  
17                  been conveyed to Dr. shortly after it had  
18                  been diagnosed?

19 A Yes, I believe Dr. should

20 know about it.

21 Q Why should he know about it?

22 A Because Mr. is his

23 patient.

24 Q Is there anything within the

25 record that you reviewed to suggest that any

TOMMER REPORTING, INC. (212) 684-2448

38

1 , M.D.

2 doctor or health care provider caring for

3 Mr. contacted Dr. at any time in

4 the evening of January 22nd after his bilateral

5 pulmonary emboli had been diagnosed?

6 A No.

7 Q Before January of , had you

8 ever had occasion to treat patients with

9 pulmonary emboli?

10 A Yes.

11 Q Can you tell me approximately how  
12 many?

13 A I can't give you a set number on  
14 it.

15 Q Can you approximate, 5, 10, 15 or  
16 some other?

17 A I'd say more than 15.

18 Q More than 20?

19 A Probably.

20 Q Where did you go to medical  
21 school, Doctor?

22 A ,  
23 , .

24 Q When did you graduate?

25 A .

TOMMER REPORTING, INC. (212) 684-2448

39

1 , M.D.

2 Q After that what did you do as far

3 as your medical training?

4 A I entered the stream of training  
5 in general surgery.

6 Q Where?

7 A In .

8 Q What hospital?

9 A Hospital.

10 Q How long was that?

11 A That would have been from '  
12 through ' or '.

13 Q Did you graduate their program  
14 there?

15 A No, I transferred out of general  
16 surgery into urology.

17 Q How many years was the surgery  
18 program there?

19 A It's four years.

20 Q Did you stay in the program  
21 continuously from ' through ' or ' ?

22 A Yes, it's actually a different  
23 system to what you have here. We have what you  
24 call basic training which is the first three to

25 five years. Then it's advanced training which

TOMMER REPORTING, INC. (212) 684-2448

40

1 , M.D.

2 is four years which are accredited.

3 So I did the basic training and

4 three years of the advanced training.

5 Q When you transferred to urology,

6 where did you continue your training?

7 A The urology is a state-based

8 training scheme. So we change hospitals every

9 year.

10 Q How many years did you do that?

11 A I completed the urology training

12 which is three years plus fellowship.

13 Q You completed that in ?

14 A Correct.

15 Q The fellowship was included within

16 those three years?

17 A No, the fellowship is .

18 So I finished urology training and  
19 then they require you to do a fellowship as  
20 part of the training.

21 Q Currently you're in your third  
22 year or second?

23 A This is my second.

24 Q Are you board certified in any  
25 field of medicine?

TOMMER REPORTING, INC. (212) 684-2448

41

1 , M.D.

2 A In the ?

3 Q Yes.

4 A No.

5 Q Do you have any board  
6 certification or something comparable in  
7 ?

8 A Yes.

9 Q What is that?

10 A Urology.

11 Q What is the title or certificate?

12 A FRACS, Fellow of the College

13 of Surgeons (Urology.)

14 Q After completing your fellowship,

15 do you have any plans to stay in the

16 or will you go back or what do you

17 intend for your training?

18 A I plan to go back to .

19 Q Do you have any publications to

20 your name, Doctor?

21 A Yes.

22 Q How many?

23 A I can't tell you exactly.

24 Q Approximately.

25 A In the order of 15.

TOMMER REPORTING, INC. (212) 684-2448

42

1 , M.D.

2 Q Do any of them deal with the

3 treatment and prevention of pulmonary emboli?

4 A No.

5 Q Do they focus primarily on the  
6 field of urology?

7 A No.

8 Q What is the general field of  
9 topics that you have published?

10 A It's a wide variety. It includes  
11 vascular surgery, general surgery and urology.

12 Q Have any of those publications  
13 been published in any peer review journals in  
14 the ?

15 A Can you define what you mean by  
16 peer review journals in the ?

17 Q Do you have an understanding as to  
18 what a peer review journal is?

19 A Yes.

20 Q Tell me what it means to you?

21 A Peer review journal is a journal  
22 which accepts articles that are reviewed by  
23 presumed experts in the field of that article.

24 Q Have any of your publications been

25 submitted or been published by any peer review

TOMMER REPORTING, INC. (212) 684-2448

43

1 , M.D.

2 journal?

3 A Yes.

4 Q How many?

5 A I'd say the majority of them.

6 MR. OGINSKI: Do you have a copy

7 of the CV?

8 MR. : I don't.

9 Q Getting back to Mr. ,

10 shortly after you saw him on January 22nd, did

11 you feel that it was necessary to obtain any

12 consultations by any specialists in the field

13 of pulmonology?

14 A This is on the 22nd?

15 Q This is still the Tuesday.

16 A No.

17 Q Did you feel his condition

18 warranted an evaluation or consult by vascular  
19 specialist?

20 A No.

21 Q Did his condition warrant an  
22 evaluation or consultation with hematologist?

23 A No.

24 Q Who ordered the CAT scan on  
25 January 22nd?

TOMMER REPORTING, INC. (212) 684-2448

44

1 , M.D.

2 A I can't remember whether it was  
3 myself or whether it had already been ordered  
4 before I arrived.

5 Q At the hospital did you also have  
6 residents that cared for patients at any given  
7 time on the urology service?

8 A Yes.

9 Q Did any urology residents see and

10 examine this patient from 5 p.m., January 22nd

11 until you got there in and around 6 or 6:30?

12 A You're asking about residents

13 specifically?

14 Q Yes.

15 A No, not that I'm aware of.

16 Q Did you see any note written by

17 the PA about the events that

18 occurred at 5 p.m. on the 22nd?

19 A No.

20 Q Doctor, I'd like you to look at

21 the CAT scan order which is dated January 22,

22 . Can you tell me who wrote that order?

23 A This is not a CAT scan order.

24 This is an order for contrast.

25 Q Would that be prior to the

TOMMER REPORTING, INC. (212) 684-2448

45

1 , M.D.

2 ordering of the CAT scan?

3 A I can't tell you that.

4 Q In any event, the order for

5 contrast, what time is that noted?

6 A The actual order has no note.

7 Q Has no time?

8 A There's a time written here. I

9 don't know when that time is written.

10 Q Can you read what is written

11 there?

12 A What exactly is it that you want

13 me to read?

14 Q On the right side the date and

15 time.

16 A It says, "January 22, ', 8:27

17 p.m."

18 Q What does that notation indicate

19 to you, if anything, concerning that particular

20 contrast order?

21 MR. : Without guessing do you

22 know.

23 A No, I can't say for sure.

24 Q Does this notation indicate at

25 what time the order was picked up?

TOMMER REPORTING, INC. (212) 684-2448

46

1 , M.D.

2 A Not necessarily.

3 Q Again, I know you didn't write the

4 order but what else could this notation refer

5 to -- I don't want you to guess -- or the

6 timing refer to?

7 A It could refer to the

8 administration of contrast.

9 Q If you had requested that the

10 patient have a CAT scan, would you write a note

11 for that?

12 A Not necessarily.

13 Q Would it be a verbal order?

14 A For a CAT scan order?

15 Q Yes.

16 A No, there's a specific form to

17 fill out.

18 Q Did you see that in your review of  
19 the chart?

20 A No, I haven't seen it in here.

21 Q Is there anything in the chart to  
22 tell you what time Mr. had his CAT  
23 scan?

24 A No, I can't tell what time he had  
25 it.

TOMMER REPORTING, INC. (212) 684-2448

47

1 , M.D.

2 Q Let me ask you to turn to the  
3 Nurse's Note timed at 5 p.m. on January 22nd.

4 In the last line of this note it  
5 says, "Patient to go for CT scan."

6 Do you see that?

7 A Yes.

8 Q Does that indicate the order for  
9 the CT scan was requested at some point before  
10 you arrived to examine Mr. ?

11 A I don't think it necessarily says

12 that, no.

13 Q What does it mean to you, Doctor?

14 A It means that someone had made a

15 plan that the patient needed a CT scan.

16 Q How does that plan get carried

17 out?

18 MR. : Object to form.

19 You can answer.

20 A Normally a PA or a fellow or some

21 physician would write an order form for a CT

22 scan that would go down to radiology.

23 Q Does that order form get put in

24 the patient's chart at some point?

25 A I don't know.

TOMMER REPORTING, INC. (212) 684-2448

2 Q How did you learn that

3 Mr. had suffered his bilateral

4 pulmonary emboli?

5 A I was paged by a resident or a

6 fellow from radiology.

7 Q What information did that person

8 tell you about Mr. ?

9 A I was told that Mr. had

10 bilateral pulmonary emboli, had a pericardial

11 effusion.

12 Q Did he tell you or give his

13 impression as to the size of the pulmonary

14 emboli that he observed?

15 A Not that I recall.

16 Q Did he indicate whether it was a

17 large PE or massive, small or something else?

18 A As far as I can recall the size

19 was not given to me.

20 Q As a result of that conversation,

21 did you go to the Radiology Department to

22 review personally the CAT scan films that he

23 had interpreted?

24 A No.

25 Q At any time that evening on

TOMMER REPORTING, INC. (212) 684-2448

49

1 , M.D.

2 January 22nd, did you personally review the CAT

3 scan film?

4 A No.

5 Q On January 23rd, did you review

6 the CAT scan film?

7 A No.

8 Q What, if anything, did you do upon

9 learning the information by the radiology

10 fellow or radiology resident?

11 A I made a number of phone calls.

12 Q To who?

13 A One was to the nurse looking after

14 him on the floor and the other one was to the  
15 residents that was looking after the patients  
16 on that floor.

17 Q Who was that resident?

18 A I can't remember.

19 Q What was the purpose of calling  
20 the patient's nurse?

21 A One, I wanted to find out how  
22 Mr. was. Two, was to tell her of the  
23 diagnosis and the third was to inform her that  
24 if she was concerned that anything was abnormal  
25 to call me immediately.

TOMMER REPORTING, INC. (212) 684-2448

50

1 , M.D.

2 Q Wouldn't that be a standing order  
3 with any nurse or with any patient that if  
4 there was something abnormal with the patient

5 they would contact you, the residents, the  
6 fellow or the attending?

7 MR. : Object to form.

8 You can answer.

9 A As far as I'm aware there is no  
10 standing order that that has to happen.

11 Q Is it common sense within a  
12 hospital setting if a nurse is concerned or  
13 worries about a patient's particular condition  
14 one of the first people they would call is the  
15 physician caring for the patient?

16 MR. : Objection. That's  
17 different from what he already said.

18 Q Do you know the name of the nurse  
19 you spoke to?

20 A No.

21 Q Was the nurse of any other  
22 impression that that's what you were supposed  
23 to do if she had a concern that -- to call you  
24 or the residents or the attending if she had  
25 any worries about the patient?

TOMMER REPORTING, INC. (212) 684-2448

51

1                   , M.D.

2           A    I don't have any opinion on that.

3           Q    Did you speak to the resident for  
4 the floor?

5           A    Yes.

6           Q    What time was this, Doctor?

7           A    I can't tell you exactly. It was  
8 immediately after I was called by the radiology  
9 person.

10          Q    Were you on call that evening?

11          A    We're generally on call every  
12 evening on the week days.

13          Q    Do you call in-house?

14          A    No.

15          Q    From where do you take calls?

16          A    From my apartment.

17          Q    Where in physical proximity is

18 that to the hospital or at that time?

19 A Approximately three or four blocks

20 away.

21 Q Did you, in fact, speak to the

22 resident?

23 A Yes.

24 Q Man or woman?

25 A I can't remember.

TOMMER REPORTING, INC. (212) 684-2448

52

1 , M.D.

2 Q What did you say to the resident?

3 A I let the resident know that

4 Mr. was on the floor, his diagnosis

5 and if the nurse called him for any reason that

6 he's to let me know. He or she. I can't

7 remember.

8 Q Is there anything in the chart to

9 indicate whether any resident saw the patient  
10 in or about 7 p.m. up until the next morning at  
11 7:30 -- I'm sorry, up until 6:40 a.m. on the  
12 23rd?

13 A No.

14 Q What does that tell you as far as  
15 whether or not a resident saw the patient  
16 during that time period?

17 A I don't think I can make any  
18 deductions from that.

19 Q Can you conclude that a resident  
20 did not see Mr. from 7 p.m. on the  
21 22nd until about 6:40 in the morning the next  
22 day?

23 A No, I can't conclude it based on  
24 the notes.

25 Q Why not?

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    Because it is possible that a  
3   resident had seen Mr.   and not written  
4   in the notes.

5           Q    Is that customary for the hospital  
6   in which you work where residents saw the  
7   patient and they don't make entries in the  
8   patient's chart?

9           MR. : Object to form.

10          You can answer.

11          A    Could you rephrase it.

12          Q    How often does it happen that  
13   residents will see patients and not make  
14   entries in the chart?

15          MR. : Object to form.

16          You can answer.

17          A    I can't answer that. I don't  
18   know.

19          Q    If a resident on your service --  
20   if you had learned that a resident had seen and

21 examined a patient and had not written a note,  
22 would you make any comment to that individual  
23 about the fact that there's no note in the  
24 chart?

25 A Depends on the circumstances.

TOMMER REPORTING, INC. (212) 684-2448

54

1 , M.D.

2 Q Would you expect a note to be  
3 written every time a patient is seen and  
4 examined?

5 A Not necessarily.

6 Q Under what circumstances would you  
7 not expect to see a note written by a resident  
8 after doing an exam?

9 MR. : Object to form.

10 You can answer.

11 It's a very broad question.

12 A I find that difficult to answer.

13 Q You had mentioned to me a moment

14 ago it would depend on the circumstances

15 whether or not it would be appropriate to not

16 have a note. What are those circumstances that

17 you are describing?

18 MR. : Object to form.

19 You can answer.

20 A If I presume there are multiple

21 circumstances, one that comes to mind is if a

22 resident saw Mr. and found no

23 abnormality and no cause for complaints or no

24 need for a change in management, then he or she

25 may not write a note.

TOMMER REPORTING, INC. (212) 684-2448

55

1 , M.D.

2 Q Is it a requirement that you are

3 aware of, either hospital requirement or some  
4 other rule or regulation that states that a  
5 note has to be written for the patient every  
6 day they are in the hospital from a physician?

7 MR. : For any physician?

8 MR. OGINSKI: Any physician.

9 MR. : One note a day?

10 MR. OGINSKI: Yes.

11 A I don't know of a requirement.

12 Q Is it good practice to do that?

13 A Yes.

14 Q You had mentioned that you make  
15 rounds in the evening time, correct?

16 A Late afternoon, evening, yes.

17 Q On those occasions do you  
18 occasionally have the urology residents present  
19 with you?

20 A Sometimes, yes.

21 Q Do you also make rounds in the  
22 morning?

23 A Yes.

24 Q Is it good practice that when you

25 see the patients on rounds in the morning,

TOMMER REPORTING, INC. (212) 684-2448

56

1 , M.D.

2 either you or the residents, if you examine a  
3 patient that you make a note of that and  
4 whatever findings are for the morning?

5 A It is good practice, yes.

6 Q Would the same be true for seeing  
7 patients later on in the evening?

8 A Not necessarily.

9 Q Getting back to Mr. on  
10 January 22nd on the floor he was on, how many  
11 patients were there to an individual particular  
12 nurse?

13 A I don't know.

14 Q Did you know what the customary  
15 number of patients were, if there was such a

16 thing, as to how many patients would be  
17 assigned to any given nurse at any given time?

18 A No.

19 Q Was there a nurse stationed in his  
20 room on a continuous basis on January 22nd?

21 A Not that I'm aware.

22 Q If you had wanted the patient to  
23 be monitored by a pulse oximeter and the unit  
24 was brought in to the patient's room, is there  
25 some central monitoring place on that floor

TOMMER REPORTING, INC. (212) 684-2448

57

1 , M.D.

2 where a nurse who is not in the room would be  
3 able to see the patient's pulse oximeter  
4 saturation levels?

5 A Not that I'm aware of.

6 Q In other words, if you wanted to

7 find out the patient's saturation levels from  
8 the pulse oximeter you would need to be present  
9 within the room looking at the monitor?

10 A Yes.

11 Q Did that device print out any hard  
12 copy or any paper reflecting what the patient's  
13 oxygen saturation levels were?

14 A I don't know.

15 Q I may have asked you this. I'm  
16 sorry if I did. When you saw the patient on  
17 January 22nd between 6 and 6:30, did he already  
18 have a pulse oximeter monitor on at that time?

19 MR. : Asked and answered.

20 You went through this.

21 MR. OGINSKI: Sorry.

22 Q Did you order that the patient be  
23 put on a pulse oximeter monitor?

24 MR. : Note my objection. I  
25 think you've been through this.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2                   MR. OGINSKI: I didn't ask him

3                   this.

4                   A    I ordered that he be monitored by

5                   a pulse oximeter, yes.

6                   (Recess)

7                   (Record read)

8                   MR. OGINSKI: I'll withdraw the

9                   question and rephrase it.

10                  Q    Doctor, on January 22nd did you

11                  order that the patient be placed on a pulse

12                  oximeter?

13                  A    Yes.

14                  Q    Can you turn, please, to your

15                  January 22nd note and the order sheet. Can you

16                  read your order, please, from beginning?

17                  A    "CBC plus SMAC plus troponin in

18                  a.m., fluids IV normal saline 80 cc's per hour

19 wean O2 to keep O2 sats greater or equal to 96

20 percent."

21 Q Tell me what you mean by that?

22 A I meant that the oxygen could be

23 decreased as long as the saturations stayed

24 above or equal to 96 percent.

25 Q How often did you expect that the

TOMMER REPORTING, INC. (212) 684-2448

59

1 , M.D.

2 patient's pulse oximeter would be checked?

3 A I'd expect it would have been

4 checked at least on an hourly basis.

5 Q Who would you expect to monitor

6 that O2 saturation level, would it be a nurse,

7 a PA or someone else?

8 A A nurse.

9 Q When it would be monitored, would

10 you expect that the patient's pulse oximeter  
11 recording or oxygen saturation levels would be  
12 recorded in the patient's chart at the time  
13 they checked it?

14 A Not necessarily.

15 Q Are there any reasons that you  
16 know of as to why a nurse who's monitoring this  
17 particular device would not record such  
18 information if he or she observed it?

19 A I don't know of any reason why she  
20 wouldn't record it.

21 Q In January of , was there any  
22 policy that you are aware of as to how often or  
23 frequently nurses would take and obtain vital  
24 signs on a patient on the floor  
25 Mr. was on?

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    That's a very broad question.

3                   It depends on the patient's

4   status.

5           Q    Are there some patients whose

6   vital signs are taken more frequently than

7   others? Do you know how often Mr. 's

8   vital signs were being taken on January 22nd?

9           A    Not exactly, no.

10          Q    Can you turn, please, to the Vital

11   Sign Sheet for January 22nd.

12                  Do you have that page, Doctor?

13          A    Is this the one you're referring

14   to?

15          Q    Yes, that's the page.

16                  At the top it says, "Vital signs

17   chart." For January 22nd, the last column on

18   the right, do you see that?

19          A    Yes.

20          Q    There are various entries and

21   notations made there?

22          A    Yes.

23 Q That particular column has six  
24 different hours noted at the top, 4, 8, 12,  
25 then again 4, 8, 12?

TOMMER REPORTING, INC. (212) 684-2448

61

1 , M.D.

2 A Yes.

3 Q The first 4, 8, 12, am I correct,  
4 that would represent the morning hours?

5 A Yes.

6 Q The last 4, 8, 12 would represent  
7 the evening hours?

8 A Yes.

9 Q Can you tell me looking at that  
10 column how many times this patient's vital  
11 signs were obtained on January 22nd?

12 MR. : Object to the form.

13 You mean how many times it's

14 recorded?

15 MR. OGINSKI: Fair enough.

16 A It was recorded at least three

17 times.

18 Q Do you have any reason to believe  
19 the patient's vital signs were obtained and not

20 recorded on that date?

21 A Yes.

22 Q What is that reason?

23 A Well, the patient had an episode  
24 at 5:00 and I don't see it recorded here but I  
25 do know that he had vital signs taken.

TOMMER REPORTING, INC. (212) 684-2448

62

1 , M.D.

2 Q That was by the nurse who came in  
3 to check on him, correct?

4 A Correct.

5 Q Other than that note which I  
6 believe is timed at 5 p.m., is there anything  
7 else to suggest to you that this patient's  
8 vital signs were obtained but not recorded?

9 MR. : Without guessing do you  
10 know.

11 A I don't know.

12 Q I need you to turn back, please,  
13 to the vital signs chart. Looking to two  
14 thirds of the way down there's a row it says,  
15 "pulse ox percentage."

16 Do you see that, Doctor?

17 A Yes.

18 Q There is a recording there of 98  
19 percent under the column of 8 hour which I  
20 believe would be 8 in the morning; is that  
21 correct?

22 A Correct.

23 Q Is there any other pulse ox  
24 notation present at any time for the rest of  
25 this day?

TOMMER REPORTING, INC. (212) 684-2448

63

1                   , M.D.

2           A   Not on this form, no.

3           Q   Am I correct, that this 98 percent

4   oxygen saturation levels would be prior to Mr.

5   having his endoscopy procedure and

6   before his pulmonary emboli?

7           A   Yes, it seems to be that.

8           Q   Turning the page, please, to the

9   January 23rd vital signs chart in the row for

10   that day where it says, "Pulse ox percentage,"

11   is anything recorded at all about the patient's

12   pulse oxygen saturation levels?

13          A   Not that I can see.

14          Q   Is there anything anywhere that

15   you observed in this patient's chart to reflect

16   what the patient's pulse ox saturation levels

17 were at any time after you wrote your order

18 that his pulse ox should be monitored?

19 A Yes, on the morning of the 23rd a

20 note by Dr. .

21 Q That's timed at 6:40 a.m.?

22 A Yes.

23 Q She writes to saturation of 98

24 percent?

25 A Correct.

TOMMER REPORTING, INC. (212) 684-2448

64

1 , M.D.

2 Q While the patient is receiving two

3 liters of oxygen?

4 A Correct.

5 Q Look up where Dr. 's note

6 is, there's a nursing note. The middle, it

7 says, "Remain on O2, two liters nasal cannula

8 saturation 99 percent."

9 Do you see that?

10 A Correct, yes.

11 Q Do you know precisely when it was

12 that this particular Nurse notes this

13 oxygen saturation level?

14 A No.

15 Q Other than Dr. 's note

16 timed at 6:40 a.m. indicating saturation level

17 98 percent, is there any other recorded note to

18 show an hourly reading of the patient's oxygen

19 saturation level?

20 A Not that I can see.

21 Q Did you get called by any doctor

22 or nurse in the late evening of January 22nd

23 about Mr. 's condition after you had

24 written your order?

25 A Yes. Well, not about the

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2       condition. The radiology person rang me.

3           Q    I'm way past that now.

4                   After you had spoken to the  
5       resident who written the orders you've already  
6       read to me, did you get called again by anyone  
7       from that at any time later that evening?

8           A    No.

9           Q    Did you get called by anyone  
10       caring for Mr. , now we're in the  
11       January 23rd day from 12 midnight up until 6:40  
12       a.m. when Dr.       saw the patient?

13          A    No.

14          Q    When you saw Mr. on  
15       January 22nd did you consider transferring him  
16       to the ICU at that time?

17          A    I did consider it.

18          Q    Tell me why you chose not to  
19       transfer him to the ICU?

20          A    Because the patient was stable.

21 He was comfortable with no symptoms. He was  
22 being treated with anticoagulation. He was  
23 being monitored.

24 I did not feel ICU was going to  
25 add anything more to his management.

TOMMER REPORTING, INC. (212) 684-2448

66

1 , M.D.

2 Q The fact that there's no hourly  
3 recording of the patient's pulse oximeter  
4 level, does that indicate to you that the  
5 patient was not monitored for that particular  
6 device on an hourly basis?

7 A No.

8 Q Is there anything to suggest to  
9 you that the patient was monitored hourly?

10 MR. : Anything in the chart?

11 Q For the pulse ox other than what

12 we've gone through.

13 MR. : I don't know what

14 you're asking him.

15 MR. OGINSKI: Sure.

16 Q Was this patient's pulse ox  
17 monitored on an hourly basis on January 22nd?

18 A I can't be 100 percent sure of  
19 that.

20 Q Can you answer that with any  
21 degree of certainty?

22 A Not with certainty, no.

23 Q What is the treatment for a  
24 patient who has been diagnosed with a pulmonary  
25 embolism?

TOMMER REPORTING, INC. (212) 684-2448

67

1 , M.D.

2 A Anticoagulation.

3 Q What form?

4 MR. : Objection to form.

5 You can answer.

6 A There are multiple ways to treat  
7 pulmonary embolism.

8 Q What are the various ways?

9 A Intravenous Heparin or low  
10 molecular weight Heparin or -- yes, in the  
11 acute form, those two.

12 Q Is one method preferable over the  
13 other for an acute event?

14 A No.

15 Q Under what circumstances would you  
16 administer IV Heparin to a patient who has been  
17 diagnosed acutely with a pulmonary embolism?

18 MR. : Objection to form.

19 You can answer.

20 A I don't understand what it is  
21 you're asking me.

22 Q Why would you administer IV  
23 Heparin instead of Fragmin? I'm sorry, is

24 Fragmin another way of discussing low molecular  
25 weight Heparin?

TOMMER REPORTING, INC. (212) 684-2448

68

1 , M.D.

2 A Yes.

3 Q What are the differences in giving  
4 IV Heparin as opposed to Fragmin in a patient  
5 who's had an acute pulmonary embolism?

6 MR. : Objection to form.

7 You can answer if you can.

8 A There's a different method of  
9 administration. There's different complication  
10 profile.

11 Q What are those methods of  
12 administration for IV Heparin?

13 A IV Heparin is given intravenously.

14 Q What is the method by which you

15 give the Fragmin?

16 A Subcutaneously.

17 Q Is one faster acting than the

18 other?

19 A I don't believe so.

20 Q Is there any clinical evidence of

21 literature that you are aware of that Fragmin

22 is as effective as IV Heparin in treating an

23 acute pulmonary embolism?

24 A My understanding is Fragmin is the

25 equivalence to IV Heparin for this treatment.

TOMMER REPORTING, INC. (212) 684-2448

69

1 , M.D.

2 Q What is your understanding based

3 upon, is that based upon a review of the

4 literature or something else?

5 A It's based upon my years of

6 experience in teaching which is based on  
7 literature.

8 Q Is there anything that you recall  
9 as you sit here now as to what particular  
10 literature it is that suggests that Fragmin is  
11 the equivalence to IV Heparin when treating an  
12 acute pulmonary embolism?

13 A I can't name my specific  
14 literature if that's what you want.

15 Q Once you had been told that the  
16 patient had an acute pulmonary embolism, did  
17 you consider giving the patient IV Heparin?

18 A I did consider it, yes.

19 Q Did you administer IV Heparin?

20 A No.

21 Q Why?

22 A Because I felt Fragmin in this  
23 case was equivalent, if not better.

24 Q Is there any way that you are  
25 aware of monitoring the efficacy of Fragmin?

1                   , M.D.

2           A    The efficacy of Fragmin, it is not  
3   monitored routinely.

4           Q    Can Heparin be monitored?

5           A    Yes.

6           Q    Is that by checking INR levels?

7           A    No.

8           Q    What do you check to evaluate the  
9   efficacy of Heparin?

10          A    A PTT level.

11          Q    Let's go back to January 21st,  
12   please, the Monday when you had ordered that  
13   the patient have one dose of Fragmin.

14                He did receive that one dose,  
15   correct?

16          A    I believe he did, yes.

17          Q    Was this one dose given at  
18   approximately 9 a.m. on January 21st sufficient

19 to anticoagulate the patient?

20 A The patient was already  
21 anticoagulated and this was on top of that.

22 Q So would it be sufficient to  
23 anticoagulate him?

24 A I believe so.

25 Q How then would you explain his

TOMMER REPORTING, INC. (212) 684-2448

71

1 , M.D.

2 bilateral pulmonary embolism that he was  
3 diagnosed with the following day?

4 MR. : Object to form.

5 Rephrase that.

6 MR. OGINSKI: Okay.

7 Q How did Mr. suffer his  
8 bilateral pulmonary embolism in light of the  
9 fact that he had been anticoagulated with

10 Fragmin?

11 MR. : Object to form.

12 You can answer.

13 A I'm not sure I can explain that  
14 fully.

15 Q Did Dr. , to your knowledge,  
16 look at the patient's CAT scan at any time on  
17 January 23rd?

18 A I don't recall.

19 Q Had you seen Mr. on the  
20 morning of January 22nd, the Tuesday?

21 A In the morning, yes.

22 Q Did you examine Mr. on  
23 January 22nd in the morning?

24 A Yes.

25 Q Is that a note that you wrote?

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    No.

3           Q    Is that Dr.   's note?

4           A    Yes.

5           Q    What is it about that note that

6 suggests that you were present?

7           A    In the morning when I did my

8 rounds Dr.    joined me.

9           Q    Is that something you recall as

10 you sit here now?

11          A    Yes.

12          Q    Contained within her notes is that

13 complete examination that you observed her to

14 perform?

15               MR. : Object to form.

16               MR. OGINSKI: I'll withdraw the

17 question.

18          Q    Who examined the patient that

19 morning, you or Dr.  ?

20          A    I did.

21          Q    Did Dr.   record the

22 observations and findings that you made?

23 A She recorded some of the findings

24 and observations that I would have made.

25 Q Were there any that she left out

TOMMER REPORTING, INC. (212) 684-2448

73

1 , M.D.

2 that you felt were significant, that should

3 have been recorded but were not?

4 A Not that I can recall of my

5 examination of that day.

6 Q Is a patient who has a history of

7 a DVT at risk for developing a pulmonary

8 embolism as a general question?

9 A It's possible.

10 Q Is a patient who is immobile who

11 also has a history of a DVT at higher risk for

12 a pulmonary embolism than someone who is  
13 otherwise mobile?

14 A Not necessarily, so.

15 Q Does immobility have anything to  
16 do with increasing a patient's risk for  
17 developing a PE?

18 A For developing a PE, I don't know  
19 that.

20 Q Was there any reason as to why Mr.  
21 was not given any anticoagulation  
22 therapy immediately after he had been taken off  
23 his Coumadin?

24 MR. : Objection.

25 Now, you're talking what happened

TOMMER REPORTING, INC. (212) 684-2448

74

1 , M.D.

2 days before you saw the patient so he is

3 not in a position to say.

4 Q When you came on service on the

5 Monday, January 21st, did you have any

6 conversations with any of the residents or any

7 fellow who had been caring for Mr. in

8 the prior few days?

9 A Yes.

10 Q Who did you speak to?

11 A I had a hand-over from Dr.

12 who was looking after him before the weekend.

13 Q Continue.

14 A I had a hand-over from Dr.

15 who looked after him on the weekend.

16 Q Dr. is a resident?

17 A Urology fellow.

18 Q Do you know what year at that

19 time?

20 A Same as me.

21 Q Dr. , he or she?

22 A He.

23 Q Was he a resident?

24 A No, he's a fellow as well.

25 Q Did you learn from either of those

TOMMER REPORTING, INC. (212) 684-2448

75

1 , M.D.

2 two physicians as to their thinking or their  
3 rationale as to why the patient was not given  
4 any anticoagulation therapy after removing him  
5 from Coumadin?

6 A I cannot recall what Dr.  
7 said. If Dr. who looked after  
8 him who came in with a high INR told me that he  
9 was removed from Coumadin because his INR was  
10 too high and he was due to have an endoscopy.

11 Q What was it about the endoscopy  
12 that would warrant the patient from being  
13 removed from Coumadin?

14 MR. : You're asking him what  
15 he recalls somebody told him since he

16           wouldn't be doing it?

17           MR. OGINSKI: Sure.

18           A    No, I have no recollection of  
19           anyone telling me anything about the endoscopy  
20           and the Coumadin.

21           Q    On Monday the 21st of January, did  
22           you learn from any of the GI physicians that  
23           they intended to obtain biopsies during the  
24           procedure?

25           A    I do not recall any conversation

TOMMER REPORTING, INC. (212) 684-2448

76

1           , M.D.

2           with the GI physicians about that.

3           Q    Why did you write an order to hold  
4           Coumadin in the evening of January 21st and  
5           also in the morning of January 22nd?

6 A I didn't.

7 Q I'll rephrase the question.

8 On January 21st you wrote an order  
9 to "Withhold Fragmin tonight and in a.m.",  
10 correct?

11 MR. : Your previous question

12 --

13 MR. OGINSKI: I realize that  
14 after.

15 Q Why was Fragmin going to be  
16 withheld for that time period?

17 A The next morning he was due to  
18 have an endoscopy and so in consultation with  
19 the GI fellow from that morning that was the  
20 plan.

21 Q What is it about the endoscopy  
22 that would require the patient to be removed  
23 from Fragmin for that period of time?

24 A The possibility of having a  
25 biopsy.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           Q    If you had learned prior to his  
3    endoscopy being performed that no biopsy was  
4    intended, would you still have withheld the  
5    Fragmin for that same time period?

6           MR. : Objection to form.

7           You can answer.

8           It's really not relevant here  
9    since it's not the facts of the case.

10          MR. OGINSKI: Well, it is in the  
11    sense that no biopsies were done.

12          So I phrased my question in such a  
13    way to ask him whether he still would  
14    have had the same plan if he had known  
15    about that.

16          MR. : Objection. It's  
17    speculation.

18          The plan was to do biopsies. It's

19 in 's note and until her procedure  
20 was done, you know, that was the fact.

21 So you're questioning him going  
22 back. It's not fair. It's  
23 speculation.

24 Q Would there be any reason to take  
25 the patient off Fragmin if he was going to have

TOMMER REPORTING, INC. (212) 684-2448

78

1 , M.D.

2 an endoscopy without any biopsy?

3 MR. : Note my objection.

4 First of all, the recommendation  
5 was made by the GI Service.

6 MR. OGINSKI: I understand that.

7 MR. : So you're asking him to  
8 now secondguess the GI Service.

9 MR. OGINSKI: No, I'm asking his

10 own knowledge.

11 MR. : Well, you're asking him  
12 to speculate what might have happened  
13 under different circumstances.

14 MR. OGINSKI: No.

15 MR. : That's not the facts of  
16 this case.

17 MR. OGINSKI: For all I know the  
18 GI Service could have written the order  
19 to withhold the Fragmin but rather they  
20 consulted with him or he consulted with  
21 them and the order was written to  
22 withheld it.

23 MR. : Ask him if he consulted  
24 with them.

25 I'm not going to have him

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           speculate what might have happened. You

3           have the facts in the chart.

4           MR. OGINSKI: He just told me he

5           consulted with them.

6           Q   Did you speak to the GI physicians

7           with regard to whether or not to hold the

8           Fragmin?

9           A   Yes.

10          Q   If they had told you, "Look, we're

11          just going to do an endoscopy, we're not going

12          to take any biopsies," would you still have

13          gone along with the suggestion to hold the

14          Fragmin?

15          MR. : Objection, it's

16          speculative.

17          You can answer.

18          A   I can't answer that.

19          Q   Was taking him off the Fragmin

20          designed to prevent him from bleeding during

21          the course of the procedure?

22           A    I think that was the idea, yes.

23           Q    Is there anything that you are

24   aware of associated with an endoscopy other

25   than the biopsies that would cause a patient to

TOMMER REPORTING, INC. (212) 684-2448

80

1                   , M.D.

2   bleed, assuming no complications?

3           A    Traumatic insertion of the

4   endoscopy scope, yes.

5           Q    Other than complications such as

6   that, other than perforation, abrasions, tears

7   or anything traumatic?

8           A    In my limited knowledge of

9   endoscopy, yes, nothing else that I'm aware of.

10          Q    Did you communicate with

11   Mrs.   in the evening of January 22nd

12   about the finding of the pulmonary embolism and

13 treatment plan that you had recommended?

14 A Do you mean after I was told by  
15 the radiology fellow?

16 Q Yes.

17 A No.

18 Q Are you licensed to practice  
19 medicine in the State of ?

20 A Do I have a permit?

21 Q No.

22 Are you licensed by the State of  
23 to practice medicine?

24 A Yes.

25 Q When were you licensed?

TOMMER REPORTING, INC. (212) 684-2448

81

1 , M.D.

2 A I don't know the exact day.

3 Q What year?

4 A It was July .

5 Q Before that time were you  
6 practicing at the hospital under some  
7 arrangement where you could continue to so and  
8 treat patients under the supervision of an  
9 attending?

10 A No.

11 Q Has your license to practice  
12 medicine ever been suspended or revoked?

13 A No.

14 Q In were you familiar with any  
15 rules that were put out by the hospital  
16 regarding the use of Coumadin?

17 A No.

18 Q When you first came to work at  
19 , were you given any  
20 type of booklets or pamphlet that discussed the  
21 obligations of you as physician who was working  
22 there?

23 A In.

24 Q Were you given or told about rules

25 and regulations pertaining to certain types of

TOMMER REPORTING, INC. (212) 684-2448

82

1 , M.D.

2 treatments of various patients?

3 A That's a very broad question. Can  
4 you narrow it down.

5 Q Did you ever become aware -- and  
6 again this is before January 21, -- of any  
7 written guidelines put out by the department  
8 which you worked in?

9 A Guidelines for treatment?

10 Q Yes.

11 A No.

12 Q Were there any guidelines that you  
13 were aware of -- when I say guidelines, I mean  
14 anything written, put out by the hospital for  
15 the benefit of its hospital staff, attendings,

16 residents, fellows, concerning the use and

17 dosaging of Fragmin?

18 A No.

19 Q Was there any policy that you are

20 aware of or rules or regulations regarding a

21 physician, whether it be a resident, intern,

22 fellow, or attending, about making notes in the

23 chart after an examination?

24 A No.

25 Q Was there any requirement that you

TOMMER REPORTING, INC. (212) 684-2448

83

1 , M.D.

2 are aware of about timing and dating any note

3 that's made in the patient's chart?

4 A What do you mean by requirement?

5 Q Is there any written document that

6 you are aware of put out by

7                   that tells you as a doctor when  
8    you make an entry in the patient's chart you  
9    must date it and you must time it?

10           A    Not that I know of.

11           Q    Are you aware of any hospital  
12    policy, rule or regulation regarding how  
13    frequently vital signs are to be taken of  
14    patients on a regular floor?

15           A    No.

16           Q    Is there any policy, guideline,  
17    rule or regulation regarding how frequently  
18    patient's vital signs are to be taken in a  
19    patient who experienced an acute pulmonary  
20    embolism?

21           A    No.

22           Q    Is there any protocol that you  
23    know of that sets out when a patient is to be  
24    transferred to an Intensive Care Unit setting?

25           A    Not that I'm aware of.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           Q   Why did you choose to give the  
3   patient Fragmin on January 21st as opposed to  
4   any day prior to that time?

5           MR. : Other than the fact  
6   that was the day he saw him for the  
7   first time?

8           MR. OGINSKI: I'll rephrase the  
9   question.

10          Q   We know you ordered Fragmin in the  
11   morning of January 21st. Was there any reason  
12   that you are aware of as to why the other  
13   physicians caring for him did not give the  
14   patient Fragmin at any time earlier within days  
15   prior to the 21st?

16          A   I have no opinion on that.

17          Q   Why did you order Fragmin on that  
18   day?

19           A    Because his endoscopy was  
20 cancelled that morning.

21           Q    Was the Fragmin ordered to  
22 continue his anticoagulation therapy?

23           A    Yes.

24           Q    Why did he need the Fragmin if his  
25 INR levels were already high from his Coumadin

TOMMER REPORTING, INC. (212) 684-2448

85

1                   , M.D.

2 at that point?

3           A    Because his INR levels were  
4 decreasing and supplemental anticoagulation  
5 with Fragmin in that scenario would not cause  
6 any harm as far as I was aware.

7           Q    What was his INR level for the  
8 21st of January?

9           A    According to this report here it

10 is 1.47.

11 Q Is that within normal limits?

12 A No.

13 Q Is that low?

14 A No, that's high -- oh, I'm sorry,

15 normal limits for what?

16 Q For this patient.

17 MR. : Object to the form.

18 I don't know what that means.

19 Q What does 1.47 level indicate to

20 you?

21 A Indicates the patient is

22 anticoagulating.

23 Q Is there some range that would be

24 within normal limits?

25 MR. : Under what? For a

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           patient whose not being anticoagulated

3           or a patient who is?

4           Q   For a patient who's been off

5   Coumadin for a number of days.

6           MR. : Object to the form of

7   the question.

8           MR. OGINSKI: I'll withdraw the

9   question.

10          Q   What does this laboratory value

11   tell you, Doctor, the 1.47 on January 21st?

12          A   To me the patient is

13   anticoagulated.

14          Q   You had mentioned it was

15   decreasing. What was it the day before?

16          A   1.6.

17          Q   The day before that, that would be

18   the 19th?

19          A   1.88.

20          Q   How but the 18th, what was INR

21   level then?

22          A   2.48.

23 Q Had Fragmin not been ordered on  
24 January 21st by you, do you have an opinion as  
25 to whether Mr. would still have been

TOMMER REPORTING, INC. (212) 684-2448

87

1 , M.D.

2 adequately anticoagulated?

3 MR. : Read it back.

4 (Record read)

5 A Probably.

6 Q Was there any particular reason as  
7 to why he received only one dose as opposed to  
8 another dose or why 5,000 units as opposed to  
9 some other amount?

10 MR. : That's a compound  
11 question.

12 MR. OGINSKI: Okay.

13 Q Why did Mr. receive only

14 5,000 units and not another amount?

15 A Because 5,000 units is the

16 recommended dosage.

17 Q With what frequency is the dosage

18 recommended?

19 A Are you talking about for

20 prophylaxis or for therapy?

21 Q For reasons you administered it on

22 January 21st.

23 A BID, twice a day.

24 Q Okay.

25 A With the assumption he is not

TOMMER REPORTING, INC. (212) 684-2448

88

1 , M.D.

2 getting any other anticoagulation.

3 Q In this case as of January 21st he

4 was not getting any other anticoagulation,

5 correct?

6 MR. : Objection.

7 He already said he was

8 anticoagulated.

9 MR. OGINSKI: Right, but he stated

10 that as long as he was not getting any

11 other anticoagulation.

12 MR. : We're getting into this

13 thing where your facts are still there.

14 He's already said that.

15 Q Turn, please, to the next order

16 sheet.

17 A Which date?

18 Q January 22nd.

19 You wrote an order regarding his

20 diabetic diet?

21 A Yes.

22 Q That was picked up approximately

23 11 a.m.?

24 A It looks like it, yes.

25 Q What was the diabetic diet?

TOMMER REPORTING, INC. (212) 684-2448

89

1                   , M.D.

2           A    I can't tell you exactly what it

3   was.

4           Q    Was Mr. able to eat by

5   mouth?

6           A    Yes.

7           Q    Was he able to ambulate and go to

8   the bathroom on his own?

9           A    Yes.

10          Q    Was he able to walk the hall if he

11   needed to or wanted to?

12          A    I can't remember specifically just

13   how mobile he was.

14          Q    Did he have bathroom privileges?

15          A    Yes.

16          Q    Going to the middle of the page

17 can you read your order, please?

18 A I have no order in the middle of  
19 the page.

20 Q Do you know what doctor wrote the  
21 order that appears in the middle of the page?

22 A That's , the PA.

23 Q Did you come to learn at any time  
24 after Mr. 's endoscopy that the GI  
25 physicians had felt he had some form of Candida

TOMMER REPORTING, INC. (212) 684-2448

90

1 , M.D.

2 infection in his esophagus?

3 A Yes.

4 Q What is Candida, Doctor?

5 A A fungal infection.

6 Q Is that readily treatable with  
7 certain medications?

8 A I'm not a gastroenterologist. I

9 cannot answer that.

10 Q In any event Diflucan was ordered

11 to treat the Candida, correct?

12 A Yes.

13 Q Also, a dose of Fragmin was

14 ordered, 5,000 units to be given

15 subcutaneously, correct?

16 A Correct.

17 Q The order indicates that it was

18 picked up what time, 3 p.m.?

19 A It says, "3 P." I presume it

20 means 3 p.m.

21 Q We know the patient's endoscopy

22 procedure ended some time on 12 noon somewhere

23 on January 22nd, correct?

24 A Correct.

25 Q When a nurse writes in the order

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2       sheet that a particular order was picked up,  
3       does that also tell you or suggest to you when  
4       the medication is actually administered?

5           A    Not necessarily.

6           Q    Is there anything that you are  
7       aware of or familiar with in this particular  
8       hospital record that records when it is a  
9       patient is actually given or administered a  
10      continuing medication?

11          A    It's normally recorded in a  
12      separate nurse form.

13          Q    Can you turn, please, to the  
14      Medication Administration Record?

15          A    What day?

16          Q    Well, it starts January 16th and  
17      then continues down all the way to January  
18      23rd.

19              Do you see that?

20          A    Yes.

21 Q Is there anything on this page  
22 besides the January 23rd note that reflects  
23 that Fragmin was administered and recorded on  
24 this chart?

25 A This chart is a standing

TOMMER REPORTING, INC. (212) 684-2448

92

1 , M.D.

2 medication or continuous IV record and for a  
3 standing medication or continuous IV, there's  
4 only one record for Fragmin that I can see.

5 Q That would be for the January 23rd  
6 note?

7 A Correct.

8 Q Doctor, since we mentioned it, let  
9 me ask you about that. Next to the order it  
10 says, "BID," that would be twice a day,

11 correct?

12 A Correct.

13 Q Next to that there's a column that

14 says, "Hour," that will be ten. What does that

15 represent?

16 A I presume it's the hour that they

17 plan to administer it.

18 Q If the medication is actually

19 administered, is there an initial as to when

20 within that time frame that particular

21 medication is given?

22 A Normally the nurse will just

23 initial a box.

24 Q Does that appear all the way to

25 the right side under the 23 column -- I should

TOMMER REPORTING, INC. (212) 684-2448

2 say the column where it has the date of the

3 23rd?

4 A Yes.

5 Q Does this indicate to you that the

6 patient received a dose of Fragmin somewhere

7 around 10:00?

8 A It indicates to me that the

9 patient received a dose of Fragmin. I am not

10 100 percent sure of the time.

11 Q Is there any other Nurse's Note or

12 any other record that tells you when Fragmin

13 was administered to this patient?

14 A Yes.

15 Q What is that?

16 A The STAT and Single Orders PRN

17 Medication Administration Record.

18 Q Looking at that record on January

19 21st there's a notation the patient got his

20 Fragmin, what time was that?

21 A It says, "10 A."

22 Q 10 a.m.?

23 A I presume.

24 Q For the January 22nd date does it  
25 also indicate that Fragmin was administered?

TOMMER REPORTING, INC. (212) 684-2448

94

1 , M.D.

2 A I can't make out the dates very  
3 well.

4 Yes, here, yes.

5 Q What time is noted as to when the  
6 patient received the second dose of Fragmin?

7 A It says, "6."

8 Q Does that tell you morning or  
9 evening?

10 A No, it doesn't.

11 Q Is there any way for you to  
12 determine from that document or any other  
13 document as to what time the patient received  
14 the Fragmin, whether it was a.m. or p.m.?

15           A    There was an order written by  
16   Linda Rodano in the p.m.

17                    So I presume this is after that.

18           Q    That would be after he had had his  
19   acute episode of the shortness of breath, being  
20   cold and clammy and feeling faint; is that  
21   correct?

22           A    wrote her order at 3  
23   p.m. Exactly when this dose of Fragmin was  
24   given, I can't tell you about whether it was  
25   before or after his episode.

TOMMER REPORTING, INC. (212) 684-2448

95

1                    , M.D.

2           Q    Did you mention it was  
3           who wrote that note for the 22nd of  
4   January?

5           A    No,           wrote an order in

6 the order form.

7 Q That was picked up at about 3  
8 p.m., correct or 3 P as you mentioned?

9 A Let me check.

10 Correct.

11 Q From the time that an order is  
12 picked up, is there any requirement or policy  
13 as to how soon after the medication should be  
14 administered?

15 A I'm not aware of any.

16 Q After his endoscopy it was  
17 understood that the patient would be restarted  
18 on Coumadin therapy, correct?

19 A Not correct.

20 Q Was the plan of treatment for this  
21 patient regarding his anticoagulation therapy  
22 that after he finished his endoscopy he would  
23 be restarted on some form of anticoagulation?

24 A Correct.

25 Q Why?

1                   , M.D.

2           A    Because he needed to continue his  
3   therapy for DVT.

4           Q    Was there a particular preference  
5   by you or any of the GI physicians as to how  
6   soon after the procedure was completed that the  
7   patient should go back on his anticoagulation  
8   therapy.

9           A    As far as I'm aware there is no  
10   preference by the GI or by myself as to the  
11   exact timing.

12          Q    Can you go back to the laboratory  
13   values for the INR's that we were looking at  
14   before.

15                   Was there an INR obtained on  
16   January 22nd?

17          A    Yes.

18 Q What was the value for that INR?

19 A 1.35.

20 Q What does that signify to you, if

21 anything, Doctor?

22 A The patient is anticoagulating.

23 Q What time was that INR level

24 obtained or recorded?

25 A 1710.

TOMMER REPORTING, INC. (212) 684-2448

97

1 , M.D.

2 Q That would be 5:10 p.m.?

3 A Yes.

4 Q That would be around the time that

5 his acute episode had been recognized or come

6 to the attention of the hospital staff?

7 A I'm not sure whether that time

8 represents when it was processed or the sample

9 was taken so I can't answer that.

10 Q We know from the 5 p.m. note from  
11 the Nurse's Note that bloods were drawn and an  
12 arterial blood gas was obtained as well as  
13 certain other laboratory tests were done,  
14 correct?

15 A Correct.

16 Q Let me show you the hematology  
17 laboratory results for January 22nd timed at  
18 1710.

19 Do you see that?

20 A Yes.

21 Q Is there any medical significance  
22 to the results as you look at them today and if  
23 so can you tell me what they are?

24 A Of the hematology?

25 Q Yes.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    The white cell count is very  
3   markedly elevated. The significance of that is  
4   unclear.

5           The hemoglobin is 9.4 which is a  
6   little bit low. That is a long-standing thing  
7   with Mr. .

8           Nothing specific for the 22nd.  
9   Otherwise, I do not see anything unusual about  
10   this hematology.

11          Q    Just to set up the time frame,  
12   Doctor, for the next question. We know at 5  
13   p.m. the nurse made a note about what occurred  
14   to Mr. regarding his acute event with  
15   the shortness of breath and so on, correct?

16          A    Correct.

17          Q    We also know according to the note  
18   that certain blood work was done which we  
19   discussed his hematology and those results are  
20   recorded at 1710, correct?

21          A    Correct.

22 Q As well as his INR level also  
23 noted at 1710 on January 22nd, correct?

24 A Correct.

25 Q If Mr. 's INR levels were

TOMMER REPORTING, INC. (212) 684-2448

99

1 , M.D.

2 sufficient to anticoagulate him at 1710 tell me  
3 why another dose of Fragmin was ordered in  
4 light of the findings of his pulmonary  
5 embolism?

6 MR. : Object to form.

7 You can answer.

8 I think he already asked you and  
9 he previously answered. He said  
10 previously they put him on this  
11 anticoagulation after this procedure.

12 That's what they did.

13 Q Was the Fragmin that was ordered  
14 by at 3 p.m. in response to an  
15 acute event or simply the course of treatment  
16 that was expected to be done after the  
17 endoscopy?

18 A I believe it's the course of  
19 treatment.

20 Q When you examined the patient  
21 later that evening between 6 and 6:30 as you've  
22 told me, did you learn that  
23 Mr. did not get his Fragmin until 6  
24 p.m. that day?

25 A I don't recall.

TOMMER REPORTING, INC. (212) 684-2448

100

1 , M.D.

2 Q Did you ask any nurse as to when  
3 he had last had his Fragmin dose?

4           A    My recollection of the event was  
5           that I was told he had his Fragmin. I do not  
6           recall the time he had it.

7           Q    Would it have been significant to  
8           you for the purposes of further diagnosis and  
9           treatment to learn when he had last been given  
10          his dose of Fragmin?

11          A    I don't believe so.

12          Q    Would your plan of treatment had  
13          been changed had you learned he had not gotten  
14          his Fragmin until approximately 6 p.m. that  
15          evening on January 22nd?

16          A    No.

17          Q    What is the duration in which  
18          Fragmin is beneficial to a patient?

19          A    It's quite vague. I'm not sure  
20          what you mean.

21          Q    How long does the Fragmin last?

22          A    After one dose?

23          Q    Yes.

24          A    I'm not quite clear but it's

25 certainly longer than 12 hours.

TOMMER REPORTING, INC. (212) 684-2448

101

1 , M.D.

2 Q Do you know how much longer?

3 A No.

4 Q Okay.

5 A Actually, I retract that.

6 I presume it's more than 24 hours

7 because Fragmin can be given daily.

8 Q When you examined Mr. on

9 January 22nd in the evening, did you formulate

10 a differential diagnosis as to what he could be

11 suffering from?

12 A Yes.

13 Q What was your differential

14 diagnosis?

15 A Pulmonary embolism, myocardial

16 infarct. From recollection they are the two  
17 main ones. I don't know if I had any more.

18 Q What did you do to rule out the  
19 PE?

20 A A CT scan was ordered.

21 Q I believe you mentioned you didn't  
22 recall who actually ordered it?

23 A I don't know who physically wrote  
24 the form, no.

25 Q What did you do to rule out an MI?

TOMMER REPORTING, INC. (212) 684-2448

102

1 , M.D.

2 A He had an EKG and cardiac enzymes.

3 Q Did you review the EKG when you  
4 saw him?

5 A I have no specific recollection of  
6 that event.

7 Q Did you learn at some point  
8 afters what the enzyme levels or results  
9 were?

10 A Yes, I was told they were normal.

11 Q Is this all within a day or so  
12 after they were drawn?

13 A No, this is soon after.

14 Q Is there any way for you to  
15 determine at what time Mr. had his CT  
16 scan?

17 A No, I cannot tell you exactly what  
18 time it was done.

19 Q The order that we discussed  
20 earlier about the contrast for the CT scan  
21 which was timed at 8:27, can you assume from  
22 that order that the CT had not yet been  
23 performed as of 8:27?

24 A I don't think you can assume that.

25 Q Going back for a moment to the

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2       Medication Administration Record where it was  
3       noted that the second dose of Fragmin was given  
4       at 6 and you said you couldn't tell whether it  
5       was a.m. or p.m., am I correct that Mr.  
6       's endoscopy was actually performed on  
7       the morning of January 22nd?

8           A    Correct.

9           Q    Would it, in fact, be  
10       contraindicated to have given him the Fragmin  
11       prior to his endoscopy procedure that morning?

12          A    I don't think contraindication is  
13       the correct word.

14          Q    What word would you use?

15          A    I would say we withheld what we  
16       asked to withhold, the Fragmin for that  
17       morning.

18          Q    So can you then assume that the

19 Fragmin was not given in the morning but rather  
20 it would be given in the evening?

21 MR. : You don't have to  
22 assume. It's in the chart.

23 MR. OGINSKI: Well, just a 6. He  
24 doesn't know whether it's a.m. or p.m.

25 I'm just trying to rule out the

TOMMER REPORTING, INC. (212) 684-2448

104

1 , M.D.

2 other possibilities.

3 A I'll assume it's in the p.m., yes.

4 Q Are you familiar with something  
5 known as venodyne boots?

6 A Yes.

7 Q What are they?

8 A They are pneumatic wrappings  
9 around the legs that sequentially compresses to

10 keep blood flow in the veins in the lower limbs

11 going.

12 Q Under what circumstances do you

13 order venodyne boots for a patient?

14 A In a patient who needs prophylaxes

15 from DVT often in our situation in the

16 Operating Room or postoperative.

17 Q Was this patient a candidate for

18 venodyne boots pre-operatively?

19 A I can't answer that.

20 Q Did this patient have venodyne

21 boots applied during his endoscopy procedure?

22 A I don't know. I wasn't there for

23 the endoscopy.

24 Q Post-operatively when he was

25 returned to his room, was the patient placed on

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2    any venodyne boots?

3           A    No, this patient did not need DVT  
4    prophylaxes. He already had a DVT so it would  
5    have been contraindicated.

6           Q    Does the venodyne boots prevent  
7    the formation of clots from forming in the  
8    legs?

9           A    In a patient that doesn't have a  
10   DVT, it can improve your -- it can improve the  
11   ability not to form them, correct.

12          Q    Does it have any effect on a  
13   patient who already has had a past history of a  
14   DVT?

15          A    As far as I'm aware it is  
16   contraindicated.

17          Q    Why?

18          A    Because if a patient has a clot in  
19   his legs theoretically it may cause the clot to  
20   dislodge.

21          Q    Are you familiar with something  
22   known as a green field filter?

23 A Yes.

24 Q What is a green field filter?

25 A It is a metal like object which is

TOMMER REPORTING, INC. (212) 684-2448

106

1 , M.D.

2 placed in the inferior vena cava.

3 Q At any time after you were advised

4 by the radiology physician of

5 Mr. 's pulmonary emboli, did you

6 consider placing a green field filter or

7 getting another physician to place a green

8 field filter for Mr. ?

9 A I did consider it.

10 Q Did you opt to do that?

11 A No.

12 Q Why?

13 A Because in my medical judgment it

14 would not have served Mr. 's best  
15 interests.

16 Q Why?

17 A Because green field filters often  
18 -- well, in my experience and from what I've  
19 been taught, they don't work well. They have a  
20 risk of insertion and the patient was being  
21 anticoagulated and in my opinion didn't require  
22 this added risk.

23 Q What is the risk of insertion?

24 A I can't tell you specifically.

25 Q What type of physician places such

TOMMER REPORTING, INC. (212) 684-2448

107

1 , M.D.

2 a green field filter?

3 A The interventional radiologist or  
4 a vascular surgeon.

5 Q Did you have any conversations  
6 with any interventional radiologists prior to  
7 your concluding the patient would not be  
8 receiving a green field filter?

9 A No.

10 Q Or any conversation with any  
11 vascular surgeon about the need or efficacy of  
12 placing a green field filter?

13 A No.

14 Q Did you discuss with the patient  
15 the option available to you to place a green  
16 field filter?

17 A No.

18 Q Did you discuss with Dr.  
19 prior to the morning of January 23rd the option  
20 of placing a green field filter?

21 A I don't recall.

22 Q Are green field filters still in  
23 use or were they still in use as of January  
24 ?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

108

1                   , M.D.

2           Q   Did they serve a purpose of  
3   attempting to filter out or block further clots  
4   from traveling through the patient's vascular  
5   system?

6           MR. : Object to form.

7           Q   What was the intended purpose of a  
8   green field filter?

9           A   Theoretically a green field filter  
10   works by intercepting large clots in the vena  
11   cava and breaking them into smaller ones.

12          Q   Does anticoagulation therapy  
13   prevent blood clots from forming?

14          A   It can.

15          Q   Are there circumstances where it  
16   does not?

17 A I can't answer that.

18 Q Let me direct your attention back  
19 to January 22nd note where she  
20 ordered the Fragmin.

21 Is there any reason that you know  
22 of as to why she did not order it BID?

23 MR. : Which day is this?

24 MR. OGINSKI: January 22nd.

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

109

1 , M.D.

2 MR. : Other than the fact  
3 it's after the procedure and he would  
4 have gotten one in the morning?

5 MR. OGINSKI: Which morning?

6 MR. : The morning of the  
7 procedure.

8 MR. OGINSKI: No, he wouldn't have  
9 gotten one the morning of the procedure.

10 MR. : Yes, that's the point.

11 MR. OGINSKI: No.

12 MR. : He didn't get it BID  
13 because in the morning he had a  
14 procedure.

15 A That is an afternoon dose.

16 Q How do you know that?

17 A Because his procedure was at 12  
18 p.m.

19 Q Okay.

20 A And she's written 3 P there.

21 Q Do you know how long this Fragmin  
22 would have lasted; in other words, whether it  
23 would be for 12-hour period, 24-hour period or  
24 something else?

25 MR. : Asked and answered.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           Q    You had mentioned to me earlier  
3   that the recommended dosing for Fragmin was  
4   BID, right?

5           A    For this particular instance, yes.

6           Q    Yes, okay.

7                   Is there any reason as you sit  
8   here now to know why this particular order was  
9   not for BID?

10          A    No.

11               MR. : Other than the fact  
12   that's to cover half day only.

13               MR. OGINSKI: I don't know what  
14   it's to cover. That's why I asked the  
15   question. He said it could last 12  
16   hours. It could last 24 hours.

17          Q    On January 23rd there's a note in  
18   the chart indicating that the patient is to  
19   receive Fragmin twice a day, correct?

20 A Can you point out to where?

21 Q This was the Medication

22 Administration Record, at the bottom it's

23 written for BID, correct?

24 A Correct.

25 Q Do you know why it was written for

TOMMER REPORTING, INC. (212) 684-2448

111

1 , M.D.

2 twice a day on the 23rd and why the patient did

3 not receive Fragmin in the early morning hours

4 of the 23rd?

5 A The dosage of Fragmin is 5,000,

6 twice a day. Given the long activity of

7 Fragmin in the body, I don't know that 6 a.m.

8 or later by a few hours is any different.

9 MR. : In other words, there's

10 a morning dose and an evening dose. He

11 expired before the evening dose.

12 Where are you going with this?

13 THE WITNESS: Correct.

14 Q Is two doses of Fragmin the  
15 morning and evening dose, does that provide  
16 better anticoagulation therapy than only one?

17 MR. : Object to form.

18 Over a period of time?

19 Q Over a period of two days.

20 MR. : Well, object to form.

21 Please rephrase.

22 Q What is the difference between a  
23 patient receiving one dose of Fragmin on one  
24 day and two doses of Fragmin on another day?

25 A That's difficult to answer because

TOMMER REPORTING, INC. (212) 684-2448

2 I think you need to look at the timing.

3 MR. : Note my objection.

4 In addition, the other coagulation  
5 which the patient had, it's reflected in  
6 his INR.

7 So it's not a fair question.

8 Q Is there any way for you to know  
9 -- to achieve optimal efficacy with Fragmin?

10 A I believe it's rapid.

11 Q How rapidly?

12 A I can't give you any exact time.

13 Q Minutes, hours, longer, shorter?  
14 Can you give me an approximation?

15 MR. : Without guessing do you  
16 know other than rapid.

17 A No, I don't know anything more  
18 than rapid.

19 Q What do you by rapid?

20 A By rapid I mean equivalence to  
21 intravenous Heparin or better.

22 Q What is the optimal efficacy; in  
23 other words, how long does this take with IV

24 Heparin to achieve optimal efficacy?

25 A That's variable and depends on the

TOMMER REPORTING, INC. (212) 684-2448

113

1 , M.D.

2 bolus dose. You give a body Heparin at

3 continuous rates, you infuse the patient.

4 Q Does IV Heparin get to the patient

5 quicker by the IV administration route than

6 subcutaneously -- than with the Fragmin?

7 A I'm not aware of.

8 Q What is Warfarin?

9 A It's the same as Coumadin.

10 Q Did you see a note written by the

11 GI physician, it's a procedure note dated

12 January 22nd which says, "Watch Warfarin after

13 the procedure"?

14 A "Watch Warfarin dosage with

15 antifungal agent."

16 Q What does that mean to you,

17 Doctor?

18 A It means that there could be a

19 possible interaction between the antifungal

20 agent and the Coumadin.

21 Q Do you know why this individual

22 felt that this patient was to receive Warfarin

23 as opposed to any other type of anticoagulant?

24 MR. : Objection.

25 That's not what it says. He's not

TOMMER REPORTING, INC. (212) 684-2448

114

1 , M.D.

2 going to speculate.

3 MR. OGINSKI: I'm asking if he

4 knows. If he spoke to him.

5 MR. : Well, you're  
6 misscharacterizing what the note says in  
7 the first instance.

8 So object to the form.

9 Q Is there any contraindication  
10 between the use of Warfarin and the antifungal  
11 agent, the Diflucan?

12 A I'm not aware of it.

13 Q Did you have any conversation with  
14 Dr. at any time after the procedure was  
15 performed on January 22nd?

16 A No.

17 Q Did you ever speak with Dr.  
18 , the attending GI physician, about  
19 the procedure?

20 A No.

21 Q Were you aware that the patient's  
22 hiccuping had ceased with the use of Baclofen?

23 A The patient's hiccups had ceased  
24 for three days. Whether it was due to the  
25 Baclofen, it's difficult to determine.

TOMMER REPORTING, INC. (212) 684-2448

115

1                   , M.D.

2           Q   Did you form an opinion while you  
3   were caring for Mr.   whether his  
4   hiccuping was one of the reasons why he was  
5   unable to eat or eat large amounts?

6           A   It was possibly one of the  
7   reasons, yes.

8           Q   Once his hiccuping had stopped,  
9   did you notice any improvement in his appetite  
10   or his ability to eat?

11          A   I don't recall a major  
12   improvement.

13          Q   Was there any improvement?

14          A   I don't know.

15          Q   I'd like you to turn, please, to  
16   the January 23rd note that you wrote.

17 That is your note, Doctor?

18 A Correct.

19 Q It says, "Call to code at 12:40

20 p.m."?

21 A Yes.

22 Q Prior to that time, am I correct

23 that you had seen and examined Mr. ?

24 A Yes.

25 Q You had mentioned that in the

TOMMER REPORTING, INC. (212) 684-2448

116

1 , M.D.

2 middle of your note, "Patient was seen this

3 a.m. by the team and Dr. ."

4 Who was the team?

5 A It was me and Dr. .

6 Q What time did you see

7 Mr. that day?

8 A I can't tell you exactly but it

9 was probably between 6 and 6:40.

10 Q Who examined the patient?

11 A I would have.

12 Q Did Dr. examine the patient?

13 A I wasn't there when Dr.

14 examined the patient.

15 Q You wrote, "Patient was seen this

16 a.m. by the team and Dr. ."

17 Does that mean Dr. was

18 present or wasn't present at the time that you

19 and Dr. were present?

20 A He wasn't present at the time that

21 we examined him.

22 Q How did you know that Dr. saw

23 the patient that morning?

24 A Because I talked to him.

25 Q Before or after your exam?

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    He saw the patient after the exam.

3   After myself and Dr.   had seen the

4   patient.

5           Q    Was this before the code was

6   called?

7           A    Correct.

8           Q    How long did your examination

9   take?

10          A    I can't tell you exactly.

11          Q    Was anyone else present in the

12   room besides you, Dr.       and the patient?

13          A    Not that I recall.

14          Q    What examination did you perform?

15                Doctor, you're referring to a

16   particular note timed at 6:40 a.m.; is that

17   correct?

18          A    Yes.

19          Q    Is that Dr.     's note?

20          A    Yes.

21 Q Is there any reason why you're  
22 referring to Dr. 's note?

23 A Yes, I examined and talked to the  
24 patient while Dr. takes down notes.

25 Q What is your recollection based

TOMMER REPORTING, INC. (212) 684-2448

118

1 , M.D.

2 upon, Dr. 's note, as to what your  
3 examination consisted of?

4 A It consists of his pulse,  
5 examination of his abdomen. I remember  
6 examining his legs and an examination of his  
7 respiratory system.

8 Q I'm sorry, you mentioned you  
9 remember or is that based upon your review of  
10 the note?

11 A No, I remember.

12 Q Is there anything that  
13 Dr. did not include in the note which  
14 you feel should have been included?

15 A No.

16 Q Was it customary if a resident  
17 wrote a note in which you were present for the  
18 examination that you would countersign that  
19 note?

20 A No.

21 Q Was it customary that Dr.  
22 would countersign a resident's note?

23 A No.

24 Q What year resident was  
25 Dr. at the time?

TOMMER REPORTING, INC. (212) 684-2448

119

1 , M.D.

2 MR. : Don't guess. If you

3 know.

4 A Four.

5 Q What year program is the Urology

6 Department at ?

7 A She is not trainee.

8 Q Where is she from?

9 A I think it's .

10 Q Do you know how many years that

11 program is?

12 A Six.

13 Q Does that include fellowship or

14 not?

15 A No.

16 Q Did you convey your findings on

17 the examination to Dr. ?

18 A I believe so.

19 Q Was that before or after he

20 examined the patient?

21 A I don't recall.

22 Q Doctor, let me ask to you go back

23 a moment to an entry you made in the patient's

24 chart and it appears underneath a nursing note

25 dated January 20th/January 21st.

TOMMER REPORTING, INC. (212) 684-2448

120

1 , M.D.

2 What is the date and time of your

3 note?

4 A I haven't written a date and time.

5 Q Can you tell from the note that

6 appears above it as to what date your note was

7 written?

8 A I can assume that it was in the

9 morning of the 21st of January.

10 Q Can you read what your plan was?

11 A It says, "Upper GI series. Then

12 can have a normal diet."

13 Q Doctor, going back to your note,

14 please, of January 23rd to the bottom few

15 lines down, "He had a sudden turn at 12:20 p.m.  
16 resulting in full cardiopulmonary arrest,"  
17 correct?

18 A Correct.

19 Q How did you obtain the information  
20 that this occurred at 12:20 p.m.?

21 A I talked to the people running the  
22 code.

23 Q Take a look, please, at the  
24 nursing note which is also January 23, timed at  
25 12:05 p.m. which states "Called to room by

TOMMER REPORTING, INC. (212) 684-2448

121

1 , M.D.

2 patient complaining of feeling faint. RN

3 arrived to room. Patient diaphoretic. Unable

4 to get BP. Pulse present. PA

5 called in to room. Patient had episode of

6 emesis. BP 90/60."

7 Can you read the rest of that?

8 A "Patient became unresponsive."

9 Q No, after the BP underneath that.

10 A I cannot make out what the first  
11 two letters are. It then says, "220" with a  
12 zero.

13 Q Do you know what that refers to?

14 MR. : If you know. Don't  
15 guess.

16 A No, I don't know.

17 Q Then it continues on saying,  
18 "Patient became unresponsive. Code called.  
19 See Code Sheet. Patient expired."

20 Does that indicate that the  
21 patient's turn of events as you noted started  
22 at 12:05 p.m. and not at 12:20?

23 A Not going there, I really don't  
24 know when it occurred.

25 Q Based upon this Nurse's Note.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    Based upon the Nurse's Note it  
3    appears that this could have happened at 12:05.

4           Q    When you wrote in your note that  
5    at the time you examined Mr. "He was  
6    saturating well," what did you base that  
7    information upon?

8           A    On the pulse oximetry that  
9    morning.

10          Q    What was that at that time?

11                    Again, you're referring to Dr.  
12    's 6:40 a.m. note?

13          A    Correct.

14                    It was 98 percent.

15          Q    If you or any other doctor had  
16    calculated the patient's AA gradient at any  
17    time after 5 p.m. on January 22nd on the  
18    Tuesday, would you have expected that

19 calculation to be noted somewhere in the chart?

20 A Not necessarily.

21 Q Did you ever learn from any doctor

22 that the AA gradients had been calculated?

23 A Not that I recall.

24 Q Would the AA gradient have

25 assisted you in terms of treatment or

TOMMER REPORTING, INC. (212) 684-2448

123

1 , M.D.

2 diagnosis?

3 A No.

4 Q When the nurse wrote there was

5 "Episode of emesis," is that another way of

6 saying the patient threw up?

7 A Yes.

8 Q Can you turn, please, to Dr.

9 's 6:40 a.m. note for January 23rd.

10           Tos the bottom of her note Dr.

11           wrote "Fragmin started yesterday."

12           Do you see that?

13           A    Yes.

14           Q    That would be an incorrect

15           statement, correct? In other words, yesterday

16           would then refer to January 22nd and that would

17           be inaccurate?

18           MR. : Objection, asked and

19           answered.

20           MR. OGINSKI: I'll rephrase the

21           question.

22           MR. : It was started the day

23           before.

24           MR. OGINSKI: No, started the

25           21st.

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2                   MR. : Correct.

3           Q    Dr.           wrote "Fragmin  
4    started yesterday." Her note is written on  
5    January 23rd. Would it be fair to say that is  
6    an inaccurate statement as to when the Fragmin  
7    was started?

8           A    No, Fragmin was restarted again  
9    yesterday. Although it had been previously  
10   started earlier today and stopped.

11          Q    When she writes "Fragmin started  
12   yesterday," what does that mean to you?

13          A    It means that the Fragmin had been  
14   restarted yesterday.

15          Q    How do you know it refers to  
16   restarting the Fragmin and not from when the  
17   patient was initially put on Fragmin?

18          A    Because having looked after the  
19   patient I know when the Fragmin was started.

20          Q    I'm not asking about you, Doctor.  
21   I'm asking Dr.       . I'm specifically

22 referring to the statement she has in her

23 January 23rd note.

24 Can you tell whether this

25 statement refers to the restarting of Fragmin

TOMMER REPORTING, INC. (212) 684-2448

125

1 , M.D.

2 or the initial starting of Fragmin?

3 A No, I can't tell from this note.

4 Q If another doctor who was not

5 directly involved about the patient's care were

6 reading Dr. 's note on January 23rd, do

7 you think it would be fair to assume based on

8 this statement "Fragmin started yesterday,"

9 that the Fragmin had only started initially as

10 of January 22nd?

11 A No.

12 MR. : Note my objection.

13 He said before it was started.

14 MR. OGINSKI: I know.

15 MR. : It was given on Monday.

16 It doesn't mean it was started on

17 Monday.

18 You're quibbling really over

19 semantics. I don't think it's fair with

20 him and we're going nowhere with it.

21 There's no question about when it

22 was given and what was given.

23 What's the point?

24 MR. OGINSKI: The point it has to

25 do with Dr. 's understanding of

TOMMER REPORTING, INC. (212) 684-2448

126

1 , M.D.

2 the treatment that was already underway

3 and whether or not she understood

4 Fragmin to have been administered on the  
5 21st and whether anything was done about  
6 that.

7 MR. : It really doesn't,  
8 Jerry. She is standing there with him  
9 writing the note. We're quibbling over  
10 semantics over what do you call the dose  
11 given on the Monday.

12 MR. OGINSKI: Off the record.  
13 (Informal discussion held off the  
14 record)

15 Q Were you told by any nurse or  
16 doctor on the evening of January 22nd as to  
17 whether there was any delay associated in  
18 obtaining the CAT scan?

19 A Note that I recall.

20 Q Who ordered the contrast material?

21 A I'm not sure.

22 Q If we assume for purposes of this  
23 question that the CT was actually obtained  
24 sometime after 8:27 p.m. based upon that order

25 sheet for contrast, if given that assumption,

TOMMER REPORTING, INC. (212) 684-2448

127

1 , M.D.

2 is there any way for you to tell why there is  
3 almost a three-and-a-half-hour gap in time  
4 before performing the CT?

5 A Short of ringing up the person in  
6 charge of CT personally, I don't know of any  
7 other.

8 Q How do you diagnose a pulmonary  
9 embolism?

10 A Pulmonary embolism can be  
11 diagnosed clinically and confirmed with imaging  
12 study.

13 Q What are the clinical signs and  
14 symptoms that you would expect to see in a  
15 patient with a PE?

16           A   Shortness of breath, tachycardia,  
17   occasionally chest pain.

18           Q   What about diaphoresis, is that  
19   included within the symptoms that you would  
20   expect to see?

21           A   Well, there are constellations of  
22   symptoms. Not all of them are here or there.  
23   They can be absent but diaphoresis is one that  
24   can be, yes.

25           Q   What is the significance, if any,

TOMMER REPORTING, INC. (212) 684-2448

128

1                   , M.D.

2   of fainting or passing out?

3                   MR. : In any patient?

4                   MR. OGINSKI: In a patient who's

5   suspected of a pulmonary embolism.

6           A   Well, that's also one of the

7 possible symptoms, signs of a PE.

8 Q What is the actual mechanism that  
9 causes the patient to pass out in the event  
10 they are experiencing a PE?

11 A I'm not 100 percent sure of that.

12 Q Did you learn from any of the  
13 doctors caring for Mr. before January  
14 21st that he was not thriving?

15 A Yes.

16 Q Did you form any opinion as of the  
17 time you first came to care for  
18 Mr. as to why he was not thriving?

19 A I do not recall any opinion that I  
20 formed.

21 Q Did you make note anywhere in the  
22 patient's chart, any opinion as to why you felt  
23 or believed the patient was not thriving?

24 A Not that I know of.

25 Q Did you also learn that the

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2       patient had experienced a weight loss of  
3       approximately 40 to 50 pounds over the previous  
4       two and a half months?

5           A    Yes.

6           MR. : I think it's 55 pounds.

7           MR. OGINSKI: I understand that  
8       but also Dr. indicated 40, 50  
9       pounds but I'll rephrase it.

10          Q    Did you learn he suffered some  
11       form of weight loss between 40, 55 pounds over  
12       a two-and-a-half-month period before coming to  
13       the hospital?

14          A    Yes.

15          Q    Did you account or contribute any  
16       particular condition as to why he had  
17       experienced that weight loss?

18          A    I did not know why he had that

19 weight loss.

20 Q During any of your conversations  
21 with the GI physicians, did they tell you their  
22 opinions as to why he might be having this  
23 weight loss?

24 A Not that I recall.

25 Q Did you have any conversation with

TOMMER REPORTING, INC. (212) 684-2448

130

1 , M.D.

2 the pathologist who performed the autopsy?

3 A No.

4 Q Did you learn from any physician  
5 after Mr. 's death that he had had a  
6 large saddle-shaped pulmonary embolus that was  
7 bilateral?

8 A Yes.

9 Q From whom did you learn that?

10 A I don't recall.

11 Q I'm going to ask to you look at a

12 January 18th GI note and where I have

13 highlighted in my section, Doctor, can you read

14 those two lines?

15 A "Suggested plan to proceed upper

16 endoscopy likely Monday, hold Coumadin."

17 Q I'm sorry, the line underneath

18 that.

19 A I can't understand the first words

20 but then it says, "INR less than two start

21 LMH."

22 Q That would represent low molecular

23 Heparin?

24 A Correct.

25 Q Can you interpret this particular

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2       note as to what it means to you?

3           A    The recommendation by that GI, I  
4       believe fellow, was that the Coumadin should be  
5       withheld and when the INR is below one to  
6       start.

7           Q    Below two you mean?

8           A    Excuse me?

9           Q    Below two?

10          A    Below two, to start low molecular  
11       weight Heparin.

12          Q    The line directly underneath that,  
13       does that say, "EGD when INR is less than"  
14       what?

15          A    "EGD when INR is less than one."  
16       It looks like space "three."

17          Q    Turn to the ICU fellow note on  
18       January 23rd in the second full paragraph where  
19       it said, "Unfortunately chest compressions had  
20       been ongoing for about 20 minutes, ribs were  
21       palpably broken," do you see that?

22          A    Yes.

23 Q "And duration of CPR," does it  
24 say, "ensures"?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

132

1 , M.D.

2 Q "Presence of lung contusions," and  
3 there was further discussion as to whether or  
4 not to use thrombolytics during the code,  
5 correct?

6 A Correct.

7 Q Ultimately, the decision was made  
8 not to use it, right?

9 A Correct.

10 Q That was primarily because it was  
11 felt that he would continue to bleed into  
12 certain areas within his chest; is that  
13 correct?

14 A Correct.

15 The ICU fellow felt the patient

16 would almost certainly bleed to death.

17 Q Can you go, please, to the January

18 21st GI note timed at 7:45. It starts out

19 saying, "Stable through weekend. Hiccups

20 ceased on Baclofen," correct?

21 A I don't know if that's "with" or

22 "on."

23 Q Okay.

24 "Improved oral intake."

25 Then it's written "Did not receive

TOMMER REPORTING, INC. (212) 684-2448

133

1 , M.D.

2 low molecular weight Heparin over weekend,"

3 correct?

4 A Correct.

5 Q Was there any indication that this  
6 patient was to receive the low molecular weight  
7 Heparin over the weekend?

8 A There was a recommendation before  
9 the weekend from the GI Service that low  
10 molecular weight Heparin be started when the  
11 INR dropped below two.

12 Q When was that recommendation made?

13 MR. : He just read the note.

14 MR. OGINSKI: Oh, okay. I forgot  
15 the date.

16 MR. : 18th.

17 MR. OGINSKI: Thank you.

18 Q On January 19th, Doctor, you told  
19 me that the INR was 1.88. Was Fragmin started  
20 at that time?

21 A Not that I am aware of.

22 Q Is there any reason that you are  
23 aware of as to why Fragmin was not started when  
24 the INR dropped below two on January 19th?

25 MR. : Without guessing do you

TOMMER REPORTING, INC. (212) 684-2448

134

1                   , M.D.

2                   know.

3                   A    No.

4                   Q    Was low molecular weight Heparin  
5                   given to the patient on January 20th when his  
6                   INR level was reported as 1.6?

7                   A    Not that I am aware of.

8                   Q    Do you know as you sit here now as  
9                   to why low molecular weight Heparin was not  
10                  given since his INR level was less than two?

11                  MR. : Without guessing do you  
12                  know.

13                  A    No.

14                  Q    Do you have an opinion with a  
15                  reasonable degree of medical probability as to  
16                  whether if this patient had received Fragmin on

17 the 19th of January whether his outcome as far  
18 as having his acute PE on the 22nd would still  
19 have occurred?

20 First of all, do you have an  
21 opinion?

22 A Can you repeat the first part.

23 Q Sure.

24 Do you have an opinion with a  
25 reasonable degree of medical probability as to

TOMMER REPORTING, INC. (212) 684-2448

135

1 , M.D.

2 whether this patient would still have had his  
3 acute pulmonary embolus on January 22nd had he  
4 been given Fragmin on January 19th?

5 A I do have an opinion, yes.

6 Q What is that opinion?

7 A That is unlikely it would have

8 changed the outcome.

9 Q Do you have an opinion as to  
10 whether Mr. would have suffered his  
11 acute bilateral pulmonary embolism on January  
12 22nd if he had been given Fragmin on January  
13 20th?

14 A Repeat that.

15 MR. : Same question.

16 Q Same question, different date.

17 A I don't think it would have made  
18 any difference.

19 Q Do you have an opinion as to  
20 whether Mr. 's outcome would have been  
21 different had he received a dose of Fragmin on  
22 the 19th and also on the 20th?

23 A I don't think it would have made a  
24 difference.

25 Q Why?

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    Because the patient was  
3    anticoagulated during that time.

4           Q    How then do you explain the fact  
5    that he suffered the acute PE if he was  
6    adequately anticoagulated?

7           MR. : Object to form.

8           Q    Can you explain how the patient  
9    developed the bilateral pulmonary emboli on the  
10   22nd in light of his anticoagulation therapy?

11          MR. : Object to form.

12          MR. OGINSKI: Can he answer? It's  
13   an open-ended question.

14          A    I can only speculate.

15          Q    Can you answer that question with  
16   a reasonable degree of medical probability?

17          A    No.

18          Q    We have spoken briefly before  
19   about the patient's appetite after his hiccups

20 had started and after the endoscopy he was  
21 going to be put back on a diabetic diet,  
22 correct?

23 A Correct.

24 Q Did you have any reason to believe  
25 that his appetite, his ability to eat would not

TOMMER REPORTING, INC. (212) 684-2448

137

1 , M.D.

2 improve?

3 A That's a vague question.

4 Q I'll rephrase it.

5 Once his hiccups stopped, he  
6 increased his oral intake, did you have any  
7 reason to believe that he would continue to  
8 improve or increase his oral intake?

9 A I can't answer that for sure.

10 Q I'm not asking for certainty. I'm

11 asking with a reasonable degree of medical  
12 probability.

13 A Still can't answer that because  
14 I'm not sure.

15 Q That's okay.

16 Did you at any time before January  
17 22nd evaluate this patient's life expectancy in  
18 light of his condition and his presenting  
19 complaints in the hospital?

20 A No.

21 Q Did you ever have any conversation  
22 with Dr. about this patient's life  
23 expectancy before he had been diagnosed with  
24 the pulmonary emboli?

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

2 Q Did you ever have any  
3 conversations with any physician at all before  
4 January 22nd about this patient's life  
5 expectancy?

6 A Not that I recall.

7 Q What was the chance of the  
8 patient's cancer recurring over a five-year  
9 period of time?

10 A I can't tell you that.

11 Q Is there any published literature  
12 that you are aware of as to the recurrence rate  
13 for the type of cancer that Mr. had?

14 A The question is quite vague.  
15 I'm not sure whether you're asking  
16 me to be specific about Mr. or not.

17 Q Well, generally first.  
18 Can you tell me what is the  
19 recurrence rate for patients with this type of  
20 cancer that he had?

21 A I can't give you a specific answer  
22 to that.

23 Q What was Mr. 's chance of

24 recurrence of the cancer that he had, the  
25 prostate cancer?

TOMMER REPORTING, INC. (212) 684-2448

139

1 , M.D.

2 MR. : Object to form.

3 Q I'm sorry, the bladder cancer.

4 MR. : Object to form.

5 You can answer that.

6 A I don't know.

7 Q In the course of your fellowship,  
8 did you have occasion to treat patients who had  
9 been operated on for urological cancers?

10 A Yes.

11 Q In the course of your discussions  
12 from time to time, would you discuss with them  
13 the possibility that the cancer can recur?

14 A Yes.

15 Q Were there times when you would be  
16 able to quote to them certain percentages at  
17 which certain types of cancers might be  
18 expected to recur?

19 A I generally don't do that.

20 Q Would that fall within the realm  
21 of the attending's responsibility as opposed to  
22 the fellow or any other doctor?

23 A Not necessarily.

24 Q In any event, although you did not  
25 necessarily do that, were you familiar with the

TOMMER REPORTING, INC. (212) 684-2448

140

1 , M.D.  
2 recurrence rate of certain types of urological  
3 cancers?

4 A Reasonably.

5 Q What types of urological cancer

6 did Mr. have that was treated in

7 October of at ?

8 A Bladder cancer.

9 Q To your knowledge, what was the

10 recurrence rate, if any, for that particular

11 condition in the end of ?

12 A I can't answer that question

13 because Mr. 's individual

14 circumstances are very different from the

15 average population.

16 Q Is there anything that you can go

17 by that would suggest to you, whether

18 literature, clinical experience or something

19 else as to whether or not you would expect this

20 patient to have a recurrence of his bladder

21 cancer?

22 A It is possible that the patient

23 could have a recurrence.

24 Q What is the likelihood of that

25 possibility?

TOMMER REPORTING, INC. (212) 684-2448

141

1                   , M.D.

2           A    I can't give you an accurate

3   number on that.

4           Q    Is there any approximation?

5           A    Again, I couldn't tell you

6   specifically with Mr. .

7           Q    Was it your understanding that

8   this patient's bladder cancer was totally

9   removed at the time of surgery in October of

10          ?

11          A    It's very difficult to tell

12   whether a patient's cancer is always 100

13   percent removed. You don't know what cancer is

14   left behind.

15          Q    Were you involved in this

16   patient's treatment of his bladder cancer back

17   in October of       ?

18           A    From memory I looked after him  
19           over a weekend but no, I wasn't specifically  
20           involved in his bladder cancer care.

21           Q    In any event, did you learn from  
22           Dr. that he had obtained what he thought  
23           was all the cancer that was observed during the  
24           procedure?

25           A    I don't know what Dr.

TOMMER REPORTING, INC. (212) 684-2448

142

1                   , M.D.

2           specifically told me.

3           Q    Did you learn from the autopsy  
4           report that this patient did not die from  
5           bladder cancer?

6           A    Yes.

7           Q    What was your understanding as to  
8           his cause of death?

9 A He had a saddle embolus.

10 Q Can you characterize that saddle

11 embolus, size, amount, nature of it?

12 A I can't tell you the size. The

13 saddle embolus is a large embolus that blocks

14 pulmonary arteries.

15 Q Did have you any reason that the

16 Diflucan would not be effective in treating the

17 Candida?

18 A I'm not a gastroenterologist. I

19 have no opinion on it.

20 Q Is a bilateral pulmonary embolus a

21 life-threatening event?

22 A In some cases.

23 Q Would you agree that a patient

24 with an acute pulmonary embolus needs to be

25 monitored closely?

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           A    Depends on the degree.

3           Q    Is it your opinion that

4   Mr.   was monitored closely after his

5   pulmonary embolus had been diagnosed?

6           A    I felt he was, yes.

7           Q    Would you agree that patients who

8   are in an ICU setting are more closely

9   monitored than patients on a regular floor?

10          A    For a patient in exactly the same

11   condition as Mr.   , ICU would monitor

12   him probably the equivalence to what we did.

13          Q    In the ICU the nurse-to-patient

14   ratio is much less than on a regular floor,

15   correct?

16          A    Yes.

17          Q    Would you agree that good medical

18   practice in evaluating a patient's oxygen

19   saturation levels after an acute PE event would

20   be to monitor them at 15-minute intervals?

21           A    I don't know if I would put that

22   number on it.

23           Q    What number would you put?

24            You mentioned earlier you felt it

25   should be monitored hourly, correct?

TOMMER REPORTING, INC. (212) 684-2448

144

1            , M.D.

2           A    Yes, I expect it would be

3   monitored hourly.

4           Q    Thank you.

5            Is there any other or more

6   frequent time frame in which a patient who had

7   an acute PE that their oxygen saturation levels

8   should be monitored?

9           A    The oxygen saturation monitor has

10   an alarm. If it drops, the alarm goes off and

11   it can be heard well.

12                   So I don't see any benefit in  
13           monitoring a patient physically more often in  
14           the absence of any deterioration of symptoms.

15           Q    Where was Mr. 's room in  
16           relation to the nurses station on that floor?

17           A    Would you like me to draw it?

18                   MR. : No, you are not drawing  
19           anything.

20           Q    In feet or distance or number of  
21           rooms away?

22           A    Probably about 15 feet estimate.

23           Q    The alarm that you mentioned, does  
24           that alarm sound differ from any other type of  
25           monitor alarm that's used in the hospital like

TOMMER REPORTING, INC. (212) 684-2448

145

1                   , M.D.

2           an electronic beeping sound, is that what

3       you're referring to?

4           A    Correct.

5           Q    If the patient's door is closed,  
6       how would you expect a nurse or anyone else to  
7       hear that electronic beeping if his oxygen  
8       saturation levels decreased to a certain point?

9           A    The patient's door is not closed.

10          Q    Can the door be closed?

11          A    Physically it can be, yes.

12          Q    Are there times when a patient  
13       might have visitors and the door would be  
14       closed?

15          A    In theory it can happen but it's  
16       recommended against.

17          Q    In your experience have you seen a  
18       patient's room with doors closed?

19          A    Yes.

20          Q    If the door is closed for whatever  
21       reason, how is a nurse going to hear the alarm  
22       being sounded if the oxygen saturation levels  
23       drops?

24           A    In my experience the door is never  
25    closed with a patient alone.

TOMMER REPORTING, INC. (212) 684-2448

146

1                   , M.D.

2           Q    Is there any way for a nurse to  
3    hear that alarm if a patient's door is closed?

4           A    I can't answer that. I don't  
5    know.

6           Q    What is the level at which the  
7    pulse oximeter device triggers its alarm when  
8    it drops below what number?

9           A    You can set it.

10          Q    Do you know what it was set for  
11   this patient?

12          A    No.

13          Q    Are you aware of whether  
14   Mr. 's pulse oximeter and his oxygen

15 saturation levels ever dropped sufficient

16 enough to trigger that alarm?

17 A I was never made aware that it was

18 -- that it did drop below.

19 Q If it had been triggered, would

20 you have expected to receive a call from a

21 nurse or someone at the hospital advising you

22 that it had dropped to a certain level?

23 A My note requested that the oxygen

24 saturation stay before 96 percent.

25 I would have expected if it

TOMMER REPORTING, INC. (212) 684-2448

147

1 , M.D.

2 dropped below that that I would get a call.

3 Q Have you ever formed an opinion,

4 again with a reasonable degree of medical

5 probability, as to whether it was

6 Mr. 's first clot that killed him or  
7 whether it was the subsequent clot?

8 A Are you talking about the  
9 pulmonary embolus?

10 Q Yes.

11 A I believe it was the subsequent  
12 clot.

13 Q Why do you say that?

14 A Because Mr. was totally  
15 asymptomatic, oxygenating well, feeling very  
16 well with a diagnosis of the first pulmonary  
17 embolus and hence it is unlikely that that  
18 first pulmonary embolus was what caused those  
19 significant effects.

20 It is likely that the significant  
21 effect that led to his demise was caused by a  
22 second one because they were so significantly  
23 different.

24 Q In light of the anticoagulation  
25 therapy that Mr. was receiving after

1                   , M.D.

2       the endoscopy procedure on the 22nd and the  
3       morning of the 23rd, how then do you explain a  
4       subsequent pulmonary embolism that ultimately  
5       caused his death?

6                   MR. : Asked and answered.

7       You asked this question like six  
8       different ways.

9                   MR. OGINSKI: No.

10                  Now, I'm asking specifically about  
11       the second pulmonary embolism. I'm  
12       specifically relating to his death.

13       A    I don't know the answer to that.

14       Q    You have the INR levels in front  
15       of you, Doctor?

16       A    Yes.

17       Q    Was an INR obtained on January  
18       23rd?

19 A Yes.

20 Q What was the patient's INR level

21 and what time was that?

22 A 1.32 and it was at 7:10 in the

23 morning.

24 Q Do you have an opinion as to

25 whether the patient was adequately coagulated

TOMMER REPORTING, INC. (212) 684-2448

149

1 , M.D.

2 as of that time?

3 A I cannot answer that.

4 Q Why can't you answer it?

5 A Because the patient had been

6 receiving Fragmin and he has the effects of the

7 Coumadin.

8 I do expect that he's adequately

9 anticoagulated but I have no documentation of

10 that.

11 Q How long does it take Coumadin's  
12 effect to wear off so that it no longer  
13 provides adequate anticoagulation therapy?

14 A That depends on the initial level,  
15 the patient's diet, the patient's metabolism,  
16 multi, multi factors. It has to be  
17 individualized. There's no general answer.

18 Q Do you have an opinion with a  
19 reasonable degree of medical probability as to  
20 whether this patient's pulmonary embolism or  
21 emboli that ultimately killed him was  
22 preventable?

23 A I don't believe it was.

24 Q Why?

25 A Because the patient was

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2    anticoagulated through the time that this  
3    occurred.

4           Q    Do you have an opinion as to  
5    whether this patient's outcome would have been  
6    different had IV Heparin been administered  
7    immediately after his PE was diagnosed?

8           A    I do not believe it would have  
9    been any different.

10          Q    Would the outcome have been any  
11    different if the patient had received IV  
12    Heparin as soon as a pulmonary embolus was  
13    suspected?

14          A    I don't believe it would have made  
15    any difference.

16          Q    Doctor, you had mentioned that  
17    you're unaware as to whether the patient's  
18    hiccups resolved from the Baclofen, correct?

19          A    Correct.

20          Q    Let me show you Page 2 of  
21    Dr. 's Discharge Summary in the middle of

22 the page, second line says, "Hiccups resolved  
23 with Baclofen."

24 Do you see that?

25 A Yes.

TOMMER REPORTING, INC. (212) 684-2448

151

1 , M.D.

2 Q Is that consistent with what you  
3 had told me earlier?

4 MR. : Objection to form.

5 I don't know what that means.

6 Q Is it your opinion that the  
7 Baclofen had no effect upon the patient's  
8 hiccups?

9 A I have no opinion on the effect of  
10 Baclofen at all.

11 Q Have you spoken with Dr.  
12 about this patient at any time after the

13 patient died?

14 A No.

15 Q Were you involved at all with a  
16 discussion about whether or not to perform an  
17 autopsy?

18 A Yes.

19 Q Who was present for that  
20 conversation?

21 A Dr. initially asked the  
22 family for an autopsy.

23 Q You were present for that  
24 conversation?

25 A No.

TOMMER REPORTING, INC. (212) 684-2448

152

1 , M.D.

2 They said no. And then later on  
3 the same day I believe Mr. 's son

4 arrived. Then I had a discussion with the  
5 family and the son.

6 Q When you say the family, can you  
7 be specific?

8 A I remember Mrs. there  
9 and the son and I cannot recall who else was  
10 there.

11 Q What was discussed?

12 A I explained what I believed had  
13 happened.

14 Q What did you say?

15 A I told them that I thought it's  
16 most likely a pulmonary embolus but we couldn't  
17 tell for sure unless we had an autopsy. And at  
18 that time they agreed to do an autopsy.

19 Q Did you have any other  
20 conversations with the family after that time?

21 A No, not that I recall.

22 Q After the autopsy results had been  
23 reported, did you ever speak to any member of  
24 the family?

25           A    Not that I recall.

TOMMER REPORTING, INC. (212) 684-2448

153

1                   , M.D.

2           Q    Did you ever speak to Dr. at  
3 any time after the autopsy results had been  
4 reported?

5           A    Not that I recall.

6                   Not to Dr. regarding this  
7 case?

8           Q    Correct.

9           A    Yes, not that I recall.

10          Q    Was Mr. 's case ever  
11 presented to the residents for teaching  
12 purposes during rounds?

13          A    No.

14          Q    Were you ever present during any  
15 conversation of this patient during any

16 Mortality or Morbidity Conferences?

17 A I don't have a clear recollection.

18 Q Are there occasions when you

19 participate in Mortality and Morbidity

20 Conferences?

21 A Yes.

22 Q On occasion when you participate,

23 do you make notes of what transpires either

24 regarding your patients or other patients that

25 are discussed?

TOMMER REPORTING, INC. (212) 684-2448

154

1 , M.D.

2 A No.

3 Q Do you have any notes for this

4 patient separate and apart from what's

5 contained within this hospital record?

6 MR. : Medical notes?

7 MR. OGINSKI: Any notes.

8 Q I'm not talking about any  
9 conversations or anything you had with your  
10 attorney about any notes about this patient  
11 that do not appear within this record?

12 A Yes.

13 Q What notes?

14 A A note I wrote with regard to  
15 Quality Assurances.

16 Q When was that written?

17 A I don't remember the date.

18 Q What prompted you to write a note  
19 to Quality Assurances?

20 A I was asked to.

21 Q By whom?

22 A By the Deputy Chairman of the  
23 Department of Urology.

24 Q At what point in time were you  
25 asked to write a note?

155

1                   , M.D.

2           A    It was, I think, within a few  
3   months of this episode.

4           Q    Did you keep a copy of that note?

5           A    I may have on my computer.

6           Q    Who did you give the note to?

7           A    The Deputy Chairman.

8           MR. : Note my objection to  
9   this entire line of questioning.

10           The area of Quality Assurance is  
11   privileged under the Education Law and  
12   the Health Law.

13           MR. OGINSKI: Maybe but I need to  
14   find out a little bit more.

15           MR. : I'm registering my  
16   objection to your line of questioning.

17           MR. OGINSKI: Fine.

18 Q Who was the Deputy Chairman of the  
19 Department at that time?

20 A Dr. .

21 Q Did Dr. tell you why he  
22 wanted you to write a note in relation to this  
23 case?

24 A No.

25 Q Do you recall what it was he said

TOMMER REPORTING, INC. (212) 684-2448

156

1 , M.D.

2 to you about this particular case that prompted  
3 you to write a note?

4 A From recollection he said, "Can  
5 you write a note, just explain what you think  
6 happened or rather can you write a note of the  
7 events that happened."

8 Q Did he tell you for what reason

9 the note was necessary?

10 A No.

11 Q Did he tell you for what purpose

12 the note would be used?

13 A Quality Assurances.

14 Q Were you ever asked to discuss

15 your note with any person at the hospital?

16 A No.

17 Q How long was your note?

18 A From memory about a page, page and

19 a half, double spaced.

20 Q Other than providing that note to

21 Dr. , did you provide it to anyone

22 else at the hospital?

23 A No.

24 Q When you wrote that note, had you

25 reviewed the patient's chart to refresh your

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2    memory of the events?

3           A    Yes.

4           Q    When was that?

5           A    When was what?

6           Q    When had you reviewed the chart at

7    some point after Mr.    had died in

8    preparation for writing the note to

9    Dr.        ?

10          A    Well, I did it at the time of

11    writing the note.  As I mentioned, I don't

12    remember the exact time as I wrote the note.

13          Q    Did you provide a copy of that

14    note to your attorney?

15               MR. : No, he didn't.

16          Q    Did Dr.        sit on any type

17    of Quality Assurances Committee that you are

18    aware of?

19          A    No.

20          Q    Did Dr.    provide a similar

21    note to Dr.        or anyone else at the

22 hospital that you are aware of?

23 A I have no idea.

24 Q Was any other doctor,

25 Dr. or any other physician, caring for

TOMMER REPORTING, INC. (212) 684-2448

158

1 , M.D.

2 this patient asked to provide a similar note

3 about their recollection of the events that had

4 occurred to Mr. ?

5 A I don't know.

6 Q Do you have a copy of that note

7 with you today?

8 A No.

9 Q Other than keeping a copy on your

10 hard drive on your computer, do you have a

11 written printout of it that you have kept at

12 home?

13 A No.

14 Q You still have the same computer  
15 that you had back then when you wrote your  
16 note, same hard drive?

17 A I can't even remember which  
18 computer I wrote it on.

19 Q Is there anything contained within  
20 that note that you wrote to  
21 Dr. that differs in any respect from  
22 what you have told me today in response to my  
23 questions?

24 A Not that I can recall.

25 Q At the time you wrote the note,

TOMMER REPORTING, INC. (212) 684-2448

159

1 , M.D.

2 had you learned of the autopsy findings?

3 A I'm unsure.

4 Q Did you ever discuss the facts  
5 with Dr. , the fact that Dr. had  
6 asked to you write a note for Quality  
7 Assurances purposes?

8 A No.

9 Q Were you ever asked any questions  
10 whatsoever by anyone in response to the note  
11 you submitted?

12 A A part from my attorney?

13 Q No, I don't want to know about  
14 your attorney.

15 At the hospital at any time before  
16 this lawsuit was started, were you ever asked  
17 in response to your handing in the note, did  
18 anyone ever ask you, "Doctor, what do you mean  
19 by this, can you give us a clarification of  
20 this," anything like that?

21 A No.

22 Q Do you have any other notes  
23 regarding this patient?

24 A No.

25 Q Other than Dr. 's deposition

TOMMER REPORTING, INC. (212) 684-2448

160

1 , M.D.

2 transcript and the patient's records, did you  
3 review any other documents in preparation for  
4 today?

5 A No.

6 Q Did you review any medical  
7 literature or textbooks that address the issue  
8 of treatment of pulmonary emboli in preparation  
9 for today's deposition?

10 A No.

11 Q Was there any pathologic cause  
12 diagnosed by any physician as to why this  
13 patient was not thriving?

14 A Not that I'm aware of.

15 Q How long does it take to place a

16 green field filter?

17 A I don't know. I don't perform the  
18 procedures.

19 Q Were there physicians at  
20 that did perform that  
21 procedure?

22 A Yes.

23 Q Was there any need before this  
24 patient coded on January 23rd in your opinion  
25 for this patient to have a consult with a

TOMMER REPORTING, INC. (212) 684-2448

161

1 , M.D.

2 vascular surgeon?

3 A No.

4 Q Was there any need in your opinion  
5 for this patient to have a pulmonology consult  
6 on January 23rd.

7                   Was there any need in your opinion  
8           for this patient to have a hematology or  
9           pulmonology consult on January 23rd before he  
10          coded?

11           A    No.

12           Q    When you spoke to Dr.   on the  
13          morning of January 23rd, did he ask you why no  
14          one had called him the evening before to let  
15          him know about the diagnosis of PE?

16           A    Not that I can recall.

17           Q    Did any residents call Dr.  
18          about the patient's diagnosis of a PE on  
19          January 22nd that you learned of on that night?

20           A    Not that I'm aware of.

21           MR. OGINSKI: Thank you, Doctor.

22           I just ask for copy of his CV.

23           MR. : Take it under

24          advisement.

25           MR. OGINSKI: I'm also going to

TOMMER REPORTING, INC. (212) 684-2448

1                   , M.D.

2           ask for copy of the note that he gave to

3           Dr.           .

4                   MR. : That I will not

5           provide.

6                   MR. OGINSKI: We might have to ask

7           the court to look at it en camera to see

8           whether it's for specific purpose he

9           claims it was given or for some other

10          purpose.

11                  I'm putting on the record I'm

12          requesting it. I'll follow up with a

13          letter.

14                  MR. : I cannot provide that.

15                  MR. OGINSKI: Because it's your

16          claim that it resolves Quality and

17          Assurance issues?

18                  MR. : It's privileged.

19 As far as he knows it was made for  
20 Quality and Assurance purposes as part  
21 of a Mortality and Morbidity Conference,  
22 his own testimony.

23 MR. OGINSKI: It wasn't a  
24 Mortality and Morbidity. He said it was  
25 only for Quality and Assurance purposes.

TOMMER REPORTING, INC. (212) 684-2448

163

1 , M.D.

2 That's all he was told.

3 MR. : That's privileged as  
4 far as I'm concerned. I'm not going to  
5 provide it.

6 MR. OGINSKI: We'll take that up  
7 later.

8 Thank you.

9 (Time noted: 2:10 p.m.)

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22

ACKNOWLEDGEMENT

STATE OF )

:Ss

COUNTY OF )

I, , M.D., hereby certify

that I have read the transcript of my testimony  
taken under oath in my deposition of March 24,  
; that the transcript is a true, complete  
and correct record of what was asked, answered  
and said during this deposition, and that the  
answers on the record as given by me are true  
and correct.

\_\_\_\_\_  
, M.D.

Signed and subscribed to

before me, this day

of , .

23

24

\_\_\_\_\_

25

Notary Public

TOMMER REPORTING, INC. (212) 684-2448

165

1

2

I N D E X

3

EXAMINATION BY

PAGE

4

MR. OGINSKI

5

5

REQUESTS

6

PAGE-LINE DESCRIPTION

7

161-21 Dr. CV

8

161-25 Dr. note given to

9

Dr.

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

TOMMER REPORTING, INC. (212) 684-2448

166

1

2 CERTIFICATE

3

4 I, , hereby certify that

5 the Examination Before Trial of ,

6 M.D. was held before me on March 24, ;

7 That said witness was duly sworn before

8 the commencement of the testimony;

9 The within testimony was

10 stenographically recorded by myself and is a

11 true and accurate record of the Examination

12 Before Trial of said witness;

13 That the parties herein were represented

14 by counsel as stated herein;

15 That I am not connected by blood or

16 marriage with any of the parties. I am not

17 interested directly or indirectly in the matter

18 in controversy, nor am I in the employ of any

19 of the counsel.

20

21 IN WITNESS WHEREOF, I have hereunto set my hand

22 this 24th day of March, .

23

24

\_\_\_\_\_

25

TOMMER REPORTING, INC. (212) 684-2448